

1 IN THE UNITED STATES DISTRICT COURT
2 FOR THE NORTHERN DISTRICT OF ILLINOIS
EASTERN DIVISION

3 WENDY B. DOLIN, Individually
4 and as Independent Executor
of the Estate of STEWART
5 DOLIN, Deceased,

6 Plaintiff,

7 -vs-

Case No. 12 CV 6403

8 SMITHKLINE BEECHAM
CORPORATION, d/b/a
9 GLAXOSMITHKLINE, a
Pennsylvania corporation,

10 Defendant.

Chicago, Illinois
March 22, 2017
1:30 p.m.

11 VOLUME 6-B
12 TRANSCRIPT OF PROCEEDINGS - Trial
BEFORE THE HONORABLE WILLIAM T. HART, and a Jury

13 APPEARANCES:

14 For the Plaintiff: BAUM HEDLUND, ARISTEI & GOLDMAN, P.C.
15 BY: Mr. R. Brent Wisner
12100 Wilshire Boulevard
16 Suite 950
Los Angeles, California 90025
(310) 207-3233

17
18 RAPOPORT LAW OFFICES, P.C.
BY: Mr. David E. Rapoport
Mr. Matthew S. Sims
19 20 North Clark Street
Suite 3500
20 Chicago, Illinois 60602
(312) 327-9880

21 Court Reporters:

22 CHARLES R. ZANDI, CSR, RPR, FCRR
23 JUDITH A. WALSH, CSR, RDR, F/CRR
219 South Dearborn Street, Room 2128
Chicago, Illinois 60604
24 Telephone: (312) 435-5387
25 email: Charles_zandi@ilnd.uscourts.gov

1 APPEARANCES: (Continued)

2 For the Defendant:

KING & SPALDING
BY: Mr. Todd P. Davis
Mr. Andrew T. Bayman
1180 Peachtree Street, N.E.
Atlanta, Georgia 30309
(404) 572-4600

5

6

KING & SPALDING, LLP
BY: Ms. Ursula M. Henninger
100 North Tryon Street
Suite 3900
Charlotte, North Carolina 28202
(704) 503-2631

7

8

9

10

SNR DENTON US, LLP
BY: Mr. Alan Scott Gilbert
233 South Wacker Drive
Suite 7800
Chicago, Illinois 60606
(312) 876-8000

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 (Proceedings heard in open court, jury not present:)

2

█

█

█

█

█

█

█

█

█

█

13 (Jury enters courtroom.)

14 THE COURT: All right. Thank you very much, ladies
15 and gentlemen. Please be seated, and we will proceed.

16 You may proceed, sir.

17 MR. BAYMAN: Thank you, your Honor.

18 DAVID ROSS, PLAINTIFF'S WITNESS, PREVIOUSLY SWORN.

19 CROSS-EXAMINATION

20 BY MR. BAYMAN:

21 Q. Good afternoon, Dr. Ross.

22 A. Good afternoon.

23 Q. I just want to establish something at the outset. While
24 you've worked for the FDA in the past, you're not speaking
25 here today on behalf of the FDA, correct?

1 A. Correct.

2 Q. And you don't have authority to speak on behalf of the
3 FDA, correct?

4 A. No.

5 Q. So, that's correct, you do not?

6 A. Correct.

7 Q. And while you work currently at the U.S. Department of
8 Veterans Affairs, you're not speaking today on behalf of the
9 V.A., are you?

10 A. Correct.

11 Q. Or on behalf of the U.S. government at all, are you?

12 A. Correct.

13 Q. Now, when you worked at the FDA, you worked in the group
14 responsible for anti-infective drugs, is that right?

15 A. That was one of the groups that I worked in.

16 Q. And that -- there's a separate group at the FDA, though,
17 isn't there, called the neuropharmacology division?

18 A. Yes.

19 Q. And you never worked in the neuropharmacology division,
20 correct?

21 A. Correct.

22 Q. And that neuropharmacology division is the group
23 responsible for the review and analysis of psychiatric
24 medications like Paxil, correct?

25 A. The review and analysis of clinical trials on drugs such

1 as Paxil.

2 Q. And also for reviewing and approving NDAs, New Drug
3 Applications, for drugs such as Paxil, correct?

4 A. Correct.

5 Q. And even though you never worked in that division, you
6 also never received any assignments from the neuropharmacology
7 division while you were at FDA, correct?

8 A. Not that I can recall.

9 Q. And while you were at FDA, you never reviewed any safety
10 data for any SSRI or any psychiatric medication, correct?

11 A. Not that I can recall.

12 Q. You did not work at the FDA, in fact, on any issue
13 concerning an SSRI or a psychiatric medication and
14 suicidality, correct?

15 A. Correct.

16 THE COURT: Doctor, move that microphone closer to
17 you.

18 THE WITNESS: Sorry, your Honor.

19 THE COURT: There's another one there on the stand.

20 THE WITNESS: Yes, sir.

21 BY MR. BAYMAN:

22 Q. For instance, while at the FDA, you never analyzed any
23 data with respect to any SSRI or psychiatric medication to
24 assess whether they increased the risk of suicidality,
25 correct?

1 A. That specific issue, no.

2 Q. And during your time at the FDA, you never worked on the
3 labeling for any SSRI or antidepressant, correct?

4 A. Correct.

5 Q. You were familiar with something that the FDA calls an
6 advisory committee, correct?

7 A. Yes. If I may, I apologize. I need to clarify my answer
8 to your previous question. For at least one of the products
9 that I worked on during the time that I was in
10 anti-infectives, there may have been work that involved
11 simultaneous labeling considerations for an antidepressant.

12 Q. But that wasn't an SSRI, correct?

13 A. You know, I'd actually have to look at that label for that
14 product to be sure, so I don't know.

15 Q. Do you have your deposition with you, Doctor?

16 A. I'm not sure if it's in this binder.

17 Q. What is that binder?

18 A. This is the exhibits for direct examination.

19 Q. Let me hand you your deposition.

20 A. Thank you, sir.

21 MR. BAYMAN: Your Honor, may I approach?

22 THE COURT: Yes.

23 MR. BAYMAN: That's his deposition.

24 BY MR. BAYMAN:

25 Q. Dr. Ross, turn, if you would, to your deposition, which

1 was taken April 5 -- April 2nd, 2015, to page 77, lines 1
2 to 4.

3 MR. WISNER: Objection, your Honor. If I could get a
4 copy of whatever he's showing the witness.

5 MR. BAYMAN: It's his deposition. I'm happy to give
6 you one.

7 MR. WISNER: Thank you.

8 THE COURT: Page?

9 MR. BAYMAN: 77, line 1 to 4.

10 BY THE WITNESS:

11 A. Yes, sir.

12 BY MR. BAYMAN:

13 Q. The question was, "While you were at FDA, you never worked
14 on the labeling for any SSRI or any psychiatric medication, is
15 that true?"

16 And your answer was, "That is true."

17 Did I read that correctly?

18 A. Yes.

19 MR. WISNER: Objection. Move to strike as improper
20 impeachment. He testified that he may have worked on labeling
21 in the anti-infective area that there was overlap. This is
22 just reading testimony in from the transcript.

23 THE COURT: All right. Let's proceed.

24 BY MR. BAYMAN:

25 Q. You talked on direct a little bit with Mr. Wisner about an

1 FDA advisory committee. Do you recall that?

2 A. Yes.

3 Q. And FDA often consults advisory committees for independent
4 expert advice on scientific matters, correct?

5 A. Correct.

6 Q. And advisory committees are composed of, at least in the
7 FDA's view, authorities in the field?

8 A. Can you clarify -- when you say authorities in the field,
9 I just want to make sure I understand what field you're
10 talking about.

11 Q. Well, whatever field the particular advisory committees
12 impanel. Let's say, for example, psychiatric medications.
13 The FDA considers those people on the advisory committees to
14 be experts in that field, correct?

15 A. Well, because there are by -- I don't know if it's
16 regulation or law on FDA advisory committees, individuals such
17 as consumer or patient representatives, I want to make sure I
18 understand what you mean by expert. They may not be clinical
19 expert, but they bring the different perspective to that.

20 So, I think it would be fair to say that the members
21 of an advisory committee are consulted by FDA to provide input
22 based on their perspective and experience.

23 Q. Fair enough. There are -- there may be consumer
24 representatives, but there also may be medical doctors, too,
25 correct, on the advisory committee?

1 A. And there's, I think, almost without exception a
2 statistical consultant on the committee.

3 Q. You anticipated my next question. In any event, FDA
4 invites experts outside of FDA to participate in advisory
5 committees, correct?

6 A. Yes.

7 Q. And you've never served on an FDA advisory committee that
8 assessed whether an SSRI or psychiatric medication was safe
9 and effective, correct?

10 A. Correct. Excuse me.

11 Q. You have never served on an FDA advisory committee that
12 assessed whether an SSRI or any psychiatric medication
13 increased the risk or was associated with suicidality,
14 correct?

15 A. Correct.

16 Q. And while you were at FDA, you certainly had no
17 responsibility for reviewing any data concerning Paxil,
18 correct?

19 A. Correct.

20 Q. And you never had any responsibility for reviewing Paxil's
21 labeling, correct -- while you were at FDA, correct?

22 A. Correct.

23 Q. And you never had responsibility at FDA for reviewing any
24 post-marketing data on Paxil, correct?

25 A. Let me qualify my answer, because again, I want to try to

1 make sure I'm giving you clear answers. You know, for
2 example, you had previously said that I -- you know, during
3 the deposition, I said I did -- I'm going to answer your
4 question -- that I worked on the labeling. At the time of the
5 deposition, my interpretation was you meant directly on the
6 labeling, and that is correct.

7 It occurred to me, and this is probably because of
8 the example I used earlier, that there was labeling that I
9 worked on for anti-infectives that had implications for
10 antidepressant labeling.

11 But to answer your question, while there may have
12 been adverse event reports involving patients who were
13 receiving Paxil along with other drugs, I was not responsible
14 primarily for assessment of those reports with respect to
15 Paxil.

16 Q. Thank you. Now, as I understand, you left the FDA in 2006
17 and began practicing at the Veterans Administration or V.A.,
18 is that right?

19 A. Well, actually, no. I had already been on staff
20 practicing at the Washington, D.C., V.A. from 1998 onwards.
21 In 2006 -- and I continued that activity while I was at the
22 FDA up through the present day.

23 In 2006, I left the FDA to assume the -- direct the
24 V.A.'s HIV, hepatitis C, and what's now called related
25 conditions program.

1 Q. So, the V.A. became your employer in 2006?

2 A. Correct.

3 Q. And your role at the V.A. is that of a general practice
4 doctor, is that right?

5 A. I'm sorry. I'm not trying to be difficult, but when you
6 say general practice, tell me what you mean.

7 Q. You're an internist, correct?

8 A. Among other things, yes.

9 Q. Okay. And you have a specialty in infectious disease,
10 correct?

11 A. Correct.

12 Q. But you treat patients at the V.A., correct?

13 A. Yes.

14 Q. And adult patients, correct?

15 A. Yes.

16 Q. And you testified yesterday that in your practice, you do
17 not prescribe SSRIs, including Paxil, correct?

18 A. Let me clarify that in the sense that other providers,
19 particularly in mental health, may initiate therapy with an
20 SSRI, and I may order a new prescription or refill for a
21 patient. And in doing so, even though it's another physician
22 who initiated it, I take the legal and ethical responsibility
23 for renewing it.

24 So, maybe that's -- I'm just trying to clarify that
25 point, that I've not initiated treatment of patients with

1 Paxil.

2 Q. You've not written the first prescription for Paxil for a
3 patient, correct?

4 A. Correct.

5 Q. And when you talked about medicines that you prescribed
6 yesterday, you were talking about antidepressant medications
7 that are in a different class than Paxil, correct?

8 A. Correct.

9 Q. Those would be benzodiazepines, is that right?

10 A. Well, benzodiazepines are generally not antidepressants.

11 Q. Okay. But you prescribe benzodiazepines, correct?

12 A. If they are clinically indicated, yes.

13 Q. Okay. Now, correct me if I'm wrong, but I think you
14 testified yesterday that you don't prescribe Paxil or other
15 SSRIs because you believe they cause people who take the
16 medication to commit suicide in some cases, correct?

17 A. No, that is not what I said.

18 Q. Okay. I believe you said based on the information you
19 learned in this case, you don't prescribe Paxil to patients,
20 didn't you?

21 A. Correct.

22 Q. Okay. But when you see a patient who's taking Paxil
23 prescribed by another doctor, you have a conversation with
24 that patient about your opinion regarding the relationship
25 between Paxil and suicide, correct?

1 A. To be honest with you, I cannot recall the last time I saw
2 a patient of mine who was on Paxil.

3 Q. What about other SSRIs?

4 A. If there are other SSRIs that they're on, as a matter of
5 course, I do what's called a medication reconciliation, which
6 means that I go through their medications, and I say, "Are you
7 taking this? Are you taking this?"

8 One of the challenges in my patient population is I
9 frequently will have patients who are on literally 25
10 different medications. And one thing I'm always looking to do
11 is say, "Is this medication really needed, or is it the right
12 medication?"

13 So, I do go through them, and that's part of -- as
14 part of that, I'm also assessing what is going on with the
15 patient, including things such as depressive symptoms and the
16 like.

17 Q. I think you said this morning that your healthcare
18 organization manages patients and informs them about the risk
19 of suicide, correct?

20 MR. WISNER: Objection. Vague.

21 THE COURT: Overruled. You can answer it if you can.

22 BY THE WITNESS:

23 A. When you say the risk of suicide, in what context?

24 BY MR. BAYMAN:

25 Q. Well, I think -- again, correct me if I'm wrong, but my

1 notes show you testified that suicide is an enormous problem
2 with veterans, correct?

3 A. Yes.

4 Q. And that you work with a high-risk population, I think was
5 the word you used this morning?

6 A. Yes.

7 Q. You know, though, don't you, that other doctors at the
8 V.A. prescribe Paxil and other SSRIs to veterans, correct?

9 MR. WISNER: Objection, your Honor. You stopped me
10 from going down this inquiry about his work with V.A. and
11 SSRIs, and now he's doing it. So, he objected. I think it
12 should cut both ways.

13 MR. BAYMAN: He talked this morning about how he
14 counsels veterans who he sees about the risk of --

15 THE COURT: Well, very limited, and I'll allow very
16 limited cross. It was very limited.

17 BY MR. BAYMAN:

18 Q. Okay. You know that other doctors at the V.A. prescribe
19 Paxil and other SSRIs to veterans, correct?

20 A. I believe it's available to them. I actually would have
21 no idea of how often it's used compared -- or how infrequently
22 it's used compared to other drugs.

23 Q. You know that the V.A.'s formulary permits physicians to
24 prescribe generic paroxetine, correct?

25 MR. WISNER: Your Honor, we're going into formularies

1 now? Objection. This is irrelevant.

2 THE COURT: Sustained. I think we ought to stay on
3 track, sir.

4 BY MR. BAYMAN:

5 Q. You're familiar with the -- well, you were with the
6 Veterans Administration in 2010, correct?

7 A. Yes.

8 Excuse me. If I could, I apologize, your Honor.
9 It's actually Department of Veterans Affairs. Veterans
10 Administration was the name about probably 20, 30 years ago.
11 So, just in the interest of clarity.

12 Q. Okay. Why don't we just say V.A. Would that --

13 A. That would be even better.

14 Q. And then given your expertise in treating patients and in
15 counseling them on the risk of suicide, you know that the
16 deputy chief officer at the V.A. has testified that
17 antidepressants lower the risk --

18 MR. WISNER: Objection. Move to strike. This is
19 hearsay and irrelevant.

20 THE COURT: Well --

21 MR. WISNER: He's about to quote someone who's not
22 even a witness in the case, and I had to interrupt him before
23 he got the hearsay out, your Honor.

24 MR. BAYMAN: I'm just asking if he knows that the
25 deputy chief officer at the V.A. testified that

1 antidepressants lower the risk of suicide among veterans when
2 he testified in front of Congress.

3 BY MR. BAYMAN:

4 Q. Do you know that?

5 THE COURT: Objection?

6 MR. WISNER: Objection, your Honor. Hearsay. Move
7 to strike.

8 THE COURT: It's sustained. The testimony is
9 stricken -- question is stricken.

10 BY MR. BAYMAN:

11 Q. You're not a psychiatrist, correct?

12 A. No.

13 Q. You're not a member of any professional organization that
14 focuses on psychiatry, such as the American Psychiatric
15 Association, American College of Neuropsychopharmacology?

16 A. Correct.

17 Q. The focus of your career has not been on suicide or
18 suicidality, correct?

19 A. That is correct.

20 Q. You don't consider yourself an expert in suicidality,
21 correct?

22 A. I haven't claimed to be.

23 Q. I want to make sure that the record's clear. You've never
24 had any conversations with any of your patients about the risk
25 of suicidality and the use of SSRIs, correct?

1 A. You know, I'm -- and again, I'm not trying to be
2 difficult. It doesn't stand out in my mind. Let me put it
3 like that.

4 Q. You -- and you testified a minute ago that you treat
5 patients at the V.A. who may be taking SSRIs prescribed by
6 other doctors, right?

7 A. Yes.

8 Q. But you don't stop their prescriptions of SSRIs based on
9 what you know from this case, correct?

10 A. That's -- I'm sorry. I've got to again give some context
11 to this. You -- that's not the way things work in an
12 organization where you've got teams of physicians. We're not
13 in these little silos.

14 We have a record where I can see what's going on with
15 the patients, what other prescribers are saying. I don't just
16 say, "Well, I'm going to stop this," unless it's a clinical
17 emergency.

18 So, before doing anything, where I said, "Boy, I
19 really don't think this patient should be on this drug" -- and
20 that has happened with psychiatric drugs, where they can
21 interact with some of the HIV drugs -- I'm going to have a
22 conversation with their prescriber.

23 Q. So, I guess the answer to my question is if a patient
24 presents and they're taking an SSRI, you don't automatically
25 stop that SSRI because of what you've learned in your work as

1 an expert in this case, correct?

2 A. I don't think there's any -- as a physician, there's no
3 one-size-fits-all rule. If somebody came in and they were
4 taking cyanide, yes, that, I would stop. But for a drug that
5 they're on, you know, you assess the situation.

6 Q. You don't -- you don't address any issues concerning the
7 safety or efficacy of SSRIs, antidepressants, or any
8 psychiatric medications as part of your work at the V.A.,
9 correct?

10 A. I apologize. Can you -- I just want to make sure I'm
11 answering this.

12 THE COURT: Read it back.

13 THE WITNESS: Thank you.

14 (Record read.)

15 BY THE WITNESS:

16 A. I, as part of my work, address approaches and treatments
17 for depression, but I do not work on SSRIs directly.

18 BY MR. BAYMAN:

19 Q. You don't have a degree in epidemiology, correct?

20 A. I have training through the FDA in epidemiology, but not a
21 Ph.D. in epidemiology.

22 Q. You don't have a degree in statistics, correct?

23 A. Again, training, not only through the FDA but also as part
24 of my biomedical informatics training, but not a Ph.D. in
25 statistics.

1 Q. You're not an expert in psychopharmacology, correct?

2 A. No.

3 Q. You're not an expert in neurology, correct?

4 A. No.

5 Q. You've never done any clinical research regarding Paxil or
6 any other SSRI or any psychiatric medication, correct?

7 A. That is correct.

8 Q. And you've never done any clinical research on whether any
9 medication increases the risk of suicidality, correct?

10 A. There are hepatitis C drugs that are known to induce
11 suicide or suicidal behavior, and I believe I've looked at
12 that issue.

13 Q. Do you have your deposition there in front of you?

14 A. Yes, sir.

15 Q. Could you look at page 62, line 22?

16 A. Um-hum.

17 Q. Have you got that?

18 A. Yes.

19 Q. The question was, "Have you ever done any clinical
20 research on suicidality for any medication?"

21 And your answer was, "No."

22 Did I read that correctly?

23 A. Yes. At that time, that was a correct answer. That was
24 two years ago.

25 Q. You've never designed any clinical trial intended to

1 determine whether a medication increases the risk of
2 suicidality, correct?

3 A. Correct.

4 Q. And you've never been involved in any clinical trials
5 where the trials were designed to determine whether any
6 medication causes or increases the risk of suicidality?

7 A. Correct.

8 Q. You've never conducted any research on the subject of the
9 effects of psychiatric medications, correct?

10 A. Not that I can recall.

11 Q. You've never lectured on the subject of the effects of
12 antidepressants, anti-anxiety medications, or psychiatric
13 medications, correct?

14 A. Correct.

15 Q. And you've never conducted any scientific research of any
16 kind involving an SSRI, correct?

17 A. Not to the best of my recollection.

18 Q. You've never lectured on the subject of the effects of
19 psychiatric medications, correct?

20 A. Not that I can recall.

21 Q. You've never published any articles in the professional
22 literature about Paxil, correct?

23 A. No.

24 Q. Or any other SSRI or psychiatric medication for that
25 matter, correct?

1 A. Correct.

2 Q. You've never published anything in the scientific
3 literature about suicidality and Paxil or other SSRIs,
4 correct?

5 A. Correct.

6 Q. You've not authored any publications concerning when or
7 how to change a prescription drug labeling, correct?

8 A. No.

9 Q. You've not authored any publications concerning industry
10 standards for prescription drug labeling, correct?

11 A. I'm sorry. Could you read the question back.

12 (Record read.)

13 BY THE WITNESS:

14 A. I believe that guidance documents that I've worked on --
15 worked on when I was at FDA may have addressed some aspects of
16 drug labeling.

17 BY MR. BAYMAN:

18 Q. You've never published any article that specifically
19 discusses the regulatory standards for when an adverse event
20 should be included in labeling or how it should be included in
21 labeling, correct?

22 A. Not that I can recall.

23 Q. And you've never published any articles that specifically
24 discuss -- strike that.

25 You've never published any article in which you form

1 an opinion about the adequacy of a medication's labeling,
2 correct?

3 A. I'm not sure I would agree with that statement.

4 Q. Which article do you have in mind?

5 A. So, I published an article in *New England Journal of*
6 *Medicine* in -- boy, it's been a long time, I believe it was
7 either 2007 or 2008, that at least indirectly addressed that
8 by discussing the integrity of data in the trials and the
9 safety and efficacy of a drug.

10 Q. It indirectly addressed it?

11 A. Well, that's the basis for labeling, so yes.

12 Q. You've never worked at a pharmaceutical company, correct?

13 A. No.

14 Q. You've never been retained as a consultant of any kind by
15 either a generic or a brand name pharmaceutical manufacturer
16 of any psychiatric medicine, correct?

17 A. I'm not sure.

18 Q. You don't recall?

19 A. I've been retained once by a pharmaceutical company, but I
20 don't know if they're a manufacturer of pharmaceutical
21 medications.

22 Q. Of psychiatric medications?

23 A. I'm sorry, I apologize, of psychiatric medications. They
24 both begin with a P.

25 Q. Well, let's narrow it down. You've never been retained as

1 a consultant by any generic or brand name SSRI manufacturer,
2 correct?

3 A. Again, I -- in the one instance, I don't know if that
4 entity manufactures SSRIs, either as a generic or as a brand
5 name.

6 Q. Can you turn in your deposition to page 62.

7 A. Yes.

8 Q. Starting at line 1.

9 A. 62, line 1. Yes.

10 Q. The question was, "Have you ever been retained as a
11 consultant of any kind by a generic or brand name manufacturer
12 of any psychiatric medication?"

13 Your answer was, "No."

14 Did I read that correctly?

15 A. The -- my retention occurred after this deposition.

16 Q. Okay. Now, you're here testifying as an FDA regulatory
17 expert, correct?

18 A. Correct.

19 Q. And so you claim to understand the laws and regulations
20 that control between the FDA and pharmaceutical manufacturers,
21 correct?

22 A. I'm not sure I completely -- when you say control between
23 the FDA and manufacturers, can you be a little more specific?

24 Q. The laws that impact the relationship between the FDA and
25 pharmaceutical manufacturers.

1 A. With respect to the focus of my testimony, the laws and
2 regulations concerning labeling of drugs and the standard for
3 including information in the label.

4 Q. You testified about it a little more broadly yesterday.
5 In fact, you testified that the FDA was privately funded by
6 drug companies under what's called user fees, correct?

7 A. Yes.

8 Q. The user fees that you're talking about are derived from
9 the Prescription Drug User Fee Act, correct?

10 A. Well, there's other user fee acts besides that, the
11 Generic Drug User Fee Act, for example.

12 Q. Well, the one you were referring to yesterday was what we
13 call PDUFA, P-D-U-F-A, correct?

14 A. Correct.

15 Q. And that's an act of Congress, right? It's passed by
16 Congress?

17 A. That's correct.

18 Q. The user fees are not optional payments by the drug
19 companies, are they, Doctor?

20 A. They can be waived by the FDA under certain circumstances.

21 Q. The user fees, Doctor, that the FDA collects from the drug
22 manufacturers are mandated by law in that statute, correct?

23 A. Actually, no. They are -- part of what are called PDUFA
24 agreements, there's what are called side letters. The actual
25 legislation is fairly broad. So, on the one hand, the agency

1 sets the fees; and on the other hand, there's an agreement
2 about how fast the FDA will review the drugs -- or the
3 applications, I should say.

4 Q. Okay. But when a company wants to get a new drug
5 approved, they have to file an application fee, correct?

6 A. Correct.

7 Q. And that application is -- application fee can be as high
8 as \$2 million, correct?

9 A. Actually, I think for FY '16, it may be more like
10 2.3 million.

11 Q. Okay.

12 MR. WISNER: Your Honor, they objected when I asked
13 questions about this. You sustained the objection. I feel
14 we're again in a goose-gander situation. I'd move to stop
15 this line of inquiry because it's not fair.

16 MR. BAYMAN: He was asked a number of questions
17 before my objection was sustained.

18 THE COURT: I do recall testimony about user fees, so
19 I'll allow some liberality.

20 BY MR. BAYMAN:

21 Q. Okay. A user fee is just -- for example, it's like
22 getting your driver's license; you pay an application fee,
23 correct?

24 A. No, it is not just like a driver's license. There is a
25 guaranteed standard of service that FDA agrees to provide in

1 exchange for that fee.

2 Q. But the people who pay the fees are the people who are
3 getting the service; that's why it's called a user fee,
4 correct?

5 A. Correct.

6 Q. Have you ever informed the FDA about the opinions that
7 you're offering in this case?

8 A. I don't believe so.

9 Q. You've never informed the FDA that you believe there's an
10 association between the use of paroxetine or Paxil by adults
11 older than 24 and a risk of suicidality, correct?

12 A. Correct.

13 Q. You've never told the FDA that you think the FDA-approved
14 labeling for Paxil is inadequate or false or misleading,
15 correct?

16 A. Well, with the qualification that it's actually GSK's
17 responsibility to do that, no.

18 Q. We'll get into that later. You've never submitted the
19 opinions that you offered yesterday and today in response to
20 Mr. Wisner's questions for review by your peers in the medical
21 community, have you?

22 A. I'm not actually sure, given the fact that some documents
23 are, I believe, under seal, that I would be able to do that.

24 Q. You've never published your opinions in any peer -- about
25 Paxil and suicidality in any peer-reviewed publication,

1 correct?

2 A. I would give you the same caveat that I would have to back
3 those up, and I'm not sure if I would be able to do that given
4 the sealing of documents.

5 Q. No professional or scientific medical organization has
6 ever sought out your opinion about Paxil's labeling, correct?

7 A. Not that I'm aware of.

8 Q. You generated your opinions about the adequacy of Paxil's
9 labeling regarding suicidality solely for the purposes of this
10 litigation, correct?

11 A. Hum. With the caveat that the principles that I based it
12 on are in data analysis, which is the same science that was
13 used at the FDA, is something that I did not discover for
14 purposes of this litigation, I would say that I provided the
15 opinions on the basis of the data that I was provided as well
16 as data that I requested.

17 Q. Well, you provided them in the context of this litigation,
18 this case, rather than in some other scientific context,
19 correct?

20 A. If you mean that I didn't go looking for this, you're
21 correct.

22 Q. Well, maybe I can make this easier.

23 Other than this lawsuit, has there been any time
24 anyone else other than the plaintiff's lawyers have asked you
25 to determine if there's reasonable evidence of association for

1 suicidality for any SSRI, antidepressant medication, or
2 psychiatric medication?

3 A. I've not been in a position before where someone would ask
4 me to do that.

5 Q. So, the answer is no?

6 A. Correct.

7 Q. And you're paid for your testimony in this case, correct,
8 an hourly rate?

9 A. Yes.

10 Q. And how much do you charge?

11 A. I am being compensated -- I currently charge \$550 an hour,
12 but for this litigation, I'm charging \$480 an hour.

13 Q. I want to turn now to the FDA approval process.

14 A. Okay.

15 Q. You know that when it -- or you agree that when it comes
16 to prescription medications such as an SSRI, that the FDA has
17 the sole and exclusive authority to approve that medication
18 for use in the United States?

19 A. Could you reread back the last line.

20 THE COURT: Read it back.

21 (Record read.)

22 BY THE WITNESS:

23 A. With the caveat that other government entities, excuse me,
24 and I think the example I mentioned in my deposition was the
25 Drug Enforcement Administration, may have authority over some

1 aspects of that, I would say -- I would say the FDA has
2 authority over that.

3 BY MR. BAYMAN:

4 Q. Could you look in your deposition at page 79, line 24.
5 And it carries over to page 80, line 4.

6 Are you there?

7 A. Yes.

8 Q. Are you there?

9 A. Yes.

10 Q. Okay. The question was, "But you agree that when it comes
11 to prescription medications such as an SSRI, that the FDA has
12 the sole and exclusive authority to approve that medication
13 for use in the United States?"

14 And your answer was, "Yes," correct?

15 A. Well, the qualification that I gave immediately before
16 that was that this has gotten a little confused because of
17 the advent of medical marijuana. So, that's where I indicated
18 that that statement may not be completely accurate.

19 Q. And that would be the DEA with respect to medical
20 marijuana would be the other organization; is that what you're
21 saying?

22 A. Well, I'm saying it would be both.

23 Q. Both.

24 A. And also -- I mean, the issue here, as I understand it,
25 is: Is it going across a state line? So, when you say the

1 United States, I think -- what you're saying, I guess, is
2 interstate commerce I guess is the legal phrase. Is that
3 fair?

4 Q. But you agree when it comes to an SSRI --

5 A. Yes.

6 Q. -- that the FDA has the sole and exclusive authority to
7 approve an SSRI for use in the United States?

8 A. Yes.

9 Q. And to obtain FDA approval, manufacturers are required to
10 prove that the drug is both safe and effective for its
11 proposed indication, correct?

12 A. That's, in basis -- there's more qualifications to that,
13 but yes.

14 Q. And it's the FDA that makes that determination whether a
15 drug is safe and effective, correct?

16 A. Based on the information provided by the manufacturer,
17 yes.

18 Q. And the FDA has to approve all prescription drug labeling,
19 correct?

20 A. Eventually, yes.

21 Q. Do you agree that the Federal Regulations provide that
22 the FDA has the final say on what should be included in
23 prescription drug labeling?

24 A. So, I would say that leaving aside issues about the
25 jurisdiction of the courts in this, I would say the sponsor

1 has the ultimate responsibility. The FDA is the ultimate
2 authority in that context.

3 Q. You agree that the FDA makes the determination that the
4 labeling and information evaluated with respect to a drug is
5 sufficient so that in the FDA's judgment, it provides adequate
6 directions for safe use to the prescriber, correct?

7 THE WITNESS: Your Honor, could I ask that the last
8 question be read?

9 THE COURT: Yes, read it back.

10 (Record read.)

11 BY THE WITNESS:

12 A. Again, based on the information available to the FDA from
13 the manufacturer at that point in time, yes.

14 BY MR. BAYMAN:

15 Q. And would you agree that the FDA's mandate is to ensure
16 that the manufacturer's label contains relevant information
17 regarding effectiveness -- accurate and relevant information
18 regarding effectiveness and safety, correct?

19 A. Among many other things, yes.

20 Q. But it's the FDA that makes that determination, correct?

21 A. Again, based on the information provided to it, yes.

22 Q. You agree with me that the FDA has been charged by
23 Congress with ensuring that drugs are safe and effective and
24 that their labeling adequately informs users of the risks and
25 benefits of the product and that it is truthful and not

1 misleading?

2 A. One non-trivial correction. The Congress, the last time I
3 looked at the Prescription Drug User Fee Act, said that it
4 wants the FDA to get safe and effective drugs to the market.
5 And that was a revision back in, I think, the FDA
6 Modernization Act.

7 But substantially, yes.

8 Q. And you would agree with me that Paxil could not remain on
9 the market if the FDA was of the view that it was not safe and
10 effective for use in accordance with the approved labeling,
11 correct?

12 A. When you say, "could not remain on the market," could you
13 clarify?

14 Q. That the manufacturer of either Paxil or generic
15 paroxetine could not sell it in this country if the FDA were
16 not of the continuing view that it was safe and effective for
17 use in accordance with the approved label, correct?

18 A. That's one possible outcome.

19 Q. Now, we talked about GSK's New Drug Application. You
20 talked about that with Mr. Wisner on direct, correct?

21 A. Yes.

22 Q. And that was submitted to the FDA in November of 1989,
23 correct?

24 A. I believe so.

25 Q. And the applicant for an NDA -- I'm sorry, the company who

1 files an NDA is legally obligated to provide full reports of
2 investigations which have been made to show whether the
3 medication is safe and effective, correct?

4 A. It is -- I would say it is required to do so, yes.

5 Q. And those reports include safety data and other
6 information about the medication from the clinical trials,
7 correct?

8 A. Yes.

9 Q. And the Paxil NDA included data from the clinical trials
10 conducted to that point, correct?

11 A. Yes.

12 Q. You talked yesterday about how much data is included in an
13 NDA submission. You're not suggesting that a manufacturer
14 should not provide all the data that the FDA requires or
15 requests, are you?

16 A. I don't believe I was saying that.

17 Q. And along with the data, the New Drug Application, the
18 NDA, must include proposed labeling for the medication,
19 correct?

20 A. Yes.

21 Q. In addition, the manufacturer must furnish substantial
22 evidence of adequate and well-controlled studies, correct?

23 A. I apologize. I would say it's substantial evidence from
24 adequate and well-controlled studies.

25 Q. Thank you. And once the FDA -- or the NDA is filed, the

1 FDA's doctors and scientists review that submission to
2 determine whether the drug is safe and effective for its
3 intended uses, correct?

4 A. Based on the information provided by the company, yes.

5 Q. And you'd agree with me that the FDA's process for a
6 New Drug Application is rigorous, correct?

7 A. When you say rigorous, I just want to make sure we're
8 using -- on the same page. Please tell me what you mean.

9 Q. Rigorous. Thorough.

10 A. Well, okay. So, I think that with the understanding that
11 there's different levels of rigor. There's rigor looking at
12 the summary tables. There's rigor looking at -- going further
13 and looking at individual what we call case report listings,
14 looking at case report forms, and then finally, going back to
15 the raw data.

16 The FDA's process is rigorous with the data it
17 receives, but it does not get the raw data.

18 Q. But it can request that if it wants it, correct?

19 A. If it knows to request it, yes.

20 Q. You mean to tell the jury that the FDA doesn't know
21 there's raw data behind the summary reports that are done?

22 A. Well, there's too much for the FDA to get all of it. You
23 have to focus. And so if, for example, to take a hypothetical
24 example, you don't know that emotional lability really means
25 attempted suicide, then you won't know --

1 MR. BAYMAN: Your Honor, this is beyond the scope of
2 my question. I move to strike it.

3 MR. WISNER: Your Honor, he was asking his question.
4 He asked an open-ended, vague question. He can answer it.

5 THE COURT: You may finish your answer.

6 BY MR. BAYMAN:

7 Q. You would agree with me that the FDA is comprised of
8 hundreds of scientific experts, correct?

9 A. I would actually go further and say it's composed of
10 hundreds of scientific experts who have to review thousands of
11 submissions a year.

12 Q. And that includes medical doctors, correct?

13 A. Yes.

14 Q. It includes chemists, correct?

15 A. Yes.

16 Q. It includes biostatisticians, correct?

17 A. Yes.

18 Q. Toxicologists, correct?

19 A. Yes.

20 Q. Pharmacologists, correct?

21 A. Clinical pharmacologists.

22 Q. Epidemiologists, correct?

23 A. Yes.

24 Q. And many of those people have advanced degrees, do they
25 not?

1 A. Yes.

2 Q. And you agree with me that the reviewers at FDA, based on
3 your experience at FDA, bring scientific and technical
4 expertise and a strong commitment to public health to the
5 issues which they address, correct?

6 A. The ones who I've worked with, yes.

7 Q. Are you aware of anybody who worked in the
8 neuropharmacology division at FDA during the time that Paxil
9 and the other SSRIs were approved as safe and effective who
10 did not have the necessary expertise to evaluate the safety
11 and efficacy or effectiveness of those medications?

12 A. Well, I guess the way I would answer that is looking at
13 the reviews and other documents that I've seen, it's not only
14 a question of expertise. It's a question of execution.

15 So, I would say do they have -- you know, expertise
16 is one thing, but being able to actually put it into practice
17 and use it effectively is another.

18 Q. Well, let's get back to my question. Do you know anybody
19 during the time period that Paxil and SSRIs were approved as
20 safe and effective that did not have the expertise to evaluate
21 the safety and effectiveness of those medications?

22 A. Not directly.

23 Q. You would agree with me that the FDA reviews the safety
24 data of a medication that is part of an NDA in order to
25 satisfy itself that the drug is safe and effective, correct?

1 A. I don't think it's a matter of the FDA satisfying itself.
2 I think it's a matter of complying with its responsibilities
3 under the law.

4 Q. And you would agree with me that the experts at the FDA
5 will do their own analysis on the information and data that is
6 supplied by the drug manufacturer in an NDA, correct?

7 A. Well, it varies. I mean, there's some things where they
8 do their own analyses, and there's some where they simply
9 accept what the sponsor said.

10 Q. Well, let's talk about safety and adverse events. You
11 would agree with me that's one of the areas that the FDA will
12 specifically look at and review on its own, correct?

13 A. Well, when you say review on its own, I mean, to the
14 extent that they are doing things beyond what the sponsor
15 gives them, I would say yes.

16 If they are simply taking tables and graphs that a
17 sponsor -- text that the sponsor's provided and cutting and
18 pasting it into a document without adding substantive
19 additional commentary, it's hard to say if that's independent
20 or not.

21 Q. You know from your own experience that FDA does
22 independent reviews of the data provided by a sponsor,
23 correct?

24 A. In some instances, there -- and again, we're talking --
25 you're saying FDA. FDA is a huge organization. I know what

1 people in my office and my division do, and certainly, there's
2 some things where you go -- divisions, because there were
3 multiple offices that you worked in.

4 But, you know, if somebody's got an adverse event --
5 table of adverse events, there are some instances in which the
6 FDA reviewer will seek to independently verify that; and
7 there's others in which they'll say, "Well, I don't see any
8 reason to do that. I'm just going to accept what the sponsor
9 has said."

10 Q. And you don't know what the FDA did when they reviewed the
11 NDA for Paxil in this case, do you?

12 A. Actually, I can make a pretty good guess.

13 Q. I don't want you to guess.

14 A. Okay. So, the --

15 Q. There's no question. I just said, "I don't want you to
16 guess."

17 A. No, I understand.

18 MR. BAYMAN: Your Honor, I don't have a question.

19 THE COURT: Wait for a question.

20 THE WITNESS: I'm sorry, your Honor.

21 BY MR. BAYMAN:

22 Q. You would agree with me that the FDA is not limited solely
23 to information submitted by the manufacturer, but can rely on
24 other information that exists in the world of science when
25 deciding whether to approve an NDA or drug labeling, correct?

1 A. It can.

2 Q. One of those things might be scientific literature,
3 correct?

4 A. Yes.

5 Q. And, in fact, when you were at the FDA, you considered it
6 part of your responsibility to keep up with the medical
7 literature and scientific advancements in your field of
8 infectious diseases, correct?

9 A. Well, I think this actually is a good illustration that
10 answers a question you asked previously about independent
11 review. So, in one of the Paxil applications, I believe --

12 Q. Can I get an answer to my question first?

13 A. Yes, I'm going to answer it, but I want to qualify it
14 because you've been talking about the FDA and you've been
15 talking about me. I want to clarify the distinction.

16 In that application, the sponsor told the FDA review
17 division that there was no relevant literature. The reviewer
18 simply said, "Okay. We're going to accept that." They did
19 not -- even though they could have, they did not make an
20 independent effort to verify that.

21 Now, I would not have done that. If I had verified
22 it, I would have said, "The sponsor said this. I did a
23 literature search on Pub Med," and that would be independent.
24 But that did not happen in this instance.

25 Q. Doctor, you weren't at the division of neuropharmacology

1 when this NDA for Paxil was submitted, correct?

2 A. I'm actually talking about a supplemental NDA, and the
3 sponsor did not -- just said, "The sponsor said there wasn't
4 any new information in the literature," without any -- didn't
5 say, "I reverified it." They said, "Therefore, there will be
6 no review of the literature."

7 Q. You don't know what the reviewer did, do you?

8 A. No, I do actually. The reviewer wrote it down. It's
9 available on the Internet for anyone to look at.

10 Q. You haven't talked to that reviewer, have you?

11 A. I don't have to. It's on the Web. There's one thing that
12 FDA is very focused on through what are called good review
13 practices is documenting what you do and providing -- we're
14 scientists. You want to be able to tell another scientist
15 what you did in such a way that they can replicate and verify
16 or find issues with what you did. So, if it's not there, it
17 wasn't done.

18 Q. We're going to get to what was done with respect to the
19 NDA in a minute, but I want to make sure that I understand
20 that -- I didn't really get an answer to my question, which
21 is --

22 A. Yes, I would do my own independent analysis, correct.

23 Q. And when the FDA reviews a proposed label as part of a
24 New Drug Application, it can edit and propose revisions to
25 that labeling, correct?

1 A. The FDA review division can do that based on the
2 information that it has available to it.

3 Q. And that happens frequently, doesn't it? A manufacturer
4 submits labeling, and the FDA makes comments and revisions and
5 sends it back, correct?

6 A. Based on the information provided by the manufacturer,
7 yes.

8 Q. But the FDA makes its own comments; it doesn't just accept
9 what the manufacturer submits, correct?

10 A. It may accept some things and not others.

11 Q. And sometimes the FDA, as we learned earlier, will call
12 for an advisory committee to discuss the medication at issue,
13 correct?

14 A. Sometimes.

15 Q. And you know there was an advisory committee impaneled in
16 conjunction with the Paxil New Drug Application submission,
17 correct?

18 A. For the original one. Is that what you're -- okay.
19 Because they did not call one for other indications in
20 supplemental NDAs.

21 Q. But the original one for major depressive disorder, right?

22 A. That's correct.

23 Q. And you agree with me that the FDA will not approve an
24 NDA that fails to satisfy the standard of demonstrating the
25 medication at issue is safe and effective when used in

1 accordance with the label, correct?

2 A. What I would say is the FDA, based on the information
3 that's submitted to it by the manufacturer, can approve it,
4 will approve it if the information that it sees from the
5 manufacturer demonstrates safety and efficacy.

6 Q. But it's the FDA who makes that decision, correct?

7 A. Based on the information that it's provided, yes.

8 Q. And the FDA doesn't approve all NDAs that are submitted,
9 does it?

10 A. No.

11 Q. And, in fact, if the FDA doesn't think it has enough
12 information to make a decision on the drug's safety or
13 effectiveness, it must reject the application, correct?

14 A. No, not necessarily. It really is: What does the
15 labeling -- what does the labeling say, and what is the data?

16 So, for example, if an NDA, and this has happened,
17 requests two indications, and the FDA says, "Well, we're going
18 to grant this one, or we think there's enough information for
19 this indication but not for another," it will approve the NDA
20 but only for that indication.

21 Or to take it more broadly, if it has information
22 saying that the use of a product is associated with an
23 increased risk in a particular population, it will say, "We'll
24 approve this if you change the label," if it knows about it.

25 Q. I think I understand. But you would agree with me that

1 approval of an NDA indicates that the FDA has concluded that
2 the medication is safe and effective when used in accordance
3 with the approved labeling, correct?

4 A. Based on the data it has at that time, yes.

5 Q. And for Paxil, the first approval for major depressive
6 disorder was in December of 1992, correct?

7 A. That's correct.

8 Q. And at the time it approved that NDA, it -- the FDA also
9 had to approve the Paxil prescription drug labeling that goes
10 to the doctor, correct?

11 A. Well, yes. I'm sorry. I'm just trying to parse out the
12 distinction between the drug and the label, but I agree.

13 Q. And the labeling approved by the FDA is an assessment by
14 the FDA that it has determined that the label contains
15 adequate information for the drug's use, including any
16 relevant hazards?

17 A. Based on information given to it by the manufacturer, yes.

18 Q. And you talked some in your direct about misbranding. You
19 would agree with me that a drug is misbranded when, among
20 other things, its labeling is false or misleading in any
21 particular way?

22 A. That's the verbatim language.

23 Q. And that the Food, Drug, and Cosmetic Act prohibits the
24 misbranding of drugs, correct?

25 A. Correct.

1 Q. And if the labeling for a drug fails to include all
2 necessary warnings, contraindications, adverse reactions, side
3 effects, the drug is misbranded and in violation of the FDA
4 statute, correct?

5 A. It can be found to be misbranded. I mean, it's not like
6 throwing a switch.

7 Q. Can you turn in your deposition to page 93.

8 A. Yes.

9 Q. Line 18.

10 A. Yes.

11 Q. The question was, "And if the labeling of a drug fails to
12 include all necessary warnings, contraindications, hazards or
13 side effects, the drug is misbranded and in violation of the
14 FDA statute" --

15 A. I see what you're saying. I guess what I would say is
16 it's a little bit like if I take one step over the Canadian
17 border, have I -- is there an invasion? Technically, but it
18 doesn't mean we're necessarily going to war. I guess I should
19 have clarified that back in 2000- -- whenever this was, two
20 years ago.

21 I think -- what I'm saying is that the FDA has to
22 reach the -- it's not like it's some physical law is, I guess,
23 what I'm saying. The FDA has to go through a process where it
24 says it's misbranded. It has to make that determination, and
25 then it usually will offer to work with the company to get it

1 to correct the problem.

2 Q. Back to -- the question was, "If the labeling of a drug
3 fails to include all necessary warnings, contraindications,
4 hazards, or side effects, the drug is misbranded and in
5 violation of the FDA statute," and your response was, "That is
6 absolutely correct."

7 A. Yeah. I'll stick with that response. I'll just say
8 there's a few intermediary steps. How's that?

9 Q. And if the labeling is also misbranded -- labeling is also
10 misbranded if its labeling doesn't provide adequate directions
11 for use, correct?

12 A. Correct.

13 Q. And violators can be subject to regulatory and enforcement
14 actions, including injunction, seizure, and criminal
15 prosecution, correct?

16 A. That is all possible.

17 Q. And if the FDA determines that the medication's labeling
18 is false or misleading, the medication is subject to removal
19 from the marketplace, correct?

20 A. It could be, sure.

21 Q. And you agree with me that the FDA may not knowingly
22 approve any labeling that it knows to be false or misleading,
23 correct?

24 A. Technically yes.

25 Q. After a drug is approved in a New Drug Application and

1 comes on the market, if a drug manufacturer wants to change
2 the content of the labeling for an approved drug, it's
3 required to work with the FDA regulatory process and file
4 what's called a supplement to its approved NDA, correct?

5 A. That is correct.

6 Q. And if the manufacturer decides to change the labeling
7 that's been previously approved, it has to submit those
8 proposed changes to the FDA, correct?

9 A. Correct.

10 Q. And you also agree that there are situations where the
11 FDA, in fact, drafts and proposes language itself and submits
12 that language to manufacturers and says, "You need to
13 implement these changes," correct?

14 A. Prior to about 2009, actually, FDA in general did not have
15 that authority to order manufacturers to do that. It could
16 request changes. From a practical point of view, if the
17 manufacturer refused, the only option FDA had was to say,
18 "Well, then we're going to declare you misbranded," which was
19 not something that was practical to do on a large scale.

20 So, just to be clear, prior to that point, the FDA
21 did not have the authority to order manufacturers to do it.
22 It would have to go to court and attempt to do so. It's
23 changed since then.

24 Q. All right. I'll come back to that.

25 You would agree with me that after a label has been

1 approved by the FDA, a drug's labeling must be revised when
2 there's what's called newly acquired information, correct?

3 A. Are you talking about safety-related information?

4 Q. Yes.

5 A. Yes, that's correct.

6 Q. And newly required information is defined under the
7 Federal Regulations, correct?

8 A. Yes.

9 Q. And it's defined as data, analyses, or other information
10 not previously submitted to the agency, correct?

11 A. Correct.

12 Q. And the newly acquired information in the safety context
13 must reveal a risk of a different type or a greater severity
14 than previously submitted in submissions to the FDA, correct?

15 A. With the caveat that -- or the qualification, if you will,
16 that it may be something that's closely related to something
17 that's already in the label. The new information might be if
18 the liver -- if the label says, for example, "elevated liver
19 enzymes," and the new analysis shows liver inflammation, that
20 would be an example of new information.

21 Q. But that's the language from the regulation, right, that I
22 just asked you?

23 A. Yes. Yeah, I wanted to put that context in there. It's
24 not like it has to be from a new organ system or something.

25 But I agree with you.

1 Q. Fair enough. But a manufacturer is supposed to take those
2 newly identified risks to the FDA and discuss whether and how
3 the medication's labeling should be changed, correct?

4 A. Well, what the regulations provide for, as I said in the
5 previous testimony, if a manufacturer wants to add or
6 strengthen a regulation, it can do so without the FDA
7 approving it.

8 It doesn't have to come in and discuss it. It can
9 submit a -- what I mentioned is changes being effected
10 supplement. But actually, generally, these things sort of
11 landed on our doorstep. There was not any previous
12 discussion.

13 Q. But ultimately, the FDA has to approve that change as
14 being effective, correct, that change, correct?

15 A. It has to review it, and most of the time, those get
16 approved.

17 Q. Well, turn in your deposition to page 107, line 10.

18 A. Okay.

19 Q. The question was, "And a manufacturer is supposed to take
20 those newly identified risks to FDA and discuss whether and
21 how the medication's labeling should be changed?"

22 And your answer was, "Yes," correct?

23 A. Yes. I don't think it's a -- what I'm trying to say here
24 is the word "supposed to" I did not interpret as meaning a
25 regulatory requirement. So, I'm just clarifying that ideally,

1 they would do that. They don't have to.

2 Q. But it's ultimately the FDA's decision to decide whether
3 the newly acquired information submitted by the manufacturer
4 will be included in the medication's labeling, when it will be
5 included, where it will be included, and what will be said
6 about the risk at issue, correct?

7 A. So, I think that, you know, basically, it's the
8 sponsor's -- I'm sorry, manufacturer's responsibility to keep
9 it updated. It's the FDA's -- has the authority to enforce
10 that. So, the answer would be essentially yes to what you're
11 saying.

12 Q. And part of the enforcement of that is, you would agree,
13 determining where it will be included in the label, correct?

14 A. Yes. I'm sorry.

15 Q. What will be said about the risk, correct?

16 A. Yes, with -- again, with the caveat that it's not a yes-no
17 thing. It's not like buying a lottery ticket.

18 The FDA may say, "You know, we're not sure why you're
19 doing this. Can you come back and explain it?"

20 The manufacturer may say, "X, here's what we want to
21 do."

22 The FDA says, "Oh, we understand now." Or they say,
23 "Well, how about if we change this?"

24 There's, you know, that sort of discussion. It's not
25 between two robots, far from it.

1 Q. There's a back-and-forth between the company and the FDA
2 about what should be included, correct?

3 A. Correct.

4 Q. And where it should be included, correct?

5 A. If the sponsor proposes something, yes. And they can go
6 to the FDA, you know, and say, "Well, we're not sure where
7 it's supposed to go, but we think it needs to be in here. Can
8 you tell us?"

9 Q. You would agree with me that the structure of the label is
10 provided for by statute, the very sections in the label?

11 A. No, actually, it's provided by regulation.

12 Q. Excuse me. Regulation. But there is -- there
13 are regulations that talk about the sections and what is to be
14 included, correct?

15 A. That is correct.

16 Q. And it is -- I think you'll agree that in terms of the
17 hierarchy of things, a warning is higher up on the hierarchy
18 than, say, adverse reactions, correct?

19 A. All other things being equal, I would agree.

20 Q. Because the adverse reactions can include things that are
21 serious and not serious, correct?

22 A. Well, again, I want to be careful because one of the --
23 and this is true -- much truer with a new format, more
24 readable format. The Paxil label is in the old format.

25 But anyway, one of the things that people do try and

1 do and that labels are supposed to do in that laundry list
2 adverse reactions section is capture things that aren't
3 captured elsewhere.

4 Q. I think you said yesterday that the adverse reactions
5 contains a listing of some side effects that are not as
6 serious, as in the warnings, correct?

7 A. If it's not -- if it was serious enough to be in the
8 warning, it should be in the warning section. And it can be
9 in both places. I should clarify that.

10 Q. I don't mean to belabor this, but you would agree with me
11 that the more serious risks, relatively speaking, are in the
12 warnings section as compared to adverse reactions, correct?

13 A. Yes.

14 Q. So, in this debate between a manufacturer and the FDA,
15 there might be some debate about where it should go in the
16 label; and if the manufacturer says, "We think this should
17 be in adverse reactions," and the FDA says, "No, this needs to
18 be in warnings," it's the FDA's view that trumps that,
19 correct?

20 MR. WISNER: Objection. Speculation.

21 THE COURT: Overruled.

22 BY THE WITNESS:

23 A. I think that really depends on the circumstances about --
24 I mean, there's no one-size-fits-all rule. But generally,
25 when you're talking about fatal events, it's -- that are

1 occurring above some threshold -- and it may depend on the
2 exact circumstances -- I've yet to hear a manufacturer argue,
3 "Well, let's just bury it in the adverse event reaction and
4 not mention it anywhere else."

5 BY MR. BAYMAN:

6 Q. Okay. Fair enough. But there -- you've seen in your
7 experience times when the FDA and the manufacturer may
8 disagree about where in the label an adverse event should go,
9 correct?

10 A. Sure.

11 Q. And then if there's that disagreement, at the end of the
12 day, it is the FDA's view that trumps or prevails, correct?

13 A. About where it should go?

14 Q. Yeah.

15 A. But both of them -- I just want to make sure I understand
16 your question. This is on a circumstance where the
17 manufacturer says, "Well, we think it should be in the label,"
18 and there's just a debate over where. Is that --

19 Q. Yes.

20 A. But the manufacturer wants it in the label somewhere?

21 Q. Right. In that hypothetical I gave you, the manufacturer
22 says, "We think this adverse event should be in adverse
23 reactions," and the FDA says, "No, this should be in
24 warnings," it is the FDA's view that prevails, correct?

25 A. In that scenario, yes.

1 Q. And also, if there is a disagreement about what the
2 language reporting on that adverse event should say, the
3 manufacturer has one view of describing it, the FDA has
4 another view of describing it, it's the FDA's view that trumps
5 or prevails, correct?

6 A. Again, understanding that it is a negotiation and not -- a
7 lot of times, FDA will take the manufacturer's arguments and
8 say, "You know what, we agree with you." I agree with you on
9 that.

10 Q. But it doesn't have to take the manufacturer's view, does
11 it?

12 A. I think what I would say is it has to consider it.
13 Perhaps that's the best way to put it.

14 Q. Okay. Consider it. But the FDA can consider it and say,
15 "We disagree with you. We think it needs to go here,"
16 correct?

17 A. Yes.

18 Q. "And it needs to go here, and it needs to say this,"
19 correct?

20 A. Again -- and I'm just -- again, I -- it may be that the
21 concept -- I mentioned before risk communication, and the
22 issue may be less one of exact wording, although it can be.
23 So, I just don't want to say -- when you say, "It has to say
24 this," that's one event. It could be, "You have to express
25 this concept, but we're flexible about the wording."

1 I'm just trying to indicate there's not always one
2 thing. But in terms of the general concept that you're
3 expressing, I would agree with you on that.

4 Q. And when that event needs to be reported in the label,
5 again, if there's a disagreement between the manufacturer and
6 the FDA, it again is the FDA's view that prevails or trumps,
7 correct?

8 A. Yes.

9 Q. We talked about the standard when the manufacturer may
10 revise its labeling. You remember that discussion with
11 Mr. Wisner about when there is reasonable evidence of an
12 association or of a serious hazard with a prescription
13 medicine?

14 A. Yes.

15 Q. You agree with me that there's an important distinction
16 between an association between a medication and a hazard and a
17 causal relationship between the two, correct?

18 A. Yes.

19 Q. Reasonable evidence of an association does not equal
20 causation, correct?

21 A. And the regulation recognizes that and says a causal
22 relationship need not have been proven.

23 Q. An association, for you, represents reasonable suspicion
24 that a drug may be related to a hazard from the drug, correct?

25 A. That's what I -- how I phrase it in my report, yes.

1 Q. You told the jury this morning in no uncertain terms that
2 your opinion is that Paxil can induce suicide in adults of all
3 ages, correct?

4 A. Yes.

5 Q. That opinion is not in your expert report, is it?

6 A. I believe that what I said is that the risk is not
7 restricted to individuals under the age of 25, and what I said
8 was there's -- it's not restricted to any one age group. So,
9 that's in essence saying it can do it in all ages.

10 Q. Your opinion is that Paxil causes suicide in adults of all
11 ages, correct?

12 A. Yes.

13 Q. Okay. Your opinion in your report says, "Paroxetine" --
14 which is the chemical name for Paxil, correct, and also the
15 generic name, correct?

16 A. It's the -- what's called the United States -- well, never
17 mind. Go ahead.

18 Q. Your report says, "Paroxetine is associated with an
19 increased risk of suicidal behavior in adults relative to
20 placebo, with the risk being higher than other
21 antidepressants."

22 Did I read that correctly?

23 A. Yes.

24 Q. It doesn't say "cause," does it, Doctor?

25 A. I don't believe I -- I don't have the report right in

1 front of me, but --

2 Q. I'll be happy to get it for you.

3 A. Okay.

4 Q. Let me --

5 MR. BAYMAN: I have a notebook for you, your Honor,
6 and for the doctor. May I approach?

7 THE COURT: Sure.

8 THE WITNESS: Thank you, sir.

9 MR. WISNER: Your Honor, while Dr. Ross is looking at
10 that, can we have a short sidebar?

11 THE COURT: Do I need that?

12 MR. BAYMAN: This is going to be the exhibits that I
13 was going to use with him, your Honor. We could take the
14 other one away.

15 THE COURT: I've got the exhibit here.

16 MR. BAYMAN: I mean this is for the rest of the
17 examination. So, I'll be happy to hold on to it until we get
18 to another.

19 THE COURT: Hold on to it until I need it. I'm
20 buried here.

21 MR. BAYMAN: Sure.

22 THE COURT: Give it to my law clerk.

23 MR. BAYMAN: Sure.

24 THE COURT: He doesn't have anything in front of him.
25 All right. You have your report, Doctor?

1 THE WITNESS: I do.

2 THE COURT: Page, please, sir?

3 MR. WISNER: Your Honor --

4 MR. BAYMAN: Page 3.

5 MR. WISNER: I had requested a brief sidebar.

6 THE COURT: Oh, you want a sidebar. Okay. We'll go
7 to sidebar while you're looking at that, Doctor. Give him the
8 page number you want him to look at.

9 MR. BAYMAN: Page 3, summary of opinions, section B1,
10 first one.

11 THE COURT: All right. We'll go to sidebar.

12 (Proceedings heard at sidebar:)

13 [REDACTED]

14 [REDACTED]

15 [REDACTED]

16 [REDACTED]

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]

21 [REDACTED]

22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

1

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED] up.

[REDACTED] d [REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED] I t v [REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED] t k? [REDACTED] t.

[REDACTED] s.

[REDACTED] [REDACTED].

[REDACTED] o as [REDACTED]

1 [REDACTED]

2 [REDACTED]

3 [REDACTED]

4 (Jury exits courtroom.)

5 [REDACTED]

6 [REDACTED]

7 (Recess from 2:53 p.m. to 3:15 p.m.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 (Change of reporters -- Volume 6-C)

2 (Proceedings heard in open court. Jury in.)

3 THE COURT: Thank you very much, ladies and
4 gentlemen. Please be seated. We'll resume.

5 Doctor, you may take the witness stand.

6 THE WITNESS: Thank you, sir.

7 THE COURT: And we will proceed. Mr. Bayman?

8 MR. BAYMAN: Thank you, your Honor.

9 BY MR. BAYMAN:

10 Q. Before the break, Doctor, I asked you, isn't it true that
11 your expert report said paroxetine is associated with an
12 increased risk of suicidal behavior in adults relative to
13 placebo with the risk being higher than other antidepressants.
14 Did I read that correctly?

15 A. Yes.

16 Q. And when you were asked at your deposition if you had an
17 opinion about causation, not association but causation, you
18 said, "I don't address in my report the issue of a causal
19 relationship." Isn't that correct?

20 A. That is correct.

21 Q. But today you told the juror -- the jury that Paxil causes
22 suicide in adults, correct?

23 A. If I remember correctly, the word I used was "induce."
24 I'm not -- if I used the word "cause," it was -- I misspoke.
25 I intended to use the word "induce."

1 Q. And by "induce," that doesn't mean "cause"?

2 A. So "cause" has a very specific technical meaning, and so
3 I'm not using that. I'm simply saying "induce."

4 Q. What's the technical definition of "induce"?

5 A. Technical -- no, I'm sorry. So there's a technical
6 definition for "cause." I didn't say for "induce."

7 Q. So "induce" doesn't have a technical FDA regulatory
8 definition, does it?

9 A. Not that I'm aware of.

10 Q. And you don't recall, right before the break when I asked
11 you the question that you believe Paxil causes suicide in
12 adult patients, you don't recall saying "yes"?

13 A. Oh, I do recall saying that, but you asked me if that was
14 an opinion I expressed in my report. Those are two separate
15 questions.

16 Q. Okay. I understand that. But I asked you here in this
17 courtroom, you said Paxil causes suicide in adults. You said
18 that right before the break, correct?

19 A. Yes.

20 Q. Okay. And that's not in your report, is it? Your report
21 says "association," correct?

22 A. That's correct.

23 Q. And we established before the break that "association,"
24 you agree, is not equal to "causation," correct?

25 A. Well, I would say as a technical issue, if saying it's a

1 cause, it's also associated with. I mean, the two are -- it's
2 not -- it can be association or cause if something causes
3 something else that is associated with it.

4 Q. Association does not equal causation, correct?

5 A. It's consistent with it.

6 Q. But it doesn't equal it, correct?

7 A. I have never said it does.

8 Q. They're not the same thing, correct?

9 A. I've never claimed that they are.

10 Q. I just want to make sure it's clear. And when there's
11 information that's added to a prescription drug label because
12 of reasonable evidence of an association under the FDA
13 regulations, that does not mean that the medication causes the
14 adverse event or the outcome, correct?

15 A. You know, that's a really interesting question. If Paxil
16 were -- under the rules that Paxil was approved under, there's
17 reasonable evidence of an association. So under that
18 standard, no, it does not mean that.

19 Q. It does not mean causation?

20 A. Correct. It doesn't exclude it either. I should be very
21 clear.

22 Q. Let's turn, if you would, to your deposition, Page 150.

23 A. Uh-huh.

24 Q. Line 20. The question was, "And reasonable evidence of --
25 when there's information added to a prescription drug label

1 because of reasonable evidence of an association under the FDA
2 regulations, that does not mean the medication caused the
3 adverse event or the outcome, correct?"

4 And your answer was, "Correct."

5 Did I read that correctly?

6 A. Yes.

7 Q. And you used the word "signal" with Mr. Wisner. "Signal"
8 does not equal reasonable evidence of association, does it?

9 A. Again, I'm -- I have not said that it does.

10 Q. And the FDA's regulations and requirements are designed to
11 mandate warnings that reflect the known risk -- known risks
12 based on reliable scientific evidence, correct?

13 A. Basically, yes.

14 Q. It's a well-worn concept at FDA that FDA requires valid
15 scientific evidence to support statements in the label,
16 correct?

17 A. Yes.

18 Q. So you said basically, when I asked you the FDA's
19 regulations and requirements are designed to mandate warnings
20 that reflect the known risk based upon reliable scientific
21 evidence, the answer to that question is yes, isn't it?

22 A. Absolutely.

23 Q. Now, there was some discussion with Mr. Wisner about the
24 ways that manufacturers have the ability to change their
25 labelling. Do you recall that?

1 A. Yes.

2 Q. Okay. And there are different types of supplements
3 depending on the type of labelling changes being proposed,
4 correct?

5 A. Yes.

6 Q. One of those is a prior, what's called a prior approval
7 supplement, correct?

8 A. Yes.

9 Q. And the other which you talked about with Mr. Wisner is
10 what's called a changes being effected, or CBE supplement,
11 correct?

12 A. Yes.

13 Q. And the FDA has the authority to determine whether a
14 supplement should be treated as a prior approval supplement or
15 a CBE supplement, correct?

16 A. In -- yes.

17 Q. And if a company decides to file a CBE, a change is being
18 effected supplement, the FDA can decide to convert that filing
19 to a prior approval supplement, correct?

20 A. In theory, yes.

21 Q. In theory, or it can be done?

22 A. In theory, yes.

23 Q. A prior approval supplement requires FDA approval before
24 the labelling change can take effect, correct?

25 A. Yes.

1 Q. And among the labelling changes that must be filed as a
2 prior approval supplement are changes to black box warnings,
3 correct?

4 THE WITNESS: I'm sorry, your Honor. Could I have
5 that question read back to me?

6 THE COURT: Read it back, please.

7 (Record read.)

8 BY THE WITNESS:

9 A. That is correct.

10 BY MR. BAYMAN:

11 Q. A manufacturer cannot use the changes being effected, or
12 CBE supplement, to add or change the label -- the language of
13 a black box warning, correct?

14 A. Yes.

15 Q. And other than a black box warning, the manufacturer can
16 use a CBE to change the labelling before the FDA approves the
17 label change, correct?

18 A. To add or strengthen a warning.

19 Q. But the FDA still must ultimately approve the CBE label
20 change, correct?

21 A. Yes.

22 Q. And, in fact, the FDA has authority to retroactively block
23 CBE supplements, correct?

24 A. In theory, yes.

25 Q. Turn, if you would, to Page 121 in your deposition, Line

1 11. Have you got that?

2 A. Yes.

3 Q. "Question: The FDA as the authority to retroactively
4 block CBE supplements, correct?"

5 And your answer was, "Yes."

6 Did I read that correctly?

7 A. You did.

8 Q. Among the labelling changes that are eligible to be filed
9 as a CBE supplement are those changes which add or strengthen
10 a warning or precaution, correct?

11 A. I believe so.

12 Q. And a manufacturer can use the CBE supplement to make
13 labelling changes to add or strengthen a warning, but it must
14 give FDA a full explanation of a scientific basis for the
15 change, correct?

16 A. As somebody who has reviewed hundreds of these, I would
17 say yes.

18 Q. And if the FDA says before that CBE supplement is
19 implemented it does not approve, the manufacturer can't move
20 forward with implementing the CBE labelling change, true?

21 A. So I want to make sure I understand your question here.
22 The CBE-30 -- there's a CBE-0, but let's leave that aside. A
23 CBE-30 is, in essence, the manufacturer sending in changes to
24 the FDA and saying, "We intend on implementing these in 30
25 days." And if the FDA were to reject that application or that

1 change within that 30-day period, it could be blocked.

2 Q. Thank you.

3 A. Is that -- no, no, I'm sorry. I wasn't answering your
4 question. I want to make sure I understood your question.

5 Q. Well, my question --

6 A. I just want to make sure, is that your question, the FDA
7 could block it in theory?

8 Q. My question was that -- yes. My question is that if a CBE
9 supplement is implemented and the FDA -- or before it's
10 implemented in the labeling and the FDA says it doesn't
11 approve it, the manufacturer can't go forward with that
12 labelling change, correct?

13 A. I don't think I can recall a single instance in which
14 their CBE supplement has been reviewed by the FDA within 30
15 days of when it came in where there hasn't been some prior
16 interaction and the FDA's rejected it, never has happened. If
17 you can give me an example, I'll be happy to admit I'm wrong,
18 but I would love to see an example of that.

19 Q. It's a simple question. I'm not asking you --

20 A. I understand. In theory, all the oxygen in this room
21 could go to one corner. In theory, the FDA could review
22 something like that in less than 30 days. I've never seen it
23 happen.

24 Q. And if the FDA decides to convert a CBE labelling change
25 to a prior approval supplement, the company cannot implement

1 that labelling change without the FDA's prior approval,
2 correct?

3 A. At whatever point it would do so, and again, as somebody
4 who has reviewed hundreds of these, sir, if that were to
5 happen, the FDA were to say, well -- the change is already in
6 effect. And I cannot recall an instance in my experience
7 where that happened and the FDA said, "Now we're changing to a
8 prior approval supplement, you've got to pull back all the
9 labelling." That's all I'm saying.

10 Q. But it can do it?

11 A. In theory, that would be a theoretical possibility.

12 Q. Regardless of whether the submission is made by CBE or
13 prior approval supplement, FDA does have a period of time to
14 review the proposed labelling changes, correct?

15 A. Let me put it like this. Glaxo submitted a CBE in 2007.
16 FDA did not complete its review until 2011. Okay. So it's
17 four years. These are not activities that are supported by
18 user fees, so there's no particular priority for them for the
19 FDA usually.

20 Q. That wasn't my question.

21 A. I understand.

22 Q. Okay. My question was: The FDA has a period of time to
23 review and comment and say it doesn't approve the label
24 changes, correct?

25 A. In theory, yes.

1 Q. And it's FDA that has to approve all prescription drug
2 labelling, correct?

3 THE COURT: I think we've been over this, haven't we?

4 MR. BAYMAN: Well, he said, "in theory," and I just
5 want to make sure it's clear.

6 THE WITNESS: I want to make sure I'm giving you -- I
7 swore to tell the truth, the whole truth, and nothing but the
8 truth, so that's what I'm attempting to do here. The answer
9 is, in theory, yes.

10 BY MR. BAYMAN:

11 Q. Turn, if you would, in your deposition, Page 96, Line 1.

12 A. Yes.

13 Q. "Question: And FDA has to approve all prescription drug
14 labelling, correct?"

15 And your answer was, "Yes."

16 You didn't say "in theory," you said, "yes," correct?

17 THE COURT: All right. It's covered now.

18 MR. BAYMAN: Thank you, your Honor.

19 BY MR. BAYMAN:

20 Q. And you mentioned 2007 and the way things were before
21 2007. You recognize that before 2007, FDA had told
22 manufacturers that they were declaring their products
23 misbranded because FDA did not approve of labelling changes
24 that either had been proposed or implemented by a company,
25 correct?

1 A. I'm sorry. Could you point me to that line in there?

2 Q. Do you want to read the question back?

3 THE WITNESS: I'm sorry, your Honor. Could I have
4 that question read back?

5 THE COURT: Yes.

6 (Record read.)

7 BY THE WITNESS:

8 A. I can't recall any instances of that happening.

9 Misbranding has generally been where companies have promoted
10 their drugs and not included risk information that was in the
11 label. That's the basis for declaring it misbranded.

12 BY MR. BAYMAN:

13 Q. Take a look at your deposition, if you would, Page 92,
14 Line 5. The question was: "You fully recognize before
15 F-D-A-A-A, FDAAA, 2007, that FDA had told manufacturers that
16 they were declaring their products misbranded because FDA did
17 not approve of labelling changes that either had been proposed
18 or implemented by a company, correct?"

19 And your answer was, "Yes."

20 MR. WISNER: Your Honor, I object. For completeness,
21 if he could read the question before and the answer before.

22 MR. BAYMAN: I'm happy to have him do it on redirect
23 or I'll do it now, your Honor.

24 THE COURT: You can do that on redirect, sir.

25 THE WITNESS: I'm sorry. Was there a question still

1 pending?

2 THE COURT: No, nothing pending, sir.

3 Go ahead. Ask another question.

4 BY MR. BAYMAN:

5 Q. Thank you. You would agree with me that the FDA
6 regulations say that to permit or require statements of
7 conflicting opinion in a label would destroy the usefulness of
8 prescription drug labelling?

9 A. So I want to make sure I'm understanding you correctly
10 here. Could you point to that in my deposition because that's
11 a really, really good question you're asking, a really good
12 question.

13 Q. It is Page 143, Line -- I'm sorry. It's at Page 142, Line
14 19.

15 A. Ah, okay. So --

16 THE COURT: Just read it to yourself, sir.

17 THE WITNESS: I'm sorry.

18 (Pause.)

19 THE WITNESS: Okay. And --

20 THE COURT: Well, wait a minute.

21 THE WITNESS: I'm sorry, sir.

22 THE COURT: Hold up.

23 THE WITNESS: Sorry, sir.

24 THE COURT: There's an objection.

25 MR. BAYMAN: There's no objection by Mr. Wisner, your

1 Honor.

2 THE COURT: Yes, there is, on Line 24.

3 MR. WISNER: That's what I see at Line 24 as well. I
4 see, "Wisner, objection."

5 THE COURT: Wait.

6 MR. BAYMAN: I'm just asking if he agreed with the
7 statement. I'm not -- I haven't tried to impeach him. He
8 asked me if he could see his deposition. I'll get the
9 regulations out, your Honor. I was just trying to move things
10 along.

11 THE COURT: I don't think you ever got -- did you get
12 an answer to that question?

13 MR. BAYMAN: Yes, I did, your Honor. Line 15 on Page
14 143.

15 THE COURT: Okay. Let me see.

16 Read the answer as well.

17 MR. BAYMAN: He just -- I'm not impeaching him. He
18 just asked to see his deposition on the subject.

19 THE COURT: Okay. You want to go on to something
20 else?

21 MR. BAYMAN: No. I just want to ask him if he agreed
22 with the statement. I haven't impeached him. He just said,
23 "Can I see my deposition?"

24 THE COURT: Okay.

25 MR. BAYMAN: And I said, "Sure."

1 THE COURT: Okay. All right.

2 BY MR. BAYMAN:

3 Q. So I'm just going to say that -- I would just say that the
4 regulations say to permit or require statements of conflicting
5 opinion in all of these matters would destroy the present
6 usefulness of prescription drug labelling. Do you agree with
7 that?

8 A. Well, allow me, if I could, to go to Page 145 of my
9 deposition.

10 Q. No, sir. I'm just asking you --

11 A. No. Excuse me, sir. I am not going to simply answer that
12 without going to an answer that bears on this later on in the
13 deposition. And if there's some reason that you don't want it
14 heard in court --

15 THE COURT: All right.

16 THE WITNESS: I'm sorry, sir.

17 THE COURT: Just go ahead and answer.

18 THE WITNESS: Okay. I point out that this is a
19 document from over 40 years ago. Which you did not -- did not
20 realize at the time was, this was a proposed rule in which
21 manufacturers were trying to keep warnings out by creating
22 false controversies. And the proposed rule -- this actually
23 gets to a point that you've asked me about repeatedly, is the
24 FDA's authority to say, there is no controversy here. There
25 needs to be a warning. And that actually became the rule.

1 So this is about, the false controversies here are
2 about those really created by manufacturers who were going
3 around trying to create those controversies, sir.

4 MR. BAYMAN: Your Honor, I move to strike that as
5 completely unresponsive to my question.

6 MR. WISNER: I oppose, your Honor.

7 THE COURT: All right. Let's go on to something
8 else. It may stand.

9 BY MR. BAYMAN:

10 Q. You would agree with me that you are -- you agree with me
11 that the FDA does its own analysis of the data provided by
12 manufacturers and then makes a judgment call about what
13 information in labelling there will be when you have a medical
14 controversy about a particular issue?

15 A. In some instances, it does, and that's what I'm familiar
16 with. I can't speak to every instance in the FDA where that
17 happens.

18 Q. Look at your deposition, Page 147 at Line 14.

19 A. Ah.

20 Q. The question was: "Well, FDA does its own analysis of the
21 data that's provided by manufacturers and then has to make a
22 judgment call about what information in labelling there will
23 be when you have a medical controversy about a particular
24 issue?"

25 And your answer was, "Yes," correct?

1 A. Yes.

2 Q. Okay. Thank you. You're -- moving on to something else,
3 you're familiar with the phrase "confounding by indication,"
4 correct?

5 A. I am.

6 Q. That means when you have an underlying disorder or disease
7 that may cause an adverse event when it's difficult to
8 determine also whether the medication or the exposure could
9 have also caused that adverse event after the medication was
10 started, correct?

11 A. Yes.

12 Q. For example, suicidality is associated with depression and
13 anxiety for people who don't take any medication at all,
14 correct?

15 A. It can be.

16 Q. And that's an example where you have the underlying
17 disorder, the depression, being a confounder for trying to
18 assess whether or not a medication such as an SSRI increases
19 the risk of suicidality in patients who take the medication,
20 correct?

21 A. Yes.

22 Q. And when that happens, it makes it more difficult and
23 complex to determine whether it's the underlying disorder like
24 the depression that's causing the suicidality or whether it's
25 the medication, correct?

1 A. Difficult but not impossible.

2 Q. Now, in preparing your expert opinions in this case, you
3 claim that you utilized the same methods as FDA reviewers,
4 correct?

5 A. Yes.

6 Q. And you claim you work in -- you've worked in that manner
7 in this case so you could show how your methodology tracks
8 what the FDA did and how the FDA did it, correct?

9 A. I would say as -- frame it differently, that I'm doing
10 that as a way of meeting the requirements regarding the
11 reliability of methodology with respect to the opinions and
12 the regulatory conclusions that I'm drawing.

13 Q. So you believe that the FDA's methodology is the correct
14 methodology, correct?

15 A. Methodology for what, sir?

16 Q. For analyzing whether there's an increased risk with SSRIs
17 and other antidepressants from 2004 forward.

18 A. Can you be a little more specific about which methodology
19 just so we make sure we're both talking about the same thing?

20 Q. Okay. Sure. You agree that when the FDA assessed the
21 issue of whether there was an increased risk with any SSRI or
22 other antidepressant from 2004 forward that it turned to and
23 analyzed randomized double-blind placebo-controlled trials?

24 A. I do agree with that.

25 Q. And let's turn, if you would, in your notebook, the big

1 one.

2 A. Yes, sir.

3 Q. I believe it's Tab 7. It's Joint Exhibit 13, your Honor,
4 which is in evidence. It's the FDA's 2006 clinical review.

5 Do you have that?

6 A. I do, sir.

7 MR. BAYMAN: May I publish that, your Honor?

8 THE COURT: Yes.

9 MR. BAYMAN: Thank you.

10 THE COURT: Hang on.

11 MR. BAYMAN: Sure.

12 BY MR. BAYMAN:

13 Q. This is a copy, the jury has already seen it, of a -- the
14 FDA clinical review relationship between antidepressant drugs
15 and suicidality in adults. Do you agree?

16 A. This is the Stone/Jones report.

17 Q. What's called the Stone -- the review done by Dr. Marc
18 Stone and Dr. Lisa Jones, correct?

19 A. Yes.

20 Q. They were FDA scientists, correct?

21 A. Yes.

22 Q. And you're familiar with this document, correct?

23 A. Yes.

24 Q. Okay. Turn, if you would, Page 8, Section 2.2, "Drugs
25 studied." It reads: "In total, eight sponsors of 12

1 antidepressant products submitted data sets to the DNDP culled
2 from all the randomized controlled trials of their respective
3 drug products conducted in the adult population."

4 Do you see that?

5 A. Yes.

6 Q. And then if you would, sir, turn to Page 11, the second
7 full paragraph at the bottom.

8 Can you pull that up, Roger?

9 "The FDA request letter" -- go ahead and highlight
10 that, please -- "instructed sponsors that the search
11 should be strictly limited to adverse events occurring
12 during the double-blind phase of treatment or within one day
13 of stopping, i.e., events occurring prior to
14 randomization or more than one day after discontinuing from
15 randomized treatment should be excluded."

16 Do you see that?

17 A. I do.

18 Q. What FDA did in 2006 was look solely at the randomized
19 double-blind placebo-controlled portions of the trials to
20 assess whether there's an increased risk with completed
21 suicide in antidepressants, correct?

22 A. Yes.

23 Q. And you agree with me that the FDA included -- I mean,
24 excluded events from the post-double-blind period, correct?

25 A. For purposes of this specific analysis, yes.

1 Q. Yes. And the reason they did that is because of
2 uncontrollable confounding results from an array of various
3 different treatment scenarios that may happen after a trial
4 ends, correct?

5 A. For, again, the very specific question they were asking
6 here, not whether these were -- there's reasonable evidence of
7 association or whether the labelling should be modified,
8 adjust for calculating odds ratios and the confidence
9 intervals around those, yes, that's what they did.

10 Q. Well, you agree with me, sir, and with FDA -- or you agree
11 with FDA, sir, that this is the appropriate methodology to use
12 when looking at the issue of antidepressants in suicidality,
13 true?

14 A. If -- and I know you're going to point me to my deposition
15 but if you're looking, as I said, at that issue, that does not
16 address the larger issue of whether there is reasonable
17 evidence of an association. It's one piece of it.

18 Q. You recall testifying that you agreed with the FDA's
19 methodology, correct?

20 A. With respect to calculating odds ratios and the confidence
21 intervals around them, I agree, using data from uncontrolled
22 trials portion might confound things but in terms of -- it's a
23 question of what the question is that you're asking here.

24 Q. Excuse me, Doctor. I just need to get the report.

25 A. Sure.

1 Q. You agree with me that for the analysis that the FDA was
2 doing, the FDA used the appropriate methodology by excluding
3 events that did not occur during the control phase of
4 randomized placebo-controlled trials, correct?

5 A. I'm sorry. Did you mean the uncontrolled phase?

6 Q. Sorry, the uncontrolled phase.

7 A. No, no -- okay. Yes, for this question, I would agree,
8 that's the right way to do it.

9 Q. Okay. Take a look, if you would, at Page 50 of this
10 document. There's a section called "Data submission."

11 MR. WISNER: Your Honor, during my direct of
12 Dr. Ross, I was instructed to not cover things that were
13 covered with Dr. Healy. This is almost verbatim the questions
14 that were asked of him on cross. It seems like, you know,
15 there was a concern that this was taking a long time, and I
16 feel like this is exacerbating the problem, so I object,
17 cumulative.

18 MR. BAYMAN: Your Honor, he used this document --

19 THE COURT: The objection is overruled.

20 MR. BAYMAN: Thank you.

21 THE COURT: You may proceed.

22 MR. BAYMAN: Thank you.

23 BY MR. BAYMAN:

24 Q. The FDA says there under "Data submission, In order to
25 perform additional analyses investigating the relationship

1 between exposure to the drug of interest and PSRAEs." Now,
2 PSRAEs are possible suicide-related adverse events, correct?

3 A. Yes.

4 Q. And the FDA goes on to say:

5 "When looking to assess those, among the subjects of
6 interest, we would appreciate your submitting the
7 following variables as outlined in the next table. As
8 noted, we are requesting information from placebo
9 controlled trials only. Please do not submit data from
10 active controlled studies -- active control-only studies,
11 uncontrolled extensions of placebo controlled studies, or
12 combination drug studies."

13 Did I read that correctly?

14 A. You did.

15 Q. So when FDA did its analysis of Paxil and the other
16 antidepressants which you've talked about on direct to assess
17 the possible risk of suicidality, it looked exclusively at
18 randomized double-blind placebo-controlled trials, correct?

19 A. That is what they asked for from the manufacturers.

20 Q. In fact, there's no statement anywhere in this document,
21 is there, that says the FDA will evaluate data from
22 non-placebo-controlled trials or uncontrolled extension phase
23 or combination drug studies or active control studies to
24 assess the risk of suicidality with the medication, correct?

25 A. I have never claimed that there is.

1 Q. And you're not aware of any time when analyzing the risk
2 of suicidality with any SSRI or any antidepressant after 2004
3 where the FDA looked at something other than and relied on
4 something other than randomized double-blind placebo-
5 controlled trials?

6 A. That's correct.

7 Q. And when FDA made its labelling decisions with respect to
8 adult suicidality and the use of antidepressants, the data it
9 relied on was from the double-blind randomized placebo-
10 controlled trials, correct?

11 A. It relied on the data that the manufacturers including
12 GlaxoSmithKline had submitted to it and represented to be the
13 universe of randomized double-blind placebo-controlled trials.
14 The FDA did not independently verify that, in fact, that was
15 what they got.

16 Q. Well, you don't -- the FDA requested that data, correct?
17 They requested the data --

18 A. It did. It did.

19 Q. -- okay, from those trials, randomized double-blind
20 placebo-controlled trials, correct?

21 A. That is what they requested. They did not validate that
22 that is what they actually got.

23 Q. You don't know one way or the other --

24 A. Actually, we do, sir. If you look at the 2011 paper by
25 Carpenter that was discussed during direct examination, a

1 number of randomized double-blind placebo-controlled studies
2 were omitted from that.

3 Q. We're going to get to that, Doctor. You would agree that
4 in March of 2004, six years before Mr. Dolin's suicide, that
5 FDA required a labelling change for Paxil and other
6 antidepressants to strengthen the warning section of the label
7 to encourage close observation for worsening depression or the
8 emergence of suicidal thinking and behavior in patients being
9 treated with antidepressants, correct?

10 A. It requested one from the manufacturers.

11 Q. And so we're clear, it was requesting of all manufacturers
12 in the class a new warning that had not been in the label
13 previously, correct?

14 A. A general class warning, correct.

15 Q. And that class warning had not been in the label previously,
16 correct?

17 A. Correct.

18 MR. BAYMAN: I want you, if you would, to turn in
19 your book to Tab 8 which is, your Honor, Joint Exhibit 7
20 already in evidence. It's the May 2004 Dear Healthcare
21 Provider letter.

22 THE COURT: Hang on.

23 THE WITNESS: Yes.

24 BY MR. BAYMAN:

25 Q. Are you with me?

1 A. I am.

2 Q. You've seen this letter before, correct?

3 A. Yes.

4 Q. And you're familiar with it, correct?

5 A. Yes.

6 Q. A Dear Healthcare Provider letter is a letter that goes
7 out to doctors and other healthcare providers to notify them
8 of changes to the product labelling, correct?

9 A. Correct.

10 Q. Let's take a look at the first paragraph. It says:

11 "On March 22, 2004, the FDA issued a public health
12 advisory cautioning physicians, their patients and
13 families about the need to closely monitor all patients
14 being treated with antidepressants. This advisory arose
15 from FDA's ongoing review of potential safety issues
16 involving antidepressants in pediatric patients.
17 Additional information concerning this review is expected
18 later this year."

19 Do you see that?

20 A. I do.

21 Q. And then the letter goes on to say:

22 "These labelling changes, which have been finalized,
23 describe that patients with major depressive disorder, both
24 adult and pediatric, may experiencing -- may experience
25 worsening of depression and the emergence of suicidal

1 ideation and behavior," and then there's a
2 parenthetical, "suicidality" --

3 A. I'm sorry. I'm listening, sir.

4 Q. Okay.

5 A. Please go on.

6 Q. "-- whether or not they are taking antidepressant
7 medication. The changes include a new warning
8 recommending close observation of adult and pediatric
9 patients treated with antidepressant drugs for worsening of
10 depression or the emergence of suicidality,
11 particularly at the beginning of treatment or at the time
12 of dose increases or decreases."

13 Do you see that?

14 A. I do.

15 Q. And along with the letter, GSK provided the revised Paxil
16 labelling to doctors at this time, correct?

17 A. It did.

18 Q. Okay. Turn, if you would, sir, to Page -- it's Page 23
19 and 24 of the exhibit, but it's Page 10 and 11 of the
20 labelling.

21 A. I'm sorry, Mr. Bayman. If you could remind me which
22 exhibit we're looking at here -- Exhibit 8. I apologize.

23 Q. We're looking at the letter which is Tab 8 --

24 A. Yes.

25 Q. -- in your book.

1 A. Tab 8.

2 Q. And I mentioned the attached label that was provided with
3 the letter. And I was turning you to Pages 10 and 11 of the
4 label which is actually Page 23 and 24 of the entire exhibit,
5 but if you find the label.

6 A. Yes.

7 Q. I want to put up, I want to show on the screen the section
8 entitled "Clinical worsening and suicide risk." This reflects
9 the addition of the Paxil labelling of the FDA's new proposed
10 warnings, correct?

11 A. Yes.

12 Q. And it says at Page 11:

13 "Families and caregivers of patients being treated
14 with antidepressants for major depressive disorder or other
15 indications, both psychiatric and non-psychiatric, should be
16 alerted about the need to monitor patients for the emergence
17 of agitation, irritability, and other symptoms
18 described above as well as the emergence of suicidality and
19 to report such symptoms immediately to healthcare
20 providers."

21 Did I read that correctly?

22 A. Yes.

23 Q. Sir, that language was drafted by the FDA and required for
24 all SSRIs, correct?

25 A. It was pro -- so not to play semantic games here, but it

1 was proposed by the FDA. And again, as I've said repeatedly,
2 short of going to court and getting -- applying for a
3 misbranding, or I'm not sure what the legal term would be, the
4 FDA could not require people to do it. It's not a dictator.
5 It operates within a legal framework.

6 Now, could it have done that? If it was willing to
7 spend in the real world the resources to go after people, but
8 I want to just be clear that this was proposed and not
9 ordered. The FDA did not have that authority for several more
10 years.

11 Q. Doctor, my question was simpler than that. This was the
12 language -- the original draft of this warning was drafted by
13 the FDA, correct?

14 A. Yes.

15 Q. It was not drafted by GSK, correct?

16 A. I actually don't think we know what input GSK or any other
17 sponsor had into this.

18 Q. The original draft, Doctor, was language sent from the FDA
19 to the various SSRI and antidepressant --

20 A. I --

21 Q. -- manufacturers?

22 A. I understand we do not know what conversations were had.

23 Q. I'm not asking you about the conversations. I'm just
24 saying, the original draft, the draft language that was
25 proposed was from the FDA, correct?

1 A. Once it was in this form, yes. I'm sorry, I'm not going
2 to except implicit assumptions in these questions that I don't
3 agree with.

4 Q. Well, you don't know. You just said a minute ago.

5 A. It could be or it may not be, but I just want that -- it's
6 always best to make assumptions explicit.

7 Q. Well, you've seen no evidence that this language in this
8 warning was prepared by GSK or any other company?

9 A. I'm not saying it was.

10 Q. Okay. I just want to be sure.

11 A. I'm not saying it wasn't.

12 Q. Let's go to the third paragraph starting, "The following
13 symptoms." It says:

14 "The following symptoms -- anxiety, agitation, panic
15 attacks, insomnia, irritability, hostility,
16 aggressiveness," within parenthesis, "impulsivity,
17 akathisia," and then parenthesis, "psychomotor
18 restlessness, hypomania, and mania have been reported in adult
19 and pediatric patients being treated with antidepressants
20 for major depressive disorder as well as for other
21 indications both psychiatric and
22 non-psychiatric. Although a causal link between the
23 emergence of such symptoms and either the worsening of
24 depression and/or the emergence of suicidal impulses has not
25 been established, consideration should be given to changing

1 the therapeutic regimen including possibly
2 discontinuing the medication in patients for whom such
3 symptoms are severe, abrupt in onset, or were not part of
4 the patient's presenting symptoms."

5 Did I read that correctly?

6 A. You did.

7 Q. And that draft, that language was also the FDA's language
8 as originally proposed, correct?

9 A. This is the language that they sent out.

10 Q. And the warnings reflected in this labelling were not
11 limited to a certain population or age of a patient, correct?

12 A. Well, they were not, but allow me to -- I'm sorry. I just
13 again want to make a clarification here. The fact that the
14 FDA requested this -- and by the way, I think it not stop GSK
15 from proposing -- I want to give two examples here. They did
16 not have to take it as it was.

17 I want to be very clear. The fact that the FDA
18 drafted it, two things that could have been added here. One,
19 they could have added the term "emotional lability" and
20 explained what that meant and, two, they could have proposed
21 the FDA adding the Paxil-specific information they already had
22 here. In fact, they had the responsibility to do that. We've
23 talked about the difference between authority and
24 responsibility.

25 So the fact that -- again, it is not something where

1 the FDA is the king. The FDA, certainly when I was there,
2 took its legal authority but also the limits of its legal
3 authority very seriously.

4 Q. I'm sure the jury will remember your testimony earlier
5 about the relative authority of the FDA and of the drug
6 manufacturer, but with respect, all I'm asking is: This is
7 language that is class language that the FDA proposed for all
8 drugs of the class, correct?

9 A. Correct.

10 Q. And the manufacturer can't stick its own language into
11 class labelling if the FDA says, "We want class labelling,"
12 correct?

13 A. They may not -- actually, I'm unaware at this point in
14 time of GSK proposing to put anything anywhere. Certainly, in
15 terms of later events, Glaxo never proposed putting it outside
16 of class labelling.

17 Q. That wasn't my question. My question was: This -- these
18 warnings that we've seen, that's class labelling that the FDA
19 says has to go in all the drugs, SSRIs and antidepressants,
20 correct?

21 A. They're proposing that it be put in. And again, again, I
22 want to just speak to the implicit assumption that the -- of
23 course, the FDA would like it if people didn't say, "Let's do
24 something different," but they did not have the authority then
25 to say, "You may not propose anything else." I certainly, in

1 the request that they sent out, did not see anything where
2 they said that.

3 Q. But once adopted, once they're -- the FDA says, "This is
4 the language we want," GSK couldn't have put anything
5 different in there, correct?

6 A. No, that is not correct, sir. That is not correct. They
7 could have proposed something else. They can do a prior
8 approval supplement and propose anything they want. The FDA
9 can turn that down, of course, but the -- GSK certainly could
10 have. That is what I'm trying to say. It's not like they
11 would have gone to jail for proposing something, putting in
12 that risk information that they already had. I'm sorry for
13 raising my voice.

14 Q. But if FDA says, "No, you can't do that," GSK can't put
15 anything else in this language, this class labelling, correct?

16 A. If they actually ask. And I'm again not talking about in
17 the middle of the class labelling, talking about putting it
18 somewhere in the label. We went over this at length during my
19 direct examination.

20 Q. Agreed. It's not the middle of the label. They can't put
21 it anywhere in the class labelling, correct?

22 A. We're not -- I'm sorry. I'm not talking about the middle
23 of the class labelling. I'm saying could they put it below
24 that class labelling, above the class labelling. If they
25 don't ask, it certainly won't go in there. If they ask and

1 FDA says no, that's a different issue. You know what, there's
2 no law, there's no communication from FDA saying, "do not do
3 that."

4 Q. We're going to get to that. I think you agreed with me
5 that this is not restricted to any particular age group,
6 correct?

7 A. It's disease management, I would say. I mean, this would
8 be good disease management, you know, 20 years ago. So I
9 would agree with you, it doesn't mention a specific age limit.

10 Q. And you -- you talked about the original label and
11 Mr. Wisner showed it to you having some language about disease
12 management. This is different language than was in that
13 original label, correct?

14 A. The words are different. Again, let me get to the
15 meaning. It doesn't speak to the risk specifically of Paxil.
16 It doesn't say anything to say it's any different from any
17 other antidepressant or that there's information available
18 about Paxil that is not available that the sponsor has.

19 Q. It's class labelling, correct?

20 A. Sir, I think we've established that.

21 Q. So if we --

22 THE COURT: I think you covered it. Now let's go on.

23 BY MR. BAYMAN:

24 Q. Do you agree that the warnings that went out in May of
25 2004 alerted -- as reflected in the Dear Healthcare Provider

1 letter and in the attached labelling, alerted physicians that
2 if the medication is started, to be on the lookout for
3 emerging suicidality and clinical worsening of the
4 conditioning -- of the condition or worsening depression?

5 A. Do I agree that they did it? Again, this is -- I almost
6 feel like I'm being asked if I am beating my wife. The
7 question is, does this adequately warn in terms of the
8 information that's available.

9 Q. That wasn't my question. My question was: The warnings
10 that went out in May of 2004 by GSK by the Dear Healthcare
11 Provider letter and the attached label alerted physicians that
12 if the medication is started, to be on the lookout for
13 emerging suicidality and clinical worsening of the condition
14 or worsening depression, correct?

15 A. That would be true no matter what -- the question is not
16 what it says. It's what it doesn't say. Let me just put it
17 like that. The message is not to put in statements that
18 individually are true. If the label, not the label statement,
19 is false or misleading, but the label is false or misleading
20 either by commission or omission, then the drug is misbranded.
21 And that's what I'm trying to get across here.

22 Q. So this labelling, this class labelling was false and
23 misleading?

24 A. With respect to Paxil, I would say yes.

25 Q. You would agree with me, though, this is 2004, six years

1 before Mr. Dolin's suicide, there was a label change, correct?

2 A. Yes.

3 Q. And that label change included warnings about the need to
4 closely monitor patients for clinical worsening and suicidal
5 thinking and behavior, correct?

6 A. For any antidepressant.

7 Q. And that would include Paxil, correct?

8 A. As a prescriber, it wouldn't tell me which one I should
9 pick or whether there were special considerations for Paxil.

10 Q. Paxil --

11 A. That is part of adequate directions for use.

12 Q. Paxil is an antidepressant, correct?

13 A. If it's a more risky antidepressant, that is important for
14 prescribers to know, or if there's information that's
15 available, let's leave -- suppose there's no other information
16 about Paxil -- I'm sorry, for other drugs but Paxil had it,
17 then that's important information to know.

18 Q. Sir, you'll get time to give your views when Mr. Wisner
19 asks his questions. I just asked a simple question. Paxil is
20 an antidepressant, correct?

21 MR. WISNER: Your Honor, if counsel -- I'd request
22 that the Court not -- I would request that Mr. -- Dr. Ross not
23 be admonished by counsel but by the Court. He's trying to
24 answer his questions that are complicated.

25 THE COURT: He's doing fine. Let's proceed.

1 MR. BAYMAN: You would agree with me --

2 THE COURT: We've covered that already. We know it's
3 an antidepressant. Let's not cover that.

4 BY MR. BAYMAN:

5 Q. You would agree with me, six years before Mr. Dolin's
6 suicide, the Paxil label was changed to tell doctors about the
7 possible emergence of akathisia following Paxil use, true?

8 A. I'm sorry. Could you remind me what akathisia is?

9 Q. Well, you talked about it on direct so --

10 A. I did, but it's after lunch. But akathisia, I'm just a
11 little hazy on --

12 Q. Just highlight that.

13 A. Oh, yes. That's the condition that's associated with
14 suicide and -- okay. So the answer -- I just want to make
15 sure I'm talking about the right thing. Yes, that's correct,
16 it mentions it but not suicide.

17 Q. You don't think that's a warning to be on the lookout
18 about akathisia and the emergence of suicidal impulses?

19 A. It does -- I don't see any wording there that tells a
20 provider what -- why akathisia is important. I mean, that is
21 an unusual word.

22 Q. Well, does it not say that akathisia and the other
23 symptoms have been reported in adult and pediatric patients
24 being treated with antidepressants for major depressive
25 disorder as well as other indications, both psychiatric and

1 non-psychiatric?

2 A. Let me put it like this. Let's replace that with atrophy
3 of the corpus callosum and say, do we know that that -- what
4 that means.

5 Q. I just --

6 A. And this is prescribed by a lot of primary care providers.
7 In fact, that's -- from what I've seen, that's what a lot of
8 the marketing is. The average physician certainly may not
9 know what akathisia is and why it's important.

10 MR. BAYMAN: Your Honor, I move to strike that as
11 totally unresponsive to what I asked him. It's not even
12 close.

13 MR. WISNER: Oppose.

14 THE COURT: It may stand. Proceed.

15 BY MR. BAYMAN:

16 Q. You would -- you would agree with me that the best way to
17 know what a primary care physician understands about what
18 akathisia is would be to ask that doctor, correct?

19 A. That would be one way of doing it. I mean, I think you
20 could also say, what is the curriculum in prime -- you know,
21 go to the American College of Physicians and see how often
22 they have sessions on akathisia.

23 Q. My question, a particular primary care physician, if you
24 wanted to know his or her understanding of what akathisia
25 means, the best way to find that out is to ask that doctor,

1 correct?

2 A. I would say that is a way.

3 Q. And so I guess I want to understand, it's your testimony
4 then that this label, this warning doesn't alert doctors to be
5 on the lookout for akathisia because it's -- it has been
6 reported in adult and pediatric patients taking
7 antidepressants?

8 A. Again, context is everything. If you don't know the
9 significance of that, it's not a real warning.

10 Q. And you don't believe although a causal link between the
11 emergence of such symptoms and either the worsening of
12 depression and/or the emergence of suicidal impulses has not
13 been established, consideration should be given to changing
14 the therapeutic regimen, and it goes on about other things you
15 might do?

16 A. Let me put it this way. If I'm parking over near Cook
17 County -- or actually, I should say Washington Hospital Center
18 where is where I'm from, and there's a parking space near an
19 oxygen tank, I'm going to react differently to "consider not
20 parking here" versus, "don't even think of parking here."
21 That is very muted language.

22 Q. So you think that warning is not clear?

23 A. All I'm saying is, you're talking about a fatal event.
24 It's -- you would expect with a fatal event to see the
25 equivalent of danger. And, in fact, there are labels where it

1 says, let me take clindamycin, antibiotic, you should never
2 give that quickly pushing it into a vein. You will cause the
3 patient to go into cardiac arrest. And the language on that
4 is very, very clear: This may kill people.

5 This sounds like, well, maybe it's a problem, maybe
6 it's not. I'm saying this not so much as a primary care
7 physician that as someone who has done a lot of labelling.
8 And the principles are the same. It's a risk of
9 communication. It doesn't matter what the therapeutic area is
10 so much. Yes, the details are certainly important, but if I
11 want to say, "stop, this is really something to think about,"
12 not just for when the patient is on the drug but do I start
13 this patient at all on a drug, do I choose something besides
14 drug therapy, if you really don't think it's that big a deal
15 or that's -- maybe this is appropriate, but again, we've seen
16 from the data analysis, and I'm talking about GSK's own data
17 analyses, that the company has known for a long time that
18 there's an increased odds ratio of Paxil versus placebo.

19 Q. We're going to get to that. My question was a lot
20 simpler. You don't think that warning is clear to alert a
21 prescriber to be on the lookout for akathisia and about a
22 possible link to suicidality?

23 A. It's not specific for Paxil. It's not -- again, getting
24 into these yes/no things, this is a question of, is this
25 adequate directions for use.

1 MR. BAYMAN: Well, let's -- we've been talking about
2 akathisia. Let's move to the next exhibit, which is Tab 9.
3 That, your Honor, is Joint Exhibit 6. It's already in
4 evidence. That's the February 2005 Dear Healthcare Provider
5 letter.

6 Have you got that, Doctor?

7 THE COURT: Pull it up on the screen.

8 MR. BAYMAN: It is.

9 THE WITNESS: Yes.

10 BY MR. BAYMAN:

11 Q. Let's -- you're familiar with that letter, correct?

12 THE COURT: Just put your question, sir. We'll see
13 it --

14 MR. BAYMAN: Okay.

15 THE COURT: -- without trying to --

16 BY MR. BAYMAN:

17 Q. Sure. This is a letter that told doctors that the Paxil
18 label was being revised to add additional warnings, correct?

19 A. Yes.

20 Q. Okay. And the second -- on the second page, first full
21 paragraph, blow that up and highlight it, the letter says:

22 "The new warning also emphasizes the need for close
23 monitoring of patients, especially at the beginning of
24 therapy or with changes in dose. The monitoring
25 recommendations include a suggested schedule for

1 face-to-face visits with patients or their family members
2 or caregivers."

3 Did I read that correctly?

4 A. That is the text on that page, that's correct.

5 Q. And that, that warning was also class labelling for all
6 SSRIs, correct?

7 A. That is correct.

8 Q. And look at Pages 4 and 5 of the document.

9 THE COURT: What's your question, sir?

10 BY MR. BAYMAN:

11 Q. This is the new warning that was added to the labelling in
12 January of 2005, correct?

13 Can you highlight that, please, Roger?

14 A. I believe so, yes.

15 MR. BAYMAN: No, highlight the warning.

16 THE WITNESS: I'm sorry.

17 BY MR. BAYMAN:

18 Q. And this label includes additional recommendations for
19 close monitoring of patients including adult patients, correct?

20 A. Yes.

21 Q. And look at the top of Page 5, the first full sentence.

22 A. Yes.

23 Q. "It is also unknown whether the suicidality risk extends
24 to adults." Did I read that correctly?

25 A. You did.

1 Q. And as of January 2005, FDA didn't make GSK remove that
2 statement from the Paxil labelling, did it?

3 A. Given that GSK had not provided the accurate odds ratios
4 showing that the risk did extend to adults, no, FDA did not.
5 You are correct in that, sir. So that statement, what I'm
6 trying to say is, is false.

7 Q. Okay. We're going to get into the odds ratios. Look at
8 the third full paragraph on Page 5.

9 A. I'm sorry. Did you say third?

10 Q. Yeah.

11 A. Okay. Go ahead.

12 Q. It's on the screen.

13 THE COURT: It's on the screen, Doctor.

14 MR. BAYMAN: Yes.

15 THE WITNESS: Oh, I'm sorry, your Honor.

16 BY MR. BAYMAN:

17 Q. It says at the end of that section, again, "Although a
18 causal link between the emergence of such symptoms and either
19 the worsening of depression or the emergence of suicidal
20 impulses has not been established," and then it refers to the
21 symptoms up above, correct?

22 A. It does.

23 Q. And that -- those symptoms again include akathisia, correct?

24 A. This is the same language that we were discussing with the
25 previous exhibit --

1 Q. And --

2 A. -- with the same flaws.

3 Q. And this is class labelling again, this part of the
4 warning is class labelling, also, correct?

5 A. Correct.

6 Q. Let's turn to Page 6 of the exhibit and the section called
7 "Precautions," and let's go to akathisia. You -- you know
8 that beginning in January 2005, the Paxil labelling said, had
9 a section in the precautions that went in that said:

10 "The use of paroxetine or other SSRIs has been
11 associated with the development of akathisia which is
12 characterized by an inner sense of restlessness and
13 psychomotor agitation such as an inability to sit or stand
14 still usually associated with subjective distress. This is
15 most likely to occur within the first few weeks of
16 treatment."

17 Did I read that correctly?

18 A. That is the text on that page.

19 Q. And this is something that GSK added to the Paxil label,
20 this is not class labelling, correct?

21 A. I'm actually not sure because it refers to the use of
22 paroxetine or other SSRIs.

23 Q. The label also had in the precaution section a section on
24 clinical worsening and suicide risk.

25 A. Yes.

1 Q. Do you see that?

2 A. I do.

3 Q. And again, that's class labelling, correct?

4 A. That is correct.

5 Q. And there's akathisia again, correct?

6 A. Again, it does not indicate that that may be associated
7 with suicide or suicidal behavior, I should say. Sorry.

8 Q. It says that patients, their families, and caregivers
9 should be encouraged to be alert to the emergence of certain
10 symptoms such as akathisia, worsening of depression, suicidal
11 ideation. So you don't think that's a warning to be alert for
12 the emergence of suicidality?

13 A. Well, if we can highlight the many, many words in between
14 that -- hypomania, mania, other unusual changes in behavior --
15 I think the issue is these are not all of equal significance.
16 Akathisia, to my mind as a clinician, would be -- many people
17 have trouble sleeping. Insomnia is pretty common. But
18 akathisia, but it doesn't indicate that that's of particular
19 importance. This is a laundry list. It doesn't say
20 "particularly akathisia."

21 Q. But --

22 A. So again, this is a question of omission. The statement
23 before that the risk doesn't extend to -- it's not known if it
24 extends to adults, that is a sin of commission, if you will.

25 Q. And that's -- again, that phrase is FDA class labelling,

1 correct?

2 A. That is correct.

3 Q. And the title of the section is called, "Clinical
4 worsening and suicide risk." Is it your testimony that this
5 does not alert a healthcare provider that some of these
6 symptoms could be associated with a suicide risk?

7 A. It doesn't do a very effective job, again, for two
8 reasons. One, some of these are common issues. Insomnia, if
9 I thought every patient of mine who was an insomniac was
10 automatically suicidal, then I would become a psychiatrist.
11 On the other hand, akathisia or agitation are things that are
12 rather unusual. So again, it's grouping all these events or
13 all these terms without any indication that some may be more
14 important than others.

15 The second thing I'd say is, akathisia, as I've said
16 before, is not only an unusual event, it's an unusual word.

17 Q. My only question was, you're not saying -- you're saying
18 you don't believe that this section links those symptoms with
19 the possible emergence of suicidality given the title of the
20 section?

21 A. The question is -- and again, I'm going to have to speak
22 as a regulator here. The regs don't say, does this label give
23 directions for -- needs to give directions for use. It needs
24 to give adequate directions for use.

25 Q. So you believe then that the label, the FDA-approved label

1

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

■

[REDACTED]

1

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

(Proceedings adjourned from 4:28 p.m. to 9:30 a.m.)

* * * * *

C E R T I F I C A T E

We, Charles R. Zandi and Judith A. Walsh, do hereby certify that the foregoing is a complete, true, and accurate transcript of the proceedings had in the above-entitled case before the Honorable WILLIAM T. HART, one of the judges of said Court, at Chicago, Illinois, on March 22, 2017.

/s/ Charles R. Zandi. CSR. RPR. FCRR March 22, 2017

/s/ Judith A. Walsh. CSR. RDR. F/CRR March 22, 2017

Official Court Reporters
United States District Court
Northern District of Illinois, Eastern Division