

UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF VIRGINIA
ALEXANDRIA DIVISION

GILDA HAGAN-BROWN,)	
)	
Plaintiff,)	
)	
-v-)	CAUSE NO.
)	1:14-CV-01614-AJT-JFA
)	
ELI LILLY AND COMPANY, AN)	
INDIANA CORPORATION,)	
)	
Defendant.)	

and

JANINE ALI,)	
)	
Plaintiff,)	
)	
-v-)	CAUSE NO.
)	1:14-CV-01615-AJT-JFA
)	
ELI LILLY AND COMPANY, AN)	
INDIANA CORPORATION,)	
)	
Defendant.)	

A.M.-P.M. SESSIONS

The videotaped deposition upon oral examination of MADELAINE M. WOHLREICH, a witness produced and sworn before me, Michele K. Gustafson, CRR-RPR, Notary Public in and for the County of Marion, State of Indiana, taken on behalf of the Plaintiffs at the offices of Ice Miller, One American Square, 29th Floor, Indianapolis, Indiana, on April 29, 2015, at 10:11 a.m., pursuant to the Federal Rules of Civil Procedure.

Pages 1-224 A.M.
Pages 225-449 P.M.

1 APPEARANCES

2

FOR THE PLAINTIFFS:

3

R. Brent Wisner, Esq.
BAUM HEDLUND ARISTEI GOLDMAN
12100 Wilshire Boulevard
Suite 950
Los Angeles, CA 90025-7114

6

Michael Woerner, Esq.
KELLER ROHRBACK
1201 Third Avenue
Suite 3200
Seattle, WA 98101-3052

9

10 FOR THE DEFENDANT:

11 Brian L. Stekloff, Esq.
Emily S. Ullman, Esq.
12 COVINGTON & BURLING
One CityCenter
13 850 Tenth Street, NW
Washington, DC 20001-4956

14

15 ALSO PRESENT: Shawn Weyerbacher, Videographer
Stephanie M. Tartaglia, Esq.
16 Michael Lynch, Esq. (by telephone)

17

18 INDEX OF EXAMINATION

19

PAGE

20 DIRECT EXAMINATION

21 Questions By Mr. Wisner: 6

22 CROSS-EXAMINATION

23 Questions By Mr. Stekloff: 401

24 REDIRECT EXAMINATION

25 Questions By Mr. Wisner: 426

1 MR. STEKLOFF: Object to form.

2 A I don't know that.

3 Q Okay.

4 (Exhibit 1 was marked for identification.)

5 Q Doctor, I'm handing you what the court reporter has
6 marked as Exhibit 1 to your deposition. The first
7 page here says the Declaration of Sarah L.
8 Helgeson. See that?

9 A I'm sorry. Where? On the front page?

10 Q Yeah.

11 A Yes, okay.

12 Q Do you know who Sarah L. Helgeson is?

13 A No, I don't.

14 Q Okay. If you turn the page on the first page. On
15 Paragraph 1 it reads, "Since 2011, I have served as
16 Director, Global Medical Information at Eli Lilly
17 and Company."

18 Do you see that?

19 A Yes.

20 Q All right. And if you turn the page on page 3,
21 Paragraph 7. Under the section titled
22 Medical Information Letters on Cymbalta DEAEs,
23 right, it reads, Since October 2004, Lilly has in
24 effect a Medical Information Letter on possible
25 DEAEs related to the use of Cymbalta.

1 Do you know what a medical information letter
2 is?

3 A Yes.

4 Q And what is that?

5 A It is a letter summarizing relevant data and other
6 information that is developed by our medical
7 information specialists, whom I mentioned before,
8 to address a specific question that comes up from
9 external clinicians.

10 Q It says letter on possible DEAEs. DEAEs, that
11 stands for discontinuation-emergent adverse events;
12 correct?

13 A Discontinuation-emergent adverse events, yes.

14 Q Did you have any role in drafting or reviewing
15 these medical information letters?

16 A There were two sets of medical letters. There were
17 letters that were developed for use globally and
18 letters that were developed for use in the U.S.,
19 and my role would have been to work with the
20 medical information specialists developing letters
21 for the U.S. affiliate.

22 Q If you continue reading here, it says, "Lilly has
23 regularly updated this Medical Information Letter
24 to incorporate new clinical trial data on DEAEs and
25 new information from the relevant medical

1 literature."

2 Is that your understanding of what Lilly has
3 done with regards to the medical information
4 letters?

5 A Yes.

6 Q Okay. "Attached hereto are true and correct copies
7 of the Medical Information Letters on Cymbalta
8 DEAEs, revised as of the following dates".

9 You see that?

10 A Yes.

11 Q And it lists a bunch of different dates here with
12 exhibit numbers; is that correct?

13 A Yes.

14 Q Okay. I want to draw your attention to Exhibit 3,
15 which is the September 2006 Medical Information
16 Letter. See that? On that list right there it
17 says Exhibit 3.

18 A Oh, yes.

19 Q All right. Let's go to Exhibit 3. Specifically if
20 you look at the bottom right-hand of your document,
21 page 19 is -- sorry -- page 12 is where it starts.

22 MR. STEKLOFF: Mine says page 17.

23 MR. WISNER: That's correct. Page 17. Sorry.
24 I was off by one.

25 Q You see that?

1 A Yes.

2 Q And then you see up there it says entered
3 September 27, 2006?

4 A 2005? The -- I'm sorry. On page 12?

5 Q I'm sorry. Page 17. I was mistaken.

6 A Okay. Yes.

7 Q All right. See it says entered September 27, 2006?

8 A Yes.

9 Q Okay. And this is -- appears to be a fair and
10 correct copy of the medical information letter that
11 existed as of 2006; is that right?

12 A To my knowledge, yes.

13 Q And it says Discontinuation Symptoms on the top;
14 right?

15 A Yes, it does.

16 Q Now, this letter was given to physicians who made
17 inquiries about discontinuation; isn't that
18 correct?

19 MR. STEKLOFF: Object to form.

20 A If it was a medical letter, the purpose of its
21 development would -- and use would be to respond to
22 questions relevant to the content of this
23 particular letter.

24 Q This letter was not sent proactively to all
25 physicians in the United States; correct?

1 A Correct.

2 Q And you would agree with me that this letter
3 contains -- this letter, which is, I believe, one,
4 two, three, four, five, six, seven, eight pages
5 long, contains information in addition to the
6 information contained in the U.S. product insert?

7 A Yes, it does.

8 Q Okay. If you turn the page on the page -- it says
9 page 18 down at the bottom. It's the second page
10 of the letter. It's double sided, so it's a little
11 tricky. Under the section here that says Etiology;
12 right?

13 A Etiology.

14 Q Etiology, what does that mean?

15 A It means origin.

16 Q Okay. In the first paragraph -- I'm just going to
17 read it to you -- it says, Down-regulation of the
18 serotonin transporters by SSRIs and SNRIs tends to
19 enhance synaptic levels of serotonin in the brain.
20 The serotonin system undergoes adaptive changes --

21 Doctor, are you reading along with me?

22 A Yes. I was also checking a reference.

23 Q Fair enough. I'm going to ask if I read that
24 correctly, so I want to make sure you read along
25 with me. So I'll start from the top.

1 changing it?

2 A No. We all share in the conceptualization.

3 Usually our writer is kind of like a project
4 manager and gets the ideas from all of the authors
5 and then writes up a draft, which the other authors
6 then work on and add their corrections or their own
7 comments to.

8 Q Now, this one has some Cymbalta warning information
9 in front of it. Do you see that?

10 A Yes. And that's not part of the article.

11 Q Yes. Why is that?

12 A I don't know for sure, but I believe this is a
13 reprint. So if this was handed out proactively, in
14 other words, either by sales reps or mailed by the
15 company, then it needed to include appropriate --
16 this information about the drug.

17 Q Now, is that a common practice at Lilly, sending
18 reprints of journal publications?

19 A It is done occasionally. Typically the article has
20 to meet a number of criteria. It -- and if it's
21 sent out, sometimes medical will send them out and
22 sometimes marketing will, but it has to meet some
23 pretty strict criteria depending on what it's used
24 for as a -- and who's it's going to.

25 Q And what are those criteria?

1 A They vary. We had different types of
2 classifications for these memberships. It couldn't
3 contain any off-label information and it had to be
4 something that there was good reason to believe it
5 could be justified of why you were sending this to
6 clinicians.

7 Q What is your understanding of off-label
8 information?

9 A That's a long dissertation. But for the purposes
10 of this context, off label means it shouldn't
11 include discussions about uses that aren't
12 approved, other indications, for instance, and
13 shouldn't contain substantial information that
14 isn't consistent with the label. And there are
15 tight or looser definitions depending on what
16 context you're talking about.

17 Q So it'd be fair to say that you couldn't state
18 something in a journal article that was distributed
19 by Lilly that contradicted the label?

20 A That's correct.

21 Q But you could expound upon certain piece of
22 information in the label?

23 A Yes, yes, yes.

24 Q Okay. So there might be information in here that
25 goes beyond what the label says, but it doesn't --

1 it's still consistent with what the label says?

2 A Absolutely.

3 Q Okay. And how was it determined which ones were
4 going to be distributed as a reprint and which ones
5 were not?

6 A We would have team meetings in which we discussed
7 the -- if medical was driving it -- and I can
8 really speak to that better -- we -- somebody would
9 bring up and say, hey, I think we should send out
10 an article on, or we should send out a particular
11 article, if we thought that it was relevant and
12 useful to clinicians.

13 Q You said if medical was driving it. What else
14 could drive it?

15 A Marketing. There were occasions -- and it was --
16 where marketing could disseminate an article
17 theoretically. This one, I'm not sure if it was --
18 whether this was sent out through the medical or
19 marketing channel.

20 Q Okay. Why would marketing want to send out a
21 publication?

22 MR. STEKLOFF: Object to form. Lacks
23 foundation. Calls for speculation.

24 A My assumption would be to increase awareness of the
25 drug or some aspect of the drug.

1 MR. STEKLOFF: I'm going to have some
2 questions.

3 (Exhibit 27 was marked for identification.)

4 THE WITNESS: Thank you.

5 Q All right, Doctor. I'm handing you an e-mail
6 exchange. It's a e-mail from Dr. Perahia. You see
7 that?

8 A Yes.

9 Q And it's addressed to a bunch of people including
10 yourself?

11 A Yes.

12 Q Okay. And the subject matter is CYMBALTA: Slides
13 and Study Citation for 30 mg "down titration" dose.
14 See that?

15 A Yes.

16 Q Okay. It reads from Dr. Perahia, it says, Hi John,
17 We've only directly compared abrupt discontinuation
18 versus tapered discontinuation in one study. The
19 study question was one of the GAD registration
20 trials. I have a slide showing the outcomes of
21 this comparison which I can send to you on
22 Monday -- I can send you on Monday, together with
23 the study protocol. We've not conducted such a
24 comparison in any MDD studies including HMBU and
25 HMCQ.

1 See that?

2 A Yes.

3 Q Is that your under -- is it your understanding that
4 there had not been by 2007 of April any clinical
5 trials designed to measure abrupt versus tapered
6 discontinuation for MDD?

7 A I don't recall.

8 Q Okay. Do you have any reason to disagree with
9 Dr. Perahia's statement here?

10 A Which statement?

11 Q That we've not conducted such a comparison in any
12 of the MDD studies including HMBU and HMCQ.

13 A I have no reason to disagree.

14 Q Okay. Do you recall ever yourself designing a
15 protocol designed to measure abrupt versus taper
16 discontinuation in MDD?

17 A No.

18 Q Do you recall ever doing the same design for a
19 protocol designed to measure taper versus abrupt
20 for fibromyalgia?

21 A I don't recall.

22 Q Have you ever designed a protocol designed to
23 measure --

24 A I don't -- not that I recall.

25 Q Okay. Doctor, isn't it true that you never

1 proposed to conduct a phase 4 clinical trial to
2 measure abrupt versus taper discontinuation?

3 A That's correct.

4 Q Isn't it true that you've never -- and even
5 though -- Strike that.

6 But you had physicians that you respected
7 suggesting that we -- that Lilly should study this
8 issue; right?

9 MR. STEKLOFF: Object to form.

10 A I'm aware of the e-mail that we reviewed earlier in
11 which Dr. Perahia says this is something we might
12 think about doing.

13 Q But you never went about yourself designing a
14 protocol to do that?

15 A In the U.S. affiliate the question did not arise to
16 a level of frequency or urgency during the years
17 that I was designing clinical studies for the
18 U.S. affiliate that we thought to include that or
19 study it.

20 Q And isn't it true you never designed a protocol
21 specifically attempting to determine how long
22 Cymbalta discontinuation symptoms could last?

23 A Yes. Because it wasn't arising as a question that
24 was frequent enough or that we thought we needed to
25 develop an answer from a clinical trial. Clinical

1 trials are certainly not the only way we answer
2 questions, and they're the slowest way and don't
3 always give you results that are meaningful or
4 useful.

5 MR. WISNER: Pass the witness.

6 A Most people asking questions don't want to wait two
7 to three years for you to give them an answer.

8 MR. WISNER: Move to strike the testimony.
9 It's speculative and nonresponsive.

10 THE WITNESS: Strike away.

11 MR. STEKLOFF: I'll oppose striking. Can we
12 go off the record?

13 MR. WISNER: Sure.

14 VIDEOGRAPHER WEYERBACHER: Off the record at
15 6:53.

16 (A recess was taken from 6:53 p.m. to
17 7:05 p.m.)

18 VIDEOGRAPHER WEYERBACHER: Back on record at
19 7:05 p.m.

20 MR. STEKLOFF: Good evening. I just want to
21 cover a few topics that Mr. Wisner covered with you
22 and follow-up on them.

23 CROSS-EXAMINATION

24 BY MR. STEKLOFF:

25 Q Do you recall a series of questions about how at

1 Cymbalta?

2 MR. WISNER: Objection. Lacks foundation.

3 Vague. Ambiguous. Speculation.

4 A Providing complete and accurate medical information
5 has always been the goal of medical team's work on
6 Cymbalta.

7 Q And does that include discontinuation-emergent
8 adverse events?

9 A Yes, absolutely.

10 MR. STEKLOFF: Pass the witness.

11 REDIRECT EXAMINATION

12 BY MR. WISNER:

13 Q Just stated a second ago, Doctor, that Lilly has
14 always tried to be proactive; is that right?

15 A No, I didn't say always. I said Lilly has tried to
16 be proactive about Cymbalta in terms of information
17 about adverse events, yes.

18 Q And you include under that trying to be proactive,
19 you're including issues related to discontinuation
20 symptoms?

21 A Yes.

22 Q Lilly never proactively studied the risk of
23 discontinuation beyond two weeks; correct?

24 A Lilly did not.

25 MR. STEKLOFF: Object to form.

1 Q Lilly never --

2 A I'm not sure that proactive -- what you mean by
3 proactive study.

4 Q They never designed a study to measure whether or
5 not discontinuation symptoms could last longer than
6 two weeks?

7 A No.

8 Q They never conducted a study to specifically
9 measure the difference between taper and abrupt
10 discontinuation outside of the GAD and SUI
11 indications?

12 MR. STEKLOFF: Object to form.

13 A I'm sorry. Repeat the question. Did we study
14 abrupt versus tapered discontinuation in every
15 indication that Cymbalta has? No. But apparently
16 from your statement I understand it was studied in
17 two of the indications. And SUI is not a Cymbalta
18 indication. It's a duloxetine indication but not
19 Cymbalta.

20 Q So Lilly never conducted a study to specifically
21 measure the difference between taper and abrupt
22 discontinuation outside of the GAD and SUI
23 indications?

24 A That's right.

25 Q Okay. You stated one of the reasons why Lilly

1 IN WITNESS WHEREOF, I have hereunto set my
2 hand and affixed my notarial seal this 7th day of May,
3 2015.

4
5
6
7
8

9 Michele K. Gustafson, CRR-RPR
 Notary Public

10
11

My commission expires:

12 August 31, 2017
13 Job No. 97560

14
15
16
17
18
19
20
21
22
23
24
25

ERRATA SHEET FOR THE TRANSCRIPT OF:

Case Name: Gilda Hagan-Brown v. Eli Lilly and Company, an Indiana corporation
Case Number: 1:14-CV-01614-AJT-JFA
Dep. Date: April 29, 2015
Deponent: Madelaine M. Wohlreich - AM-PM Session
Place: Indianapolis, IN.

CORRECTIONS:

Pg.	Ln.	Now Reads	Should Read	Reasons Therefore
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____
_____	_____	_____	_____	_____

Signature of Deponent
