

8386  
CONFIDENTIAL

UNITED STATES DISTRICT COURT  
EASTERN DISTRICT OF VIRGINIA  
ALEXANDRIA DIVISION

JANINE ALI,  
Plaintiff,

Case No.

vs.

1:14cv-01615-AJT-JFA

ELI LILLY AND COMPANY,  
An Indiana corporation

Defendant.

-----  
GILDA HAGAN-BROWN,

Plaintiff,

Case No.

vs.

1:14cv-01614-AJT-JFA

ELI LILLY AND COMPANY,  
an Indiana corporation  
Defendant.

-----  
\*\*\*CONFIDENTIAL\*\*\*

VIDEOTAPED DEPOSITION OF MICHAEL CLARK, M.D.

Washington, D.C.  
Tuesday, May 26, 2015

Reported by: Lori J. Goodin, RPR, CLR, CRR,  
Realtime Systems Administrator

8387  
CONFIDENTIAL

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

May 26, 2015

10:04 a.m.

Videotaped Deposition of MICHAEL  
CLARK, M.D., held at Covington & Burling,  
LLP, One City Center, 850 Tenth Street,  
Northwest, Washington, D.C. before Lori J.  
Goodin, RPR, CLR, CRR, Realtime Systems  
Administrator, a Notary Public in and for  
the District of Columbia.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A P P E A R A N C E S:

Attorneys for Plaintiffs:

BAUM, HEDLUND, ARISTEI & GOLDMAN, P.C.  
12100 Wilshire Boulevard, Suite 950  
Los Angeles, California 90025  
310-207-3233

BY: R. BRENT WISNER, ESQUIRE  
rbwisner@baumhedlundlaw.com

Attorneys for Defendant Eli Lilly and Company:

COVINGTON & BURLING, LLP  
One City Center  
850 Tenth Street, Northwest  
Washington D.C. 20001  
202-662-5057

BY: BRIAN L. STEKLOFF, ESQUIRE  
bstekloff@cov.com  
KATHLEEN E. PALEY, ESQUIRE  
kpaley@cov.com

ALSO PRESENT:

Videographer, Ellen Hebert, CLVS

8389  
CONFIDENTIAL

1	EXAMINATION INDEX		
2			PAGE
3	By Mr. Wisner		7
4	By Mr. Stekloff		287
5	By Mr. Wisner		291
6			
7			
8	EXHIBITS		
9	Clark		
10	EXHIBIT	DESCRIPTION	PAGE
11	Exhibit 1	Clark's expert report for	
12		Hagan-Brown	10
13	Exhibit 2	Clark's expert rebuttal report	
14		for Hagan-Brown	10
15	Exhibit 3	Clark's expert report for Ali	10
16	Exhibit 4	Clark's expert rebuttal report	
17		for Ali	11
18	Exhibit 5	Clark's CV, 5/17/15	15
19	Exhibit 6	CYM-01188922, Pain on Demand	
20		Series CD	63
21	Exhibit 7	CYM-01188735, slide presentation	58
22	Exhibit 8	CYM-01720634, press release	66
23	Exhibit 9	CYM-00009871, Studio Anchor	
24		for Cymbalta	68
25			

1 as part of promoting Cymbalta or whether that was  
2 given to speakers as part of speaker training?

3 A. Correct.

4 Q. Okay. Did you ever give  
5 presentations to other physicians as part of  
6 promotional activities for Cymbalta?

7 A. Yes.

8 Q. Okay. If you turn the page with the  
9 Bates stamp ending 754. Do you see that? It is  
10 double-sided, by the way.

11 A. Yes.

12 Q. All right. This is Cymbalta's  
13 safety profiles, warnings, and precautions,  
14 continued.

15 A. Yes.

16 Q. And this is the section of the  
17 presentation where you are sort of going over the  
18 very safety issues associated with Cymbalta; is  
19 that right?

20 A. Yes.

21 Q. And this is required by law; is that  
22 true?

23 A. I don't know.

24 Q. Okay. The first section says abrupt  
25 or tapered discontinuation; right?

8391  
CONFIDENTIAL

1 into live use or was just a proposal.

2 Q. Okay. So do you recall whether or  
3 not there was in fact a webcast on Medscape for  
4 WebMD?

5 A. I don't.

6 Q. Do you recall preparing a video to  
7 that effect?

8 A. I don't.

9 Q. Take a look to that document and see  
10 if it refreshes your recollection. If it  
11 doesn't, that is fine.

12 A. Yeah, it really doesn't. It looks  
13 like the clip is only 45 seconds long, so it is  
14 not very long.

15 Q. Do you recall maybe doing a video  
16 invitation for people to come to a live webcast?  
17 Could that be what that is?

18 A. It could be. It could be, but I  
19 don't know.

20 Q. Okay. Giving live webcasts for Eli  
21 Lilly, that was something that you did for Eli  
22 Lilly; is that right?

23 A. Yes.

24 Q. Okay. Specifically with regards to  
25 Cymbalta?

1 A. Yes.

2 Q. And specifically you were promoting  
3 Cymbalta as a treatment for fibromyalgia; is that  
4 right?

5 A. Yes.

6 Q. Okay. One more.

7 (Clark Exhibit Number 12  
8 marked for identification.)

9 BY MR. WISNER:

10 Q. All right, Doctor. I'm handing you  
11 what I have marked as Exhibit 12 to your  
12 deposition.

13 This is the document that is fairly  
14 lengthy one. It starts off with CYM-02832936.

15 Do you recognize this document?

16 A. Yes.

17 Q. What is this document?

18 A. It is a lecture on duloxetine and  
19 the treatment of diabetic peripheral neuropathic  
20 pain.

21 Q. And it has your name on the front;  
22 is that right?

23 A. Yes.

24 Q. Did you prepare this lecture?

25 A. No, I was simply the speaker.

1 A. Yes.

2 Q. Okay. Now, on Page 11 under the  
3 section, there is a sentence in the first  
4 paragraph of Section 8. It says, the sentence in  
5 the middle, it says, "Additionally, with rare  
6 exception, discontinuation symptoms quickly  
7 resolve when the patient resumes the previous  
8 dose then begins a more gradual tapering  
9 process."

10 You would agree that patients should  
11 be tapered off of Cymbalta upon discontinuing the  
12 medication, right?

13 MR. STEKLOFF: Object to form.

14 THE WITNESS: That is typically what  
15 I do when I am treating patients with it.

16 BY MR. WISNER:

17 Q. And what is your taper regimen?

18 A. Oh, it varies by individual. So,  
19 there is no algorithm or necessary stepwise  
20 process. But typically I would decrease somebody  
21 by 30 milligrams in their dose, maybe for a few  
22 days, to a week, and then go down by 30-milligram  
23 increments.

24 Again, it is influenced by other  
25 factors, not so much by the fear of



1 discontinuation symptoms.

2 But, larger concerns about whether  
3 somebody is going to relapse into depression or  
4 have their pain reemerge.

5 And, so, and it would also be  
6 influenced by whether or not I was switching  
7 somebody to another antidepressant.

8 So, in switching somebody, I might  
9 stop it cold.

10 And if I was worried about somebody  
11 being a fragile depression, or a fragile  
12 neuropathic pain state, I might go down once a  
13 month, or slower, to see, make sure that their  
14 depression wasn't worsening or the pain wasn't  
15 coming back.

16 Q. So, then, and tell me if I'm wrong  
17 here, but your primary concern in discontinuing a  
18 patient off of Cymbalta is not the emergence of  
19 discontinuation symptoms, as much as the  
20 reemergence of the underlying condition?

21 A. Yes.

22 Q. Okay. You had a chance to obviously  
23 review the medical records that have been  
24 discovered in, for Gilda Hagan-Brown, right?

25 A. Yes.

1 Q. And you understand she took what  
2 appears to be 30 milligrams a day for a period of  
3 several months?

4 A. Yes.

5 Q. And she subsequently decided she  
6 didn't want to take Cymbalta anymore.

7 MR. STEKLOFF: Object to form.

8 THE WITNESS: That is the  
9 assumption, yes.

10 BY MR. WISNER:

11 Q. Okay. Assuming what we just  
12 covered, what would you have advised her to be a  
13 proper taper regimen?

14 MR. STEKLOFF: Object to form.

15 THE WITNESS: Well, I mean it is  
16 hard to know, because I wasn't taking care of  
17 her and I don't have all of the information  
18 that I would have if I was treating somebody.

19 But, if I were to think about a  
20 patient taking 30 milligrams for a couple of  
21 months, I would just tell them to stop the  
22 medicine.

23 BY MR. WISNER:

24 Q. You would just say abrupt, just stop  
25 taking the drug?

8396  
CONFIDENTIAL

1 A. Yes.

2 Q. And why is that?

3 A. Because in my experience, I haven't  
4 had anybody have difficulty doing that.

5 And, and, you know, you have to stop  
6 sometime.

7 So, 30 milligrams, once a day, it is  
8 a pretty low dose of Cymbalta.

9 Q. What is the lowest available dose of  
10 Cymbalta?

11 A. 20 milligrams.

12 Q. And that is a day, right?

13 A. Well, they make a 20-milligram size  
14 capsule.

15 Q. Right. And so the smallest dose  
16 would be 20 milligrams a day?

17 A. For a daily regimen, yes.

18 Q. Fair enough. I guess you could do  
19 20 milligrams every other day.

20 A. Yes.

21 Q. Now Cymbalta's half life is about  
22 12 hours, right?

23 A. Yes.

24 Q. If you were taking Cymbalta every  
25 other day, you would have experienced

8397  
CONFIDENTIAL

1 A. Yes.

2 Q. Do you have any data specifically  
3 relating to Cymbalta that supports that  
4 statement?

5 A. I don't think so.

6 Q. So, this opinion is based then upon  
7 your general understanding of tapering?

8 MR. STEKLOFF: Object to form.

9 THE WITNESS: Yes.

10 BY MR. WISNER:

11 Q. In other words, it is based on the  
12 conventional wisdom associated with discontinuing  
13 antidepressants?

14 A. Yes.

15 Q. In preparing your reports did you  
16 evaluate any clinical trial data wherein Lilly  
17 specifically measured abrupt versus tapered  
18 discontinuation from Cymbalta?

19 A. Let's see. I don't think I looked  
20 at that data.

21 Q. If, in fact, Lilly had conducted  
22 clinical trials to measure this exact difference,  
23 and observed that there was no difference between  
24 tapered or abrupt discontinuation in their  
25 clinical trials, would that surprise you?

1 MR. STEKLOFF: Object to form.

2 THE WITNESS: Would that surprise  
3 me? No.

4 BY MR. WISNER:

5 Q. Is that information as a prescriber,  
6 you would want to know?

7 A. Well, again, when you see data from,  
8 and conclusions from trials like that, from any  
9 trial, you are used to seeing things that are  
10 conflicting, and you recognize that you are  
11 looking at data in an artificial context. So, it  
12 would be one piece of information that you would  
13 want, but it would not necessarily be surprising  
14 to you.

15 Q. If, in fact, Cymbalta's clinical  
16 trial showed that there was no difference between  
17 abrupt versus tapered discontinuation of  
18 Cymbalta, specifically by 30 milligrams a day, is  
19 that information that you think should have been  
20 in the label?

21 MR. STEKLOFF: Object to form.

22 THE WITNESS: Well, again, to  
23 preface, I don't know what is allowed to be  
24 put in the label, versus what is not allowed  
25 to be put in the label.

1                   But, certainly if there is no  
2           difference between tapering versus stopping  
3           30 milligrams, that wouldn't surprise me,  
4           since that has been my experience.

5 BY MR. WISNER:

6           Q.       Well, wasn't that kind of  
7           contradicting conventional wisdom?

8           A.       How so?

9           Q.       Well, conventional wisdom said  
10          tapering helps ameliorate the symptoms that a  
11          patient may suffer when stopping an  
12          antidepressant, right?

13          A.       Yes.

14          Q.       If Lilly's historical data showed  
15          that in fact that was not true, that would go  
16          against conventional wisdom?

17          A.       But if you are talking about  
18          stopping 30 milligrams versus tapering  
19          30 milligrams, that is not what they are talking  
20          about. And that is certainly not what I'm  
21          talking about.

22                   I'm talking about when somebody is  
23          on 60, 90, 120 milligrams.

24                   I mean there comes a point at which  
25          you just, it really becomes, for lack of a better

8400  
CONFIDENTIAL

1 word, silly to try to create smaller and smaller  
2 decrements and extend the taper out in an  
3 exponential fashion.

4 And most patients will tell you at  
5 some point they just want to stop the medicine,  
6 move on.

7 So, no, it wouldn't surprise me at  
8 all if there was, nor is it a contradiction to  
9 say that beyond a certain threshold you don't  
10 need to taper anymore to stop the medicine.

11 Q. Let me pose a different question to  
12 you.

13 Assuming Lilly did conduct a  
14 clinical trial where patients who were taking  
15 120 milligrams -- strike that.

16 60 milligrams a day, one group  
17 stopped abruptly, the other group tapered, via  
18 30-milligram increments over a period of two  
19 weeks. And that study showed, in fact, that  
20 there was no difference in the emergence of  
21 discontinuation symptoms.

22 Is that a clinical trial -- is that  
23 data that you would want to have known as a  
24 prescriber?

25 A. Not necessarily.

8401  
CONFIDENTIAL

1 MR. STEKLOFF: Object to form.

2 BY MR. WISNER:

3 Q. And it says 1 percent or greater.

4 A. Correct.

5 Q. And that is referring to a bunch of  
6 different potential symptoms that could occur  
7 upon discontinuation, right?

8 A. Yes.

9 Q. Now in your report you stated that  
10 you think that it would be unreasonable for a  
11 physician to think that that 1 percent meant that  
12 the risks were about 1 percent; is that right?

13 A. Correct.

14 Q. And, you are aware that both of the  
15 physicians in this case understood that the risk  
16 was about 1 percent when they read that label.

17 MR. STEKLOFF: Object to form.

18 THE WITNESS: Yes.

19 BY MR. WISNER:

20 Q. And so it is your opinion and  
21 testimony that the physicians, in understanding  
22 and reading the prescribing insert in this case,  
23 were unreasonable?

24 MR. STEKLOFF: Object to form.

25 THE WITNESS: I'm sorry, could you



8402  
CONFIDENTIAL

1 at 1 percent or greater and at a significantly  
2 higher rate in the duloxetine treated patients  
3 compared to those discontinuing from placebo."

4 Do you see that?

5 A. Yes.

6 Q. On Page 18, you quote a portion of  
7 that sentence. You say the first -- this is the  
8 second full paragraph, three sentences in. It  
9 says, "The first paragraph of the warning states  
10 the discontinuation of the symptoms occurred at a  
11 significantly higher rate in duloxetine  
12 treatments patients compared to those  
13 discontinuing from placebo." Right?

14 A. Yes.

15 Q. What do you understand that phrase,  
16 at a significantly higher rate, to mean?

17 A. I'm not sure how to make it more  
18 clear.

19 But, basically that when you --  
20 significantly refers to a statistical comparison.

21 And, so, basically what it means is  
22 that you don't think it is a chance difference.

23 Q. Okay. That is kind of what I want  
24 to get at.

25 So, when it says significantly

1 higher, it is not using significantly in the  
2 colloquially sense. It is using significantly in  
3 the statistical sense?

4 A. Correct.

5 Q. Okay. And on the last sentence of  
6 your report in that -- sorry, that paragraph in  
7 your report, Page 18, it says, "A reasonable  
8 physician would understand that the 1 percent  
9 figure reflects the reporting threshold listing  
10 each specific symptom that occurred above that  
11 threshold, and that it does not reflect a  
12 specific frequency of the symptom."

13 Do you see that?

14 A. Yes.

15 Q. Have you seen any studies that Lilly  
16 may or may not have conducted measuring whether  
17 physicians understood that 1 percent figure to  
18 reflect the reporting threshold?

19 A. No.

20 MR. STEKLOFF: Object to form.

21 BY MR. WISNER:

22 Q. Okay. Would you agree, though, that  
23 a specific frequency of the symptom would have  
24 been helpful in the label?

25 MR. STEKLOFF: Object to form.

8404  
CONFIDENTIAL

1                   Before you began preparing your  
2 report for this case, you believed that  
3 discontinuation symptoms were rare for Cymbalta,  
4 correct?

5           A.     Yes.

6           Q.     And during the course of your  
7 evaluation, has that opinion changed?

8           A.     No.

9           MR. WISNER: No further questions.

10           THE VIDEOGRAPHER: This concludes  
11 today's videotaped deposition of Dr. Michael  
12 Clark. We are going off the record. The  
13 time is 16:11 p.m.

14           (Whereupon, signature not having been  
15 waived, the deposition concluded at 4:11 p.m.)

16                                   \* \* \*

17

18

19

20

21

22

23

24


25

1 CERTIFICATE OF COURT REPORTER

2 UNITED STATES OF AMERICA )

3 DISTRICT OF COLUMBIA )

4 I, LORI J. GOODIN, the reporter before  
5 whom the foregoing deposition was taken, do  
6 hereby certify that the witness whose testimony  
7 appears in the foregoing deposition was sworn by  
8 me; that the testimony of said witness was taken  
9 by me in machine shorthand and thereafter  
10 transcribed by computer-aided transcription; that  
11 said deposition is a true record of the testimony  
12 given by said witness; that I am neither counsel  
13 for, related to, nor employed by any of the  
14 parties to the action in which this deposition  
15 was taken; and, further, that I am not a relative  
16 or employee of any attorney or counsel employed  
17 by the parties hereto, or financially or  
18 otherwise interested in the outcome of this  
19 action.

20 

21 \_\_\_\_\_  
LORI J. GOODIN

22 Notary Public in and for the  
23 District of Columbia

24  
25 My Commission expires: May 14, 2016

8406  
CONFIDENTIAL

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

DEPOSITION ERRATA SHEET

Case Caption: Ali, Janine vs. Eli Lilly and  
Company

DECLARATION UNDER PENALTY OF PERJURY

I declare under penalty of perjury  
that I have read the entire transcript of  
my Deposition taken in the captioned matter  
or the same has been read to me, and  
the same is true and accurate, save and  
except for changes and/or corrections, if  
any, as indicated by me on the DEPOSITION  
ERRATA SHEET hereof, with the understanding  
that I offer these changes as if still under  
oath.

Signed on the \_\_\_\_\_ day of  
\_\_\_\_\_, 20\_\_.

\_\_\_\_\_

Michael Clark, M.D.