

IN THE UNITED STATES DISTRICT COURT
DISTRICT OF MASSACHUSETTS

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

-----x

IN RE: CELEXA AND LEXAPRO) MDL NO. 2067
MARKETING AND SALES PRACTICES) Master Docket No.
LITIGATION) 09-MD-2067-(NMG)

)
PAINTERS AND ALLIED TRADES) Case No. 13-CV-13113
DISTRICT COUNCIL 82 HEALTH) (NMG)
CARE FUND, A THIRD-PARTY)
HEALTHCARE PAYOR FUND, on) Hon. Nathaniel Gorton
behalf of itself and all)
others similarly situated,) Hon. Marianne Bowler
Plaintiffs,)

v.)
))
FOREST PHARMACEUTICALS, INC.,)
and FOREST LABORATORIES, INC.,)
Defendants.)

-----)

IN RE: CELEXA AND LEXAPRO) MDL NO. 2067
MARKETING AND SALES PRACTICES) Master Docket No.
LITIGATION) 09-MD-2067-(NMG)
DELANA S. KIOSOVSKI and) Hon. Nathaniel Gorton
RENEE RAMIREZ, on behalf of)
themselves and all others) Case No.
similarly situated,) 14-CV-13848 (NMG)
Plaintiffs,)

v.) Hon. Nathaniel Gorton
))
FOREST PHARMACEUTICALS, INC.) Hon. Marianne Bowler
and FOREST LABORATORIES, INC.,)
Defendants.)

-----x

VIDEOTAPED DEPOSITION OF THOMAS LAUGHREN, M.D.

ROCKVILLE, MARYLAND

FRIDAY, JANUARY 27, 2017

9:08 A.M.

1 Oh, at the time I left the VA?

2 Q Yes.

3 A No, that was -- that was pre-SSRI.

4 Q So the first SSRI that I'm aware of was
5 Prozac; is that right?

6 A That's correct.

7 Q And that was approved after you arrived
8 at the FDA.

9 A That was -- that was late '80s. That was
10 probably '87, something like that.

11 Q Were you at all involved with the
12 approval or review of Prozac?

13 A Very much so, yes.

14 Q Okay. And subsequent to Prozac, there's
15 been a host of other SSRIs that have been approved by
16 the FDA; is that right?

17 A That's correct.

18 Q Some of those include Paxil, Zoloft,
19 Celexa, Lexapro.

20 Are you aware of those?

21 A Luvox.

22 Q Luvox.

23 Would it be fair to say that during your
24 time at the FDA, you were involved in some capacity

1 with the approval or review of all of those SSRIs?

2 A Every one of them, because I was -- about
3 three years after I started at FDA, I became team
4 leader for psychopharmacology in the division of
5 neuropharmacological drug products, and so I was
6 involved with -- with every -- every psychiatric drug
7 development program.

8 Q And that also includes, I assume,
9 antipsychotics as well?

10 A Absolutely.

11 Q Now, the most recent SSRI that I'm
12 familiar with that's been approved is -- you can
13 correct me if I'm wrong, you probably know better
14 than me -- but is it Viibryd?

15 A Vilazodone. It's a --

16 Q Vilazodone.

17 A -- it's not a -- is not an SSRI. It's a
18 much more complicated product. It has other -- it
19 has some -- some serotonin reuptake activities, but
20 it also has some other activities, 5-HT1A and so
21 forth. It's not -- it's not considered an SSRI,
22 although it has -- it has effects on the serotonin
23 transporter which is characteristic of the SSRIs, but
24 it's a more complex drug.

1 was based solely upon statistical significance and
2 that clinical meaning -- whether or not something was
3 clinically meaningful was something for the academics
4 and the doctors to figure out?

5 MS. KIEHN: Objection.

6 THE WITNESS: I don't -- I don't entirely
7 agree with that. I -- I know Paul Lieber very well.

8 BY MR. WISNER:

9 Q Sure.

10 A I've known him for many, many decades,
11 and -- and he was the division director at the time
12 that Zoloft was under consideration, so he would have
13 approved Zoloft. I don't think he would have
14 approved Zoloft if he didn't think that it was a
15 clinically meaningful effect, despite what he might
16 have said at an advisory committee, because Paul --
17 Paul liked to talk a lot.

18 Q Does the FDA in reviewing a compound for
19 approval review internal correspondence from the drug
20 company?

21 A That's typically not part -- I mean, FDA
22 tends to focus more on the data. And so actually
23 often when a clinical reviewer gets an application,
24 they often go right to the data rather than even

1 reading the summary, because they don't want to be
2 influenced by -- by, you know, the company's spin on
3 the data. So they just go right to the datasets and
4 the tables and look at the data.

5 Q Now, during your time at the FDA, do you
6 ever recall looking at a dataset and going, I think
7 this is all made up?

8 MS. KIEHN: Objection.

9 THE WITNESS: I -- I don't recall ever
10 reaching that judgment on a -- based on a dataset.

11 BY MR. WISNER:

12 Q Would it be fair to say that when a drug
13 sponsor submits the data from a clinical trial, you
14 take it at face value as being true and accurate?

15 MS. KIEHN: Objection.

16 THE WITNESS: I -- I wouldn't say that we
17 took it at face value. You know, we -- we
18 certainly -- you know, part -- the process of
19 reviewing a new drug application is very complex. It
20 includes doing -- you know, there's an Office of
21 Scientific Investigations that goes out and actually
22 looks at trial sites to try and -- and get at that
23 very issue, you know, whether -- a question like
24 whether or not the data are real, whether or not

1 Q Okay. Following your departure from FDA,
2 you were approached by Forest to consult with them in
3 a litigation capacity, correct?

4 MS. KIEHN: Objection.

5 THE WITNESS: That's correct.

6 BY MR. WISNER:

7 Q And that was within about two months
8 after leaving the FDA; is that right?

9 A I left FDA in December of 2012. I think
10 I got called probably sometime in the spring, so
11 probably it would have been more four to five months,
12 something like that.

13 Q And you were approached by Forest to
14 provide testimony specifically related to Celexa and
15 Lexapro, correct?

16 A Well, specifically with regard to -- to
17 Lexapro. The Brown case was -- was about Lexapro, I
18 believe.

19 Q Okay. But in the Brown case you were
20 being offered as not only an expert on Lexapro but
21 also an expert with regards to Celexa.

22 A Yes.

23 Q When you were approached in 2013 to be a
24 consultant for Forest, did they disclose their

1 criminal conduct to you at that time?

2 MS. KIEHN: Objection.

3 THE WITNESS: I -- I -- I don't recall

4 that.

5 BY MR. WISNER:

6 Q Is that something you would have wanted
7 to have known before you agreed to -- to work with a
8 company in any sort of expert capacity?

9 A I -- my consultation was specifically
10 focused on the -- on the Brown case, so I -- you
11 know, and that -- and that would have been my focus.

12 Q Absolutely, Doctor.

13 However, you would have wanted to have
14 known that the company that was hiring you to be an
15 expert for them was an admitted criminal when it came
16 to their promotional practices with regards to Celexa
17 and specifically with children, correct?

18 MS. KIEHN: Objection.

19 THE WITNESS: I -- I don't -- I don't

20 know that -- again, you -- you use the word

21 "criminal." As a -- as a clinician, I don't think

22 it's inappropriate at all for a -- it wouldn't have

23 been inappropriate for a clinician to use Celexa in

24 treating children with depression even though it

1 wasn't specifically labeled for that. Because, you
2 know, I -- if there is ever a reason to believe that
3 these drugs, even though they were initially studied
4 in adults, would work in children, and -- and
5 childhood depression is a very serious problem that
6 needs to be addressed. So, again, I wouldn't have
7 been focused on that aspect of things. That's all I
8 can say.

9 BY MR. WISNER:

10 Q Okay, Doctor, but you understand that
11 Forest didn't plead guilty because doctors used
12 Celexa off label. They pled guilty because they
13 promoted the off-label use of Celexa in children.

14 You understand that?

15 MS. KIEHN: Objection.

16 THE WITNESS: I understand that.

17 BY MR. WISNER:

18 Q And I guess my question is now, at this
19 moment, the fact that a company that was hiring you
20 had pled guilty to committing the crime of off-label
21 promotion with regards to children, is that something
22 that you would have liked to have known?

23 A I don't --

24 MS. KIEHN: Objection.

1 Q All right. If you look at the next
2 sentence, it says: "Since there was agreement
3 between the sponsor and FDA that these trials were
4 negative, there was no need for a statistics review
5 of the efficacy data."

6 Do you see that?

7 A Yeah, I -- I see -- I see that now, and
8 that's a -- of course, a misstatement because one of
9 the studies was positive. And I noticed that I -- I
10 state that in the first paragraph here. I state it
11 again on page 3 in my comment on Study MD-18. I say:
12 "I agree with Dr. Hearst that this is a positive
13 study."

14 And I say it several times later in the
15 document. So I don't -- I don't recall why -- why I
16 said that. But the statement -- you know, the -- the
17 conclusion is still the same. Since our requirement
18 for approving a pediatric supplement would have been
19 two studies, two positive studies, and since it
20 didn't meet that threshold -- so since we knew that
21 we weren't going to approve it, we often wouldn't get
22 a full statistical review at that time.

23 Q Would it be fair to say then that when
24 you stated here that the agreement between the

1 sponsor and FDA that these trials were negative
2 was referring to negative in the sense that it
3 wouldn't be sufficient to secure a pediatric
4 indication?

5 A That's -- that's the way I interpret
6 that, yes.

7 Q Now, it says "sponsor" here. I just want
8 to be clear that's referring to Forest, correct?

9 A Correct.

10 Q Okay. It says: "There was no need for a
11 statistics review of the efficacy data."

12 What is a statistics review?

13 A It -- it's an overlapping review that
14 specifically focuses on the -- on the efficacy data.
15 Somewhat redundant with the clinical review.

16 Q And what -- what is the difference, if
17 there is any, between a statistics review and a
18 clinical review?

19 A The -- the statistical review would
20 likely go into more detail on the -- on the analysis
21 plan and whether or not it was followed in -- in
22 conducting the analysis.

23 Q And by analysis plan, you are referring
24 to the prespecified efficacy parameters and the

1 protocol?

2 A And -- and the plan for analyzing the
3 data.

4 Q So that also would apply to adverse
5 events, safety data as well?

6 A Typically a statistics reviewer would not
7 look at -- at adverse events because there's -- there
8 wouldn't have been any hypothesis testing, and their
9 focus is primarily on hypothesis testing.

10 Q Do you have any independent recollection
11 of having any discussions with Forest about there not
12 being a need for a statistics review of the efficacy
13 data?

14 A No. No.

15 Q Okay. Is that a discussion, based on the
16 sentence you read here, that you probably did have at
17 some point?

18 A I -- I doubt that -- I doubt that we
19 actually had a discussion about that. It was -- it
20 would have been just obvious since everyone knew what
21 the standard was that you had to have two studies to
22 get a claim, and they -- they clearly acknowledged
23 that one of their studies was negative. So there
24 wouldn't have been any basis for a claim.

1 Q All right. If you look at page 2, from
2 page 2 to page 4, you did a sort of overview review
3 of Study MD-18 and Study 94404, correct?

4 A Correct.

5 Q All right. Let's first look at page 4.
6 Do you see the last sentence of the second paragraph
7 that reads: "The results on the primary outcome were
8 as follows"? Do you see that?

9 A Yes.

10 Q Now, when you say "primary outcome" here,
11 you're referring to the primary endpoint, correct?

12 A That's correct.

13 Q Okay. And then you see here listed are
14 the efficacy results on the Kiddie-SADS-P total score
15 for Study 94404, open paren, OC, close paren.

16 Do you see that?

17 A Yes.

18 Q Is it your understanding that the
19 Kiddie-SADS-P total score was the primary efficacy
20 endpoint for Study 94404?

21 A Yes.

22 Q And it says -- and the Kiddie-SADS-P,
23 that's referring to a rating scale for pediatric
24 depression?

1 A General -- generally, you know, at that
2 time we tended to rely more on LOCF analyses than
3 observed cases. They both have their pros and cons.

4 Q I don't want to get into a longwinded
5 answer, and if it takes too long to explain, that's
6 fine, but what are sort of the pros and cons of the
7 two analyses?

8 A Well, the problem with the observed cases
9 is that it's a -- it's a truncated analysis in the
10 sense that you're not using data from patients who
11 didn't complete.

12 The problem with an LOCF analysis is that
13 you're -- you're assuming that the score at eight
14 weeks is -- that if that patient continued, it would
15 have been that same score at 12 weeks, and that's --
16 that's an assumption that's -- you don't have any way
17 of verifying that. So...

18 Q So you agree then that the OC approach as
19 well as the LOCF approach are really two different
20 ways of looking at the same data?

21 A Yes.

22 Q And typically the protocol will specify
23 whether or not the primary endpoint will use an LOCF
24 or an OC analysis, right?

1 My question was to you, is there anything
2 that was truthful or accurate about this, and you
3 specified that there was a typo, 0.52; is that right?

4 A That -- that's correct.

5 Q Okay.

6 A It's -- it's 0.052.

7 Q Now, you also just testified that a
8 P-value of 0.052 is statistically significant; is
9 that right?

10 A It's close enough.

11 Q I'm sorry, that wasn't my question.

12 Does a P-value of 0.052 meet the
13 threshold of statistical significance, yes or no?

14 A Whether -- whether or not a -- a P-value
15 meets that standard is a judgment. It is a judgment.
16 Most people in looking at a P-value of 0.052 would
17 round it to 0.05. And so in my -- in my view, that's
18 close enough.

19 Q I'm sorry, Doctor. My question to you
20 was not whether it's close enough.

21 My question to you and to this jury and
22 under oath, and as someone who worked at the FDA for
23 29 years, a P-value of 0.052, does that meet the
24 definition of "statistically significant" or not?

1 A It's close enough.

2 Q So you think it's close enough. Does it
3 meet the value or not?

4 Doctor, a P-value -- for a P-value to be
5 statistically significant, it has to be at 0.05 or
6 lower, correct?

7 MS. KIEHN: Objection.

8 THE WITNESS: 0.052 in my mind, in my
9 view and my judgment, and actually in the judgment of
10 most people at FDA who evaluate clinical trials, is
11 close enough.

12 BY MR. WISNER:

13 Q All right. I appreciate your answer.

14 I'm going to ask the question again. I understand
15 you want to say it's close enough, and I appreciate
16 that, but that's not my question.

17 My question to you is, a P-value is
18 statistically significant if it is at 0.05 or lower,
19 correct?

20 MS. KIEHN: Objection.

21 THE WITNESS: That's -- that's one
22 definition of statistical --

23 BY MR. WISNER:

24 Q That is the standard definition, Doctor,

1 MS. KIEHN: Objection.

2 THE WITNESS: I would need, you know, the
3 full documents because I obviously made a -- made a
4 typo.

5 BY MR. WISNER:

6 Q Okay. Now, in that sentence, before
7 that, you said: "There was a packaging error in
8 tablets being distinguishable for drug and placebo
9 for nine patients, although still blinded."

10 It was your understanding that the
11 patients, despite getting a different color tablet,
12 were still blinded, correct?

13 MS. KIEHN: Objection.

14 THE WITNESS: I -- I'm assuming that I
15 made that statement based on something that I had
16 seen in -- in the supplement.

17 BY MR. WISNER:

18 Q Okay. So it was your understanding that
19 the patients, despite receiving different color
20 tablets, were still blinded, correct?

21 MS. KIEHN: Objection.

22 THE WITNESS: Well, that -- that was --
23 that was my assumption, correct.

24 BY MR. WISNER:

1 Q If in fact the patients were unmistakably
2 unblinded, that is not what you understood at the
3 time that you wrote this memorandum, correct?

4 MS. KIEHN: Objection.

5 THE WITNESS: I -- I -- again, this goes
6 back almost 15 years. I'm not sure what my state of
7 mind was at the time that I -- that I wrote this
8 memo. But my belief was based on what I've written
9 here is that the patients were blinded.

10 BY MR. WISNER:

11 Q Okay.

12 (Exhibit No. 8 was marked for
13 identification.)

14 BY MR. WISNER:

15 Q All right. I'm going to hand you what's
16 marked as Exhibit 8 to your deposition.

17 This is a document titled "Study Report
18 for Protocol No. CIT-MD-18." It is dated April 8,
19 2002.

20 Do you recognize this document, Doctor?

21 A Is this the same document that you gave
22 me previously? Oh, study report. Okay. So this --
23 okay.

24 Q Do you recognize this document?

1 changed the results, doesn't he?

2 MS. KIEHN: Objection.

3 THE WITNESS: Well, he -- he states
4 that -- yes, he does state that, you know, that
5 excluding those patients led to a decrease in the
6 least squares' mean difference and increased the
7 P-value.

8 BY MR. WISNER:

9 Q And the exclusion of those nine patients,
10 according to him, changed the P-value from being
11 0.038 to 0.052. Do you see that?

12 A I do.

13 Q Now, you agree that 0.038 is -- is
14 statistically significant?

15 A I do.

16 Q That is clearly statistically
17 significant, right?

18 A Yes.

19 Q That is below 0.05, right?

20 A That's correct.

21 Q Now, 0.052, you testified already that
22 that is statistically significant -- I believe you
23 said it was close enough; is that right?

24 A I did.

1 Q Okay. But you agree that 0.052 is more
2 than 0.050, right?

3 MS. KIEHN: Objection. Asked and
4 answered.

5 THE WITNESS: I -- I do.

6 BY MR. WISNER:

7 Q Okay. It appears, based on the fact that
8 Dr. Hearst copied and pasted a portion of the final
9 study report into his own clinical review, that
10 Dr. Hearst relied upon the statements made in the
11 final study report.

12 MS. KIEHN: Objection.

13 THE WITNESS: It certainly appears that
14 he read it.

15 BY MR. WISNER:

16 Q And do you recall whether or not you had
17 any conversations with Dr. Hearst about this
18 unblinding issue?

19 MS. KIEHN: Objection.

20 MS. WEINMAN: Objection.

21 THE WITNESS: I -- I don't recall.

22 BY MR. WISNER:

23 Q Okay. And I don't want to know any of
24 the substance of any of those conversations, but if

1 state that the integrity of the blind was
2 unmistakably violated, did it?

3 A No.

4 Q In fact, the final study report stated
5 that they were otherwise blinded, didn't it?

6 A It -- it suggests that there was a
7 potential for unblinding, but didn't acknowledge
8 that -- that the investigators at least, if
9 they received -- if they noticed that the tablets had
10 the -- you know, the name "Celexa" on them and were
11 commercial tablets, that the investigators at least
12 would have -- would have been unblinded with regard
13 to those patients.

14 Q Before we get to the next e-mail, does it
15 concern you that the clinical medical director at the
16 time, Dr. Flicker, believes that a letter that is
17 being proposed to the FDA contains "a masterful
18 stroke of euphemism"?

19 MS. KIEHN: Objection.

20 THE WITNESS: Yeah, no, that's -- that's
21 concerning, I would say.

22 BY MR. WISNER:

23 Q Okay. Let's take a look at Mrs. Rubin's
24 response. Do you see the -- the response right above

1 that that's dated March 15, 2000?

2 A I do.

3 Q This is the day after Dr. Flicker's
4 e-mail. Do you see that?

5 A I do.

6 Q She states: "Thanks for the compliment.
7 Part of my job is to create," quote, "masterful,"
8 unquote, "euphemisms to protect medical and
9 marketing."

10 Do you see that?

11 A I do.

12 Q Now, I will represent to you Amy Rubin
13 was in regulatory affairs for Forest.

14 Does it concern you that an employee for
15 Forest whose job it is to interact with the FDA
16 states that it's part of her job to "create masterful
17 euphemisms to protect medical and marketing"?

18 MS. KIEHN: Objection.

19 THE WITNESS: It -- it is objectionable.
20 I mean, my -- my expectation of -- of companies is
21 that they will be, you know, completely transparent
22 with -- with the FDA about what happened in the
23 conduct of a trial.

24 BY MR. WISNER:

1 Q Now, earlier in 2013 you were actually
2 asked to be an expert for Forest, weren't you?

3 A An expert in -- in litigation, yes.

4 Q For the Brown case, correct?

5 A Yes.

6 Q And, actually, one of the --

7 THE VIDEOGRAPHER: Doctor, if you would,
8 I think your phone is in your shirt pocket.

9 (A discussion was held off the record.)

10 THE VIDEOGRAPHER: Excuse me.

11 MR. WISNER: No problem.

12 BY MR. WISNER:

13 Q I'm sorry, Doctor, you were saying you
14 believed that it's important for pharmaceutical
15 companies to be straightforward and honest with the
16 FDA, right?

17 A Yes.

18 Q And does it concern you -- and I'm sorry
19 if I asked this question already, but I got
20 distracted, so I just want to keep the record clear.

21 Does it concern you that Ms. Rubin, whose
22 job it was to interact with the FDA, believes that
23 it's her job to "create masterful euphemisms to
24 protect medical and marketing"?

1 MS. KIEHN: Objection.

2 THE WITNESS: What -- what concerns me

3 is -- is that -- you know, what was represented to

4 FDA was not precisely what happened.

5 BY MR. WISNER:

6 Q Doctor, it kind of looks like Ms. Rubin
7 here is bragging about misleading the FDA, doesn't
8 it?

9 MS. KIEHN: Objection.

10 THE WITNESS: I -- it -- I must say I --
11 I find that kind of language objectionable. But,
12 again, what I mostly object to is, is the fact that
13 Forest apparently knew that -- that it wasn't just a
14 difference in coloring. The tablets that were sent
15 actually had the brand name on them. That appears to
16 be what happened. It would have been more
17 transparent to say that.

18 I'm not sure that it would have made a
19 difference in this case, you know, based on the data
20 that I've seen, but I think it would have been more
21 up front to -- to be, you know, transparent with FDA.

22 BY MR. WISNER:

23 Q Now, I -- this is where I was going
24 earlier and now I remember. In 2013, you were asked

1 "MR. ABRAHAM: Objection. Calls
2 for speculation.

3 "THE WITNESS: If I were the only
4 one involved in writing it, I
5 probably would have written it
6 somewhat differently."

7 Do you see that?

8 A Yes.

9 Q It appears based on Dr. Heydorn's
10 testimony, he did not believe that the final study
11 report was fully up front or forthcoming with the
12 FDA; isn't that true?

13 MS. KIEHN: Objection.

14 THE WITNESS: That's what he's saying.

15 BY MR. WISNER:

16 Q And he's the man who actually was
17 responsible for the final study report for Study
18 MD-18, right?

19 MS. KIEHN: Objection.

20 THE WITNESS: He appears to have been,
21 yes.

22 BY MR. WISNER:

23 Q Does it concern you that Dr. Heydorn, who
24 was a former FDA employee himself, thinks that Forest

1 was not as forthcoming as it should have been with
2 the FDA about its representation of the results from
3 MD-18?

4 MS. KIEHN: Objection.

5 THE WITNESS: Yes.

6 BY MR. WISNER:

7 Q You would agree, Dr. Laughren, that I've
8 shown you several documents today that suggest that
9 at least people within Forest believed that these
10 nine patients who were subject to the dispensing
11 error were unblinded.

12 MS. KIEHN: Objection.

13 THE WITNESS: It appears that that is the
14 conclusion that -- that some people reached.

15 BY MR. WISNER:

16 Q And you would agree with me that the
17 final study report did not disclose unequivocally
18 that these patients were unblinded, correct?

19 MS. KIEHN: Objection.

20 THE WITNESS: It -- it referred -- it
21 referred to them as potentially unblinded. And --
22 and that is still a possibility, but probably less a
23 probability than if they had just been different
24 colored tablets without the brand name on them.

1 you know, what -- what he -- what he looked at before
2 he used this language.

3 So, again, I -- you know, we're making a
4 lot of assumptions that he never actually looked at
5 any of these data tables. I don't -- I don't know
6 that.

7 BY MR. WISNER:

8 Q Fair enough.

9 Now, Doctor, in the course of your work
10 at the FDA, do you recall copying and pasting
11 language from a final study report into your medical
12 review?

13 A No, I -- I -- I did not do that.

14 Q Why not?

15 A Because I preferred to reach my own
16 conclusions.

17 Q Now, the way this is written in the final
18 study report and transcribed into Dr. Hearst's
19 review, that does appear to have been trying to
20 emphasize the positive results to earlier time points
21 and avoid discussion of the fact that all the
22 secondary endpoints that we gave were negative,
23 right?

24 MR. ROBERTS: Objection.

1 THE WITNESS: Well, I -- I don't want to
2 assume motive. I -- I don't know what he had in mind
3 when he did this.

4 BY MR. WISNER:

5 Q Fair enough.

6 Putting Dr. Hearst aside, I'm talking
7 about Forest, we saw that they had a conference where
8 they said they were going to emphasize this.

9 A Yes. Yes. No, it's -- it is consistent
10 with -- with that view of focusing on the positive
11 and not giving a complete picture.

12 Q And it appears that that spin that Forest
13 put into the final study report made it into
14 Dr. Hearst's report, correct?

15 MR. ROBERTS: Objection.

16 THE WITNESS: It -- it appears to have,
17 yes.

18 BY MR. WISNER:

19 Q Okay. Let's go back to Exhibit 3, which
20 is your memorandum.

21 All right. If you turn to page 3. Now,
22 on page 3, just above the paragraph that says
23 "comment," there is a sentence that reads: "Results
24 also significantly favored citalopram over placebo on

1 most secondary outcomes."

2 Do you see that?

3 A Yes.

4 Q Now, you didn't state there that all the
5 prespecified secondary endpoints were negative at
6 week 8, right?

7 MR. ROBERTS: Objection.

8 THE WITNESS: Correct.

9 BY MR. WISNER:

10 Q You're referring here, I assume, to the
11 earlier time points when there were statistically
12 significant results in the secondary endpoints,
13 correct?

14 MR. ROBERTS: Objection.

15 THE WITNESS: I -- again, I don't -- this
16 was written a long time ago. I don't recall what
17 would have been in my mind at the time that I wrote
18 this, but it -- you're correct in saying that it
19 doesn't -- it doesn't emphasize the fact that the
20 eight-week results were all negative on the secondary
21 endpoints.

22 BY MR. WISNER:

23 Q Now, I know you don't recall this, but is
24 it possible that when you were drafting this memo,

1 you looked at the final study report, looked at
2 Dr. Hearst, who you relied upon, and thought, Oh,
3 most of the secondary endpoints must have been
4 positive?

5 MR. ROBERTS: Objection.

6 THE WITNESS: I -- I would -- I would
7 have to speculate about what -- what I was looking at
8 at the time when I wrote this, and I -- I -- I prefer
9 not to do that. I just -- I don't know.

10 BY MR. WISNER:

11 Q Okay. Would you agree with me, though,
12 that it would be accurate to say all the protocol
13 specified secondary endpoints for Study MD-18 were
14 negative at week 8?

15 MR. ROBERTS: Objection.

16 THE WITNESS: That is -- that appears to
17 be correct, yes.

18 BY MR. WISNER:

19 Q And would you agree with me that -- that
20 you don't state that in your memo?

21 A I -- I do not state that in my memo.

22 Q And you would agree with me from what
23 we've seen in Dr. Hearst's clinical review, he did
24 not state that either.

1 A He did not appear -- appear to do that
2 either.

3 Q Okay. So on the same page -- you have
4 your memo in front of you, right?

5 A Yes.

6 Q Okay. You have broken down the efficacy
7 results between children and adolescents. Do you see
8 that?

9 A I do.

10 Q Now, you understand that Dr. Hearst
11 didn't present data this way, right?

12 MR. ROBERTS: Objection.

13 THE WITNESS: I would have to look at --

14 BY MR. WISNER:

15 Q Please take a look and tell me if he did.

16 A (Perusing document.)

17 Can you direct me again to where on
18 his --

19 Q Sure.

20 A -- his review the efficacy findings --

21 Q It's just on page 11, that's -- that's
22 about it. That's the only reference to secondary
23 endpoints or even primary endpoints for MD-18 that
24 I've seen.

1 On page 11, do you see any reference to
2 it?

3 A No. No, I don't. So he didn't break it
4 down that way.

5 Q Okay. Do you know why you did?

6 A It's something that I -- that I generally
7 do. I -- you know, I explore a little bit more.
8 So...

9 Q Were you trying to somehow see if there
10 was any indications from the data that might suggest
11 that there are some positive results somewhere in the
12 data?

13 MR. ROBERTS: Objection.

14 THE WITNESS: What -- what I was trying
15 to do, because, again, you're dealing with a -- with
16 a -- in pediatric years, a fairly wide range there of
17 children and adolescents, and it's, in general, of
18 interest to know -- because there have been many
19 other cases where we have found some differences in
20 the effect of a drug in children compared to
21 adolescents. Adolescents tend to look more like
22 adults.

23 So that -- that's -- that's why I broke
24 it down that way.

1 BY MR. WISNER:

2 Q Okay.

3 A I mean if you look at the findings, it's
4 not as if the findings are entirely coming from
5 adolescents, but the effect size is -- is somewhat
6 bigger in the adolescents. So in children, it's
7 about, you know, about four units difference on this
8 measure. In adolescents, it's closer to seven.
9 So...

10 Q Now, in the -- in your memo you said:
11 "The sponsor did not calculate P-values for these
12 groups separately."

13 Do you see that?

14 MR. ROBERTS: Where is that?

15 THE WITNESS: Where do I say that?

16 Oh, right, right, right. Yeah, you
17 ordinarily wouldn't do that in a -- in an
18 exploratory -- it's -- it's an exploratory analysis.
19 You're not testing a hypothesis. Ordinarily you
20 don't generate a P-value unless you're specifically
21 testing a hypothesis.

22 BY MR. WISNER:

23 Q Fair enough.

24 And so just based on what you said here,

1 do you know whether or not the differences observed
2 here were statistically significant or not?

3 A I -- I don't. And again, from my
4 standpoint, it -- it wouldn't be that important.
5 Because a P-value, whether it met that usual
6 threshold of statistical significance would not be
7 particularly relevant for something that wasn't --
8 that wasn't being prespecified and tested.

9 I mean -- and you could do that. You
10 could say if you make it on the overall analysis,
11 then you get to -- you have another 0.05 to look
12 first at -- at adolescents, and if you win there,
13 then you get to look at -- but it wasn't done that
14 way.

15 Q Okay. And that's all I was saying is the
16 reason why there is no P-value is because that wasn't
17 the hypothesis being tested, right?

18 MR. ROBERTS: Objection.

19 THE INTERPRETER: Right.

20 BY MR. WISNER:

21 Q Okay. Now -- all right.

22 Keep this all here, but can you pull out
23 Exhibit 19, which is the e-mail with the pharma --
24 Pharmanet notes attached to it.

1 significance, clinical significance remains elusive."

2 See that?

3 A I -- I do.

4 Q And you agree with that, right?

5 A I do agree with that.

6 Q Okay.

7 A But we were talking about that earlier.

8 Q Exactly.

9 It continues: "Many statistical
10 methodologies have been put forth to measure the
11 magnitude of a clinical effect," open paren, "an
12 effect size," close paren. "One of the most
13 frequently used effect size measures is Cohen's d."

14 Do you see that?

15 A I do.

16 Q Are you familiar with the Cohen's d or
17 Cohen effect size?

18 A Yes.

19 Q Okay. Is that something that you would
20 consider in assessing whether or not the results of a
21 clinical trial are clinically meaningful?

22 A I -- I think -- I think it has value. I
23 don't think it's perfect, and -- and FDA
24 statisticians tend not to like it because it's, in

1 part, dependent on sample size. The standard
2 deviation shrinks as you increase the sample size,
3 and, of course, that's a denominator in the
4 calculation for Cohen's c .

5 Q Yeah.

6 A So they -- they tend not -- not to use
7 it, but I -- I do use it myself. I think it's --
8 it's useful, but it isn't perfect.

9 Q All right. It goes on to say: "A
10 randomized controlled trial, RCT, Cohen's d is the
11 difference between the treatment and control means
12 divided by the assumed common standard deviation. It
13 is a clinically interpretable effect size reflecting
14 a degree of overlap between the patient responses in
15 the treatment and control groups when the responses
16 have normal distributions with equal variances."

17 Do you see that?

18 A Yes.

19 Q For the people here who do not have a
20 degree in statistics, does that generally say that
21 the Cohen effect size can be an effective measure for
22 assessing clinical significance?

23 MR. ROBERTS: Objection.

24 THE WITNESS: It -- it's -- it's a useful

1 way of roughly assessing -- putting -- putting a
2 numeric -- putting a metric on effect size by sort of
3 standardizing it with the standard deviation. And so
4 it's a way of making comparisons across different
5 trials, across different diseases, across different,
6 you know, outcome measures. It's -- it's sort of a
7 standard -- and that's why, you know, we say, you
8 know, an effect size of like 0.3, which is typical of
9 what you get in a depression study, is pretty -- is
10 pretty small. In other disorders like ADHD, you get
11 much bigger effect sizes that are based -- based on
12 Cohen's d. So...

13 BY MR. WISNER:

14 Q Sure. Are you familiar with something
15 called the number needed to treat?

16 A Yes.

17 Q And what is that?

18 A So the number needed to treat is -- is a
19 number that you can calculate if you're -- if you're,
20 you know, basically using percentage of responders,
21 proportion of responders as an outcome.

22 And so, say, if you have a trial where,
23 you know, 75 percent of patients in a -- in a trial
24 were assigned a drug have a, quote, response, however

1 MR. ROBERTS: Objection.

2 THE WITNESS: That's correct, although
3 that wasn't the -- that wasn't the protocol specified
4 primary analysis.

5 BY MR. WISNER:

6 Q Sure. But we know that the OC results
7 for the people who actually completed the clinical
8 trial, that actually was negative for efficacy,
9 right?

10 A That's true.

11 Q We know that with Study MD-18 that there
12 were nine patients that Dr. Flicker characterized as
13 being unmistakably unblinded, right?

14 MR. ROBERTS: Objection.

15 Mischaracterizes the evidence.

16 THE WITNESS: That's correct.

17 BY MR. WISNER:

18 Q And we know that when those nine patients
19 are excluded from the primary efficacy analysis
20 pursuant to the LOCF analysis, that the P-value goes
21 higher than 0.050, right?

22 MR. ROBERTS: Objection.

23 THE WITNESS: That's -- that's true.

24 However, I would push back a little bit on that to

1 A Yes.

2 MS. KIEHN: Objection.

3 BY MR. WISNER:

4 Q And you believe obviously the same thing
5 with escitalopram itself, right?

6 MS. KIEHN: Objection.

7 THE WITNESS: Yes.

8 BY MR. WISNER:

9 Q Okay. Considering what you just said, do
10 you think it's appropriate that Forest should have
11 been allowed to have exclusivity over S-citalopram,
12 even though it essentially was just the effective
13 part of Celexa?

14 MS. KIEHN: Objection.

15 THE WITNESS: Again, as I -- excuse me.
16 As I -- as I said, there are important differences
17 between S-citalopram and racemic citalopram. Mostly
18 on the safety side. So they're not -- they're not
19 the same compound.

20 BY MR. WISNER:

21 Q Okay. Are you familiar, just by any
22 chance, with the phrase "evergreening"?

23 A No.

24 Q Okay. All right. So my understanding

1 based on the response from the FDA is that if Forest
2 could produce a positive double-blind,
3 placebo-controlled clinical trial with Lexapro in
4 children aged 12 to 17, it would then agree to
5 provide an indication for Lexapro for that age group.

6 A Yes, that's -- that is what it's saying.
7 I mean, of course, it would -- you know, it would
8 have to be reviewed. It's subject to review by FDA.
9 But in principle, yes, that is what this letter says.

10 Q And -- and this agreement that the FDA
11 made was done notwithstanding the fact that
12 Study MD-18 was a study that was not relegated solely
13 to adolescents, right?

14 A That -- that -- that's correct.

15 Q And that -- I'm sorry.

16 A However, as -- and, again, it's -- you
17 know, this was an exploratory post hoc analysis, but
18 I did show at least in my memo that -- that the
19 effect size was -- you know, the effects were
20 probably more driven by the adolescents than by the
21 children in that study.

22 Q Sure. And I -- I'm not saying that you
23 didn't do that, Doctor.

24 I guess my question, though, is

1 in MD-18, right?

2 MS. KIEHN: Objection.

3 THE WITNESS: That's correct.

4 BY MR. WISNER:

5 Q And we discussed earlier that when you
6 increase the sample size in a clinical trial, what
7 would otherwise be statistically insignificant
8 differences between the placebo arm and the drug arm
9 can suddenly reach a statistically significant
10 P-value, correct?

11 MS. KIEHN: Objection.

12 THE WITNESS: There's no question that --
13 that the sample size will -- an increase in the
14 sample size can in some settings -- it doesn't
15 always, but it can reduce variance, and therefore,
16 you know, increase the chance of getting a
17 statistically significant P-value.

18 BY MR. WISNER:

19 Q Now, in Study MD-18, they actually did
20 children and adolescents, so there was only
21 approximately 80 adolescents in that study, right?

22 MS. KIEHN: Objection.

23 THE WITNESS: I'd have to go back and
24 look, but I -- but let's assume that it was evenly

1 Do you see that?

2 A I do.

3 Q So that letter right there is actually
4 the one we just looked at a second ago.

5 A Yes.

6 Q All right. So it appears that Dr. Glass
7 is operating off of the fact that Study MD-18 was
8 positive and that they just had to look at whether or
9 not there was an additional positive study for
10 adolescents with Lexapro; is that right?

11 MS. KIEHN: Objection.

12 THE WITNESS: That's correct.

13 BY MR. WISNER:

14 Q All right. Look at the last paragraph on
15 this page. It reads: "The study is positive for the
16 effi- -- for the primary efficacy variable of change
17 from baseline of the CDRS-R total score P equals
18 0.038."

19 Do you see that?

20 A I do.

21 Q Now, we know that that's referring to the
22 results of the primary efficacy endpoint including
23 those nine patients that were unblinded, correct?

24 MS. KIEHN: Objection.

1 THE WITNESS: That's correct.

2 BY MR. WISNER:

3 Q All right. It goes on to say: "As it
4 can be seen from Table 6.1.3.4, there is a greater
5 improvement for the adolescent group than the
6 children group when comparing the differences to
7 placebo. As Dr. Laughren notes in his memo of
8 September 16th, 2002, quote: It appears that the
9 positive results for this trial are coming largely
10 from the adolescent subgroup."

11 Do you see that?

12 A I do.

13 Q It appears that Dr. Glass is relying on
14 your exploratory analysis of the different effects
15 observed in the pediatric and adolescent subgroup in
16 your memo of September 16th, 2002.

17 A That's correct.

18 Q And indeed, she has pasted the results on
19 the next page. It says "Summary of Primary Efficacy
20 Variable for Study 18 by Age Subgroups," and it
21 says -- literally says: "Extracted from memorandum
22 by Laughren, September 16, 2002."

23 Do you see that?

24 A I do.

1 Q You see that she has copied and pasted
2 that portion of your memorandum into here, correct?

3 MS. KIEHN: Objection.

4 THE WITNESS: She has given
5 acknowledgment as well.

6 BY MR. WISNER:

7 Q Abso- -- oh, sorry, I wasn't suggesting
8 that that was nefarious. She's relied on your prior
9 work here, right?

10 A Yes.

11 Q It does not appear that she did a
12 comprehensive clinical review of MD-18 at this point;
13 is that right?

14 MS. KIEHN: Objection.

15 THE WITNESS: That's likely the case,
16 yes.

17 BY MR. WISNER:

18 Q Now, earlier when we were discussing your
19 memorandum of September 16th, 2002, do you recall
20 that there had been an agreement not to conduct a
21 statistical analysis of the efficacy data?

22 A Yes.

23 Q Do you know if a statistical analysis of
24 the efficacy data was done at this point?

1 A Since one is not in the -- in the file
2 that you've been able to obtain, I'm assuming that it
3 was not done.

4 Q Yeah. Is that typical for a pivotal
5 trial that's going to be used to support indication
6 to have just not been given any statistical review?

7 MS. KIEHN: Objection.

8 THE WITNESS: It's prob- -- it's probably
9 not typical.

10 BY MR. WISNER:

11 Q And you said earlier one of the reasons
12 that you do a statistical review, although it's
13 redundant, is to sort of hash out the various effects
14 you're seeing in the data, right?

15 MS. KIEHN: Objection.

16 THE WITNESS: Generally, a statistical
17 review -- it does a couple of things. I mean it --
18 very often the statistical reviewer will have the
19 original actual dataset electronically and can do
20 some additional exploratory analyses looking at --
21 you know, breaking it down by gender and age and
22 ethnicity and that sort of thing. It can also
23 confirm the analyses that are done by the sponsor.

24 BY MR. WISNER:

1 Q Do you think that probably would have
2 been helpful, particularly since you're using a
3 particular subgroup of an exploratory analyses that
4 you did in your review of the study?

5 MS. KIEHN: Objection.

6 THE WITNESS: In -- in retrospect, I
7 think I -- I would have preferred that.

8 BY MR. WISNER:

9 Q Okay. All right. Let's turn back to
10 Exhibit 28, which is the one I handed you a minute
11 ago.

12 A Okay.

13 Q This is the -- the memorandum by Dr. Kin?

14 A Yes.

15 Q And she was Dr. Glass's supervisor,
16 correct?

17 A That's correct.

18 Q Okay. So this is sort of her memorandum
19 kind of overseeing the clinical reviews that were
20 done by, for example, Dr. Glass.

21 A Correct.

22 Q Okay. The subject of the memorandum is
23 "Recommendation of approval action for Lexapro
24 (escitalopram) for the acute and maintenance

1 A That's correct.

2 Q It's the same agreement that was
3 mentioned in Dr. Glass's review, right?

4 A Correct.

5 Q Would it be fair to say that they had
6 marching orders at this point in their review that
7 Study MD-18 was positive, just look at 32 and tell us
8 if that's also positive?

9 MS. KIEHN: Objection.

10 THE WITNESS: I -- I don't -- I don't
11 know that I would call that marching orders.

12 BY MR. WISNER:

13 Q Fair enough.

14 A I think there was -- there was that
15 understanding that, you know, we had already looked
16 at -- at 18 and made a judgment that it was a
17 positive study. I mean, certainly no one instructed
18 them not to look at 18.

19 Q Sure.

20 A I --

21 Q I appreciate that, Doctor, and I didn't
22 mean to suggest they didn't look at it. But I was
23 just saying that they appeared at least to have been
24 relying upon the agreement that the FDA reached with

1 Forest in 2004.

2 A I think that's fair.

3 Q Okay. And if you look at page 4, there's
4 a section that says "Study CIT-MD-18."

5 Do you see that?

6 A Yes.

7 Q And this goes on for about three short
8 paragraphs.

9 Do you see that?

10 A Yes.

11 Q All right. Bear with me, Doctor, one
12 second.

13 I'm actually -- sorry, I'm mixed up
14 because I'm on the wrong page. Look at page 3 of
15 document -- do you see the paragraph below the
16 summary that starts off with "Study 18 is an
17 eight-week" -- do you see that?

18 Third paragraph from the top, "Study 18
19 is an eight-week" --

20 A Oh, correct.

21 Q Do you see that?

22 A Yes.

23 Q All right. It says: "Study 18 is an
24 eight-week double-blind, placebo-controlled,

1 flexible-dose citalopram, 20 to 40 milligrams a day,
2 study in children 7 to 11 years and adolescents 12 to
3 17 years. I would refer to the clinical review by
4 Dr. Hearst dated December 12, 2002, and the
5 memorandum by Dr. Thomas Laughren dated December 16,
6 2002, regarding their reviews of materials submitted
7 under supplemental NDA for citalopram on April 18,
8 2002. I will briefly summarize their interpretation
9 of results from Study 18 in Section 5123 below."

10 Do you see that?

11 A I do.

12 Q So it appears that Dr. Kin is relying
13 heavily, if not exclusively, on Dr. Hearst and
14 yourself's analysis of Study MD-18.

15 MS. KIEHN: Objection.

16 THE WITNESS: That's correct. Now, of
17 course, this is the team leader review. It's not the
18 primary review.

19 BY MR. WISNER:

20 Q Sure.

21 A I don't have Dr. Hearst's complete
22 review, so I don't -- I don't know exactly what --
23 what she did with regard to Study 18.

24 Q Okay. I represent to you that what I've

1 Dr. Laughren's memo, September 16, 2002."

2 Do you see that?

3 A I do.

4 Q Okay, great. So in that table there,
5 although it doesn't look identical to your table, it
6 has the same information, right?

7 A Yes.

8 Q Okay. So, again, it looks like not only
9 to Dr. Glass but Dr. Kin also inserted the table from
10 your exploratory analysis on MD-18 in this analysis.

11 A That's correct.

12 Q When you prepared your memo for CD -- for
13 MD-18, and you did this exploratory analysis dividing
14 the adolescents from the children, did you anticipate
15 that that being -- that was going to be used to
16 support an indication for a different drug in
17 adolescents?

18 MS. KIEHN: Objection.

19 THE WITNESS: I -- I doubt that I was
20 thinking ahead that far.

21 BY MR. WISNER:

22 Q Fair enough.

23 In retrospect, it seems that that's
24 exactly what happened.

1 A That's true. But -- but let me just --
2 just point out that we -- we made -- we reached a
3 conclusion based on Study 18 that it was a positive
4 study for both adolescents and children. And so
5 it's -- it's that part of it, it's the adolescent
6 part of that that is being incorporated into this
7 judgment that these two studies, Study 18 for Celexa
8 and Study 32 for Lexapro, were sufficient as a source
9 of evidence for the -- the effectiveness of Lexapro
10 in -- in adolescents.

11 (Exhibit No. 29 was marked for
12 identification.)

13 BY MR. WISNER:

14 Q I'm handing you what has been marked as
15 Exhibit 29 to your deposition.

16 Doctor, this is a letter actually from
17 you related to the supplemental application for
18 Lexapro for use in adolescents, correct?

19 A Yes.

20 Q And, unfortunately, I don't have the page
21 that says the date of this letter, but do you recall
22 that this was in early 2009?

23 A I -- I can't remember back to 2009 and --
24 but that sounds about right.

1 have been my preference that -- that Forest be more
2 transparent with FDA about the issue of unblinding.
3 I don't believe in the end that would have made any
4 difference in our judgment, as I've explained, but --
5 but I do -- I do feel that drug companies should be
6 fully transparent with FDA in what they provide to
7 them about the -- you know, the conduct of a study.

8 BY MR. WISNER:

9 Q Now, considering that they weren't
10 transparent about that issue, do you think -- and
11 also in consideration of the fact that Study MD-18
12 never had a statistical analysis of the efficacy
13 data, do you think that it would be appropriate for
14 the FDA to take another look at this data just to
15 make sure that in fact Study 18 was -- was positive
16 as Forest has represented?

17 MS. KIEHN: Objection.

18 THE WITNESS: It -- it isn't my judgment
19 at this point.

20 BY MR. WISNER:

21 Q Sure.

22 A So, I mean I -- that -- that's for FDA to
23 decide at this point. I mean, I -- I feel fairly
24 confident about our decision to approve Lexapro. I

1 was obviously involved in that. I -- I feel that was
2 probably the -- the right decision. Whether or not
3 FDA -- and I also told you that, in retrospect, I
4 would have had a statistical review done on -- on 18.

5 But my overall view is that it probably
6 would not have made a difference. We probably still
7 would have -- would have reached that same judgment.
8 And it's -- it's up to FDA to decide whether or not,
9 you know, based on this -- on this, you know, new
10 information, which I think is probably new
11 information from FDA because I wasn't aware of it at
12 the time. But it's not my call.

13 Q Okay, great.

14 MR. WISNER: Let's take a break.

15 THE VIDEOGRAPHER: The time is 5:14. We
16 will go off the video record.

17 (Recess.)

18 THE VIDEOGRAPHER: The time is 5:23.

19 Back on the video record.

20 BY MR. WISNER:

21 Q I want to talk briefly again about
22 Study MD-18. And, you know, we know that all the
23 secondary prespecified endpoints were negative,
24 right?

1 been many occasions when I changed my mind when --
2 when I was at FDA. There was an NDA that we -- we
3 turned it down, and this is for iloperidone. You
4 know, the company challenged it and came back in with
5 some additional analyses, and -- and they were able
6 to persuade me that -- that I was wrong, and -- and I
7 recommended approval, and Bob Temple agreed with me,
8 and we ultimately approved it.

9 So there have been situations where I --
10 I agreed with an argument that I was wrong and
11 reversed myself. That certainly isn't the only
12 circumstance. I -- I just don't see this as one of
13 those circumstances.

14 BY MR. WISNER:

15 Q If MD-18 was in fact negative, would you
16 ever have approved Lexapro for use in adolescents?

17 MS. KIEHN: Objection.

18 THE WITNESS: I mean, if -- if -- if you
19 couldn't rely on 18 as a source of evidence, then you
20 would've only had one source of evidence for Lexapro.
21 So the answer is this is speculation, but I -- I
22 would not have recommended approving it.

23 BY MR. WISNER:

24 Q You're the one who ultimately did approve

1 it, right?

2 A Because I -- I considered Study 18 a
3 reasonable source of evidence.

4 Q No, I know. And I'm just saying it's not
5 speculation because you're actually the one who
6 ultimately signed off finally on Lexapro's approval
7 for adolescents, right?

8 A Yes.

9 MS. KIEHN: Objection.

10 THE WITNESS: Yes.

11 BY MR. WISNER:

12 Q And you're saying you wouldn't have
13 approved it if there was only one study, positive
14 Study 32, right?

15 MS. KIEHN: Objection.

16 THE WITNESS: That's correct.

17 BY MR. WISNER:

18 Q Do you agree, though, Doctor, that a
19 reasonable regulatory person at the FDA could come to
20 a different conclusion about the positive results of
21 MD-18?

22 MS. KIEHN: Objection.

23 THE WITNESS: It -- this is always a
24 matter of judgment. So the answer would be, yes,

1 different people looking at the same dataset can
2 reach a different conclusion.

3 BY MR. WISNER:

4 Q Are you aware that there has been a
5 peer-reviewed publication last year discussing the
6 results of MD-18?

7 MS. KIEHN: Objection.

8 THE WITNESS: I -- I have -- I have not
9 been following the literature in that particular
10 area, so...

11 BY MR. WISNER:

12 Q So you have not seen any peer-reviewed
13 journal article coming to the conclusion, having
14 looked at the data without the unblinded patients,
15 that it was negative; is that correct?

16 MS. KIEHN: Objection.

17 THE WITNESS: I -- I don't recall seeing
18 that. If there is such a paper, I haven't seen it.

19 BY MR. WISNER:

20 Q Okay, great. But we do agree, and I
21 think this has been established and I just want to
22 make sure we're on the same page, that until
23 Study MD-32 was completed and reviewed by the FDA,
24 prior to that, with Study 94404 being negative for