

UNITED STATES DISTRICT COURT  
NORTHERN DISTRICT OF CALIFORNIA

Before The Honorable Vince Chhabria, Judge

EDWARD HARDEMAN,	)	
	)	
Plaintiff,	)	
	)	
VS.	)	NO. C 16-00525 VC
	)	
MONSANTO COMPANY,	)	
	)	
Defendant.	)	
_____	)	

San Francisco, California  
Monday, February 25, 2019

TRANSCRIPT OF PROCEEDINGS

APPEARANCES:

For Plaintiff:

ANDRUS WAGSTAFF PC  
7171 W. Alaska Drive  
Lakewood, Colorado 80226  
BY: **AIMEE H. WAGSTAFF, ATTORNEY AT LAW**  
**DAVID J. WOOL, ATTORNEY AT LAW**

MOORE LAW GROUP  
1473 South 4th Street  
Louisville, Kentucky 40208  
BY: **JENNIFER MOORE, ATTORNEY AT LAW**

(APPEARANCES CONTINUED ON FOLLOWING PAGE)

REPORTED BY: Marla F. Knox, RPR, CRR  
Jo Ann Bryce, CSR No. 3321, RMR, CRR, FCRR  
Official Reporters

1 **APPEARANCES:** (CONTINUED)

2 For Plaintiff:

3 AUDET & PARTNERS LLP  
4 711 Van Ness Avenue - Suite 500  
5 San Francisco, California 94102

6 **BY: MARK E. BURTON, ATTORNEY AT LAW**

7 For Defendant:

8 WILKINSON WALSH ESKOVITZ LLP  
9 2001 M Street, NW - 10th Floor  
10 Washington, D.C. 20036

11 **BY: BRIAN L. STEKLOFF, ATTORNEY AT LAW**  
12 **RAKESH N. KILARU, ATTORNEY AT LAW**  
13 **TAMARRA MATTHEWS JOHNSON, ATTORNEY AT LAW**  
14 **JULIE RUBENSTEIN, ATTORNEY AT LAW**  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

**I N D E X**

Monday, February 25, 2019 - Volume 3

	<b><u>PAGE</u></b>	<b><u>VOL.</u></b>
Opening Statement by Ms. Wagstaff	318	3
Opening Statement by Mr. Stekloff	371	3

**PLAINTIFF'S WITNESSES****RITZ, DR. BEATE**

(SWORN)

Direct Examination by Ms. Wagstaff

	<b><u>PAGE</u></b>	<b><u>VOL.</u></b>
	406	3
	407	3

## PROCEEDINGS

1 Monday - February 25, 2019

.m.

2 P R O C E E D I N G S

3 ---000---

4 **THE CLERK:** Calling Case Number 16-CV-00521, Hardeman  
5 versus Monsanto Company, et al.

6 Counsel, please step forward and state your appearances  
7 for the record.

8 **MS. WAGSTAFF:** Good morning, Your Honor. Aimee  
9 Wagstaff on behalf of Mr. Hardeman, and along with me is  
10 Ms. Jennifer Moore.

11 **MR. STEKLOFF:** Good morning, Your Honor. Brian  
12 Stekloff on behalf of Monsanto. Along with me are Tamarra  
13 Matthews Johnson, Rakesh Kilaru and Julie Rubenstein.

14 **THE COURT:** Good morning.

15 Okay. What do we need to talk about this morning?

16 **MR. KILARU:** Your Honor, we had two pretty quick  
17 issues just related to the opening.

18 **THE COURT:** Okay.

19 **MR. KILARU:** The parties exchanged opening exhibits  
20 over the weekend, and there is one exhibit that the Plaintiffs  
21 put on their list that we had some concerns about. There are  
22 two actually. It is two sets of requests for admissions, one  
23 from the Johnson trial and then one from this case.

24 **THE COURT:** Okay.

25 **MR. KILARU:** So we would object to any use of the

## PROCEEDINGS

1 Johnson admissions to this trial, both because there is already  
2 a ruling that other litigation can't be mentioned, I believe,  
3 and then also because the admissions for that case were for  
4 that case only. But the actual admission is an admission --  
5 let me pull it up to have the exact words. In this case it is  
6 a request for admission that Monsanto has never warned any  
7 consumer that exposure to GBS is associated with non-Hodgkin's  
8 lymphoma. We think that getting into the issue of what we have  
9 warned about is a Phase Two issue and not a causation issue.

10 **THE COURT:** Certainly is.

11 **MS. MOORE:** Your Honor, we have no intention of  
12 mentioning the Johnson trial during opening or at any other  
13 point during this trial. The slide, which is a party admission  
14 or request for admission, is not mentioning the Johnson trial.  
15 It is important that Your Honor knows that.

16 The second thing is we have an agreement that all  
17 discovery, regardless of where it comes from, is fair game in  
18 this case. The issue about whether this is Phase One or  
19 Phase Two is that our concern is the jurors are coming in here  
20 with certain assumptions, and we heard through jury selection  
21 that some of the assumptions was did Mr. Hardeman use the  
22 product correctly; did he use it as was warned.

23 **THE COURT:** Did he describe the request for admission  
24 in the response accurately?

25 **MS. MOORE:** That's correct, Your Honor.

## PROCEEDINGS

1           **THE COURT:** It is not admissible in Phase One,  
2 clearly.

3           **MS. MOORE:** Thank you, Your Honor.

4           **THE COURT:** Not even close.

5           **MS. MOORE:** Thank you, Your Honor.

6           **THE COURT:** Anything else?

7           **MS. MOORE:** Your Honor, one issue and it relates to  
8 Dr. Ritz who is our first witness today. It came about during  
9 Dr. Portier's cross-examination last week that there was a  
10 series of questions asked by Monsanto's counsel that were  
11 definitely geared towards case specific opinion; and as a  
12 matter of the bifurcation of this matter, the Court is aware  
13 that Dr. Portier was disclosed as a general causation expert  
14 only. And so these questions were, did you review  
15 Mr. Hardeman's medical records; do you know that he has  
16 hepatitis; did you know that he had that. It was a series of  
17 questions intended to impeach Dr. Portier's credibility to show  
18 the jury he doesn't know anything about this particular case.  
19 And that, you know, is improper, Your Honor. And our concern  
20 is that this is the same thing they are going to attempt to do  
21 with Dr. Ritz on cross; and we would like a ruling in advance  
22 so those questions aren't asked of Dr. Ritz.

23           **THE COURT:** Why isn't it easy for a witness to deal  
24 with that simply by saying, Well, I wasn't asked to opine on  
25 whether Mr. Hardeman's NHL was caused by Roundup? I'm only

## PROCEEDINGS

1 giving an opinion on whether it is capable of causing cancer  
2 generally?

3           **MS. MOORE:** Well, for us, for lawyers and judges, we  
4 would understand that. My concern is that the jury doesn't --  
5 will not understand that that is a byproduct of the bifurcation  
6 of this matter and that Dr. Ritz was solely disclosed as a  
7 general causation expert, not a case specific expert. There is  
8 no doubt that they are going to use that to their advantage.  
9 Even though they asked for the bifurcation of this case, they  
10 are now going to try to use that to their advantage to say she  
11 doesn't know anything about Mr. Hardeman to discredit  
12 everything she is trying to say about general causation.

13           **THE COURT:** Saying she doesn't know anything about  
14 Mr. Hardeman doesn't discredit her opinion on general causation  
15 if she is capable of accurately describing what her opinion on  
16 general causation is.

17           **MS. MOORE:** Well, she is, Your Honor.

18           **THE COURT:** So obviously if, you know, presumably  
19 after her direct, it should be not necessary for Monsanto to  
20 establish the point that you are describing because Dr. Ritz  
21 will already have established it in her direct; but if Monsanto  
22 wants to ask a couple questions to hit that point home, I  
23 don't -- I don't see what the big deal is.

24           **MS. MOORE:** Well, our position is it would go beyond  
25 the scope of direct.

## PROCEEDINGS

1           **THE COURT:** At some point I will shut it down if it  
2 goes on for too long; but I don't think -- I don't think I can  
3 make a ruling in advance that that sort of questioning is  
4 inappropriate because it depends on how the direct comes in.

5           **MS. MOORE:** Okay.

6           **THE COURT:** And if there is any implication, she has  
7 an opinion of Hardeman, then, of course, it would be  
8 appropriate on cross; and a couple questions on it might be  
9 appropriate on that topic. Might be appropriate on cross  
10 regardless. Those questions and answers would do nothing to  
11 discredit Dr. Ritz.

12           **MS. MOORE:** Okay. Thank you, Your Honor.

13           And so we will take that up, you know, on direct. She is  
14 not going to be talking about Mr. Hardeman in particular.

15           Going back to, Your Honor, about the instruction about the  
16 RFA, at the appropriate time, can we have a curative  
17 instruction that the jury is not to consider warnings in  
18 Phase One?

19           **THE COURT:** The jury is not to consider warnings?

20           **MS. MOORE:** Well, the whole issue is, you know, was  
21 whether Mr. Hardeman using the product, that is our concern,  
22 that the jury is going to come in here with assumptions  
23 about --

24           **THE COURT:** What does that have to do with whether it  
25 caused his cancer? The first phase, as we have been discussing

## PROCEEDINGS

1 for the last couple months, is whether it caused his cancer.

2 **MS. MOORE:** Right. I understand that, Your Honor.  
3 Our concern is that the jury is going to come in here with  
4 assumptions, which is something that Your Honor pointed out in  
5 your MIL ruling in Pretrial Order Number 81, that you had this  
6 concern with regard to general assumptions that the jury may  
7 make, that would allow Monsanto -- I believe, this was in  
8 response to Motion --

9 **THE COURT:** What is the assumption that you think the  
10 jury is going to make that you want cured by an instruction  
11 about warnings?

12 **MS. MOORE:** That the label said to wear gloves or a  
13 mask --

14 **THE COURT:** But what does that --

15 **MS. MOORE:** -- or pants.

16 **THE COURT:** But what does that have to do with whether  
17 that caused cancer? I don't understand.

18 **MS. MOORE:** Because they can say, Well, if he had been  
19 wearing gloves or a mask or a hazmat suit or pants or  
20 closed-toe shoes, then he wouldn't have gotten cancer.

21 **THE COURT:** Yeah, but he still got it from the  
22 Roundup. I mean, if your argument is to be believed, he still  
23 got it from the Roundup; and it doesn't matter at Phase One  
24 whether he was wearing gloves or not.

25 **MS. MOORE:** The only thing there is -- the warning

## PROCEEDINGS

1 never says you have to do that. The warning is completely  
2 silent.

3 **THE COURT:** But they are not going to hear any  
4 evidence of a warning or lack of a warning at Phase One, so why  
5 does it matter?

6 **MS. MOORE:** Well, it matters because they may come in  
7 here with an erroneous assumption that you do have to wear  
8 those things.

9 **THE COURT:** But I don't understand why that is -- why  
10 that is relevant to whether his cancer was caused by Roundup or  
11 something else.

12 **MS. MOORE:** I understand, Your Honor.

13 **THE COURT:** Anyway, you can -- before the close of  
14 Phase One, you can request instructions based on -- based on  
15 how the evidence comes in at trial. But certainly I'm not  
16 going to give them something like that during Phase One, and I  
17 would be shocked if it were appropriate to give them an  
18 instruction like that at the close of Phase One before their  
19 deliberations.

20 **MS. MOORE:** That's fair. Thank you, Your Honor, for  
21 allowing us to re-visit it.

22 **MR. KILARU:** We have one thing about the deposition  
23 ruling that my colleague will address.

24 **MS. RUBENSTEIN:** Good morning, Your Honor. Julie  
25 Rubenstein on behalf of Monsanto. Sorry about that.

## PROCEEDINGS

1           We were hoping that Your Honor would reconsider a couple  
2 of rulings from the treating depositions. I think -- I don't  
3 need to take them all up right now. One of them may  
4 potentially be relevant to openings.

5           **THE COURT:** Go ahead.

6           **MS. RUBENSTEIN:** Do you have transcripts with you? It  
7 not, I will hand you one.

8           **THE COURT:** I don't.

9           **MS. RUBENSTEIN:** This one has to do with the  
10 deposition of Dr. Ye.

11           **THE COURT:** Okay. Remind me. It might be fresh in my  
12 mind. Tell me about it.

13           **MS. RUBENSTEIN:** Dr. Ye is Mr. Hardeman's treating  
14 oncologist.

15           **THE COURT:** Right. But remind me of the --

16           **MS. RUBENSTEIN:** And, Your Honor, the part that I  
17 wanted to raise with you was that page 143, there is a section  
18 of testimony beginning actually at the bottom of 142 that you  
19 did allow in regarding the cause of non-Hodgkin's lymphoma  
20 generally.

21           **THE COURT:** If I remember correctly, I allowed in up  
22 to line 2, and then I said line 3 and 4 is not admissible.

23           **MS. RUBENSTEIN:** That's right, Your Honor. We would  
24 respectfully ask that you reconsider that ruling. I presume  
25 that you sustained the objection as to those two lines on the

## PROCEEDINGS

1 basis of the objection here that it calls for expert testimony,  
2 and we believe that this is really just percipient witness  
3 testimony about his treatment, diagnosis and opinion --

4 **THE COURT:** I understand --

5 **MS. RUBENSTEIN:** -- of Mr. Hardeman.

6 **THE COURT:** -- but I think from the remainder of the  
7 testimony that I allowed in, it is fairly clear, that A, the  
8 oncologist didn't inquire into the cause of Mr. Hardeman's NHL;  
9 and B, the oncologist is not offering any opinion on the cause  
10 of Mr. Hardeman's NHL.

11 **MS. RUBENSTEIN:** That's right, Your Honor.

12 **THE COURT:** I don't understand. I thought that you  
13 have to draw lines when you are going through this kind of  
14 testimony. And I thought, you know, that is sufficient to  
15 avoid -- I mean, I thought the general principle -- one of the  
16 general principles that I applied when I was going through this  
17 testimony is we want to make sure the jury is not under a  
18 misimpression that the doctors whose -- who are being called by  
19 the Plaintiff believe that his -- that his cancer was caused by  
20 Roundup; right?

21 And so I allowed enough of that testimony in to establish  
22 that none of these doctors inquired into whether his cancer was  
23 caused by Roundup and none of the doctors is offering an  
24 opinion on whether his cancer was caused by Roundup. But, you  
25 know, to -- I don't understand, I guess -- maybe it wouldn't be

## PROCEEDINGS

1 a huge deal to let that in; but in light of the fact that the  
2 testimony being allowed in establishes that, I don't really  
3 understand why it is important to get that in.

4 The other thing about that question and answer is that the  
5 way the question was asked, it sort of goes beyond the issue of  
6 whether the doctor looked into it or whether the doctor has an  
7 opinion. The question and answer could leave the impression  
8 that the doctor believes that there is no known cause of the  
9 cancer as opposed to not having an opinion about whether there  
10 is a cause to the cancer. So I think that question and answer  
11 is misleading a little bit.

12 **MS. RUBENSTEIN:** Well, Your Honor, I think that -- I  
13 think you might have hit the nail on the head. I think this  
14 testimony is different from the testimony about not having an  
15 opinion in the sense that the doctor says, "I cannot attribute  
16 a cause to this" --

17 **THE COURT:** I understand --

18 **MS. RUBENSTEIN:** -- "this cancer," and we think it is  
19 relevant.

20 **THE COURT:** I understand your argument. I'm not going  
21 to reconsider that ruling.

22 Was there another one?

23 **MS. RUBENSTEIN:** Well, there was a few more; but none  
24 of the others are relevant for openings, so I don't know if  
25 Your Honor would prefer to take them up at a different time

## PROCEEDINGS

1 before the testimony is played. I don't want to waste the  
2 Court's time now.

3 **THE COURT:** If you want to knock them out now -- I  
4 mean, we have a few minutes if you want to knock them out now.

5 Let me do this first. The only other thing I wanted to  
6 talk to you-all about is the depo designations. When am I  
7 going to get the other depo designations so that I can review  
8 them and not be -- and not be forced to review them at the 11th  
9 hour?

10 **MS. MOORE:** Your Honor, we are diligently working on  
11 that, both sides. We had meet-and-confers on Saturday and  
12 Sunday, and several e-mails last night, even after midnight  
13 between us. My understanding is we are finalizing Dr. Ross and  
14 Dr. Reeves to be filed this morning with the Court, and we can  
15 hand over hard copies of that, those transcripts similar to how  
16 it was done with Dr. Turley. And then we are also finalizing  
17 Dr. Goldstein's corporate representative deposition and  
18 Dr. Blair. So there should be four that should be ready to go  
19 this morning that we can discuss this afternoon.

20 I understand Your Honor needs time to look at it. There  
21 might be some global issues that we can address after the jury  
22 is excused today that will give us guidance on many of the  
23 issues that are still left. We have narrowed it done pretty  
24 substantially.

25 **MS. RUBENSTEIN:** Your Honor, I would just flag that

## PROCEEDINGS

1 Monsanto does object to the admission of some of the testimony  
2 that Ms. Moore just mentioned, and that will be noted in the  
3 pleading that gets filed.

4 **THE COURT:** Okay. So do you want to knock out a  
5 couple of these other issues?

6 **MS. RUBENSTEIN:** Sure. I would be happy to.

7 So the other one I have is also in Dr. Ye's transcript on  
8 page 132, lines 2 to 13.

9 **THE COURT:** Okay.

10 **MS. RUBENSTEIN:** Sort of the same argument as before,  
11 Your Honor, we believe that this is all based on his care,  
12 treatment and opinion about Mr. Hardeman from having been his  
13 treating doctor.

14 **THE COURT:** I understand that. The age -- the concept  
15 of age being a risk factor is a controversial concept, and I  
16 think that the problem with bringing this doctor's testimony in  
17 on that topic is that it is not clear whether the doctor is  
18 using that sort of in more of colloquial terms or more precise  
19 terms, precise scientific terms. And you didn't get into with  
20 this -- nobody got into it with this doctor, who is not serving  
21 as an expert witness, what it means to call age a risk factor  
22 and how that might be different from calling hepatitis C a risk  
23 factor or Roundup a risk factor. And so I think it is  
24 potentially misleading to have it here, and I'm not  
25 reconsidering that ruling.

## PROCEEDINGS

1           **MS. RUBENSTEIN:** Thank you, Your Honor.

2           The next one I have is, I believe, in the deposition of  
3 Dr. Turk, so I can hand that to you as well.

4           **THE COURT:** Sure. Should I hand this one back down?  
5 I am trying to avoid --

6           **MS. RUBENSTEIN:** Sure. I can certainly take that one  
7 back.

8           **THE COURT:** -- accumulating too much paperwork up  
9 here.

10          **MS. RUBENSTEIN:** And this one, Your Honor, is Dr. Turk  
11 at page 119 to 120.

12          **THE COURT:** Okay.

13          **MS. RUBENSTEIN:** And this is testimony, Your Honor,  
14 about --

15          **THE COURT:** Hold on. Let me --

16          **MS. RUBENSTEIN:** Absolutely. Lines -- page 119, line  
17 17 through page 120, line 9.

18          (Whereupon, a brief pause was had.)

19          **THE COURT:** Okay.

20          **MS. RUBENSTEIN:** This testimony is specific enough to  
21 Mr. Hardeman in the sense that it is talking directly about  
22 Dr. Turk's medical records and whether --

23          **THE COURT:** Dr. Turk made it clear earlier that it  
24 wouldn't have been Dr. Turk's role to get into whether his NHL  
25 was caused by Roundup.

## PROCEEDINGS

1           **MS. RUBENSTEIN:** Well, we think it is significant that  
2 the discussion was never even had that Mr. Hardeman never  
3 asked --

4           **THE COURT:** If I recall correctly, there was -- I  
5 allowed some testimony about that in from --

6           **MS. MOORE:** You did, Your Honor.

7           **THE COURT:** -- from Dr. Ye, the oncologist. It is not  
8 coming in from this doctor. I'm not reconsidering this ruling.

9           **MS. RUBENSTEIN:** Thank you, Your Honor.

10           I have one last one. This is from Dr. Turley, so I will  
11 hand that up.

12           **MS. RUBENSTEIN:** This is very similar, Your Honor.

13 Page 41, lines 3 to 6.

14           **THE COURT:** For the same reason, I'm not reconsidering  
15 that ruling.

16           **MS. RUBENSTEIN:** Okay. Thank you very much,  
17 Your Honor.

18           **MS. MOORE:** We don't have anything else, Your Honor,  
19 for this morning.

20           **THE COURT:** Great. We will be back here at 8:30 sharp  
21 to bring back the jury.

22           I have one more item. I apologize. Just to preview it  
23 for you, so the juror who we talked about --

24           **MS. MOORE:** Yes.

25           **THE COURT:** -- the other day, I spoke with his

## PROCEEDINGS

1 employer. And here is the situation, and what I told him is  
2 that we will talk to him about it after the trial day today.

3 **MS. MOORE:** Okay.

4 **THE COURT:** The situation is that he regularly works  
5 five shifts -- Wednesday, Thursday, Friday, Saturday and  
6 Sunday. He, of course, could continue working Saturday and  
7 Sunday and could continue working Thursday. He is going to  
8 lose Wednesday and Friday.

9 I spoke with the employer about paying him anyway.  
10 Unfortunately his employment is covered by a collective  
11 bargaining agreement, and it would likely be illegal for Kaiser  
12 to pay him for his jury service for those two days and -- and  
13 he -- so, you know, he is concerned.

14 I spoke with the employer about it on Friday, and I spoke  
15 with him about it this morning. He continues to be very  
16 concerned given that his wife's hours were cut on the day of  
17 jury selection; that he is not going to be able to serve. So I  
18 didn't -- I didn't tell him one way or another how it was going  
19 to come out, but I said that we would keep him afterwards today  
20 and talk to him further and that I would permit the lawyers, if  
21 they wanted to, to ask him questions and then I may have some  
22 additional questions for him. Okay?

23 **MS. MOORE:** Thank you, Your Honor.

24 **THE COURT:** That will happen when we are done. See  
25 you in a few minutes.

## PROCEEDINGS

1           **MS. MOORE:** Thank you.

2           **THE CLERK:** Court is in recess.

3           (Whereupon, a short break was had.)

4           (Proceedings were heard in the presence of the jury:)

5           **THE COURT:** Good morning. Good morning, everybody.

6 As I mentioned last time, we are expecting this trial to last  
7 four to five weeks. We understand that that is a significant  
8 investment of your time, so we are doing a number of things to  
9 make sure that we are going to run this thing as efficiently as  
10 possible and not waste any of your time. One of those things,  
11 by the way, is that I'm imposing time limits on both sides and  
12 they will be on a clock throughout the trial.

13           Another thing is we will be conducting the trial in  
14 phases, which means that we will be calling on you to  
15 deliberate on certain questions as we progress. In the first  
16 phase, you will be asked to determine simply whether  
17 Mr. Hardeman can prove that his use of Roundup caused his  
18 non-Hodgkin's lymphoma. The medical causation question is what  
19 the lawyers' opening statements will be about at this point,  
20 and we will be hearing from witnesses on that subject as we  
21 begin the trial.

22           Later, in subsequent phases, we will be addressing  
23 different issues, different aspects of Mr. Hardeman's claims as  
24 the trial progresses. And the lawyers will be able to speak to  
25 you on those different topics as we move forward, but right now

**OPENING STATEMENT / WAGSTAFF**

1 the first question is the medical causation question; and we  
2 will begin with the lawyers' opening statements.

3 Ms. Wagstaff.

4 **OPENING STATEMENT**

5 **MS. WAGSTAFF:** May it please the Court, Counsel,  
6 ladies and gentlemen of the jury, good morning.

7 Before I introduce myself, I want to take a moment to  
8 thank you guys. We live in a country where we are allowed to  
9 have a jury by our peers, and it is a wonderful thing that we  
10 have; but what comes along with that is the burden of coming  
11 here for a month for you guys. We know it is a big investment  
12 on your time. We know it is an investment by you, for your  
13 families, for your jobs, for your animals, your dogs, for  
14 everyone.

15 So for that, I thank you. I thank you on behalf of my  
16 team and my client and on behalf of Monsanto. So thank you.

17 My name is Aimee Wagstaff, and I represent Mr. Hardeman in  
18 his case against Monsanto. You had an opportunity to meet my  
19 colleague Jennifer Moore last week, and we have the honor and  
20 privilege of representing the Hardemans.

21 You also met Ed Hardeman last week. I would like to take  
22 a moment to introduce you to his wife, Mary Hardeman, who was  
23 unable to make it last week.

24 Mary and Ed met back in 1975. They met here in the Bay  
25 area. They met at a graduation party, and pretty soon after Ed

## OPENING STATEMENT / WAGSTAFF

1 asked her out on their first date and they went -- it was on  
2 New Year's Eve, wasn't it? And they went out on New Year's  
3 Eve, and the surprise was that Ed brought his entire family on  
4 that first date; and pretty soon thereafter they knew they were  
5 going to be together for the rest of their lives. So they got  
6 married in 1979, and they have been together ever since; and  
7 she really has been the rock for Ed throughout this entire  
8 process.

9 So let me tell you their story. On Christmas Day in  
10 2015 -- '14 -- I'm sorry, Christmas Day 2014, Ed wakes up and  
11 he finds a lump on his throat. He is getting ready to go down  
12 to Daly City where his niece and nephew live. His sister had  
13 recently passed away, and it was really important for him to  
14 spend the holiday with his niece and nephew.

15 So he wakes up and he sees this lump on his throat, and he  
16 is shaving his face and he calls Mary in and says, "What is  
17 this lump? What is going on?"

18 She says, "I don't know. I don't know what it is. Let's  
19 go to" --

20 **THE COURT:** Ms. Wagstaff, can we limit the opening  
21 statement to the topic that Phase One is about, as we have  
22 discussed.

23 **MS. WAGSTAFF:** Sure.

24 **THE COURT:** Thank you.

25 **MS. WAGSTAFF:** So Mr. Hardeman goes to the doctor the

SIDEBAR

1 next day, and he looks for his treating physician who is not  
2 there. He is on vacation because it is December 26th.

3 So he goes in and he meets with the treating physician,  
4 the on-call doctor, who tells him to just monitor it. You will  
5 hear -- you will hear Mr. Hardeman tell you that he didn't want  
6 to wait; that he knew something was going on. So he comes back  
7 to Kaiser where he is treated. The Hardemans live up in Santa  
8 Rosa, just north of here. So he goes back after his family  
9 physician comes back from the holiday. And on the first visit  
10 Dr. Turk sends him down to the ENT, which is the ear, nose and  
11 throat doctor. So he goes into the ENT doctor and he starts  
12 getting needles drawn; starts getting needles poked in there;  
13 biopsies taken. They want to pull out tissue. They want to  
14 figure out what is going on in his neck. Blood is drawn.

15 He has to wait for the results.

16 Finally, the results come back and the tissue is dead. So  
17 he has to go back in and get drawn again, get needles poked  
18 back into his neck again.

19 **THE COURT:** Can we have a sidebar, please?

20 (The following proceedings were heard at the sidebar:)

21 [REDACTED] [REDACTED]  
22 [REDACTED] [REDACTED]  
23 [REDACTED]  
24 [REDACTED]  
25 [REDACTED]

## OPENING STATEMENT / WAGSTAFF

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

██████████ ██████████  
(The following proceedings were heard in open court:)

**MS. WAGSTAFF:** Eventually Mr. Hardeman is diagnosed with cancer on Valentine's Day 2015. He is diagnosed by Dr. Ye, his treating oncologist from Kaiser, up in Santa Rosa. Ad Dr. Ye diagnoses Mr. Hardeman with Stage 3 non-Hodgkin's lymphoma. He diagnoses him with a subtype of non-Hodgkin's lymphoma called diffuse large B-cell lymphoma. But you will hear testimony it is a very aggressive form of non-Hodgkin's lymphoma.

So we are here today to look at the whole puzzle. This case, and your job is to put all of the pieces together and figure out what caused Mr. Hardeman's cancer. You heard the judge tell you a few moments ago that this trial is going to be in phases, and the first phase is going to be what caused Mr. Hardeman's cancer.

And so myself and Mrs. Moore are going to give you pieces to that puzzle over the next few weeks. What we ask of you is that you put all those pieces together to help figure out what causes -- what caused his lymphoma.

Now, there is no dispute that Mr. Hardeman has been diagnosed with non-Hodgkin's lymphoma, just to be clear. So I have put out a map, and I want to tell you what is going to happen for the next few weeks. If I was in your shoes, I would want to know what is going to be going on.

## OPENING STATEMENT / WAGSTAFF

1           So, first, we have Phase One. And as the judge just told  
2 you, you guys will have one question to answer: Was  
3 Mr. Hardeman's exposure to Roundup a substantial factor in  
4 causing his non-Hodgkin's lymphoma?

5           **MR. STEKLOFF:** Your Honor.

6           **THE COURT:** We will get to Phase Two when we get to  
7 Phase Two. You can take down that slide.

8           **MS. WAGSTAFF:** I moved on.

9           We are going to go -- I'm going to tell you what is going  
10 to happen in Phase One. First, we have opening statements,  
11 which I'm doing. Then Monsanto's lawyer will go right after  
12 me, and Mr. Hardeman is going to put on his case.

13           We are going to bring in witnesses. We are going to show  
14 you documents, and we are going to give you other pieces of  
15 evidence. What I'm saying right now is not evidence. I'm just  
16 sort of explaining what we are going to show you.

17           Then Monsanto is going to come up, and they are going to  
18 present to you witnesses; give you evidence and give you other  
19 testimony.

20           Then we are going to come up and we are going to do  
21 closing arguments. And I'm going to stand up, just like I am  
22 right now, and I'm going to argue what you have just heard.  
23 And Monsanto's lawyer will do the same thing.

24           And then you guys will decide whether or not  
25 Mr. Hardeman's exposure to Roundup caused his non-Hodgkin's

## OPENING STATEMENT / WAGSTAFF

1 lymphoma.

2 Now, throughout the course of the next few weeks, we are  
3 going to bring you some witnesses. We are going to bring you  
4 witnesses from Monsanto, both current and former employees.  
5 Now, this trial is happening in San Francisco, and these people  
6 don't live in San Francisco, so we can't force them to come  
7 here. So what we have done over the past couple of years is we  
8 have taken depositions of Monsanto employees. And we can't  
9 force them to come here, like I just said, so we will play them  
10 to you --

11 **MR. STEKLOFF:** Your Honor, may we approach?

12 **THE COURT:** I know what your objection is going to be.  
13 It is overruled.

14 **MS. WAGSTAFF:** So we will play them for you via  
15 deposition. So you will see them on the monitors right in  
16 front of you. We intend to bring you deposition testimony from  
17 Dr. William Reeves, Dr. Daniel Goldstein, Dr. Donna Farmer and  
18 Dr. David Saltmiras. And those are all either current or  
19 former Monsanto employees.

20 Now, as the trial goes along, we may add a few other  
21 employees or we may take one of those depositions down. Those  
22 are who we intend to bring.

23 But here is what I'm going to talk to you about today.  
24 There is three real phases of my opening statement I want to go  
25 over with you today. The first one is what is Roundup; right.

## OPENING STATEMENT / WAGSTAFF

1 We all probably know that Roundup is a weed killer sold by  
2 Monsanto, but maybe we don't know what Roundup is.

3 Next, I'm going to talk to you about can Roundup cause  
4 cancer. Is it possible? Is it within the realm of the  
5 universe that Roundup can cause cancer? And then I'm going to  
6 talk to you about whether or not Roundup caused Mr. Hardeman's  
7 cancer, different questions.

8 Once I walk you down those three, I am going to sit down,  
9 and Mr. Stekloff will talk to you about Monsanto.

10 So Roundup. You are going to hear testimony from  
11 Mr. Hardeman, probably next week, about his Roundup use; and  
12 you are going to hear that Mr. Hardeman started spraying  
13 Roundup in 1986. You are going to hear he sprayed Roundup  
14 through and including 2011, 2012, somewhere around that time.  
15 You are going to hear that he used two products -- two main  
16 products over the course of that 26, 27 years. You are going  
17 to hear that he used Roundup Concentrate and Roundup  
18 Concentrate Plus.

19 You are going to hear him testify about how he has really  
20 only lived in two houses during that period of time, and he is  
21 a creature of habit; and he would go to the same stores and buy  
22 the product. It was called Yard Bird at the time -- he was  
23 living just north of Santa Rosa -- and you are going to hear  
24 him talk about how he bought these products because they came  
25 in a concentrate form so he thought it would last longer. You

## OPENING STATEMENT / WAGSTAFF

1 are going to hear him describe how he had a two-gallon pump and  
2 how he would put concentrate in the pump, dilute it with water,  
3 and then walk around and spray. He is going to testify to you  
4 and tell you-all about his exposure activities.

5 So what is Roundup? Roundup is actually not that  
6 complicated of a product. This is maybe a new word for you  
7 guys, but you are going to hear it a lot over the next month:  
8 Glyphosate.

9 There's four main ingredients in Roundup, and you are  
10 going to hear testimony about this. Glyphosate is the active  
11 ingredient. It is what actually goes in and kills the weed.  
12 There is no dispute about that glyphosate is the active  
13 ingredient in Roundup. And you are going to hear testimony  
14 that the other ingredients -- they have a surfactant, all  
15 right, and they have a surfactant in there which actually you  
16 will hear testimony helps sort of reduce the surface tension of  
17 the glyphosate and sort of adhere it to the plant.

18 So if you can picture taking a glass of water, we will  
19 just say, and pouring it on a plant, it will all just fall off;  
20 right? You will hear testimony that the surfactant actually  
21 helps bind the glyphosate to the plant.

22 And then you are going to hear testimony that water is in  
23 Roundup, and then you are going to hear testimony that there  
24 are other contaminants, other sort of byproducts in Roundup.  
25 So those four main ingredients.

## OPENING STATEMENT / WAGSTAFF

1           And I showed you a picture how there are two different  
2 products Mr. Hardeman used, and those four main ingredients,  
3 the ratios that -- the ratios of those ingredients are what  
4 makes the different products different. One may have more  
5 water; one may have more glyphosate. You get the picture.

6           So the important takeaway and the first piece of this  
7 puzzle that we need -- and the first piece of information that  
8 you guys need to understand is that glyphosate and Roundup are  
9 not the same.

10           You are going to hear testimony that the combination of  
11 glyphosate, with all of those other ingredients, the surfactant  
12 is actually more toxic than glyphosate alone. You are going to  
13 hear testimony to that effect. You are going to hear evidence  
14 to that effect.

15           So you need to remember that when people are talking about  
16 glyphosate, they are not necessarily talking about Roundup. So  
17 the first piece is to remember and put those two pieces  
18 together. That is the first piece of the puzzle, scientific  
19 puzzle. Now -- we have talked about what is Roundup.

20           Now I want to talk about can Roundup cause cancer. Now,  
21 this discussion is going to walk us through three main pillars  
22 of science. There is three main things that you need to  
23 consider as pieces to the second question. You are going to  
24 hear testimony that you can't look at these pieces in  
25 isolation. You are going to hear testimony that you can't

## OPENING STATEMENT / WAGSTAFF

1 consider the epidemiology studies alone. You are going to hear  
2 you can't consider the animal studies alone, and you are going  
3 to hear testimony that you can't consider the cell data studies  
4 alone.

5 The testimony you are going to hear is going to tell you  
6 you need to look at all three of those pieces together to get a  
7 full picture of whether or not Roundup causes cancer.

8 I'm not going to be the one to teach you that. We are  
9 going to bring in witnesses. This is Dr. Ritz. Dr. Ritz is  
10 actually probably going to testify this afternoon. Depending  
11 on how long I take and how long Mr. Stekloff takes, Dr. Ritz, I  
12 anticipate, will either talk to you guys either right before  
13 lunch or right after lunch. And Dr. Ritz is a professor at the  
14 University of California, Los Angeles at UCLA. She is a  
15 medical doctor and a Ph.D. in epidemiology.

16 Dr. Ritz will explain to you what epidemiology is. It is  
17 a big word. She will tell you for the concept of studying  
18 human populations. She is going to tell you that really what  
19 epidemiology does is it looks at this group of people and  
20 compares them to that group of people to see which one has a  
21 higher risk of getting a disease. There is a lot of fancy  
22 lingo she is going to use, and she will explain it all to you.  
23 But that's basically the core, she will tell you.

24 We brought in a professor because we wanted her to be able  
25 to teach to you guys. She also happens to be the president of

## OPENING STATEMENT / WAGSTAFF

1 the International Society of Environmental Epidemiology, the  
2 current president. She is going to tell you that there is a  
3 difference between environmental epidemiology and good  
4 old-fashioned epidemiology. She is going to tell you that  
5 environmental epidemiologists consider pesticides in human  
6 populations. That is what environmental epidemiologists do.  
7 She is going to tell you that.

8 I met with her last night, and she actually told me that  
9 she is --

10 **THE COURT:** That's -- that is not appropriate.

11 **MS. WAGSTAFF:** All right.

12 Next we are going to bring in Dr. Portier. Dr. Portier  
13 has his Ph.D. in biostatistics. Dr. Portier was supposed to  
14 testify live; but for reasons outside of our control, he  
15 couldn't be here. So last week my colleague flew to Melbourne,  
16 Australia and videotaped him. We thought it was that important  
17 to bring you his testimony, that you guys will see him by video  
18 probably later this week or early next week, depending on how  
19 fast we can get the video cut.

20 So you are going to hear from Dr. Portier, and you are  
21 going to learn that Dr. Portier was the former associate  
22 director of the National Toxicology Program. And you will hear  
23 that Dr. Portier basically has had his fingerprint on most of  
24 the policies and guidelines of the United States Toxicology  
25 Board. You are going to hear that from him. So we brought him

## OPENING STATEMENT / WAGSTAFF

1 in. And Dr. Portier is going to testify to you-all about the  
2 animal studies. Dr. Portier is also going to testify to  
3 you-all about the cell studies, the data studies.

4 Next, we are going to bring in Dr. Weisenburger.

5 Dr. Weisenburger is a clinician pathologist down in the  
6 Los Angeles area, and he works at the City of Hope, which is a  
7 world renowned cancer center. And you are going to learn that  
8 Dr. Portier (sic) has dedicated his life's work to determining  
9 the cause of people's cancer. He is a researcher. He is an  
10 author. You are going to hear from him he has published over  
11 434 peer-reviewed -- pieces of literature. That is 434  
12 articles where his colleagues have reviewed his work and  
13 published it, and you are going to hear from him on what he  
14 thinks is going on with this literature.

15 Dr. Weisenburger is also the author of some of the  
16 literature we are going to show you. So you are going to hear  
17 firsthand from one of the people who was involved in the  
18 scientific literature.

19 All right. So let's go back to the pillars of cancer  
20 science. Let's first talk about the epidemiology.

21 All right. This case is about Mr. Hardeman's cancer. Can  
22 Roundup cause cancer? The cancer we are specifically talking  
23 about in this case is non-Hodgkin's lymphoma. Now, you are  
24 going to hear testimony that cancer is actually a rare disease.  
25 You are going to hear testimony that non-Hodgkin's lymphoma is

## OPENING STATEMENT / WAGSTAFF

1 a blood cancer. It starts in the blood and it stays in the  
2 blood. So the epidemiology we are going to consider in this  
3 case is going to relate to non-Hodgkin's lymphoma. We are  
4 going to -- there is epidemiology about probably everything you  
5 could possibly want epidemiology about, but we are going to  
6 limit it to non-Hodgkin's lymphoma and glyphosate.

7 So what we are going to do is Dr. Ritz and I are going to  
8 walk you through this chart in great detail, and that blank  
9 white study -- or that blank white column right there, by the  
10 time Dr. Ritz gets off the stand, we will have filled in all of  
11 those charts, and you will know a lot about each one of those  
12 studies. And what you will learn -- what I will show you, and  
13 I will just explain to you to orient you -- Dr. Ritz will  
14 explain to you where it says study in parentheses, this first  
15 one where it says *Hardell, et al.* 1999, that is the lead  
16 author of a -- of a scientific literature, of a journal  
17 article, and then the 1999 means the year that it was  
18 published. So this chart is depicting nine pieces of  
19 literature, and we will walk through each one.

20 And what this shows, when you are finished and what  
21 Dr. Ritz is going to explain to you, looking at this first one,  
22 the *Hardell*, you are going to see that there is an increased  
23 risk of non-Hodgkin's lymphoma after exposure to glyphosate.  
24 But there is a thing called "statistical significance," which  
25 you will learn a lot about, and it is a way of determining

## OPENING STATEMENT / WAGSTAFF

1 whether or not the result happened by chance.

2       So this result wasn't statistically significant, so you  
3 will learn in the third row -- these are actually  
4 chronological. So you will see in the third row *Hardell* pops  
5 up again three years later. You will learn that the authors in  
6 *Hardell* added cases to their study. They almost did sort of a  
7 Phase Two of their study. And Dr. Ritz will tell you that that  
8 added power to the study and that took chance further out of  
9 the picture. Dr. Ritz will tell you that. And they reached  
10 statistical significance in *Hardell*. And Dr. Ritz will explain  
11 to you why the *Hardell* example is a great example of why you  
12 can't ignore cases that aren't statistically significant.  
13 Dr. Ritz will explain that to you.

14       I'm going to go back to the *McDuffie* case that is  
15 sandwiched between the two *Hardell* cases. Dr. Ritz will  
16 explain a concept to you called dose response. Dose response  
17 is sort of what it sounds like, but Dr. Ritz will tell you that  
18 dose response means the more dose or the more exposure you  
19 have, the more risk you have.

20       So the *McDuffie* study was a Canadian study, and actually  
21 the *McDuffie* author looked at eight providences in Canada;  
22 gathered a lot of people and looked at dose response as part of  
23 the analysis. They also looked at never-ever analysis, which  
24 you will learn about later. But one of the things that  
25 *McDuffie* looked at was they considered, Dr. Ritz will tell you,

## OPENING STATEMENT / WAGSTAFF

1 they considered does the risk increase with the amount of dose  
2 that you get? And they used a two-day limit. And they said if  
3 you are exposed to glyphosate more than two days a year, does  
4 your risk go up for people that are exposed to glyphosate less  
5 than two days a year? *McDuffie* found the answer to be yes, it  
6 does. So she is going to explain to you the importance of dose  
7 response.

8 And then next we get to *De Roos* 2003. I'm skipping back  
9 over the *Hardell*, the second piece of that block, and Dr. Ritz  
10 is going to explain to you the importance of *De Roos* 2003.  
11 What Dr. Ritz is going to tell you is you are going to learn a  
12 lot about something called confounders. And Dr. Ritz is going  
13 to explain it far better to you than me. That's why we brought  
14 in a professor from UCLA. She is going to explain to you when  
15 you need to consider confounders and when you don't. Dr. Ritz  
16 is going to tell you that.

17 The important thing about *De Roos* is she is going to tell  
18 you that the *De Roos* authors actually adjusted for 47  
19 confounders, and she is going to explain to you that that makes  
20 their findings even more important. And guess what? We are  
21 also going to bring Dr. Weisenburger who was an author on  
22 *De Roos* 2003 to talk about that study as well.

23 Then we go down to *Eriksson*, which is the next study.  
24 *Eriksson* also did a dose response calculation. *Eriksson* looked  
25 at ten lifetime days versus less than ten lifetime days, and

## OPENING STATEMENT / WAGSTAFF

1 Dr. Ritz is going to tell you that the *Eriksson* study also  
2 found a dose response. She is going to tell you that the  
3 *Eriksson* study found that the more you are exposed to  
4 glyphosate, Roundup -- I'm sorry, Roundup, the epidemiology  
5 studies are Roundup exposure -- so the more you are exposed to  
6 Roundup, your risk increases. That's what the *Eriksson* study  
7 found.

8 Then she is going to walk you through the rest of them.  
9 The *Orsi* case was a case -- and she will explain to you why  
10 that study found the results they did -- they used patients in  
11 hospitals. So their controls were already people who were  
12 sick. She will explain to you why that is important. She will  
13 explain to you the significance of the effect on the study.

14 The next one is the *North American Pooled Project*, which  
15 Dr. Weisenburger is also an author on. Dr. Weisenburger will  
16 talk to you about that study as well. You can see in the  
17 parentheses that the *North American Pooled Project*, if you go  
18 to the second row, it actually just pooled two of the earlier  
19 studies, *McDuffie* and *De Roos*. So what that study did was it  
20 combined those two findings. She will explain to you what that  
21 means.

22 Then finally the last two studies are a part of what is  
23 called *The Agricultural Health Study*. We are going to spend a  
24 lot of time with Dr. Ritz talking about *The Agricultural Health*  
25 *Study*. What you need to know is that *The Agricultural Health*

## OPENING STATEMENT / WAGSTAFF

1 *Study*, what Dr. Ritz will tell you, began in the 1970s, 1980s,  
2 and it really started getting going in the 1990s. And she is  
3 going to talk to you about that, a study -- it studied I think  
4 50 pesticides. And they enrolled people in 1993. And she was  
5 actually an external adviser for the -- what we call the AHS,  
6 she was actually an external adviser for the AHS.

7 Over the years different people have published literature  
8 from collecting data from that study. So you have this study  
9 going on, and Dr. Ritz is going to tell you over the time  
10 people have pulled out literature. What you see here is  
11 *De Roos* in 2005 -- the same *De Roos* we were talking about  
12 before -- actually wrote a study and published a study about  
13 the data from the AHS. And then actually last year Andreotti  
14 in 2018 published some more data about the AHS.

15 That is sort of the scope of the epidemiology that you-all  
16 are going to learn about.

17 We talked a little bit about dose response, and Dr. Ritz  
18 will talk a little bit about this; that the dose makes poison;  
19 that the dose matters. Dr. Ritz is going to tell you how much  
20 exposure you have makes a difference, and she is going to tell  
21 you why.

22 You are going to become familiar with the forest plots --  
23 sorry -- plot summaries. So all of those studies that I just  
24 talked to you about can be categorized into a dose response  
25 study or a never-ever study, and Dr. Ritz will tell you what

## OPENING STATEMENT / WAGSTAFF

1 the differences are.

2 I will tell you very briefly what she will say. She will  
3 tell you that the dose response studies will do what we just  
4 talked about. They will consider how much exposure you have.  
5 The never-ever studies will say "Have you ever been exposed to  
6 Roundup?" The answer is yes or no. If the answer is yes, you  
7 are analyzed in this category, without any regard to whether or  
8 not you have been exposed one day or a thousand days. If you  
9 have been exposed, you are in the yes. You are in the ever  
10 category. If you haven't, you are in the never category.

11 And Dr. Ritz will tell you the pros and cons of both. I  
12 will be fair, there are pros and cons to both analyses, and she  
13 will tell them to you, and she will explain to you the effect  
14 that those analyses will have on the study results.

15 So what this is is this is a plot summary -- see that blue  
16 line right in the middle, that is one. That represents the  
17 number one. And what Dr. Ritz will show you is that everything  
18 on the right, all of the black squares on the right show a  
19 positive association between exposure to Roundup and  
20 non-Hodgkin's lymphoma.

21 One thing we haven't talked about yet, which Dr. Ritz will  
22 talk to you about, is meta-analysis, yet another type of  
23 epidemiology. And what meta-analysis does, as Dr. Ritz will  
24 tell you, is it takes different studies and it combines them  
25 into one. So it is an effort to make a study more powerful and

SIDEBAR

1 combine them into one, and Dr. Ritz will talk to you about  
2 those.

3 So here are some of the ones that we talked about earlier.  
4 Those ones with the red squares around them are the ones that  
5 actually have statistical significance.

6 We have talked about the *McDuffie* dose response and how  
7 they have looked at over two days, and they found there was a  
8 212 percent increased risk if you were exposed to Roundup over  
9 two days a year. This was in 2001.

10 In 2008 *Eriksson* came out. They found that if you are  
11 exposed to Roundup more than ten days, you have a 202 percent  
12 chance -- I'm sorry -- you have a 236 percent chance if you are  
13 exposed to more than ten days in your life. 236 percent  
14 chance.

15 **THE COURT:** Can we have another sidebar, please?

16 (The following proceedings were heard at the sidebar:)

17 [REDACTED]  
18 [REDACTED]  
19 [REDACTED]  
20 [REDACTED]  
21 [REDACTED]  
22 [REDACTED]  
23 [REDACTED]  
24 [REDACTED]  
25 [REDACTED]

SIDEBAR

1	[REDACTED]
2	[REDACTED]
3	[REDACTED]
4	[REDACTED]
5	[REDACTED]
6	[REDACTED]
7	[REDACTED]
8	[REDACTED]
9	[REDACTED]
10	[REDACTED]
11	[REDACTED]
12	[REDACTED]
13	[REDACTED]
14	[REDACTED]
15	[REDACTED]
16	[REDACTED]
17	[REDACTED]
18	[REDACTED]
19	[REDACTED]
20	[REDACTED]
21	[REDACTED]
22	[REDACTED]
23	[REDACTED]
24	[REDACTED]
25	[REDACTED]

OPENING STATEMENT / WAGSTAFF

1 [REDACTED]  
2 [REDACTED]  
3 [REDACTED]  
4 [REDACTED]  
5 [REDACTED]  
6 [REDACTED]  
7 [REDACTED]  
8 [REDACTED]  
9 [REDACTED]  
10 [REDACTED]  
11 [REDACTED]

12 (The following proceedings were heard in open court:)

13 **THE COURT:** I was going to remind the jury, there is  
14 an instruction I will give you a number of times during this  
15 trial. I actually already gave it to you on Friday -- or on  
16 Wednesday, which is the instruction about what is evidence and  
17 what is not evidence. Lawyer argument is not evidence. What  
18 the lawyers say during opening statements and closing arguments  
19 and when they are asking questions of witnesses, that is not  
20 evidence. The purpose of opening statements is solely to give  
21 a preview of what the evidence will show and the purpose of  
22 closing arguments is to argue about what the evidence shows.

23 And you should keep in mind the very limited role of the  
24 lawyers in this process as we go forward and to remember that  
25 what a lawyer says during opening statements does not

## OPENING STATEMENT / WAGSTAFF

1 constitute evidence in the case.

2 **MS. WAGSTAFF:** All right. Sorry for that  
3 interruption.

4 We had talked a little bit about *The Agricultural Health*  
5 *Study*, and Dr. Ritz will probably touch on this tomorrow at  
6 some point. What Dr. Ritz is going to tell you is that this  
7 was a cohort study, which means that they gathered a lot of  
8 people -- I believe the number was around 50,000, 56,000 people  
9 that they gathered -- and she will tell you that these people  
10 were in North Carolina and Iowa, and that the study found no  
11 association for general non-Hodgkin's lymphoma.

12 And as we just discussed, she is going to tell you that  
13 there is two relevant papers that have come out from *The*  
14 *Agricultural Health Study* -- De Roos, 2005, which is not to be  
15 confused with De Roos 2003; it is kind of confusing because it  
16 is the same person -- and Andreotti 2018.

17 And Dr. Ritz is going to talk to you about this study.  
18 She is going to tell you that this study, while good  
19 intentioned, has some general flaws to the entire study and  
20 then she is going to tell you some specific flaws that are  
21 specifically related to glyphosate.

22 She is going to tell you that this study looked at 50  
23 chemicals and that they put quantity over quality. She is  
24 going to tell you that it is almost as if they were trying to  
25 do too much.

## OPENING STATEMENT / WAGSTAFF

1           She is going to explain that in the middle of their  
2 enrollment process, which was 1993 to 1997, she is going to  
3 explain that there was a spike in the use of Roundup. She is  
4 going to show you evidence that shows that. You will see for  
5 yourself. And she is going to show you that the way that they  
6 classified people in the beginning of the enrollment in 1993  
7 and 1994 became an improper classification because of the  
8 glyphosate spike. She is going to explain all of this to you.  
9 And that when they went back to try to call the people,  
10 37 percent of the people disappeared. She is going to explain  
11 all of this to you.

12           She is going to explain that the 37 percent of people who  
13 disappeared, they used a technique called imputation, which  
14 means they used guesses and they looked at the people who did  
15 respond; and they imputed data to the people who didn't  
16 respond. And she will testify that that is actually not a bad  
17 method. She will testify that imputation actually sometimes is  
18 okay, but what she is also going to tell you is that it is not  
19 okay when you have this many people, 37 percent of 50,000; and  
20 it is also not okay when it is layered on top of an exposure  
21 misclassification due to the glyphosate spike.

22           So we are going to talk about these results this afternoon  
23 or tomorrow morning with Dr. Ritz. And the last thing she will  
24 tell you -- maybe not the last thing she will tell you -- but  
25 at some point she will tell you that the test results within

## OPENING STATEMENT / WAGSTAFF

1 the AHS studies actually suggest that Roundup protects people  
2 from cancer. And that if taken on their face, she will tell  
3 you the significance, to her what that means.

4 A couple of weeks ago -- today is the 25th, so 20 days  
5 ago -- a new article came out. This is one of those  
6 meta-analyses that I was telling you about. And this  
7 meta-analysis is sort of a unique thing, and Dr. Ritz will  
8 explain it to you far better than I will, but this was a  
9 meta-analysis that looked at the high dose glyphosate users.  
10 So it is kind of, she will tell you, the first time someone has  
11 taken all of those high-use people and put them in the same  
12 study. Dr. Ritz will talk to you about this study.

13 This was three weeks old and what these people found --  
14 and they looked, as you will see in accordance with evidence  
15 from the experimental animal and mechanistic studies -- and  
16 mechanistic studies is what I'm calling cell data studies. So  
17 mechanistic and cell data are the same concept. So in  
18 accordance with the experimental animal and mechanistic study,  
19 our current meta-analysis of human epidemiological studies  
20 suggests a compelling link between exposure to glyphosate-based  
21 herbicides and an increased risk for NHL. And she will tell  
22 you, and I think it is pretty undisputed, that Roundup is a  
23 glyphosate-based herbicide.

24 So that's our second piece of the puzzle is the  
25 epidemiology. Epidemiology, sometimes I get tied up in my

## OPENING STATEMENT / WAGSTAFF

1 tongue, so I call it epi. Epi is sort of the slang term. Epi  
2 right here is the epidemiology. It is another piece of the  
3 puzzle that we have to look at.

4 And so let's consider what happens before we even get to  
5 the human studies -- before we even look at what happens in  
6 human populations, let's look at the animal studies.

7 We have just walked you through the epidemiology and now  
8 we are going to look at toxicology, rodent studies. In rodent  
9 studies they usually test with mice and with rats, and there  
10 are particular strains of both mice and rats that are used and  
11 have been determined to be best to be used for animal testing.  
12 And we are going to have Dr. Portier talk to you about the  
13 animal testing. And what Dr. Portier will tell you is that we  
14 used this information to determine if it is biologically  
15 plausible to cause tumor in mammals, in these rats and mice.

16 So we are using these studies, and we are -- we are  
17 putting glyphosate in these animals to see if it causes tumors  
18 and is it possible; and he will testify to you the significance  
19 of finding tumors in animals and how that applies to humans.  
20 He will explain that to you.

21 So I'm going to walk you through how the basic animal  
22 study works. So usually you have groups of mice -- and it is  
23 the same for mice and rats. There is no real distinction. So  
24 we will say there are usually 50 -- there are 50 male mice and  
25 50 female mice, and they are put into four categories. So you

## OPENING STATEMENT / WAGSTAFF

1 usually have 400 mice in a study. And you give -- on the left  
2 you have your control groups. All right. Just to be clear,  
3 all of these toxicology -- toxicology means animal studies.  
4 They are sort of used interchangeably. So all of these animal  
5 studies involve glyphosate, the active ingredient except for  
6 one, the George study. We will talk about that one separately.

7 So you have the control group, and so you have the control  
8 group who is fed no glyphosate. The other three groups are fed  
9 glyphosate. Dr. Portier is going to tell you that the high  
10 dose is given the maximum -- maximum-tolerated dose, the MTD,  
11 and he is going to tell you how important it is to give these  
12 animals the maximum-tolerated dose. And he is going to tell  
13 you there is a specific reason, and he is going to give you  
14 that reason when he testifies.

15 And he is going to tell you how you determine the  
16 maximum-tolerated dose, and he is going to tell you the effect  
17 on the study if the highest group does not reach MTD. He is  
18 going to tell you--all of that. It is a high dose.

19 And then the low dose and the median dose are given a  
20 percentage of the maximum-tolerated dose. So on the left you  
21 have no glyphosate. On the right you have got the  
22 maximum-tolerated dose. And then you have got fractions of  
23 that.

24 So the mice are looked and checked for tumors at six weeks  
25 old, and Dr. Portier is going to tell you that the lifespan of

## OPENING STATEMENT / WAGSTAFF

1 the rat and a mouse is two years equivalent to our life. So  
2 when you have a two-year-old mouse or rat, he is going to  
3 equate that to someone in their 60s, 70 years old. That is why  
4 they use rats and mice.

5 Dr. Portier is going to tell you that a two-year rat or  
6 mouse study is considered a long-term study. So at the end of  
7 two years, they check for tumors in these animals, and they  
8 circle -- this is just sort of a demonstrative, but they will  
9 count the tumors; right? So here it looks like there are four  
10 on the high dose; three in the medium dose; two in the low  
11 dose, and one in the control. They will count the tumor in all  
12 of those groups. And then they will chart them, and they will  
13 see if there is a dose response. They will see if -- if the  
14 people who get more glyphosate, there are more tumors, and they  
15 will draw conclusions from that; and Dr. Portier will tell you  
16 what conclusions are drawn from that.

17 Dr. Portier will tell you that the important thing about  
18 animal studies to look for is if there is a significant  
19 increase in tumors. He will tell you if there is a lot more  
20 tumors in the high dose, in the controlled dose, or the low  
21 dose, that is important. And he will tell you why that is  
22 important, and he will tell you what that means.

23 He will also tell you that replication is important. That  
24 if the same tumor is found in different studies conducted in  
25 different laboratories between two strains of mice, between

## OPENING STATEMENT / WAGSTAFF

1 different sexes, male and female, or between a mouse and a rat,  
2 that if you see the same tumor popping up, that's really  
3 important. He will explain that to you.

4 Dose response, I just showed you a graphic on that.  
5 Dr. Portier will explain that if that arrow shows a dose  
6 response, that is important and he will explain the  
7 significance of that.

8 I mentioned across species. If you see a rare tumor in a  
9 mouse and in a rat, that's important. Dr. Portier will tell  
10 you that finding rare tumors at all is important.

11 So let's look at actually the studies involved in this  
12 case. The studies involved in this case -- let me orient you a  
13 little bit about this chart, and Dr. Portier will do the  
14 same -- but if you look across the top row, there is five  
15 columns, okay. And each column -- the first one says *Knezevich*  
16 & *Hogan* 1983. The second one says *Atkinson* 1993, following  
17 across to the right. Those are animal studies. Those are  
18 separate animal studies. And if you follow the column down, it  
19 will tell you the tumors that those authors found in the  
20 studies, and Dr. Portier will explain to you the significance  
21 of that.

22 So, for example, in *Knezevich & Hogan*, which was done in  
23 1983, Dr. Portier will tell you that the authors found a kidney  
24 carcinoma or adenoma and a spleen composite lymphosarcoma. He  
25 will explain to you what those are and what that means, and he

## OPENING STATEMENT / WAGSTAFF

1 will explain to you why it is important and why it is  
2 significant to him in his opinion that kidney sarcomas or  
3 adenomas are found in three different studies.

4 He will explain to you that the first four studies are CD1  
5 mice. And the last study, the *Kumar* study is a Swiss albino  
6 mouse. Different strains. And he will explain to you why that  
7 is important. He will tell you that Monsanto conducted the  
8 first study, and other companies conducted the last four  
9 studies; and he will explain to you why that is important in  
10 his opinion.

11 He will explain to you the importance that a lymphoma is  
12 found in every mouse study. He will explain to you that a  
13 spleen -- I can't believe I have to say this word twice to you  
14 guys -- composite lymphosarcoma is actually a lymphoma, and he  
15 will explain to you that means there is a lymphoma finding in  
16 every mouse study.

17 I want to tell you a little story about the *Knezevich &*  
18 *Hogan*. *Knezevich & Hogan* story in 1983. *Knezevich & Hogan* in  
19 1983 found a kidney carcinoma or adenoma.

20 A couple of weeks ago -- actually on January 23rd, so  
21 almost one month ago, we deposed Monsanto. They produced  
22 Dr. Reeves to talk about this study.

23 **THE COURT:** Hold on.

24 **MR. STEKLOFF:** May we approach?

25 **THE COURT:** Okay.

SIDEBAR

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

(The following proceedings were heard at the sidebar:)

[REDACTED]

OPENING STATEMENT / WAGSTAFF

1 [REDACTED]

2 [REDACTED]

3 [REDACTED]

4 [REDACTED]

5 [REDACTED]

6 [REDACTED]

7 [REDACTED]

8 [REDACTED]

9 [REDACTED]

10 [REDACTED]

11 [REDACTED]

12 [REDACTED]

13 [REDACTED]

14 [REDACTED]

15 [REDACTED]

(The following proceedings were heard in open court:)

**MS. WAGSTAFF:** All right. Back to where we were.

So I want to talk to you about the *Knezevich & Hogan* studies and findings that were made in 1993. I have circled this particular tumor because I want to talk and tell you a story about this particular tumor.

In 1985 Monsanto submitted the *Knezevich & Hogan* study to the EPA as support to get glyphosate approved, and the study showed that there was a 0-0-1-3 tumor finding. What that means is zero in the control group, zero in the low group. They

## OPENING STATEMENT / WAGSTAFF

1 found one tumor in the median group and three tumors in the  
2 high group. That's how -- that's what those numbers mean,  
3 0-0-1-3.

4 And around that time you will hear testimony, and you will  
5 see documents that show, that the EPA made a unanimous decision  
6 in 1985 to classify glyphosate as a Category 3 oncogene. You  
7 will hear testimony and you will see documents that Monsanto  
8 thought this was a bad thing for glyphosate.

9 And Monsanto, you will see testimony where they say, short  
10 of a new study or finding tumors in control groups, what can we  
11 do to get this thing off Category 3.

12 **MR. STEKLOFF:** Objection, under --

13 **THE COURT:** Overruled.

14 **MS. WAGSTAFF:** Monsanto said short of a new study or  
15 finding tumors in the control group, what can we do to get this  
16 thing off Category C; this thing called glyphosate.

17 The EPA responds to them: A prudent person would reject  
18 the Monsanto assumption that glyphosate dosing has no effect on  
19 kidney tumor production.

20 Another way of saying this is that if glyphosate were  
21 truly unrelated to kidney production, we would expect to see  
22 four or more tumors in less than one out of a hundred  
23 experiments of the type sponsored by Monsanto. Thus,  
24 glyphosate is suspect.

25 EPA further said, We disagree with the registrants

## OPENING STATEMENT / WAGSTAFF

1 position -- the registrant being Monsanto. The EPA says: We  
2 disagree with Monsanto's position. The registrant wishes to  
3 avoid false positives while those concerned with the public  
4 health wish to avoid false negatives. Hence, for this reason  
5 alone, Monsanto's argument is unacceptable. Viewpoint is a key  
6 issue. Our viewpoint is one of protecting the public health  
7 when we see suspicious data. It is not our job to protect  
8 registrants from false positives. We sympathize with the  
9 registrant's problem, but they will have to demonstrate that  
10 this positive result is false.

11 So the EPA tells Monsanto they will have to demonstrate  
12 that the 0-0-1-3 is false. It is actually not related to the  
13 glyphosate. So you will hear testimony from Mr. Reeves --  
14 Dr. Reeves -- I'm sorry. You will hear testimony to prove it  
15 wasn't false -- or to prove it was false they hired  
16 Dr. Kushner.

17 You will see that Monsanto says, Senior management at EPA  
18 is reviewing a proposal to classify glyphosate as a Class 3  
19 possible human carcinogen because of kidney adenomas in male  
20 mice. Remember, I circled that, kidney adenoma.

21 Dr. Marvin Kushner will review the kidney sections and  
22 present his evaluation of them to EPA in an effort to persuade  
23 the agency that the observed tumors are not related to  
24 glyphosate.

25 So you will see Monsanto hired Dr. Kushner to persuade

## OPENING STATEMENT / WAGSTAFF

1 the agency that the tumors were not related to glyphosate on  
2 April 3rd, 1985. You will see documents saying that. The  
3 problem is you will also see documents that Dr. Kuschner didn't  
4 receive the slides until 11 days later, April 14th.

5 The story goes on and Dr. Reeves will tell you that  
6 Dr. Kuschner reviewed the slides and actually found a tumor in  
7 the control group. And you will hear testimony that Monsanto  
8 submitted Dr. Kuschner's study, the EPA, and the EPA declined  
9 the results. The EPA said that is not good enough.

10 You will hear testimony that the EPA then created a group  
11 of pathologists and they re-cut the slides so they actually  
12 went back to the animal, and you will hear testimony that they  
13 re-cut the slides. And the independent EPA people didn't find  
14 a tumor in the control group when they re-cut the slides.

15 So you will hear testimony that the EPA in 1985 then goes  
16 back to Monsanto and says, Redo the study. Redo the study.

17 Monsanto refuses to redo the study, and you will hear  
18 testimony about their language and how opposed they are to the  
19 study. You can decide for yourself why you think Monsanto  
20 didn't redo the study, but the important thing when you are  
21 thinking about why Monsanto didn't redo the study is  
22 Dr. Portier will show you -- remember I told you *Knezevich &*  
23 *Hogan* was the only study done by Monsanto -- the four tumor  
24 studies, the four studies -- mice studies that followed, the  
25 only four that have happened since then, found a lymphoma. So

## OPENING STATEMENT / WAGSTAFF

1 you can decide when you hear the evidence why Monsanto didn't  
2 redo that study.

3 You will hear testimony by Mr. Hardeman that right around  
4 this time he began spraying Roundup.

5 Next we are going to talk about the *George* study. The  
6 *George* is slightly different. It is still a mouse study, but  
7 it has a little twist; and the twist is this -- and you will  
8 hear testimony from Dr. Portier that the *George* study is  
9 different for two main reasons.

10 The first reason is that it used Roundup, not glyphosate.  
11 So remember I told you one study used Roundup. Dr. Portier  
12 will tell you that the *George* study done in 2010 used Roundup.  
13 The other studies we have been talking about, you will hear  
14 testimony that they fed the glyphosate to those animals.

15 In the *George* study they actually shaved the mice and they  
16 rubbed Roundup on their body, and you will hear Dr. Portier  
17 tell you why that is important and the significance of that --  
18 of rubbing the Roundup on someone's body.

19 You will hear Dr. Portier tell you that 40 percent of the  
20 mice that had Roundup rubbed on their skin got tumors. Zero in  
21 the control group got tumors. You will hear Dr. Portier tell  
22 you that. The study was -- did an additional step that the  
23 other mice studies didn't do, and Dr. Portier will explain it  
24 to you.

25 Dr. Portier will explain to you the concept of being an

## OPENING STATEMENT / WAGSTAFF

1 initiator or a promoter. And so this study looked at whether  
2 Roundup was a promoter. And Dr. Portier will tell you what  
3 that means. What he will tell you is that some chemicals can  
4 initiate the cancer process, and some chemicals can promote the  
5 cancer process that is already going on. And so Dr. Portier  
6 will explain to you how both of those relate to the *George*  
7 study, and Dr. Portier will tell you that the *George* study is  
8 evidence that Roundup -- I have glyphosate on here, but it is  
9 actually Roundup -- is both an initiator and a promoter. And  
10 Dr. Portier will explain to you why that is important.

11 Next, we have the rat studies. So there is a little bit  
12 more robust group of rat studies, and it is organized the same  
13 way. *Lankas* is the first study and then *Stout and Ruecker* in  
14 1990. What is important here is that Monsanto conducted -- you  
15 will hear testimony from Dr. Portier. Monsanto conducted the  
16 first two studies, the *Lankas* study and the *Stout and Ruecker*  
17 study, and other people conducted the other studies. The first  
18 studies are Sprague Dawley rats. The last three are Wistar  
19 rats. The only thing that is important about that is two  
20 strains of rats were used, and Dr. Portier will explain the  
21 significance of that.

22 I should go back. Dr. Portier will tell you that this  
23 *Suresh* study from 1996; that there was a 40-some percentage of  
24 tumors found in the control group when the -- historically  
25 those control groups of Wistar rats usually had around a

## OPENING STATEMENT / WAGSTAFF

1 3 percent. So Dr. Portier will explain to you that -- that the  
2 control group in that study was possibly contaminated and so  
3 the results of that study can't really be useful. Dr. Portier  
4 will tell you that, but he wanted to put that in.

5 And Dr. Portier will use these slides, these tumor charts.  
6 So Dr. Portier will tell you that here is this kidney carcinoma  
7 or adenoma that we saw in the mice study. He will tell you  
8 that is actually a really rare tumor. He will tell you that  
9 this is really important and significant when you see it in  
10 rats and you see it in mice.

11 We have replication across strains here. The first ones  
12 to the left are Sprague Dawley, and then the wood one is a  
13 Wistar rat.

14 So Dr. Portier will tell you that we have checked off all  
15 of the animal study boxes, and that's the animal studies.  
16 Dr. Portier will tell you that there is significant evidence to  
17 conclude that exposure to glyphosate causes tumors in animals,  
18 and there is significant data to conclude that Roundup on your  
19 skin is a cancer promoter. So that's the animal piece of the  
20 puzzle.

21 We have one more piece of the puzzle we need to look at,  
22 and that is the cellular data. The cellular data really gets  
23 at the heart of how does this happen, how is it possible that  
24 this actually causes damage. That is what the cellular data  
25 looks at. And Dr. Portier is actually going to be the expert

## OPENING STATEMENT / WAGSTAFF

1 who talks to you about that as well. And Dr. Portier is going  
2 to tell you that there are ten, I think -- maybe 11 --  
3 different possible ways that something can cause damage in a  
4 cell, and he is going to tell you that two of those ways keep  
5 showing up in the literature.

6 Dr. Portier is going to tell you that with exposure to  
7 both Roundup and glyphosate evidence of genotoxicity and  
8 oxidative stress keep showing up.

9 So we talked earlier that the epidemiology is Roundup  
10 exposure; right? We talked earlier that the animal studies is  
11 pretty much glyphosate exposure, except for the George study,  
12 which is Roundup. And here in the cellular data you are going  
13 to learn that there actually is both. We have cellular data  
14 that relates to glyphosate, and we have cellular data that  
15 relates to Roundup.

16 Dr. Portier will tell you that the field -- the body of  
17 the cellular data is huge, and he will tell you that it  
18 includes data related to humans. He will tell you that it  
19 relates to data related to mammals, like monkeys; and he will  
20 tell you that it relates -- there is data as it relates to  
21 non-mammals, living things, bacteria, fish. And each of those  
22 three categories, there is cellular data with the effect of  
23 glyphosate and/or Roundup and its effect on cells both in  
24 vitro, which means in sort of a petri dish, and in vivo. So  
25 there is a whole bunch of different combinations available

## OPENING STATEMENT / WAGSTAFF

1 Dr. Portier will tell you from the cellular data.

2 And Dr. Portier, in a way far better than me, will walk  
3 you through how a normal cell turns to cancer, and he will tell  
4 you that the important thing is that somewhere along the way,  
5 DNA damage or cell damage happens. And he will show you that a  
6 chemical exposure -- there are several different ways along  
7 that pathway that the damage can happen.

8 Dr. Portier will explain to you where the genotoxicity can  
9 happen, where the oxidative stress can happen. This is the --  
10 this is what I just explained to you, that there are -- there  
11 is a robust body of cellular data study. And if you look at  
12 this DNA that we all learned about when we were young kids,  
13 there is different ways that the DNA can be damaged.

14 Dr. Portier will tell you about a single strand break. He  
15 will tell you that the DNA can get mismatched; that the base  
16 can be damaged. He will tell you that you can have a double  
17 strand break. He will talk about intrastrand cross-links and  
18 interstrand cross-links. Dr. Portier will walk you through all  
19 the ways in which exposure to Roundup or exposure to glyphosate  
20 has been studied, and he will give you his opinion on whether  
21 or not it is genotoxic.

22 Now, Dr. Portier will walk pretty quickly -- he  
23 actually -- his testimony is sort of weird. His testimony was  
24 actually taken last week in Australia, so I actually know what  
25 he is going to say. He is going to walk through this chart

## OPENING STATEMENT / WAGSTAFF

1 and, he is going to put pluses or minuses where he thinks there  
2 has been genotoxicity found, where he thinks in these studies  
3 in his opinion he is going to explain to you where those  
4 studies show that exposure to Roundup and/or glyphosate has a  
5 genotoxic effect.

6 And then he is going to talk about the recent studies.  
7 These are the studies that have happened in the last two years.  
8 Dr. Portier will walk you through all of those.

9 And because it is so robust, we have asked Dr. Portier to  
10 focus on the human data. Remember I mentioned there are all  
11 these bacterial and non-human mammal data and all of that? He  
12 has pretty much focused his opinion on the human data.

13 So this slide is the oxidative stress data. He is going  
14 to walk you through all of that. What I have done is I have  
15 summarized it, and you will see pluses where Dr. Portier will  
16 tell you that there is a positive association.

17 So I have walked you through all of the three pillars of  
18 cancer science. And your question, remember, we told you, was,  
19 is: Does exposure to Roundup cause cancer. I have walked you  
20 through what you are going to hear about the epidemiology, and  
21 I have walked you through what you are going to hear about the  
22 animal studies; and I have walked you through what you are  
23 going to hear about the cellular studies, and you are going to  
24 remember that Roundup and glyphosate are not the same things.  
25 And that is the final piece of your puzzle to decide whether

## OPENING STATEMENT / WAGSTAFF

1 exposure to Roundup causes cancer.

2       There is one other thing I want to tell you about before  
3 we get to whether or not exposure to Roundup caused  
4 Mr. Hardeman's cancer. There is this entity called the  
5 International Agency Research on Cancer, which we lovingly  
6 refer to as IARC. IARC is a -- an arm of the World Health  
7 Organization.

8       And you are going to hear that Dr. Portier actually has  
9 experience with IARC. Dr. Ritz has experience with IARC. And  
10 what you are going to hear is you are going to hear that in  
11 2014 and into the beginning of 2015 IARC reviewed glyphosate.  
12 What IARC did was they brought 17 people from around the whole  
13 world, not just Americans, people from all over the world, and  
14 they convened in Leon, France. And prior to showing up, you  
15 will hear testimony that they spent about six months or so  
16 reviewing the literature, and these aren't people who -- let me  
17 move back.

18       These are people who are invited there because they are  
19 experts in their field. So you have them reviewing the  
20 literature, leading experts on cancer, and they went to Leon,  
21 France in March of 2015, so almost four years ago. People from  
22 the EPA were there. There was someone there from the  
23 California EPA. Monsanto actually sent an observer. You will  
24 hear evidence that actually Monsanto participated a little bit  
25 in the process.

## OPENING STATEMENT / WAGSTAFF

1           They had a week-long meeting in France. And they weren't  
2 just looking at glyphosate; they were looking at a couple other  
3 chemicals as well, and they categorized the evidence in similar  
4 buckets than we did. They didn't have all the data that our  
5 experts have here. They had a limitation of using  
6 peer-reviewed literature that our experts don't have, but they  
7 considered the evidence as well.

8           They actually had a fourth group called exposure, but I  
9 don't think -- anyway, so epidemiology, IARC determined was  
10 limited. And IARC is an international entity that doesn't  
11 sometimes use the same language that you or I would use when we  
12 are talking to people or that you or I would sort of give  
13 significance to. So I wanted to read to you what IARC's  
14 definition of "limited" is.

15           According to IARC, limited evidence means that a positive  
16 association --

17           **THE COURT:** Ms. Wagstaff, you are getting into more  
18 detail on what the IARC investigated than you are going to be  
19 allowed to present at Phase One, so I will ask you to move on.

20           **MS. WAGSTAFF:** Okay. Thank you, Your Honor.

21           So what the IARC concluded was they unanimously decided to  
22 list glyphosate as a Class 2 carcinogen, which means that they  
23 unanimously decided after looking at the literature that it was  
24 a probable human carcinogen.

25           So one month ago, we deposed Dr. Reeves who was a Monsanto

## PROCEEDINGS

1 representative, and Monsanto told us that there is no evidence  
2 that glyphosate or glyphosate-based formulations caused cancer.  
3 That is what Monsanto told us a month ago, and that's why we  
4 are here today.

5 So I want to talk to you a little bit about the EPA, just  
6 touch on it briefly. The EPA does not look at Roundup. You're  
7 going to hear testimony that the EPA only looks at glyphosate.

8 You're going to hear testimony that the EPA actually  
9 doesn't test anything --

10 **MR. STEKLOFF:** Objection, Your Honor.

11 **THE COURT:** Sustained. Why don't you move on from the  
12 EPA.

13 **MS. WAGSTAFF:** All right.

14 So can Roundup cause cancer? So let's look at whether or  
15 not Mr. Hardeman's exposure to Roundup caused his cancer.

16 You're going to hear from three of Mr. Hardeman's --

17 **THE COURT:** I wonder if -- since you're changing  
18 topics, I wonder if this is a good time to take a brief morning  
19 break. It's five minutes to 10:00. Why don't we resume at  
20 five minutes after 10:00. We'll take a morning break.

21 (Proceedings were heard out of the presence of the jury:)

22 **THE COURT:** Okay. Ms. Wagstaff, you have crossed the  
23 line so many times in your opening statement, it's obvious that  
24 it's deliberate. The last time -- the most recent time was  
25 when you were talking about the EPA and you were referring to

## PROCEEDINGS

1 the EPA being vulnerable to political pressure. Totally  
2 inappropriate. Totally inconsistent with everything we've  
3 discussed over the past several months.

4 So I'm going to give you one final warning. One final  
5 warning. If you cross the line one more time in your opening  
6 statement with respect to Phase I, if you bring in material  
7 during your opening statement that is inadmissible during  
8 Phase I, your opening statement will be over. I will tell you  
9 to sit down and I will tell you that your opening statement is  
10 over, and I will do it in front of the jury.

11 Do you understand?

12 **MS. WAGSTAFF:** Yes, Your Honor.

13 **THE COURT:** Okay. Last chance. Last warning.

14 (Recess taken at 10:00 a.m.)

15 (Proceedings resumed at 10:10 a.m.)

16 (Proceedings were heard out of the presence of the jury:)

17 **THE COURT:** Okay. Very briefly, I have just filed an  
18 order. It's an Order to Show Cause why Ms. Wagstaff should not  
19 be sanctioned for deliberately crossing the line during her  
20 opening statement a number of times.

21 That deliberate crossing of the line is not only reflected  
22 in what Ms. Wagstaff said but in the slides that she and her  
23 team prepared for the opening statement.

24 So Ms. Wagstaff will be required to respond in writing by  
25 8:00 p.m. tonight why she should not be sanctioned for crossing

## PROCEEDINGS

1 the line and will have a further opportunity to be heard on it  
2 after that.

3 For now, I guess my question is: Should I ban the  
4 plaintiffs from using their slides for the remainder of the  
5 opening statement given what we've seen so far? I've already  
6 warned Ms. Wagstaff that if she crosses the line one more time,  
7 she will be required to sit down and her opening statement will  
8 be over.

9 The question is: Should I save Ms. Wagstaff from herself  
10 by barring her from the further use of slides during her  
11 opening statement, which I suspect contain a number of  
12 inappropriate things? Thoughts?

13 **MR. STEKLOFF:** Yes, Your Honor, I have two thoughts.  
14 First, we would ask that you preclude Ms. Wagstaff from using  
15 slides in the rest of her presentation given what we've seen.

16 I would also ask for a curative instruction specifically  
17 on the issue of the Knezevich study. And what I would like to  
18 raise there is two issues.

19 First, Your Honor required us to submit the exhibits that  
20 we would be referencing in opening and both parties e-mailed  
21 chambers with our exhibits. None of the exhibits about that  
22 study were contained in plaintiff's e-mail to the Court. So we  
23 had no notice and Your Honor had no notice, and I think the  
24 exact purpose of that was so that any issues could be raised  
25 ahead of time rather than in the middle of opening.

## PROCEEDINGS

1 Second --

2 **THE COURT:** I understand your request. I'm not going  
3 to give an instruction specifically about that, but I will give  
4 a more specific curative instruction that a number of  
5 statements that Ms. Wagstaff has made will not be coming into  
6 evidence and the Court should -- and the jury should disregard  
7 it.

8 **MR. STEKLOFF:** Thank you, Your Honor.

9 **MS. WAGSTAFF:** And, Your Honor, if I may, because I  
10 seem to have got you quite upset.

11 I have listened to Dr. Portier's testimony.

12 **THE COURT:** It's not about being upset. It's about  
13 running an orderly trial.

14 **MS. WAGSTAFF:** I --

15 **THE COURT:** And, as I said, you've completely  
16 disregarded the limitations that were set upon you.

17 **MS. WAGSTAFF:** I understand that, and I would just  
18 like an opportunity to say something if you would please  
19 indulge me.

20 **THE COURT:** Only if it relates to how your opening  
21 statement is going to go.

22 **MS. WAGSTAFF:** It does relate to my opening statement.

23 **THE COURT:** Okay. Go ahead.

24 **MS. WAGSTAFF:** Thank you.

25 Dr. Portier was asked questions that specifically said

## OPENING STATEMENT / WAGSTAFF

1 "Obama's EPA." That's the way the questions were phrased.

2 **THE COURT:** Okay. Is this about your opening  
3 statement going forward or what has already happened?

4 **MS. WAGSTAFF:** What has already happened.

5 **THE COURT:** Okay. We'll talk about what's already  
6 happened later.

7 **MS. WAGSTAFF:** All right.

8 **THE COURT:** You'll have an opportunity to be heard  
9 about that.

10 **MS. WAGSTAFF:** Okay. Thank you.

11 **THE COURT:** Okay.

12 **MS. WAGSTAFF:** I think I can use my slides --

13 **THE COURT:** Okay.

14 **MS. WAGSTAFF:** -- and listen to your advice.

15 **THE COURT:** It's your risk.

16 **MS. WAGSTAFF:** I understand.

17 **THE COURT:** You're the one bearing the risk.

18 **MS. WAGSTAFF:** I understand, Your Honor.

19 **THE COURT:** If I see a single inappropriate thing on  
20 those slides, I'm shutting you down --

21 **MS. WAGSTAFF:** Okay. Thank you, Your Honor.

22 **THE COURT:** -- and your opening statement is done.  
23 Okay. Bring in the jury.

24 (Proceedings were heard in the presence of the jury:)

25 **THE COURT:** Okay. Welcome back.

## OPENING STATEMENT / WAGSTAFF

1 Ladies and gentlemen of the jury, let me remind you once  
2 again of my instruction that statements by lawyers are not  
3 evidence. Sometimes, as has occurred today, lawyers will make  
4 statements about things that are not -- will not actually come  
5 into evidence, and so it's important that you take with a grain  
6 of salt what both lawyers on both sides tell you during opening  
7 statement about what the evidence will show.

8 What matters is the evidence that actually comes in in the  
9 courtroom, not what the lawyers tell you it will be.

10 So with that, Ms. Wagstaff, you can resume.

11 **MS. WAGSTAFF:** Thank you, Your Honor.

12 All right. Now we are to the point in my opening  
13 statement where we talk about whether or not Mr. Hardeman's  
14 Roundup exposure caused his cancer.

15 And so you will hear testimony from three of  
16 Mr. Hardeman's treating physicians. These are three Kaiser  
17 doctors who work up in the Santa Rosa area, and you will hear  
18 testimony from them by videotape deposition that occurred last  
19 year, and you will hear testimony related to his diagnosis of  
20 non-Hodgkin's lymphoma.

21 Next you will hear from Mr. Hardeman himself about his  
22 exposure to Roundup. He will walk you through his 26 years of  
23 Roundup exposure, and he will tell you how often he sprayed,  
24 how much he sprayed, what he wore when he sprayed, and he will  
25 explain to you his exposure.

## OPENING STATEMENT / WAGSTAFF

1           Now, what this is here, Mr. Hardeman will tell you that he  
2 started spraying Roundup around 1986; and that he lived in a  
3 town with Mary called Gualala, and they lived there for a few  
4 years and that's where he began spraying Roundup. He'll  
5 testify to that.

6           Around 1988, you'll hear from Mr. Hardeman that he and  
7 Mary bought this property. And this is a plot map, and this is  
8 a plot map in yellow of his property. And Mr. Hardeman will  
9 testify to his spraying habits on this property. It's a  
10 56-acre property where he lived from roughly 1988 to roughly  
11 2012.

12           And you'll see these blue dots that will become more  
13 apparent when Mr. Hardeman testifies and this yellow sort of  
14 dash. And he'll explain where his house was on this property  
15 and he'll explain where the hiking trails were.

16           And he'll explain where exactly on the property he  
17 sprayed. And he'll explain to you that there was a serious  
18 problem with poison oak on his property. He'll even tell you  
19 that there were poison oak festivals in the Santa Rosa  
20 community during the '80s and '90s because the poison oak was  
21 so bad. And, in fact, he'll tell you that he had to go to the  
22 doctor's office sometimes because his poison oak got so bad.

23           Now, he's not going to come in here and tell you that he  
24 sprayed every inch of this 56-acre property, but he's going to  
25 walk you through exactly where he sprayed and when he sprayed

## OPENING STATEMENT / WAGSTAFF

1 it, and he's going to tell you that most of his spraying was  
2 done on the hiking trails in and around his home so that he and  
3 Mary could enjoy weekend hikes.

4 And he'll tell you that when he bought the property, he  
5 got it for a real deal because the previous owner had not  
6 maintained the land. And he'll tell you that he didn't hire a  
7 crew to come and fix the land; that he did it himself, and it  
8 was a real source of pride for him. He'll tell you that.

9 And he'll tell you that when you came on the property,  
10 which is gated all the way around, that when you came on the  
11 property, he'll explain to you that there was a driveway that  
12 led up to his house. And he'll explain to you that he used to  
13 walk -- he'll explain to you that the driveway had sort of a  
14 cliff cutout almost, and that he used to walk with that  
15 2-gallon sprayer I was telling you about before and spray the  
16 side of the cliff and spray the side of the cliff, and he'll  
17 tell you that.

18 And he'll tell you that sometimes around his house he used  
19 to spray poison oak that was coming off of eaves of his house,  
20 and he'll testify that sometimes he remembers feeling the  
21 Roundup on his face.

22 And he'll testify that in 2015 on Valentine's Day he was  
23 told that he had aggressive Stage 3 cancer.

24 And so a doctor is going to come in, an expert witness is  
25 going to come in, and do what's known as a differential

## OPENING STATEMENT / WAGSTAFF

1 diagnosis for Mr. Hardeman. And so what that doctor will do,  
2 is that doctor will put all of the known risk factors for NHL  
3 in the left-hand column. This includes age, sex, and race,  
4 family history, pesticide use, obesity, viral infections,  
5 bacterial infections, and so on and so forth.

6 And then that doctor will sit here on this witness stand  
7 and he will walk you through every single factor, and he will  
8 tell you why he doesn't think age caused Mr. Hardeman's  
9 lymphoma. He will tell you why he doesn't think the fact that  
10 he's a man caused it, the fact that he's white. He'll tell you  
11 that there's no family history that would cause it -- cause him  
12 to have non-Hodgkin's lymphoma.

13 And then there are certain factors that require a little  
14 bit more attention. We'll get to those in a minute. Those are  
15 Roundup. Roundup is a pesticide. Obesity. Hepatitis C and  
16 hepatitis B, those are viral infections.

17 And then he'll continue going through this list, and then  
18 he will tell you that he spent more time considering the  
19 literature we've discussed today, considering Mr. Hardeman's  
20 specific use of Roundup, how much he used it, how frequently he  
21 used it, the duration of time he used it. This doctor  
22 considered all of those things.

23 And he also did the same with obesity. This doctor will  
24 tell you he considered Mr. Hardeman's weight. He considered  
25 Mr. Hardeman's body mass index, and he considered whether that

## OPENING STATEMENT / WAGSTAFF

1 caused Mr. Hardeman's non-Hodgkin's lymphoma.

2 And the remaining two are viral infections. This doctor  
3 will tell you that Mr. Hardeman at one time had an antibody for  
4 hepatitis B. This doctor will further tell you that there's  
5 been no positive diagnosis of hepatitis B in Mr. Hardeman's  
6 medical history, but he considered hepatitis B because he had  
7 the positive antibody, which means at some point Mr. Hardeman  
8 was probably exposed to the hepatitis B virus. And he will  
9 tell you how he's able to rule out hepatitis B.

10 This doctor will also talk to you about hepatitis C, and  
11 Mr. Hardeman will talk to you about his hepatitis C that he  
12 had. Mr. Hardeman will tell you that he was probably exposed  
13 to hepatitis C in the late '60s. Mr. Hardeman will tell you  
14 that it was probably around 1966 that he was exposed to  
15 hepatitis C.

16 What the medical records and the evidence will show you  
17 and what Mr. Hardeman will tell you is that in 2005 he was  
18 diagnosed with active hepatitis C. In 2006, you will hear  
19 testimony and you will see the records that Mr. Hardeman was  
20 cured of his hepatitis C.

21 And you will hear from Mr. Hardeman that from 2006 through  
22 today, he's never had a positive finding of hepatitis C in his  
23 blood test, and he's had plenty of blood tests since then.  
24 Hepatitis C, they test it by something called a viral load, and  
25 you'll hear Mr. Hardeman tell you he's never had an elevated

## OPENING STATEMENT / WAGSTAFF

1 viral load since 2006.

2 So you'll hear our expert tell you that his hepatitis C  
3 was cured. And, in fact, Mr. Hardeman believed it to be cured  
4 as of 2006.

5 You'll hear our expert tell you that if any remaining  
6 hepatitis C had lingered in his body undetected, you'll hear  
7 that it would have reared its head during chemotherapy. You'll  
8 hear testimony that the chemotherapy suppressed his immune  
9 system so bad that any lingering viral infections or virus  
10 would have shown up, and you'll see evidence and testimony that  
11 it didn't. You'll see testimony that Mr. Hardeman went through  
12 his chemotherapy in 2015, nine years after being cured of  
13 hep C, and there was no hep C incident.

14 And you'll hear that those are the reasons why our expert  
15 was able to conclude that Roundup was a substantial factor in  
16 causing Mr. Hardeman's hepatitis C. And you'll hear that those  
17 are the reasons that they were able to conclude that those  
18 other three were not substantial factors.

19 So I just wanted to include a couple of pictures that you  
20 will see related to his property. You can tell these are kind  
21 of old photos.

22 And so at the end of the day or at the end of the Phase I,  
23 not the end of the day, the end of Phase I, we've given you all  
24 the pieces of the puzzle that we think you need to make your  
25 decision.



## OPENING STATEMENT / STEKLOFF

1 part of Phase I, which is what we're discussing right now.

2 So that's also what I'm going to talk to you about this  
3 morning, what is the evidence that you are going to hear over  
4 the next few weeks to answer this question. And the answer to  
5 this question is no, Roundup did not cause Mr. Hardeman's  
6 non-Hodgkin's lymphoma.

7 So where I want to start is actually there are some areas  
8 in this case that are not in dispute, things that I don't think  
9 you will hear us fighting about when you hear from the various  
10 experts that both sides are going to bring you.

11 The first is that non-Hodgkin's lymphoma, that's NHL, is a  
12 common cancer. That doesn't mean it's a common disease but  
13 among cancer, it is a common cancer. You're going to hear that  
14 over 70,000 people every single year just here in the  
15 United States are diagnosed with non-Hodgkin's lymphoma.

16 And what you're also going to hear is that for those  
17 70,000 people per year, the cause of their non-Hodgkin's  
18 lymphoma when they go to their doctors and hospitals around the  
19 country is unknown. Doctors don't tell them and cannot tell  
20 them what caused their cancer.

21 You're going to hear different percentages, but I think  
22 everyone will agree, whether it's 70 percent or over  
23 90 percent, people out in society outside of this courtroom  
24 when they are unfortunately diagnosed with non-Hodgkin's  
25 lymphoma, they don't know what caused their cancer.

## OPENING STATEMENT / STEKLOFF

1           And to be clear, the other percentage, the 10 to  
2   30 percent, they're not told that Roundup or glyphosate is what  
3   caused their cancer. They're told other things caused their  
4   cancer, whether it's specific genetic issues that they had or  
5   whether it's specific viral diseases. Like, there's something  
6   called Epstein-Barr disease or hepatitis. They are told in  
7   some circumstances about those types of things that caused  
8   their cancer, but most people are not told at all and no one is  
9   told Roundup.

10           The other thing is that of those over 70,000 people per  
11   year who unfortunately are diagnosed with non-Hodgkin's  
12   lymphoma, most of them have never used Roundup in their entire  
13   life.

14           People unfortunately, like other cancers, are diagnosed  
15   with this type of cancer, non-Hodgkin's lymphoma, every single  
16   day and we don't know why. Doctors don't know why.  
17   Oncologists, which are the doctors that treat cancer;  
18   pathologists, which are the doctors that diagnose cancer when  
19   they look at a tumor on a slide, they do not know what causes  
20   cancer.

21           And the last thing that is not in dispute is that there is  
22   no test that a doctor can run in a hospital to tell a patient  
23   whether or not his or her cancer was caused by Roundup.

24           There's nothing that a doctor can do to look on a microscope  
25   and look at the tumor or any other test -- MRI, CAT scan,

## OPENING STATEMENT / STEKLOFF

1 anything you can think of -- there is no test to say "I'm  
2 looking at this individual's cancer and I'm telling you this  
3 cancer had something to do with Roundup." It doesn't exist,  
4 and there is no dispute about that.

5 So what is non-Hodgkin's lymphoma? Because, again, that's  
6 the type of cancer that Mr. Hardeman was diagnosed with in  
7 2015. It is a cancer of the immune system. So the cancer will  
8 occur at certain cells in your blood, and then it may show up  
9 in different ways. So I think you saw one of the pictures was  
10 in Mr. Hardeman's case he had a tumor on his neck, and that's  
11 what led him to go to the hospital and seek a diagnosis, and  
12 that's when they diagnosed in 2015 his non-Hodgkin's lymphoma.

13 I've already told you that there are 70,000 -- over  
14 70,000, I think it's closer to 75,000, new cases per year here  
15 in the United States alone. There are also 60 different  
16 subtypes of non-Hodgkin's lymphoma. So doctors get even more  
17 specific about which type of -- whether it's a certain type of  
18 cell or other issues, which subtype of non-Hodgkin's lymphoma  
19 people have who are diagnosed with it.

20 And so I mentioned this thing called DLBCL. It stands for  
21 diffuse large B-cell lymphoma. That is the specific type of  
22 non-Hodgkin's lymphoma that Mr. Hardeman had, and that is the  
23 most common type of non-Hodgkin's lymphoma overall.

24 Now, you're going to hear from three different categories  
25 of doctors who are going to talk to you about Mr. Hardeman.

## OPENING STATEMENT / STEKLOFF

1 There are going to be other doctors that come in during trial.  
2 You heard a lot about Dr. Ritz and Dr. Portier, but these are  
3 the doctors who are going to talk to you specifically about  
4 Mr. Hardeman.

5 The plaintiffs, I believe, are going to bring two experts.  
6 They didn't say during opening, but I believe and we'll see,  
7 that they are going to bring you a Dr. Weisenburger and a  
8 Dr. Nabhan. Dr. Weisenburger is a pathologist. Dr. Nabhan is  
9 an oncologist. So he's someone who treats cancer patients. He  
10 stopped treating patients two years ago and is still  
11 practicing -- dealing with medical issues, but he is a trained  
12 oncologist.

13 You're also going to hear from three of Mr. Hardeman's  
14 doctors who treated him during the course of the events we're  
15 dealing with here. You're going to hear from Dr. Ye. Dr. Ye  
16 was the doctor who took care of Mr. Hardeman starting in 2015  
17 when he was diagnosed with non-Hodgkin's lymphoma and is still  
18 taking care of him today.

19 You're going to hear from Dr. Turk, who's his general  
20 practitioner; and Dr. Turley, who is his, I will simplify it,  
21 ear, nose, and throat doctor, who actually took the biopsy of  
22 that tumor that we saw on Mr. Hardeman's neck and that helped  
23 diagnose his non-Hodgkin's lymphoma.

24 And then we also are going to bring you experts who are  
25 going to talk to you about Mr. Hardeman. We're going to bring

## OPENING STATEMENT / STEKLOFF

1 you Dr. Levine, who's an oncologist and a hematologist. A  
2 hematologist is a doctor who specializes in blood disorders.  
3 And then we're going to bring you Dr. Arber, who's a  
4 pathologist.

5 And I'll talk to you more about them later, but right now  
6 I just wanted to explain the three categories of doctors that  
7 you are going to hear from during this trial about Mr. Hardeman  
8 specifically.

9 And of those doctors, who tells patients outside of this  
10 courtroom that Roundup causes cancer? None of them. Not a  
11 single one. Not the plaintiff's experts, Dr. Weisenburger or  
12 Dr. Nabhan; not Mr. Hardeman's doctors; and not the experts  
13 that we will also bring. They do not tell patients outside of  
14 this courtroom that Roundup causes cancer. They've never told  
15 that to a single patient. None of them in all three  
16 categories.

17 So I want to talk to you a little bit more about  
18 Mr. Hardeman because, again, the question you have to answer  
19 is: Did Roundup cause Mr. Hardeman's non-Hodgkin's lymphoma?

20 Mr. Hardeman today, I believe, is 70 years old. I've  
21 talked to you about the fact that in 2015 he was diagnosed with  
22 non-Hodgkin's lymphoma when he was 66. And we're going to talk  
23 about these risk factors that he had for non-Hodgkin's  
24 lymphoma, and I want to explain to you what a risk factor is.

25 A risk factor is something that increases your chance of

## OPENING STATEMENT / STEKLOFF

1 developing a condition. And so all of these things --  
2 hepatitis C, hepatitis B, the age 66 at which he was diagnosed,  
3 and his weight or his body mass index -- increase his chances  
4 of developing non-Hodgkin's lymphoma in 2015.

5 And then today, and this is fortunate and I think everyone  
6 will agree on this -- first of all, everyone agrees that it is  
7 tragic that he was diagnosed with non-Hodgkin's lymphoma in  
8 2015. He has been in remission for almost a four-year period  
9 that we are at today, and it's very fortunate that it hasn't  
10 come back.

11 Mr. Hardeman's non-Hodgkin's lymphoma. The doctors that  
12 you are going to hear from, his cancer doctors, they don't say  
13 that Roundup causes cancer. They don't say that Roundup caused  
14 his cancer. And you will not see a reference to Roundup or  
15 that active ingredient glyphosate in a single medical record.  
16 We've had access to all of Mr. Hardeman's medical records, both  
17 sides. There's not a single reference to Roundup or glyphosate  
18 in any of his medical records.

19 So I want to focus for a moment on Dr. Ye because, again,  
20 Dr. Ye is the oncologist. He is the person who was responsible  
21 for taking care of Mr. Hardeman when he was diagnosed with  
22 non-Hodgkin's lymphoma in 2015. He also is an oncologist and a  
23 hematologist. So he not only treats patients for cancer, but  
24 he has a background in diseases that involve blood disorders.

25 He was educated and trained at excellent schools, New York

## OPENING STATEMENT / STEKLOFF

1 University School of Medicine.

2 He had a fellowship, so he had further medical education,  
3 at something called the National Institutes of Health. That's  
4 the elite governmental organization that focuses on medical  
5 issues in our country.

6 He treats over 50 cancer patients a week. He's treated  
7 hundreds or thousands of patients with non-Hodgkin's lymphoma,  
8 and he still treats Mr. Hardeman today. He is still his doctor  
9 today, and you will see his testimony on video and hear what he  
10 had to say about his care and treatment of Mr. Hardeman.

11 And I'm going to show you some of his testimony that you  
12 will see. And I want to be clear, this testimony, we go and  
13 take a deposition. It's a normal part of a legal process.  
14 There's a court reporter there and the witnesses are under  
15 oath, and you'll see several of those depositions. But his  
16 deposition took place at the end of October last year. So this  
17 is recent testimony that he gave about the questions you have  
18 to answer in this case. And he was asked (reading):

19 "As part of your care and treatment of your patients,  
20 if you could determine the cause of their cancer, you  
21 would want to do so; right?"

22 And his answer was "Yes."

23 So doctors, of course, who are treating patients outside  
24 of this courtroom in the real world, if they can learn what  
25 caused a patient's cancer, they want to know because that is

## OPENING STATEMENT / STEKLOFF

1 going to help them with their patients. That's going to  
2 improve their ability to help their patients, and that's what  
3 Dr. Ye testified to and you will see that on his video.

4 We also asked him (reading):

5 "And you've never determined -- tried to determine  
6 whether any of them" -- that's any of his patients --  
7 "were exposed to glyphosate; correct?"

8 His answer was "No, I don't."

9 So he doesn't even ask his patients about whether they  
10 used Roundup or whether they used any sort of glyphosate  
11 product if it was different than Roundup.

12 And, finally, we asked him about his medical records and  
13 we asked (reading):

14 "Now, we looked at a number of medical records  
15 regarding your care and treatment of Mr. Hardeman. And we  
16 can agree that nowhere did you ever write down glyphosate  
17 or Roundup in his medical records; correct?"

18 And his answer was "I don't believe I would have."

19 And that's because he never told -- he has never told  
20 Mr. Hardeman that his cancer was caused by Roundup.

21 Now, you heard a little bit about hepatitis C at the end  
22 there. Do you remember that list of known risk factors that we  
23 just discussed? So one of them was hepatitis C, and I want to  
24 talk to you about what hepatitis C is and then show you some of  
25 the medical records from Mr. Hardeman's medical history about

## OPENING STATEMENT / STEKLOFF

1 hepatitis C.

2 Hepatitis C is a viral infection. It can lead to liver  
3 cirrhosis. So some of you may or may not have heard of liver  
4 cirrhosis, but that's basically a scarring of your liver. And  
5 having hepatitis C alone, if you have it for long enough, if  
6 you have it for decades, it can actually lead to liver  
7 cirrhosis in your body, but you have to have it for a long time  
8 for that to happen.

9 It can cause genetic mutations, genetic mutations that can  
10 lead to cancer, and it is a known cause of non-Hodgkin's  
11 lymphoma.

12 So in 2005 you heard that Mr. Hardeman went and was  
13 diagnosed with active hepatitis C. And this is one of his  
14 medical records. This is the doctor that was treating him for  
15 that, for the hepatitis C, Dr. Ruffner-Statzer; and during that  
16 consultation, she noted that he had a history of hepatitis  
17 dating back to 1966.

18 **MS. WAGSTAFF:** Objection, Your Honor.

19 **THE COURT:** Take down the slide.

20 **MS. WAGSTAFF:** Can we take the slide down?

21 **MR. STEKLOFF:** Yes.

22 **THE COURT:** You can't use that slide.

23 **MS. WAGSTAFF:** I believe that violates --

24 **MR. STEKLOFF:** Okay.

25 That's not the only medical record that talks about

## OPENING STATEMENT / STEKLOFF

1 Mr. Hardeman's chronic hepatitis C, that he had hepatitis C for  
2 a very long period of time. And so these are other medical  
3 records that are in his file that all reference chronic  
4 hepatitis C over time.

5 I don't believe there will be any dispute, there shouldn't  
6 be any dispute, that Mr. Hardeman was exposed to hepatitis C in  
7 the 1960s and that he had active hepatitis C for a long period  
8 of time.

9 And part of the reason that we -- why we know he had  
10 hepatitis C active in his body for a long period of time is  
11 that he did, in fact, unfortunately, have cirrhosis of the  
12 liver.

13 So you can also see, this is one of his medical records,  
14 that he developed cirrhosis of the liver; that hepatitis C,  
15 that hepatitis C, that virus in his body, caused scarring in  
16 his liver to be diagnosed with cirrhosis.

17 And so we asked Dr. Weisenburger (reading):

18 "Is it your opinion that the cirrhosis of his  
19 liver" -- this is Mr. Hardeman's liver -- "was a result of  
20 his hepatitis C infection?"

21 And his answer was "Yes."

22 So you don't have to take it from me. You don't have to  
23 take it from the medical records. Their expert agrees that  
24 hepatitis C led to cirrhosis of the liver in Mr. Hardeman, and  
25 what that tells you is it was in his body and it was impacting

## OPENING STATEMENT / STEKLOFF

1 him for a long period of time.

2 And this is just a timeline that sort of summarizes  
3 Mr. Hardeman's hepatitis C, including his treatment for  
4 hepatitis C. So you can see he was exposed to hepatitis C in  
5 the 1960s.

6 You'll actually here that in 1989 there's a record where  
7 he had elevated liver enzymes, and that shows again the  
8 hepatitis C is doing something to his body. It's causing  
9 enzymes in his liver to be elevated when he tests for them.

10 In 2005, that's when his hepatitis C was identified by his  
11 doctors on an ultrasound. He then had treatment for his  
12 hepatitis C for about almost two years, a little less than two  
13 years, and it ended in November of 2006.

14 And then the hepatitis C, while it hasn't shown up on  
15 blood tests since then -- so I think we heard the word "cured."  
16 Hepatitis C is actually, you're going to hear, a little bit  
17 like chicken pox. You can have it cured but it never quite  
18 goes away. If you really, really dug, it's there. So his  
19 diagnosis of non-Hodgkin's lymphoma took place in 2015.

20 Now, what did plaintiff's experts -- again, these are  
21 plaintiff's experts -- say about hepatitis C as a risk factor?  
22 We asked Dr. Weisenburger (reading):

23 "You agree" --

24 **THE COURT:** Hold on. I don't think it's appropriate.

25 Take down that slide.

## OPENING STATEMENT / STEKLOFF

1           **MR. STEKLOFF:** Yes, Your Honor.

2           **THE COURT:** It's not appropriate to be showing  
3 deposition testimony to the jurors that may not come in. The  
4 experts will be testifying live so what you asked them in your  
5 deposition is not relevant right now.

6           **MR. STEKLOFF:** Okay.

7           **THE COURT:** So exclude any references to prior  
8 deposition testimony by experts.

9           **MR. STEKLOFF:** Okay, Your Honor. Thank you.

10           You will hear, I think there will be no dispute, from  
11 their experts that hepatitis C is a risk factor for  
12 non-Hodgkin's lymphoma, and I also believe that you will hear  
13 that they will admit that hepatitis C causes genetic mutations.

14           So those two points should be no dispute about.  
15 Hepatitis C, especially if you have it for a long time, it's a  
16 risk factor that increases your chances for non-Hodgkin's  
17 lymphoma, and it is something that in your body causes genetic  
18 mutations.

19           So is hepatitis C a risk factor for Mr. Hardeman? The  
20 answer to that question is yes. Now, plaintiff's experts, when  
21 they do this differential diagnosis, they're going to say "You  
22 shouldn't pay attention to that." But it is an accepted risk  
23 factor for Mr. Hardeman for his non-Hodgkin's lymphoma.

24           Now, you also heard that Mr. Hardeman had hepatitis B.  
25 Hepatitis B is a different version of hepatitis, and it's

## OPENING STATEMENT / STEKLOFF

1 unclear exactly how long he had it. I think it will be clear  
2 that he was exposed to it again in the 1960s. Hepatitis B,  
3 your body can treat itself. You don't have to go through  
4 treatment at a hospital, but hepatitis B also is a known risk  
5 factor for non-Hodgkin's lymphoma.

6 I think even their experts will agree, I think when they  
7 come on the stand and they're questioned, that hepatitis B is a  
8 risk factor that in some instances can double your risk of  
9 developing non-Hodgkin's lymphoma.

10 So, again, it has to be something that's considered as  
11 something that increased Mr. Hardeman's chances of developing  
12 non-Hodgkin's lymphoma given that he had this condition; and we  
13 know he had this condition because his medical records talk  
14 about the fact that his body now has developed an antibody,  
15 something to protect against hepatitis B from becoming active.

16 So is hepatitis B a risk factor for Mr. Hardeman's  
17 non-Hodgkin's lymphoma? The answer is yes.

18 Now, you're also going to hear -- actually you just saw, I  
19 think, in this chart -- that the plaintiffs listed known risk  
20 factors, and two of those risk factors were age and weight or  
21 body mass index. And so you're going to hear that if you are  
22 over 60, it increases your risk of developing non-Hodgkin's  
23 lymphoma. As you get older, unfortunately you are more likely  
24 to develop this type of cancer.

25 You're also going to hear that if your body mass index is

## OPENING STATEMENT / STEKLOFF

1 higher than it should be, that that increases your chance of  
2 developing non-Hodgkin's lymphoma.

3 So, again, not just our experts but their experts are  
4 going to agree, first of all, that those are risk factors; and,  
5 second of all, that they were risk factors for Mr. Hardeman.

6 Now, again, they're going to dismiss them. They're going  
7 to tell you that you don't need to pay attention to that  
8 because at the end of the day, the only thing you should care  
9 about is Roundup.

10 **MS. WAGSTAFF:** Objection. This is getting into  
11 argument.

12 **THE COURT:** Sustained.

13 **MR. STEKLOFF:** I'll move on, but the evidence will  
14 show that hepatitis C, hepatitis B, age, and body mass index  
15 were all risk factors for Mr. Hardeman.

16 Now, I told you we are going to bring you two experts in  
17 this case to talk to you specifically about Mr. Hardeman and  
18 about whether or not -- and help you answer that question: Did  
19 Roundup cause Mr. Hardeman's cancer?

20 One of the experts we're going to bring in I mentioned is  
21 Dr. Levine. Dr. Levine previously practiced at Keck Medical  
22 Center at U.S.C. in Los Angeles, University of Southern  
23 California, and today practices at City of Hope also in  
24 Los Angeles, which I think you heard actually during  
25 plaintiff's opening, we will agree, is an elite worldwide

## OPENING STATEMENT / STEKLOFF

1 recognized cancer center in this country. She actually was  
2 recently, although she now has moved on, the chief medical  
3 officer at City of Hope for nine years. During that time  
4 period, she was actually Dr. Weisenburger's supervisor. He  
5 reported to her.

6 She has published over 325 peer-reviewed articles,  
7 including on issues relating to hepatitis C and many issues  
8 relating to non-Hodgkin's lymphoma.

9 Before that, she chaired the hematology practice at the  
10 University of Southern California, and she maintains a practice  
11 today treating patients with non-Hodgkin's lymphoma. For  
12 decades, she has been treating patients with non-Hodgkin's  
13 lymphoma, including today.

14 Dr. Arber is the chair of pathology at the University of  
15 Chicago. Before that, he was at Stanford as a pathologist.  
16 He's also authored over 300 publications, including  
17 publications on non-Hodgkin's lymphoma. He's been recognized  
18 with awards.

19 And what they are going to come in and tell you is that  
20 Roundup did not cause Mr. Hardeman's non-Hodgkin's lymphoma.  
21 And what Dr. Levine is going to tell you is that if she had to  
22 say what the most likely cause of Mr. Hardeman's non-Hodgkin's  
23 lymphoma was, she would say hepatitis C, she would say that  
24 hepatitis C that he was exposed to for decades that led to  
25 cirrhosis of his liver that is a known cause of non-Hodgkin's

## OPENING STATEMENT / STEKLOFF

1 lymphoma.

2           So I want to talk for a moment about Mr. Hardeman's  
3 Roundup use. You heard a little bit about it I think toward  
4 the end of the plaintiff's presentation.

5           He used Roundup around his home. He had, I think, two  
6 different properties where he used it. He would take the  
7 concentrate that you heard of and mix it in water, and then I  
8 think the evidence will show that he would use a handheld  
9 container, like the one that's sort of at the bottom left of  
10 this picture, and he would mostly spot spray. So he would take  
11 something that would reach out, he would look for weeds on his  
12 property that needed to be killed, and he would try to reach  
13 the spot sprayer and spray those.

14           And he stopped using Roundup in about -- in either late  
15 2011 or early 2012. He's not quite sure. And that is three  
16 years or so before he developed his non-Hodgkin's lymphoma.

17           So you also heard a little bit about Roundup, but what  
18 does Roundup do? Roundup -- and you heard about glyphosate,  
19 which is the active ingredient -- glyphosate targets a specific  
20 enzyme in plants that is essential for their growth. So plants  
21 need to produce amino acids or proteins to grow, and glyphosate  
22 actually targets those proteins and kills them off.

23           But two things that Roundup does not do is it does not  
24 enter the groundwater, so water that's contained in soil, and  
25 it does not stay in soil. So you're not going to hear too much

## OPENING STATEMENT / STEKLOFF

1 about this, but these are some of the basic points about how  
2 Roundup works.

3       Glyphosate, which is, again, the active ingredient that's  
4 been in Roundup, has been studied for decades. Roundup itself  
5 has been on the market for over 40 years. There have been 800  
6 scientific studies about Roundup. Now, to be clear, not all of  
7 those studies are dealing with cancer, but there have been 800  
8 scientific studies overall. And over 70,000 people have been  
9 studied to have been exposed or have used Roundup and then were  
10 evaluated for different issues.

11       When I present to you during this trial, when Tamarra and  
12 Rakesh present to you, we are going to focus on the human data.  
13 The human -- I think it was one of the puzzle pieces, the human  
14 epidemiology.

15       And this is a publication by Dr. Portier. You heard a lot  
16 about Dr. Portier who's the expert that's going to talk to you  
17 about the animal studies and the cell studies, but he was part  
18 of an international group that authored this publication that  
19 talked about chemical assessments. And in that publication,  
20 what these scientists that were part of that group said is that  
21 (reading):

22       "In the evaluation of human health risks, sound human  
23 data, whenever available, are preferred to animal data.  
24 Animal and in vitro studies provide support and are used  
25 mainly to supply evidence missing from human studies."

## OPENING STATEMENT / STEKLOFF

1           So what is that telling you? If you want to know whether  
2 a chemical or a product --

3           **MS. WAGSTAFF:** Objection. This is argument.

4           **THE COURT:** Overruled.

5           **MR. STEKLOFF:** If you want to know whether a chemical  
6 or a product is affecting humans, the evidence will show you  
7 should look at the human data because that is the best data to  
8 answer the question.

9           And part of the reason is because in those animal studies  
10 that you saw, what they do is they feed the animals with as  
11 much glyphosate as possible. So I think you heard about the  
12 maximum tolerable dose, something like that. I mean, just to  
13 be clear, what they do for these rats and mice are they give  
14 them as much glyphosate as they can possibly eat, and it is  
15 thousands and thousands times higher than a human could ever be  
16 exposed to in his or her lifetime.

17           And so that's why of all of those puzzle pieces, it's the  
18 human data, it's the epidemiology that helps you answer the  
19 question you need to answer.

20           So you saw a chart in opening of some of the studies that  
21 I think Dr. Ritz is going to walk through when she comes into  
22 the courtroom, and I want to talk to you about what the  
23 evidence will show about those studies because I believe that  
24 the plaintiff's evidence will be focused on four studies, and I  
25 want to walk through you what these numbers mean.

## OPENING STATEMENT / STEKLOFF

1           The blue chart here is how many people were in the study.  
2           So you can see, you know, it ranges between 3,417 people in the  
3           study in one of the studies and down to 1,656 in the second  
4           study there. But the yellow line shows you how many of those  
5           people were using glyphosate or were using Roundup, and those  
6           numbers are much lower.

7           Because a lot of these studies date back to the 1970s and  
8           the 1980s, while they were published later, the dates are  
9           later, they were studying people in those earlier time periods.  
10          And in those earlier time periods, Roundup wasn't used that  
11          much so they were using -- they're all farmers, or most of them  
12          are farmers, and they're using other pesticides. And what the  
13          numbers here show is the number of Roundup or glyphosate users  
14          in the studies that the plaintiffs are going to focus you on  
15          are very small: 184, 16, 97, and 47.

16          Now, you're going to hear evidence about this concept of  
17          adjustment for other pesticides so I want to talk to you about  
18          what that means.

19          I think the evidence will show that everyone agrees that  
20          in these studies, it is best to do something called adjusting  
21          for other pesticides. If you have used multiple pesticides but  
22          you want to find out if there's a relationship between Roundup  
23          or glyphosate and cancer, you need to try to isolate Roundup or  
24          glyphosate. You can't let the other pesticides play a role in  
25          your evaluation.

## OPENING STATEMENT / STEKLOFF

1           And there are statistical ways that epidemiologists, that  
2 people who do this try to address that issue and try to run  
3 statistical calculations to make these adjustments.

4           But what this shows is that when they did this in the  
5 studies, in these small studies that the plaintiffs are focused  
6 on, when they adjusted for other pesticides, it shows that  
7 there is no increased risk between glyphosate or Roundup and  
8 non-Hodgkin's lymphoma.

9           In the first study, the McDuffie study, they didn't even  
10 do the adjustments. So the people there were exposed to  
11 multiple pesticides while they were farming, and no adjustments  
12 were made.

13           In the second study, Hardell, when they did the  
14 adjustments, there was no increased risk for non-Hodgkin's  
15 lymphoma.

16           In the third study, De Roos 2003, they did an adjustment  
17 for pesticides but when they tried to adjust even further  
18 because of the importance of this issue, that further  
19 adjustment, the most adjusted number, showed no increased risk  
20 for non-Hodgkin's lymphoma.

21           And the same is true in the Eriksson study. When they  
22 adjusted for pesticides, there was no increased risk for  
23 non-Hodgkin's lymphoma.

24           So, again, these are the four studies that the plaintiffs  
25 are going to focus on. What is the study that the evidence

## OPENING STATEMENT / STEKLOFF

1 will show demonstrates that non-Hodgkin's lymphoma [sic] does  
2 not cause cancer and did not cause Mr. Hardeman's cancer? It's  
3 that study that was referenced called the Agricultural Health  
4 Study.

5 And the Agricultural Health Study had over 54,000 people  
6 in it. Of those 54,000 people, 45,000, almost 45,000, used  
7 Roundup or used a glyphosate product. The numbers, the  
8 evidence will show, pale in comparison to the other studies.

9 So what is the Agricultural Health Study? Well, this is  
10 actually the website of the Agricultural Health Study that you  
11 could go to today. To be clear, you cannot actually go to that  
12 website because Judge Chhabria, His Honor, has made very clear  
13 about that; but anyone could go to this website today at  
14 [aghealth.nih.gov](http://aghealth.nih.gov), and they could look at the study and they  
15 could get various information about the study.

16 You can see here there's a column for about the study,  
17 information for study participants. They talk about their  
18 scientific collaboration. They report their news and their  
19 findings. They have contact information.

20 And at the bottom of the page you can see some of the  
21 organizations that are involved in this study. The National  
22 Institutes of Health, which I talked about before, is the  
23 governmental organization focused on medical issues in this  
24 country. There's actually a specific part of the National  
25 Institutes of Health known as the National Cancer Institute

## OPENING STATEMENT / STEKLOFF

1 that is specifically involved in this study.

2 There's the National Institutes of Occupational Safety  
3 Hazards. There's the EPA, and you can see usa.gov. There are  
4 also academic universities, like the University of Iowa, that  
5 are involved in running this study.

6 You can go into the website, as I mentioned, and get more  
7 information. So on that study updates page, you can see even  
8 in 2018 they talk about their 25th anniversary edition, who is  
9 the Agricultural Health Study research team, the past 25 years,  
10 key findings from the study, and looking to the future because  
11 they continue, given the importance of agricultural health  
12 issues, to continue -- they continue to study these issues.

13 And so what is the Agricultural Health Study? Again, it's  
14 supported by the National Cancer Institute. Their goal -- one  
15 of their goals, and this is their language, is they want to  
16 identify and quantify cancer risks among men and women as well  
17 as whites and minorities associated with direct exposure to  
18 pesticides and to other agricultural agents.

19 And it's important to note that Monsanto or any other  
20 industry company has nothing to do with this study. They are  
21 not funding this study. This is an independent study run by  
22 the government and these various organizations.

23 And so what's the process that the Agricultural Health  
24 Study went through to help study these issues and help  
25 understand for people who are being exposed to pesticides what

## OPENING STATEMENT / STEKLOFF

1 they might see?

2 Well, first of all, the scientists who ran the  
3 Agricultural Health Study used two detailed questionnaires at  
4 different times to collect information from the over 50,000  
5 people who signed up to be a part of this study.

6 The questionnaires included questions like which  
7 pesticides they were using, how many years and how many days  
8 they had used pesticides, how they sprayed the pesticides,  
9 whether they wore any protective gear. This was all so they  
10 could learn as much as they could about the people in the study  
11 and how they were using pesticides.

12 And what the evidence will show is that the people in this  
13 study who were using pesticides used pesticides more than  
14 anyone who's using Roundup or other pesticides around their  
15 yard.

16 Farmers who are using it are using it in different ways.  
17 Some of them might be using tractor-trailers, but some of them  
18 might be applying it directly. They're mixing it. They're  
19 using it in their own yards. There were also professional  
20 workers outside of farming who were using this, but these were  
21 people who were using it regularly all the time in different  
22 ways.

23 And they followed these participants since the mid-1990s  
24 and have collected that data over time so they can answer the  
25 question again in part whether there are cancer risks among

## OPENING STATEMENT / STEKLOFF

1 those people.

2 In terms of answering the cancer question, they have gone  
3 to independent state registries. If you're diagnosed with  
4 cancer, that information is collected by states by law in a  
5 database. So there's no question that they were going to  
6 identify people who are part of this study and see if they had  
7 cancer. They weren't going to miss that information.

8 Who were the Agricultural Health Study participants? In  
9 other words, who were the people being studied? I've talked a  
10 little bit about that. They used pesticides on farms, at work,  
11 and around their home.

12 Their average pesticide use at the time that they signed  
13 up in the 1990s was already 15 years. And then since then,  
14 they've collected another 20 years of data. So these people  
15 that are in this study, the almost 45,000 people who used  
16 Roundup, have been using pesticides, including Roundup, for  
17 over 30, close to 40 years.

18 And, like I told you, of the 50 or so thousand people who  
19 signed up, nearly 45,000 used a glyphosate product, including  
20 Roundup.

21 The authors and the people involved in this study at the  
22 National Institute of Health and the other organizations have  
23 collected this 40 years' of data and have issued over 250  
24 published studies based on the work that they have done. This  
25 has been a massive exercise designed to give us the best

## OPENING STATEMENT / STEKLOFF

1 answers about pesticides, including Roundup.

2 And you're going to hear that the plaintiff's experts  
3 actually -- just to be clear, you saw it this morning, the  
4 evidence will show they're going to come in here and they're  
5 going to criticize the Agricultural Health Study; but at the  
6 same time they can't deny, first of all, that they respect the  
7 National Cancer Institute, Dr. Weisenburger will tell you that;  
8 and, second of all, you heard Dr. Ritz was an adviser to the  
9 Agricultural Health Study. So for years she was involved in  
10 that study. She has called it a beautiful study. She didn't  
11 have criticisms that were public of that study while she was an  
12 adviser to it. Then she became an expert for plaintiff's  
13 counsel. Now she will come into this courtroom and tell you  
14 that that study is not the study that should help you answer  
15 the question, but she only started criticizing the Agricultural  
16 Health Study after she became an expert in this litigation.

17 So what are the results of the Agricultural Health Study?  
18 You heard about De Roos. Remember there was a lot of talk in  
19 the plaintiff's opening about De Roos. There is a study called  
20 De Roos 2003. I've talked about that. De Roos is one of the  
21 authors who was involved in this Agricultural Health Study and  
22 in 2005, Dr. De Roos and other authors tried to answer the  
23 question: Let's take the people in the Agricultural Health  
24 Study, the 45,000 people and see if there is an association  
25 between their use of Roundup and non-Hodgkin's lymphoma. And

## OPENING STATEMENT / STEKLOFF

1 this is what they said in a published article in the  
2 environmental health perspectives, they said (reading):

3 "There was no association between glyphosate exposure  
4 and all cancer incidence or most of the specific cancer  
5 subtypes we evaluated, including non-Hodgkin's lymphoma,  
6 whether the exposure metric was ever used, cumulative  
7 exposure days, or intensity-weighted cumulative exposure  
8 days."

9 And what that means is no matter how they measured it,  
10 there was no association between Roundup exposure and  
11 non-Hodgkin's lymphoma among those 45,000 people.

12 But they didn't stop there in 2005. In 2018 the authors  
13 of the Agricultural Health Study, the scientists involved in  
14 this study, looked at the question again. And this is their  
15 conclusion in 2018 about the Agricultural Health Study. They  
16 said (reading):

17 "No association was apparent between glyphosate and  
18 any solid tumors or lymphoid malignancies overall,  
19 including non-Hodgkin's lymphoma and its subtypes."

20 The evidence shows that the most significant largest study  
21 with the most power demonstrates that there's no association  
22 between non-Hodgkin's lymphoma and Roundup use or glyphosate  
23 use.

24 So the authors, the evidence will show, of the  
25 Agricultural Health Study, they did another thing. They said,

## OPENING STATEMENT / STEKLOFF

1 "Let's try to take the 45,000 people that are part of this  
2 study and then get -- and then try to look at 45,000 people who  
3 are like them that have similar age, similar gender, similar  
4 race, similar characteristics, but aren't using Roundup like  
5 the other people."

6 What percentage of those people, just sort of regular  
7 people in the United States, would develop non-Hodgkin's  
8 lymphoma? Because, unfortunately, people develop non-Hodgkin's  
9 lymphoma every day. And the answer that they came to was  
10 1.07 percent. So 1 percent of people in the U.S. population  
11 who have the similar characteristics to the 45,000 people would  
12 develop non-Hodgkin's lymphoma.

13 Now, what is the evidence going to show? You're here and  
14 you're hearing that Roundup causes non-Hodgkin's lymphoma.  
15 What is the evidence going to show about the 45,000 people who  
16 are using Roundup all the time? What is the rate of their  
17 non-Hodgkin's lymphoma? Because you would expect it would be  
18 much, much, much higher if Roundup is causing non-Hodgkin's  
19 lymphoma.

20 The Agricultural Health Study shows that the rate was  
21 almost exactly the same, less -- basically 1 percent; 1 percent  
22 of people in society who weren't using Roundup all the time  
23 developed non-Hodgkin's lymphoma and of the 45,000 people who  
24 were using Roundup all the time for decades, 1 percent  
25 developed non-Hodgkin's lymphoma.

## OPENING STATEMENT / STEKLOFF

1           The other thing that this data tells you that the  
2 Agricultural Health Study people published is that 99 percent,  
3 99 percent of those 45,000 people who were using Roundup all  
4 the time in every possible way did not develop non-Hodgkin's  
5 lymphoma. And that's part of the reason that they concluded  
6 that there's no association between the two, between Roundup  
7 use and non-Hodgkin's lymphoma.

8           Now, what is the data also going to show, separate data?  
9 So this is not part of the Agricultural Health Study, but this  
10 data is also going to come into evidence during the trial.  
11 This is a chart of Roundup use over time and it shows you that,  
12 just like you heard in plaintiff's opening, in the '90s, that's  
13 when Roundup use really started to increase in the  
14 United States.

15           So you can see here, you know, starting around 1995 and  
16 then continuing into the 2000s and if you look at the data up  
17 until 2014 -- you know, it hasn't changed, but that's the most  
18 recent data we have -- that's Roundup use.

19           So what would you expect? What would you expect the  
20 evidence to show about non-Hodgkin's lymphoma rates if it's so  
21 associated with Roundup as you're hearing?

22           Well, what the evidence is going to show you is that the  
23 rates of non-Hodgkin's lymphoma in our country have remained  
24 steady. They have -- I mean, there's little, little variances  
25 but essentially they have stayed steady over time, and that

## OPENING STATEMENT / STEKLOFF

1 includes the concept of the fact that it takes time for people  
2 to develop cancer.

3 If in the 1990s Roundup use skyrocketed, you would see if  
4 the plaintiff's theory is true, then the evidence would show  
5 you that the rates of non-Hodgkin's lymphoma were increasing,  
6 but that is not what the evidence will show. That's the data  
7 that helps you answer the question.

8 So you heard a little bit about this group called IARC,  
9 which was that international agency in France that came  
10 together. And I don't want to talk about that for a long time,  
11 but I do want to touch it briefly.

12 And what I want to say is that you will hear no evidence  
13 that IARC in their classification of glyphosate has had any  
14 impact on doctors like Dr. Ye who are treating patients here in  
15 the United States. There will be no evidence that the IARC  
16 classification has changed the way that he is treating his  
17 patients who have non-Hodgkin's lymphoma every day.

18 Now, you're going to be instructed by the judge, and his  
19 exact wording is what will control, that you shouldn't be  
20 substituting your, I think this came up in jury selection, you  
21 shouldn't be substituting your judgment for any other group.

22 But it is true that the EPA has disagreed with IARC. So  
23 the EPA first approved Roundup in 1975. It determined that it  
24 wasn't carcinogenic, that it didn't cause cancer. It has  
25 reaffirmed that before IARC; and then since IARC, the IARC

## OPENING STATEMENT / STEKLOFF

1 decision came out in 2015, the EPA has reaffirmed its view that  
2 the evidence is not sufficient to show that glyphosate is  
3 carcinogenic multiple times.

4 And it's not just the United States EPA that you're going  
5 to hear about, which has done that across Administrations.  
6 You're also going to hear some evidence about Europe and the  
7 fact that Europe since the IARC decision since 2015 has also  
8 reaffirmed that Roundup is not carcinogenic and is not causing  
9 cancer.

10 **MS. WAGSTAFF:** Objection, Your Honor. Sidebar.

11 **THE COURT:** Overruled.

12 **MR. STEKLOFF:** So I want to go back to what happens,  
13 again, outside of this courtroom. What is the evidence going  
14 to show you cancer doctors, doctors like Dr. Ye and Dr. Levine,  
15 other doctors who are treating patients every single day with  
16 non-Hodgkin's lymphoma, what impact, if any, does Roundup have  
17 on their care and treatment of patients?

18 And this is what the evidence will show. They don't ask  
19 their patients about Roundup. They don't test for Roundup use  
20 in any way. They don't warn their patients about Roundup. And  
21 they don't say that Roundup causes cancer.

22 And what I want to talk to you for a moment about are  
23 Dr. Nabhan and Dr. Weisenburger, the plaintiff's two experts.  
24 Because to be clear, they are going to come into this courtroom  
25 and they are going to tell you that Roundup caused

## OPENING STATEMENT / STEKLOFF

1 Mr. Hardeman's cancer.

2 But they also practice outside of this courtroom. They  
3 deal with patients. They deal with other oncologists. They  
4 deal with pathologists, other pathologists. They deal with  
5 Tumor Boards or other medical doctors. I mean, you saw that at  
6 City of Hope Dr. Weisenburger was the chief of pathology. So  
7 he is meeting with doctors all across that hospital. They  
8 teach medical students.

9 What the evidence is going to show you is that Dr. Nabhan  
10 and Dr. Weisenburger have never told a fellow oncologist or  
11 pathologist that Roundup causes cancer. They've never taught a  
12 medical student that Roundup causes cancer. They've never gone  
13 to a conference of doctors and presented their views that  
14 Roundup causes cancer. And they have never told a single  
15 patient that they have treated, hundreds and thousands of  
16 patients, that Roundup caused his or her cancer. That is what  
17 the evidence will show outside of the courtroom.

18 Again, and this sums it up, they've never told a patient,  
19 they've never told a colleague, they've never taught a medical  
20 student, and they've never presented at a conference.

21 So, again, what is the question that you have to answer?  
22 Has Mr. Hardeman proved the question did Roundup cause  
23 Mr. Hardeman's cancer? And what is the evidence that tells you  
24 that the answer to this question is no?

25 First, it's the data. I've blown it up here, but these

## OPENING STATEMENT / STEKLOFF

1 are two pieces of data. What was the rate if you took the  
2 45,000 people in the Agricultural Health Study and just found  
3 other people that were like them in society? 1 percent. What  
4 was the rate in the Agricultural Health Study? 1 percent. And  
5 99 percent of those 45,000 people did not develop non-Hodgkin's  
6 lymphoma who were part of that study using Roundup.

7 And the same data that I just discussed about the use of  
8 Roundup over time and what the rates of non-Hodgkin's lymphoma  
9 are over time.

10 Next, both Dr. Weisenburger and Dr. Nabhan are going to  
11 tell you the evidence will show that Mr. Hardeman could have  
12 developed the exact same non-Hodgkin's lymphoma had he never  
13 used Roundup. So he could have had the exact same medical  
14 history. Everything could have been the same, and he could  
15 have never touched Roundup in his entire life; and  
16 unfortunately in 2015 he could have developed this exact  
17 non-Hodgkin's lymphoma. The evidence will show you that.

18 And then finally, what does Dr. Ye say? Dr. Ye is the  
19 independent oncologist who treats Mr. Hardeman for his cancer  
20 to this day. His testimony will show you that he would  
21 determine the cause of cancer in his patients if possible. He  
22 does not ask his patients about their Roundup use. He has  
23 never told a patient that Roundup caused his or her cancer, and  
24 he did not tell Mr. Hardeman that Roundup caused his or her  
25 cancer.

## OPENING STATEMENT / STEKLOFF

1           So when you have to go back and deliberate in a few weeks  
2 after you hear all of the evidence and see the witnesses, the  
3 answer to that question "Did Roundup cause Mr. Hardeman's  
4 cancer?" will be no. I thank you very much for your attention.  
5 It has already been a long morning. But as I said, we are just  
6 going to present the evidence that we need.

7           Thank you very much for your time and attention.

8           **THE COURT:** Thank you. We will take a five-minute  
9 break while we get ready for the first witness.

10          (Jury exited)

11          **THE COURT:** I have a number of items I will want to  
12 talk to you all about eventually, maybe over the lunch break,  
13 but in preparing for Dr. Ritz a couple quick things. One is  
14 that I assume nobody is challenging the qualifications of the  
15 other side's experts, correct?

16          **MR. STEKLOFF:** That's correct, Your Honor.

17          **MS. WAGSTAFF:** Your Honor, I believe we have  
18 challenged the qualifications of Dr. Arber in a pending Daubert  
19 motion in some of his motions.

20          **THE COURT:** Okay.

21          **MS. WAGSTAFF:** Not necessarily on his main or  
22 pathology opinion, but he gave some sort of peripheral opinions  
23 that I believe are still --

24          **THE COURT:** I'm sorry. I didn't recall that. I will  
25 go back and look at that.

## OPENING STATEMENT / STEKLOFF

1 But for any expert whose qualifications aren't being  
2 challenged by the other side -- obviously do what you need to  
3 do to establish to the jury that they are qualified -- but you  
4 don't need to ask me to qualify them as an expert, and you  
5 don't need to go through as much rigmarole as you might  
6 otherwise do with an expert if the other side were challenging  
7 the expert's qualifications.

8 Does that make sense?

9 **MS. WAGSTAFF:** Okay. That's helpful. Thank you.

10 **THE COURT:** And then just a reminder, you don't need  
11 to ask to approach the witness -- I mean, you will because you  
12 are in the habit of doing it; but you don't need to ask to  
13 approach the witness.

14 And let me see if there is anything else.

15 We should talk about Dr. Ritz's testimony about dose  
16 response, but my sense is that that is not going to be  
17 necessary to do before the lunch break or is it?

18 **MS. WAGSTAFF:** Your Honor, what time are you planning  
19 on taking a lunch break?

20 **THE COURT:** Around 11:45 or 12:00.

21 **MS. WAGSTAFF:** No. That will not be -- I can talk to  
22 her about that at lunch.

23 **THE COURT:** Okay. So what we will do is right at the  
24 beginning of the lunch break, we can talk about the dose  
25 response. You-all can decide -- and it may make sense for

## OPENING STATEMENT / STEKLOFF

1 Dr. Ritz to be in the courtroom for that discussion for the  
2 boundaries to be established properly -- but I will let you-all  
3 think about that.

4 But in the meantime, why don't you go ahead and we will  
5 call the jury back in. We can get Dr. Ritz in and get her on  
6 the stand and get the jury in.

7 **MS. WAGSTAFF:** I need to run to the restroom.

8 **THE COURT:** We will take two minutes, and then we will  
9 be back.

10 (Whereupon, a short break was had.)

11 **THE COURT:** The other thing is you can have your  
12 witness on the stand when we come in to bring in the jury.

13 (Jury entered.)

14 **THE COURT:** Okay. The Plaintiff can call his first  
15 witness.

16 **MS. WAGSTAFF:** Your Honor, the Plaintiff calls  
17 Dr. Ritz to the stand. I just saw her in the hallway, so --

18 **DR. BEATE RITZ,**  
19 called as a witness for the Plaintiff, having been duly sworn,  
20 testified as follows:

21 **THE CLERK:** For the record please state your first and  
22 last name and spell both of them.

23 **THE WITNESS:** My name is Beate Ritz, B-E-A-T-E  
24 R-I-T-Z.

25 **THE CLERK:** Thank you.

## RITZ - DIRECT / WAGSTAFF

DIRECT EXAMINATION

1  
2 **BY MS. WAGSTAFF**

3 **Q.** Good morning, Doctor -- good afternoon, Dr. Ritz.

4 **A.** Hi, Aimee.

5 **Q.** How are you doing?

6 **A.** I'm good.

7 **Q.** Okay. Have you ever testified in front of a jury before?

8 **A.** No.

9 **Q.** Okay. So why don't you tell the jury a little bit about  
10 yourself?

11 **A.** So my name is Beate. That is a German name but I'm  
12 American. I have lived here since 1989. I got a medical  
13 degree from the University of Hamburg. And as a doctor, I was  
14 extremely frustrated not to be able to prevent diseases and  
15 just having to treat them. So I decided I want to go into  
16 public health, and the best schools of public health were in  
17 the U.S. And I came to California because I -- there was a  
18 really good school at UCLA. That was in 1989. And I have been  
19 there ever since.

20 So I went through the program at UCLA; and when I came  
21 here, I was already interested in occupational and  
22 environmental health. And while I was at UCLA, I started on a  
23 big worker health study in the nuclear industry; and when I  
24 graduated, UCLA wanted to hire me. So they actually hired me  
25 in 1995, and they hired me in an organization within the

## RITZ - DIRECT / WAGSTAFF

1 university that is called the Center for Occupational and  
2 Environmental Health, and that is actually a really interesting  
3 institute because it was formed by legislative demand in 1980  
4 because there was an incident in a little -- in a company  
5 called Oxy Chemical in Lathrop, California, not far from here,  
6 where workers realized they couldn't have children. And what  
7 the company produced was a pesticide. It was a fumigant that  
8 was mostly exported to other continents for treatment of fruits  
9 and vegetables, pineapples and bananas and other things.

10 And so when these workers then demanded an investigation  
11 of what was happening to them, there weren't any doctors who  
12 could actually do this research. And what happened is that the  
13 California legislature was so upset that among all the doctors  
14 in the UC system nobody knew how to do a study, they demanded  
15 that Centers for Occupational and Environmental Health would be  
16 formed, and that these centers should be having doctors,  
17 researchers and people who could go out in the community when  
18 something like this happens.

19 So my position is actually one of ten at UCLA where we are  
20 tasked to do exactly that; to do research that improves the  
21 environment and improves the working conditions of people in  
22 California. And that is what I really have been trying to do  
23 for the last 20 years since I was hired -- more than 20 years  
24 now.

25 Q. Excellent. And you are a medical doctor, you just said --

## RITZ - DIRECT / WAGSTAFF

1 A. Yes.

2 Q. -- but you are also an epidemiologist, right?

3 A. Correct.

4 Q. So please explain to the ladies and gentlemen of the jury  
5 what epidemiology is and what you do as an epidemiologist.

6 A. Right. So in that discipline, epidemiology, it is part of  
7 public health, and actually I would consider it the basic  
8 science of public health; and that is because we are  
9 studying -- we, as researchers, are actually studying what  
10 causes disease.

11 So in a sense what I'm interested in is finding out does a  
12 work environment with certain exposures to the workers cause  
13 that disease that I'm seeing among the workers. If, for  
14 example, somebody lives in a very polluted neighborhood, we  
15 would be investigating whether the air, the water, the soil  
16 contamination is responsible for the disease. The way we do it  
17 is not like a doctor who diagnoses a disease and mostly treats  
18 patients and has some suspicion of what could cause the  
19 disease. We are also tasked with finding out what does cause  
20 the disease.

21 That is not easy, right, because you have many, many  
22 different things you breathe, you drink, you get contaminated  
23 with when you work in your garden or which workers use when  
24 they are in their jobs; right? But we have to figure out what  
25 is it that is toxic and that is actual linked to this disease.

## RITZ - DIRECT / WAGSTAFF

1 And the only way to really do this would be to turn back the  
2 clock, to use a time machine.

3 So when we see the people who are sick, right, everything  
4 has already happened. What we want to know is if we go back in  
5 time and take away that one exposure that caused their disease,  
6 would they not have gotten sick, and that's what I call the  
7 time machine. And that's how we think about it.

8 You know, people get sick. Lots of things happen  
9 throughout their lifetime until they get sick, but what was it  
10 that I would have to go back and take out that would prevent  
11 them from being sick. And, of course, you know, we know  
12 Hollywood and they have movies in which we can turn back the  
13 time and, you know, try it, do an experiment. Take out this  
14 and see what happens. But in real life, that's not possible.

15 So what do we do? We are looking for people who live a  
16 similar life, who have a similar job, who live in the same  
17 neighborhoods, and then we are trying to figure out, okay, what  
18 is different among those who actually got the disease, and  
19 those who are still healthy and they are the same age. They  
20 are the same sex. They are the same socioeconomic status,  
21 income. Maybe they are even workers at the same company. But  
22 what is it that distinguishes that worker from this so that  
23 that worker got the disease and this one didn't; right?

24 And so we are comparing groups of people who we hope are  
25 most similar to each other except for the one exposure that we

## RITZ - DIRECT / WAGSTAFF

1 are interested in. And then we come to a conclusion that, yes,  
2 the rate of disease among the exposed, the workers who had this  
3 one exposure, is higher than the rate of disease among the  
4 people who didn't have the exposure. And that is what we call  
5 the rate ratio, and odds ratio, risk ratio, meaning the number  
6 of people exposed is -- and who got sick is larger than the  
7 number of people who weren't exposed and did not get sick. And  
8 those numbers are usually above 1. When we see these numbers  
9 above 1, we know there is more happening among the exposed that  
10 should not have happened had they been unexposed, had we kept  
11 that exposure away from them.

12 It sounds easy, but it is really difficult to find the  
13 right comparison and to do these studies right. And this is  
14 what I teach at UCLA to my students. I just right now teach it  
15 three times a week. So tomorrow my TA is in charge. And -- I  
16 hope they do a good job -- and it is not easy. The students  
17 struggle with these concepts, and I really feel for you that  
18 you have to sit through this. So bear with me.

19 It is not easy, but what we are trying to do is really  
20 compare two groups because we don't have the time machine to go  
21 back and take each exposure out and then see whether the person  
22 would still get sick. Rather, we are looking at groups of  
23 people, comparing them.

24 **Q.** All right. Thank you, Dr. Ritz.

25 Will you explain to the jury what environmental

1 epidemiology is and if there is a difference between  
2 environmental epidemiology and epidemiology, just general  
3 epidemiology. Can you explain if there is a difference?

4 **A.** Right. So environmental and occupational epidemiology,  
5 because the highest exposures we ever have are actually mostly  
6 in occupational environments, so workers have always been our  
7 canaries in the coal mine, so to say, for most exposures that  
8 we are trying to figure out, are they health relevant. Do they  
9 cause disease; right? We like to go back to workers because  
10 they are the ones at the front line of everything.

11 So environmental and occupational epidemiologists, my  
12 specialty, really are the experts in trying to figure out what  
13 exposures are, how large they are, how we can measure them, how  
14 we can measure them over a very long time period, and then link  
15 that to any disease that people might want to figure out. So  
16 we are not the specialists in one disease or the other;  
17 although, all of us have their favorites, right, cancer,  
18 neurodegenerative diseases, child diseases.

19 But we generally are the people who are figuring out the  
20 exposure and how much of it do you need, how long do you need  
21 to be exposed, when do you need to be exposed. For example, do  
22 you already have to be exposed in childhood? Is it bad when  
23 pregnant women are exposed or is it especially bad that you are  
24 exposed when you are elderly because you don't have the  
25 defenses anymore? All of these things is what environmental

## RITZ - DIRECT / WAGSTAFF

1 and occupational epidemiologists do.

2 Q. All right. Are you familiar with the International  
3 Society of Environmental Epidemiology, otherwise known as ISEE?

4 A. In fact, I'm the president.

5 Q. Okay. So you are --

6 A. Yes.

7 Q. -- familiar with it.

8 Will you tell the ladies and gentlemen of the jury what  
9 ISEE is, what it stands for and what your role is there?

10 A. So this is an international society of professionals. It  
11 is called the International Society of Environmental  
12 Epidemiology where people like me come together, and we come  
13 together every year for an annual conference; and in between we  
14 have many working groups where we are figuring issues out among  
15 colleagues. And it is thousands of people like me, all over  
16 the world, who get together to discuss issues of our science,  
17 and we are very critical of each other; and we are critical for  
18 a good reason because we try to figure out the best science.

19 And really, this is where our students come. I love the  
20 society because it has a lot of young people, and we are  
21 training our students to be able to go there. We are  
22 encouraging them to present their research and to be challenged  
23 because, you know, in order to find the truth, we have to  
24 challenge each other and we have to learn to stand up to being  
25 challenged, to defend our position, and to be truthful and do

## RITZ - DIRECT / WAGSTAFF

1 the best studies we can.

2 Q. All right. So are you familiar with the epidemiologist  
3 that Monsanto has designated in this case?

4 A. Yes, I am.

5 Q. Dr. Mucci and Dr. Rider; correct?

6 A. Right.

7 Q. Are either Dr. Mucci or Dr. Rider an environmental  
8 epidemiologist?

9 A. No, they are not.

10 Q. And what is the significance of that with respect to an  
11 opinion that they would give in this case?

12 A. Dr. --

13 MS. MATTHEWS: Objection.

14 THE COURT: There is an objection.

15 MS. MATTHEWS: Objection to collateral use of --

16 THE COURT: Overruled.

17 BY MS. WAGSTAFF

18 Q. You can answer.

19 A. Okay. So these are two young colleagues who are  
20 specialists in a different field. It sounds like epidemiology  
21 that should encompass every epidemiologic study or every study  
22 of human health, right. But we have branches, and the branch  
23 that Dr. Mucci and Rider are specialists for are molecular  
24 epidemiology. That is a very technical term, but what they  
25 mostly know to do is to test cells and to test genetic factors

## RITZ - DIRECT / WAGSTAFF

1 that contribute to disease, to cancer.

2 And they also have -- so it is much more a -- it is much  
3 more detailed in terms of the technology, but they have no  
4 training or no specialty in going out into the field, which I  
5 do, and asking people about their work or their environmental  
6 exposures. It is really hard to capture environmental and  
7 occupational exposures over a lifetime and, therefore, we are a  
8 specialty. And that's not what these two do. They have never  
9 done that.

10 **Q.** All right. Have you ever, yourself, developed an exposure  
11 assessment model?

12 **A.** Absolutely, yes. That's my job.

13 **Q.** Okay. So can you tell the ladies and gentlemen of the  
14 jury what an exposure assessment model is and maybe describe  
15 one that sort of exemplifies what you have created.

16 **A.** Right. So as a student, I had it easy. I worked with  
17 workers in the nuclear industry, and the nuclear industry, as  
18 much as we can say, "Oh, my God, they are exposing workers to  
19 radiation," they very early on were regulated quite well and  
20 the workers actually had to wear badges. So every day they  
21 would go into the facility. They would put on their badge, and  
22 that badge would read -- you would be able to read off that  
23 badge how much exposure in radiation dose they got; right?

24 So my job was really easy as an occupational  
25 epidemiologist. I could just, you know, collect all these

## RITZ - DIRECT / WAGSTAFF

1 badge readings, and then reconstruct what the dose of the  
2 worker was throughout the time they worked at the facility; and  
3 I could easily find out, okay, this worker had a low dose.  
4 This worker had none. This worker had a high dose.

5 And what I told you before, I then compared the high dose  
6 to the medium dose to the low dose, and we looked for leukemias  
7 and for other cancers, right; worried that workers exposed  
8 would have had these diseases. And, lo and behold, we found  
9 that. That was my easy job in terms of exposure assessment.

10 When I graduated, I thought I would do something a little  
11 more challenging. Guess what? Pesticides are really  
12 challenging to figure out. So one of the first things I did  
13 when I was a junior professor was to say, Well, we have an  
14 agricultural state. We don't look like it when we are in San  
15 Francisco or LA, but go to the Central Valley, right? So I  
16 actually set up most of my research in the Central Valley  
17 because I believed there -- the Central Valley is where people  
18 are exposed occupationally and environmentally to more toxins  
19 than anybody else. Okay.

20 **THE COURT:** One moment, Doctor.

21 **MS. MATTHEWS:** Objection. Relevance at this point,  
22 Your Honor.

23 **THE COURT:** Overruled.

24 **THE WITNESS:** So -- and I know that these pesticides  
25 are being used for a good purpose. I'm not saying any of the

## RITZ - DIRECT / WAGSTAFF

1 farmers are doing this because they -- they intend to harm  
2 someone. The opposite. They want to put food on your table;  
3 right? They want to -- they want to give you fruits and  
4 vegetables and nuts that we all like to eat and think it is  
5 nutritious, and we should eat them; but they also need to  
6 defend themselves against pests, insecticides, fungi that rot  
7 the oranges, et cetera.

8       So I know from my perspective that the Central Valley is  
9 really a big experimentation hub for pesticide exposure in  
10 humans, and it is for workers and it is for residents.

11       So the exposure models that I built was actually based on  
12 something very unique in California, and California should be  
13 proud of it. In 1974 the legislature decided yes, we are using  
14 a lot of pesticides; but we better make sure where they are  
15 used, who uses them, when they are used and how much is used.  
16 And they created, by state law, something called The Pesticide  
17 Use Reporting System so that applicators, farmers, professional  
18 pesticide applicators, they actually have to report all of this  
19 to the State every month or every year; and that goes into a  
20 big database. And that database, when I became a junior  
21 professor, hadn't ever been really used for human health  
22 studies, and I said here is something I can do. I love  
23 numbers. I love big numbers and I love modeling. I love  
24 workers, the environment, and I want to do this. Give me the  
25 data, and I want to figure out whether these pesticides

## RITZ - DIRECT / WAGSTAFF

1 actually are doing something they shouldn't, including harming  
2 individuals who live and work with them; right? And how we can  
3 hopefully figure out to prevent that, because that's in the end  
4 all I want to do. I want to prevent this from happening;  
5 right?

6 So what we did is we downloaded these databases, and then  
7 students over years worked on mapping them. So we now have an  
8 electronic database where we can say what has been applied on  
9 what field, at what time, in what amount, for the whole of  
10 California. We started with three small counties -- Tulare,  
11 Fresno and Kern County -- and we developed this mapping system  
12 so that we can now say every worker, every individual who lives  
13 there, we can tell who was sprayed around their homes, what was  
14 sprayed around the workplaces; and we can summarize the amount  
15 of pesticide in -- and the amount of pesticide and the timing  
16 of when it was applied in the Central Valley, and I have done  
17 many, many studies on that.

18 **Q.** Excellent. Thank you.

19 How about for -- your work on the California Air Resources  
20 Board panel, can you tell the jury a little bit about your work  
21 on that?

22 **A.** Yes. So about six, seven, eight years ago I was appointed  
23 to the Air Toxics board. That is not so surprising because I'm  
24 one of very few professionals in the state of California who is  
25 tasked with preventing occupational and environmental exposure

## RITZ - DIRECT / WAGSTAFF

1 and figuring out what they do.

2       So we have an agency in California called the OEHHA, the  
3 Office of Environmental Health & Hazard Assessment, and they  
4 are tasked by the State of California to keep your air clean  
5 and to prevent you from breathing toxic contaminants.  
6 Pesticides are some of these toxic contaminants, air toxic  
7 contaminants. These are people who work in a bureaucracy.  
8 They are scientists at OEHHA, and they are trying to figure out  
9 what different chemicals do and whether or not they should  
10 actually be considered an air toxic. So when they do this --  
11 that is their job. But at the end of their evaluation, when it  
12 comes to, okay, this is an air toxic and here are all the  
13 arguments why it is, a lot of times animal studies, cell  
14 studies and some human studies. And then they -- they need an  
15 expert panel -- and I'm one of those experts -- who then  
16 evaluates that report before they are allowed to go to standard  
17 setting because we want to make sure that what they are  
18 actually doing is scientifically valid.

19       And they are -- they are bringing all the science together  
20 and evaluating what is out there, but they are not doing the  
21 science. So the people who are just bringing it together and  
22 evaluating and setting standards to protect the public, they  
23 are not -- they are not ever the ones who are doing the  
24 science, and so the link is you need a scientist like me who  
25 goes out there and actually collects all this information and

## RITZ - DIRECT / WAGSTAFF

1 then puts it together and does a study to see whether what  
2 their summary says is actually accurate and whether they  
3 truthfully represented what is in those studies and whether the  
4 conclusions that they come up with, I or my panel would agree  
5 with. So I'm appointed to that.

6 Q. All right. Who appoints you to that?

7 A. The Governor.

8 Q. The Governor of California?

9 A. Yeah.

10 Q. Okay. Have you done any work with the National Academy of  
11 Science or the Institute of Medicine?

12 A. Absolutely.

13 Q. Could you tell the jury a little bit about your work with  
14 those entities and maybe explain what they are as well.

15 A. Right. So the National Academy of Science is actually  
16 quite old. That is a federal agency -- not agency. It is a  
17 not-for-profit organization, but it was mandated by the federal  
18 government -- actually by Abraham Lincoln in '63 -- 1863, as an  
19 independent body that gives the government scientific advice  
20 when they need it. So it -- and this body has been functioning  
21 ever since and giving scientific advice.

22 And some of the advice that I was asked to give -- and  
23 have been sitting on five or six of these panels since 2000,  
24 ever since I wasn't as junior anymore -- so what I was asked  
25 was mostly to come in for the Veterans Administration and

## RITZ - DIRECT / WAGSTAFF

1 evaluate the science on Gulf War Syndrome and all the Gulf  
2 War-related disorders from air pollution, from pesticides, et  
3 cetera. And I think I have been sitting on at least three of  
4 those.

5 And more recently, on a bigger panel that was called Risk  
6 Assessment and Guidelines for Risk Assessment in the nation.

7 **Q.** Okay. And did you receive an award recently?

8 **A.** Yes.

9 **Q.** Can you tell the ladies and gentlemen of the jury what  
10 award you received?

11 **A.** Yes. So I was very surprised in January when I got an  
12 e-mail from one of my students and then a cake that said "Top  
13 1 percent," and I was What is this? It turns out that there is  
14 an online machine learning tool and company that actually  
15 figures out how often as a scientist you are cited -- your work  
16 is cited worldwide, and then they are naming the scientists who  
17 are among the top 1 percent in the world whose science is being  
18 cited by other scientists, and I made the list.

19 **Q.** Congratulations.

20 So let's move onto journals and medical journals. Can you  
21 explain to the ladies and gentlemen of the jury, what a medical  
22 journal is and how it comes -- well, why don't we start with  
23 what a medical journal is.

24 **A.** So A medical journal is the main instrument of  
25 communication between scientists. Once you have done a study,

## RITZ - DIRECT / WAGSTAFF

1 you have to write it up; right. And you write up why you did  
2 it, how you did it, what you found; and then you discuss what  
3 it means; right. And the journals that publish these articles,  
4 they are really giving us an outlet to give this information  
5 that we are collecting and putting together to the public.

6 And as a journal, they have the duty to make sure that  
7 what we have been doing at UCLA, at Berkeley, wherever, is  
8 actually not junk; right. It is actually truthful, good  
9 science. And so what they do is they ask peers -- these are  
10 other scientists -- hopefully experts, hopefully in the field  
11 that you are working at -- to read these articles and to think  
12 about -- the articles have to have enough information so that  
13 your peer, the person who also does these kind of studies,  
14 knows what you have been doing; can follow why you have been  
15 doing it, and what you have been doing and evaluate whether  
16 what you are saying about what you did is enough that you can  
17 come up with the conclusion that you made in your -- in your  
18 study. And none of these peers are ever paid to do this. This  
19 is voluntary work. It is a lot work. It is hard work, but it  
20 is what keeps us honest as scientists; right?

21 If there wasn't somebody -- and they are judges in a way  
22 because if we cannot satisfy our peers or other experts with  
23 what is in these papers is actually the truth in some way, as  
24 long as they can follow what I did, then the paper -- they can  
25 recommend the paper not to be published. They recommend a lot

1 of changes. When they don't understand something, I have had  
2 papers where I had to write more explanations than the paper  
3 was long to the peer reviewers, and I had to do it multiple  
4 times until they finally understood why what I was saying was  
5 actually okay. And then the editors evaluate all of that and  
6 say, okay, now, that the peer reviewers are satisfied, maybe I  
7 still have a problem with this; and they come back to you and  
8 have that problem. And in the end they decide whether you  
9 answered all the questions and if what you are actually  
10 producing in this paper is truthful and valuable and valid.

11 **Q.** All right. And have you ever been a peer reviewer  
12 yourself?

13 **A.** Absolutely. I do it all the time.

14 **Q.** Okay. And you mentioned an editor who is above the  
15 peer-review process. Have you ever participated on an  
16 editorial board?

17 **A.** Yes. I was an associate editor.

18 **Q.** Okay. Were you an associate editor for a medical journal?

19 **A.** For an epidemiology journal.

20 **Q.** Okay.

21 **A.** So that's -- epidemiology is my profession. So --

22 **Q.** So have you served on the -- what journals have you served  
23 on the editorial board of?

24 **A.** Epidemiology and I do -- I also -- one on current opinion  
25 in environmental health, which pretty much reviews bigger areas

1 of environmental and occupational studies. I stay away from  
2 editorial boards because there is only so much time in a day,  
3 and I'm already president of my society. I teach. I do  
4 research. And, you know, I travel a lot. And I -- I try to do  
5 what I do as well as I can, and I would feel not having enough  
6 time to be on yet another editorial board. So currently I am  
7 not.

8 **Q.** All right. And aside from being a peer reviewer and on  
9 editorial boards, have you, yourself, been published and had  
10 your papers go through this peer-review process?

11 **A.** Absolutely. I wouldn't be at the University of California  
12 anymore if I wouldn't be publishing and publishing a lot. We  
13 are evaluated every -- all -- every two or three years for what  
14 we are publishing and producing. It is called productivity.  
15 So actually mine was pretty good. I now have about 270 papers  
16 that are peer reviewed in the literature that came out since  
17 1995.

18 **Q.** All right. And are those 270 peer-reviewed literature  
19 articles that you wrote, are they on epidemiology?

20 **A.** They are on the epidemiology of different diseases  
21 including cancers and mostly environmental and occupational  
22 causes.

23 **Q.** All right. Do those articles that you had peer reviewed  
24 that you wrote, do they consider your exposure models and your  
25 exposure methods? Do those -- are those included within the

## RITZ - DIRECT / WAGSTAFF

1 articles?

2 **A.** Absolutely. It is actually what I'm known for.

3 **Q.** Okay. Were you asked by the State of California to advise  
4 on pesticides?

5 **A.** Within my Air Toxics board appointment, pesticides come  
6 up. So last year chlorpyrifos, which is a very commonly known  
7 used insecticide -- actually it was the most used indoor  
8 insecticide we had in California until it was banned from  
9 indoor use. It is still being applied in the fields. That has  
10 been evaluated by that board last year.

11 **Q.** All right. Excellent.

12 **MS. WAGSTAFF:** Your Honor, this may be a great time to  
13 break for lunch.

14 **THE COURT:** Okay. Sounds good. Why don't we take a  
15 slightly longer break than usual today so you-all can find your  
16 way around the building and stuff. I noticed that the clock  
17 is -- this clock is five minutes slow. Why don't we plan on  
18 coming back here at 12:45; not 12:45 by that clock, but 12:45  
19 by your iPhone, which will be about 12:40 by this clock.

20 Remember my admonition by the way. I'm going to sound  
21 like a broken record on this stuff, but it is very important,  
22 critical that you not talk about the case with anybody or  
23 amongst yourselves; that you not conduct any sort of research  
24 or anything like that about the case or anybody involved in it.  
25 And no Google searches, not even for a term that was used in

## RITZ - DIRECT / WAGSTAFF

1 the case. You shouldn't do any kind of research at all.

2 If anybody tries to talk to you about the case, you should  
3 let us know immediately.

4 With that, have a good lunch. We will see you back here  
5 at 12:45 by your iPhones.

6 (Jurors exit.)

7 **THE COURT:** Dr. Ritz, sit tight for just a second.  
8 Should we talk about the Dr. Ritz -- the issue of dose response  
9 now with Dr. Ritz here or how would you like to proceed on  
10 that?

11 **MS. WAGSTAFF:** That works. I also want to make sure  
12 that we are all on the same page with respect to medical  
13 literature as well just so I don't get on even thinner ice with  
14 you.

15 **THE COURT:** Okay. So on the issue of dose response --  
16 first of all, by the way speaking of thin ice, can I have a  
17 copy of both of the slides for both sides' openings? Do  
18 you-all have that handy? Can you hand up a copy of your  
19 slides?

20 **MS. WAGSTAFF:** I don't have it printed out --

21 **THE COURT:** That's not -- that can't be true.

22 **MS. WAGSTAFF:** Well, the version -- I pulled slides  
23 out based on what you talked with Ms. Moore about, so --

24 **THE COURT:** I will take that.

25 **MS. WAGSTAFF:** -- this isn't what I used.

## RITZ - DIRECT / WAGSTAFF

1           **THE COURT:** Okay. That's fine. That is your full  
2 version that you were planning on using? That's fine. I will  
3 take that.

4           **MS. WAGSTAFF:** Well, I feel this will be held against  
5 me.

6           **THE COURT:** That's okay. I will take that.

7           **MS. MOORE:** Your Honor, we can have a clean version.

8           **THE COURT:** No. That's okay. I will take that one.

9           **MS. WAGSTAFF:** I have notes in here, so can I take  
10 those out?

11           **THE COURT:** On slides?

12           **MS. WAGSTAFF:** No. These are --

13           **THE COURT:** Yeah, take out your notes. Sure. Thank  
14 you.

15           **MS. WAGSTAFF:** Can I just review it one more time?

16           (Whereupon, a brief pause was had.)

17           **MS. WAGSTAFF:** And I ran out of color ink halfway  
18 through printing it.

19           **THE COURT:** That's fine.

20           **MS. WAGSTAFF:** This copy that I'm handing you includes  
21 the RFA that we had talked about before, so obviously that  
22 wasn't shown to the jury.

23           Also I took out an *Eriksson/McDuffie* slide when you get to  
24 the specific causation portion that I didn't show to the jury.

25           **THE COURT:** Okay.

## RITZ - DIRECT / WAGSTAFF

1           **MS. WAGSTAFF:** And in my exposure slide, there is a  
2 bullet point in what I just handed you that discusses warnings  
3 and whether or not Mr. Hardeman followed those warnings and  
4 labels, which I took out as well. I deleted based on your  
5 conversation with Ms. Moore prior to my openings statement as  
6 well.

7           **THE COURT:** Okay. I will ask by the way, Kristen, if  
8 you can contact GSA and ask them to fix that clock. Get it  
9 tied to the iPhone.

10           Okay. There was just -- while it is on my mind before I  
11 forget, there was a photo of Mr. Hardeman and his family that  
12 you described as a photo that was designed to show the jury the  
13 property. It was not designed to show the jury the property.  
14 It was designed to show Mr. Hardeman's family.

15           So that's -- I'm not allowing that photo to come in in  
16 Phase One.

17           Okay. Now, let's just talk about the dose response issue  
18 for Dr. Ritz and any other -- anything else you want to talk  
19 about with respect to the articles, and I have a couple other  
20 items; but I will put those off for now.

21           **MS. WAGSTAFF:** It is my understanding from talking  
22 with Monsanto's attorneys that we have an agreement that we  
23 will publish medical journals and articles to the jury but not  
24 send them back into evidence; is that --

25           **THE COURT:** That's what you-all told me.

## RITZ - DIRECT / WAGSTAFF

1           **MS. WAGSTAFF:** Okay.

2           **THE COURT:** And that I agreed to quite a while ago,  
3 yeah.

4           **MS. WAGSTAFF:** Just before I did it, I wanted to make  
5 sure we were all on the same page.

6           **MR. STEKLOFF:** No issue there, Your Honor.

7           **THE COURT:** Okay. So on the issue of dose response,  
8 this is one -- I mean, I -- as I said, there are a number of  
9 places where the Plaintiff -- or Ms. Wagstaff crossed the line  
10 in opening statements, and it seems pretty clear that it was  
11 intentional.

12           On the dose response issue, as I mentioned at sidebar, I'm  
13 not sure I would put that in -- put the dose response issue in  
14 that category because I think that is actually quite a  
15 challenging issue, right, based on my rulings. And what I  
16 ruled was -- that Dr. Ritz's testimony from -- from the general  
17 causation phase, at least as I recall it, was that there is a  
18 dose response -- that the literature shows a dose response.  
19 And what I recall from Dr. Ritz's testimony is that she didn't  
20 get behind any particular numbers. She didn't say if you use  
21 Roundup more than ten times in your life, your -- your risk of  
22 getting NHL will double. I don't recall you saying anything  
23 like that.

24           **THE WITNESS:** No, I didn't.

25           **THE COURT:** So what I meant to convey -- what I

1 intended to convey in the specific causation order that I  
2 issued yesterday is that that testimony -- that general  
3 testimony that Dr. Ritz gave is permissible, and that she can  
4 use *McDuffie* and *Eriksson* to make the general point that there  
5 is evidence of a dose response.

6 But then when you get to the specific causation phase and  
7 you have people like Dr. Nabhan and Dr. Weisenburger testifying  
8 that they -- you can reach some sort of quantitative conclusion  
9 based on those studies, that's not permissible. That crosses  
10 over into the area of junk science.

11 So that's the basic parameter that has been established  
12 not just for Dr. Nabhan and Dr. Weisenburger, but all of the  
13 experts.

14 And so the question is: Are there any concerns about, you  
15 know, types of testimony that would be close to the line that  
16 we should resolve now? It seems to me, as I said, I believe  
17 that the line was crossed during the opening statements. It is  
18 not as clear that that was intentional as some of the other  
19 stuff, but I -- it seems pretty clear that the line was crossed  
20 during opening statements. So perhaps we have to have a  
21 further discussion sort of defining that line.

22 **MR. KILARU:** Yeah, I think the slides did cause  
23 concern in light of the rulings, Your Honor, because I believe  
24 there were three slides, though I know one was sort of clicked  
25 through in the earlier rulings; that showed that there is an

## RITZ - DIRECT / WAGSTAFF

1 over 200 percent increase risk from the slides. I think one  
2 was 236; one was 212, and I forget the third exactly -- 210,  
3 I'm told -- that is the exact type of testimony that we think  
4 crosses the line that was set forth in your order where you  
5 said that no one really can testify if someone uses Roundup  
6 more than two days or ten days, their risk of developing NHL  
7 doubles. And I'm not really sure if there is any space between  
8 those two things.

9           **THE COURT:** Well, but the reason it is a tricky issue  
10 I think, is that there are numbers that emanate from the  
11 *McDuffie* and *Eriksson* studies. And, I mean, we could have a  
12 discussion about this. My intention when -- from the -- when I  
13 wrote what I wrote in the specific causation order was not  
14 necessarily to preclude the Plaintiffs from eliciting testimony  
15 about the numbers that emanate from *McDuffie* and *Eriksson*. It  
16 is just that they could not provide -- they could not offer on  
17 opinion that those numbers stand for this sort of quantitative  
18 proposition.

19           Again, that is a tricky line. I mean, maybe the answer is  
20 that the numbers shouldn't come in at all; but my -- but what I  
21 was -- what I was envisioning when I wrote that is that the  
22 experts -- they can say what the numbers stand for. The  
23 qualification has to be made about, you know, the fact that  
24 these are unadjusted numbers and also the overall numbers of  
25 the subjects in the studies are very low.

## RITZ - DIRECT / WAGSTAFF

1 But -- and with those qualifications, you can say that,  
2 you know, they are -- they are somewhat probative -- they are  
3 probative of dose response without drawing any quantitative  
4 conclusions. That is tricky.

5 I mean does that -- do you understand what I'm saying?

6 **THE WITNESS:** Absolutely. Absolutely.

7 **THE COURT:** So what -- how are you with that, I guess  
8 I will ask.

9 **MR. KILARU:** I guess I would say we do have a concern  
10 with the numbers -- not all the numbers, but *McDuffie* and  
11 *Eriksson* being showed. I think these issues are all tied  
12 together. As I think you said in the order, the numbers are  
13 unadjusted. So if you present unadjusted numbers, whether you  
14 describe them as a doubling of the risk or just show what they  
15 say --

16 **THE COURT:** I never said either at general causation  
17 or the specific causation stage that unadjusted numbers are  
18 inadmissible. Now, I think there is probably a decent argument  
19 for that.

20 **MR. KILARU:** Yeah.

21 **THE COURT:** But I ruled -- I didn't rule that they are  
22 inadmissible. What I ruled is that they -- they can't be  
23 relied upon to -- for -- by an expert to predict the -- how  
24 much somebody like Mr. Hardeman has an increased risk if he  
25 used glyphosate, a particular amount more than ten lifetime

1 days.

2 So I'm not prepared at this point to preclude an expert  
3 from testifying to the numbers themselves. It is a bit of a  
4 tricky line, I think. And, you know, it is one that everybody  
5 is going to have to be paying attention to during trial, but I  
6 do think -- just to make clear for the record, right -- the  
7 stuff that was in the slide is not appropriate. That is -- of  
8 course, that was not an expert opinion --

9 **MR. KILARU:** Right.

10 **THE COURT:** -- that Ms. Wagstaff was describing. That  
11 was her own interpretation of the numbers, and that is not  
12 appropriate. And it is not appropriate for an expert to offer  
13 an opinion reflecting the content of those slides.

14 **MR. KILARU:** I do agree that it is a somewhat gray  
15 area, as you said. I mean, the slides are clearly on one side.  
16 Maybe the numbers -- you know our position on the numbers, at  
17 least as I just articulated. Unadjusted numbers shouldn't be  
18 admitted because they are unreliable, where we think it is  
19 embodied in the order.

20 Where I think we might have some concerns about the  
21 testimony is if you start to get into -- it is a hypothetical,  
22 but what is the risk ratio in this piece? It is above 2. What  
23 does 2 mean? Well, it means the risk is doubled. They are  
24 basically presenting the exact same thing just without a  
25 percentage number. That's where I think the line would

1 probably be crossed as well.

2           **THE COURT:** I'm not sure -- we could probably go  
3 through 20 hypothetical questions and answers --

4           **MR. KILARU:** Right.

5           **THE COURT:** -- and I can issue rulings in advance.  
6 Then there will be a 21st question asked and answered. I don't  
7 think it is worth trying to do that in advance.

8           What I will say, however, is that there is a possibility  
9 that, you know, a specific instruction regarding the use of  
10 unadjusted numbers could be given to the jury. And I think the  
11 chances of that happening increase the more the Plaintiffs  
12 elicit testimony about the unadjusted numbers, and the more the  
13 Plaintiffs attempt to get the jury to draw quantitative  
14 conclusions about the unadjusted numbers.

15           So we will have to just kind of see how the evidence comes  
16 in, but it may be that a limiting instruction of some sort is  
17 appropriate.

18           **MR. KILARU:** That may make sense, Your Honor. I just  
19 wanted you to know a general gist of how we are thinking about  
20 this. I know there are many, many variables; but it sounds  
21 like it might make sense to see how it comes in, and we would  
22 be happy to prepare an instruction if we think a line has been  
23 crossed.

24           **THE COURT:** Okay.

25           Anything from you, Ms. Wagstaff?

## RITZ - DIRECT / WAGSTAFF

1           **MS. WAGSTAFF:** No, Your Honor.

2           **THE COURT:** Dr. Ritz, does that -- are you comfortable  
3 with that?

4           **THE WITNESS:** Yes.

5           **THE COURT:** Okay. Great. So let me see. Was there  
6 anything else I wanted to discuss with you right now?

7           Oh, what is the status of the stipulation -- there are a  
8 bunch of potential stipulations floating around out there.  
9 What is the status of the stipulation regarding expert  
10 compensation?

11           **MR. KILARU:** I think we are willing to agree to what  
12 Your Honor proposed. We might propose to switch the word "a  
13 lot" for "substantial," but I think we are on the margins at  
14 that point.

15           **MS. WAGSTAFF:** We actually haven't really discussed it  
16 with each other.

17           **THE COURT:** There was some indication -- some  
18 e-mail --

19           **MR. KILARU:** We both said we were --

20           **THE COURT:** There was some e-mail from someone on your  
21 team --

22           **MS. WAGSTAFF:** I told you in the hearing that we were  
23 okay, as long as the wording wasn't that they were each paid a  
24 lot of money.

25           **THE COURT:** Okay. So -- but we have an expert on the

## RITZ - DIRECT / WAGSTAFF

1 stand now. So why have we not figured that out, figured out  
2 what -- how -- the extent to which people are going to be  
3 questioned on their compensation?

4 **MS. WAGSTAFF:** I'm not going to question her on her  
5 compensation, so it wasn't really a priority to me.

6 **THE COURT:** You need to -- you need to figure out  
7 by -- how long do you think Dr. Ritz's direct will take?

8 **MS. WAGSTAFF:** Okay. Apparently in Australia they  
9 told Mr. Wisner they would give us a copy. We can figure this  
10 out probably in five minutes.

11 **THE COURT:** Why don't you do that?

12 **MS. WAGSTAFF:** Okay.

13 **THE COURT:** Good. We will see you-all at the actual  
14 time of 12:40. Thank you.

15 You can step down.

16 **THE WITNESS:** Thank you.

17 **THE COURT:** And you can have -- I think I said this  
18 before, but you-all should have your witnesses on the stand  
19 when the -- when we are ready to bring the jury in so we don't  
20 waste that extra time bringing the witness in when the jury is  
21 already sitting there.

22 **MS. MOORE:** Thank you, Your Honor.

23 **THE CLERK:** Court is in recess.

24 (Luncheon recess was taken at 12:10 p.m.)

25

## PROCEEDINGS

1 Afternoon Session

12:48 p.m.

2 (Proceedings were heard out of presence of the jury:)

3 **MR. STEKLOFF:** Your Honor, I just want you to -- for  
4 it to be clear. I have no problem with you having it, but the  
5 PowerPoint that I handed you included an appendix of slides  
6 that I did not use. I'm just hoping that Plaintiffs' counsel  
7 doesn't see them, but it doesn't bother me one way or the other  
8 if you have them.

9 **THE COURT:** I look forward to reading them.

10 **MR. STEKLOFF:** Second, Your Honor, I think we have  
11 reached a stipulation on the expert compensation, which would  
12 be to take your language but substitute "significant" for "a  
13 lot," but then add the phrase based on customary -- normal and  
14 customary rates. So that -- which would apply to both sides.

15 **THE COURT:** That's great. So are you going to want me  
16 at some point to read that stipulation to the jury?

17 **MR. STEKLOFF:** I think it -- my view is that it would  
18 make more sense for you to read it since it applies equally to  
19 both sides as opposed to having one side read it --

20 **THE COURT:** Okay. So at whatever point you get that  
21 stipulation to me and you file it, I will just read it -- I  
22 will probably read it to the jury at the beginning of  
23 tomorrow's testimony.

24 **MS. MOORE:** That is helpful, Your Honor. We will get  
25 that. We will send that over to them, and I think we have got

## PROCEEDINGS

1 that done.

2           **THE COURT:** Great. One other thing I wanted to raise  
3 with you now, even though it is not immediately relevant, is  
4 that I -- you know, you were showing slides of deposition  
5 testimony of the Plaintiff's experts. I understand your theory  
6 behind that. My -- your theory being that the Plaintiff's  
7 experts, when they were having their depositions taken, were  
8 agents of the Plaintiff and, therefore, their deposition  
9 testimony is admissible under Rule 80 -- 801(d)(2).

10           I do not believe that there is any binding Ninth Circuit  
11 case law on that issue, whether an expert when their deposition  
12 is being taken is acting as a -- as an agent of the party.  
13 I believe that the -- that -- I believe that an expert is  
14 not -- should not be deemed to act as an agent -- be acting as  
15 an agent of the party during deposition testimony.

16           I think there is also a strong argument that they  
17 shouldn't be deemed as acting as an agent of the party during  
18 trial testimony, but regardless, I think there is a distinction  
19 between the two, and so that's why I shut you down on that. If  
20 you can point me to some binding authority that says to the  
21 contrary and you want to cross-examine experts using their  
22 deposition testimony, you can try to point me to that  
23 authority.

24           I will also say I think it is a Rule 403 issue,  
25 particularly in a case like this. I think that the trial --

## PROCEEDINGS

1 there is a real risk of the trial becoming much more jumbled  
2 and confusing if we use as a starting point the expert's  
3 deposition testimony rather than the testimony the expert has  
4 given at trial.

5 So I believe that for the experts, both because of my  
6 interpretation of Rule 801(d)(2) and because of Rule 403,  
7 I believe the way it should go is that you use an expert's  
8 deposition testimony the same way you use any other witness'  
9 testimony, which means you bring it in to impeach them and not  
10 use it as part of your affirmative case or affirmatively as the  
11 basis for your cross-examination. Like I said, if you want to  
12 try to point me to some authority that is to the contrary, I'm  
13 happy for you to consider that. But as of now, that is my  
14 ruling.

15 **MR. STEKLOFF:** And I understand your ruling and don't  
16 need to go look for authority, I think with the witnesses --  
17 I'm fine using it, if necessary, as impeachment if they don't  
18 agree to it.

19 **THE COURT:** And on the issue of impeachment, I always  
20 assume that lawyers know how to use prior deposition testimony  
21 for impeachment purposes, and 90 percent of the time I find  
22 myself having -- in the middle of trial having to teach the  
23 lawyers how to use prior deposition testimony for impeachment  
24 purposes, to my surprise.

25 So if you wish to impeach a witness with deposition

## PROCEEDINGS

1 testimony, whether it is an expert or some other witness, you  
2 have to have a transcript of the deposition ready to hand up to  
3 me. You do not immediately start asking them questions about  
4 their deposition testimony or the content of their deposition  
5 testimony.

6 You simply say, "Your Honor, I would like permission to  
7 read pages 17, line 6 through 18, line 10," and then pause.  
8 You give it to me. Give me the deposition testimony. I look  
9 at it. Opposing counsel has an opportunity to object or to  
10 request that for completeness in addition you read page 27,  
11 line 32 through 36.

12 And then I will rule on whether you can read the proposed  
13 deposition testimony and whether you must also read for  
14 completeness the deposition testimony that the opposing side  
15 has identified. Then you can read it, and then if you want --  
16 although most good lawyers don't -- if you want, you can ask  
17 further questions of the witness about whether their prior --  
18 how their prior deposition testimony squares with their current  
19 testimony.

20 But in any event, that is the process for impeaching  
21 witnesses with prior deposition testimony.

22 **MS. MOORE:** Thank you. Your Honor, understood.

23 **MR. STEKLOFF:** While we are on the subject, just for  
24 20 seconds, what is your rule during trial about contact with  
25 expert witnesses in the middle of testimony, either once they

## RITZ - DIRECT / WAGSTAFF

1 have been passed for cross or before or after?

2 **THE COURT:** If you-all have sort of a stipulation  
3 about that, about how -- not a stipulation but agreement about  
4 how that should go, that's fine. Otherwise, I'm happy to hear  
5 discussion of it.

6 **MR. STEKLOFF:** Okay.

7 **MS. MOORE:** We have not discussed it, Your Honor.

8 **THE COURT:** Okay.

9 **MS. WAGSTAFF:** Thank you, Your Honor.

10 **THE COURT:** Okay. Are we ready to call the jury back  
11 in?

12 **MS. WAGSTAFF:** We have just agreed on an objection,  
13 you will be happy to know. Let me just let my tech person know  
14 something I want to redact. If you can just indulge me for  
15 just a second.

16 **THE COURT:** Okay. How long will that take? Can we  
17 start bringing the jury in?

18 **MS. WAGSTAFF:** Yes.

19 (Proceedings were heard in the presence of the jury:)

20 **THE COURT:** Welcome back. You can resume with  
21 Dr. Ritz.

22 **BY MS. WAGSTAFF**

23 **Q.** Good afternoon, Dr. Ritz.

24 **A.** Good afternoon.

25 **Q.** I hope you had some time to get some food.

## RITZ - DIRECT / WAGSTAFF

1           So we spent the morning with you -- do you need any water?

2   **A.**    It's fine.

3   **Q.**    Okay. We spent the morning with you going over your  
4   qualifications and talking about your journal publications and  
5   sort of describing what a medical literature is. So before we  
6   get into the nuts and bolts of your actual decision, I would  
7   like to say prior to coming to trial today, explain to the  
8   jury -- ladies and gentlemen of the jury, what you reviewed in  
9   forming your opinion in this case.

10 **A.**    Right. So I did what I usually do. When I have to form  
11 an opinion, I go to the literature. I read what is there.  
12 Peer-reviewed literature, the papers that we will talk about,  
13 but I usually also go a little broader than the epidemiology  
14 literature, which is where I'm the expert.

15           I also like to read something about animal studies because  
16 I'm a medical doctor. I'm a scientist. I work with people who  
17 do animal studies, so I want to know what our little furry  
18 friends tell us, right, because we test on them a lot of  
19 things; and I also try to form an opinion whether there is a  
20 biological way that actually all of this could happen. And  
21 that's what we call mechanistic data or toxicologic data; that  
22 is it actually possible, is there enough getting into the body,  
23 what is the body doing with the chemical, where does it end up,  
24 what organ does it damage. So I have done all of that.

25           And then, of course, I also read the reports by the EPA,

1 the Environmental Protection Agency. I read the reports by the  
2 International Agency on Research of Cancer and all of those  
3 also formed opinions, but really my -- my -- I have to say I  
4 like to form my own opinion. So I really have to go and make  
5 myself comfortable with what is out there to form that opinion,  
6 and that's what I did. I did everything so I'm comfortable  
7 with my opinion as a scientist.

8 **Q.** All right. I didn't mean to cut you off.

9 **A.** Sorry.

10 **Q.** So based on your review of the epidemiological literature,  
11 the animal literature and the cell data studies and your  
12 experience in education as an environmental epidemiologist,  
13 have you formed an opinion within a reasonable degree of  
14 medical certainty whether or not Roundup is capable of causing  
15 NHL?

16 **A.** Yes, I do.

17 **Q.** And what -- can you tell the ladies and gentlemen of the  
18 jury what opinion it is that you hold?

19 **A.** Well, I absolutely think that Roundup is capable of  
20 causing NHL in humans in the way it has been used.

21 **Q.** All right. Excellent.

22 So now, I would like to get actually to the nuts and bolts  
23 of your opinion. So please tell the ladies and gentlemen of  
24 the jury what a risk factor is.

25 **A.** So a risk factor --

## RITZ - DIRECT / WAGSTAFF

1 Q. Risk ratio, sorry.

2 A. Risk ratio, good.

3 These risk ratios, you will see a lot of, and my students  
4 hate them too. But they are making our lives easier because  
5 once you understand what they are saying, it is actually -- it  
6 gives you a very good idea of what is going on in a study, and  
7 it is what I tried to explain this morning, where you have the  
8 group of people that was exposed to something, and then you are  
9 seeing who comes down with cancer and what is the number among  
10 everybody exposed, how many come down with a cancer? That is a  
11 ratio, but not yet a risk ratio. That is a risk -- a ratio  
12 measure.

13 Let's say ten out of a thousand workers come down with  
14 that disease, and then you have another thousand workers you  
15 also look at, and they have not been exposed to this chemical.  
16 And among them you count five, right, five cases. So you have  
17 ten over a thousand, and then divided by five over a thousand.  
18 So that's ten over five, gives you two. That is a risk ratio.  
19 Basically that's all we do.

20 In studies where it is an odds ratio, it is a little more  
21 complicated because we are starting with cases and then we are  
22 starting with non-cases, and then we look how much exposure was  
23 the cases and how much exposure was there non-cases and was  
24 there more exposure; but it is the same kind of ratio.

25 So in the end, these ratios tell us, yes, the cases were

## RITZ - DIRECT / WAGSTAFF

1 more exposed than the non-cases, or among the non-exposed --  
2 among the exposed, there is more cancer than in the exposed.  
3 Since it is a ratio measure, you can tell 10 over 5 is 2.  
4 That's bigger than one. If the number of cases will be the  
5 same, you would get 10 over 10. That's a 1.

6 So when we talk about null effects, it is actually not  
7 null because it is a ratio. It has to be 1. So we are always  
8 very concerned about that number being greater than 1 because  
9 it indicates there are more cases in the exposed than the  
10 unexposed. Okay.

11 If that ratio measure goes below 1, do you now have an  
12 intuition of what happens? What happens is you have less  
13 people among the exposed than the unexposed; right? That is  
14 the only way how that ratio can go below 1.

15 That means what you are giving these people is actually  
16 helpful. It protects them from cancer because the ones who  
17 didn't get it have more. So when we see an estimate -- we call  
18 it an estimate -- fall below 1, then we think it is protected.  
19 So if we have a toxin we are evaluating, then we have to be  
20 worried about is that really true? Can that be, that a toxin  
21 prevents cancer; right?

22 And that's constantly the kind of question that you have  
23 to carry around when you see all these estimates, these ratios  
24 above 1. Then all of them tell you, okay, there is a greater  
25 risk. If they all kind of fall around the 1, then maybe there

1 is a random fluctuation, but generally that is no effect;  
2 right? But if they all fall or most of them fall below the 1,  
3 then there is protection.

4 If you really don't think that agent can protect you,  
5 something must be wrong with what you are doing. Maybe you  
6 have been miscoding; right? That is the first thing I ask my  
7 students. Did you code this right? Did you reverse the  
8 exposure, the coding for exposure that you call the  
9 exposed/unexposed, and the other way around? It happens.  
10 Believe me it happens. This is how we evaluate in these  
11 scientific studies what causes cancer, what causes disease,  
12 underexposure compared to not being exposed.

13 **Q.** All right. And is there a significant risk ratio?

14 **A.** Yeah. There is a principle in statistical science that is  
15 called significance testing or significance of an estimate. So  
16 these risk ratios I just described, they are called estimates.  
17 And as I told you, you can have all these estimates on one  
18 side, on the other side; right? You can also have them  
19 fluctuate around the null, which is one. And when they start  
20 fluctuating around, that gives you a hint, hmm, one study is  
21 above; one is below. What is wrong?

22 And what often is wrong is the study was so small that  
23 adding one case or subtracting another from one of the other  
24 group makes these ratios flip.

25 So in essence significance helps us evaluate how much

1 random fluctuation is there between -- when I come up with  
2 these estimates. When I calculate this ratio, how much would  
3 there be randomness that generated this one estimate, and how  
4 certain can I be that that estimate is really what -- what I  
5 should take for the truth, or maybe that estimate should be  
6 closer to the 1 or further away from the 1. But it is random  
7 because, you know, something happened that I didn't find one  
8 case. Something happened that somebody miscoded an exposure.  
9 And these things happen. We are all human. We are all doing  
10 real-world studies in real human beings, so mistakes happen;  
11 right? Random mistakes is what we are trying to guard  
12 ourselves against by saying absolutely. This is a 20 percent  
13 or a twofold risk increase. No. We are putting these bounds  
14 around it and saying in this range the estimate must be.

15 **Q.** Okay. And is statistical significance the only way to  
16 consider whether or not chance played a role in the risk ratio?

17 **A.** Actually, it is absolutely not the only way; and it is  
18 probably the worst way you can look at it because the  
19 statistical significance testing just asks you does -- can  
20 chance be completely eliminated or not, according to the rule  
21 that I set up, which is usually a 5 percent of the testing  
22 rule, and that's an arbitrary rule.

23 And it is also a rule that may or may not help you because  
24 you are not trying to make a decision whether there is a yes/no  
25 answer in one study. What you are trying to figure out is what

## RITZ - DIRECT / WAGSTAFF

1 is the information in my study telling me overall. So -- and  
2 there is a lot more information and data that significance  
3 testing would ever allow you to use. So I like to tell my  
4 students we need to use all the information we have.

5 Significance testing is out. We are looking at all of the  
6 data. We are looking at what is called a confidence interval.

7 So that confidence interval --

8 **Q.** Let me stop you right there because I would like you to  
9 turn to page -- binder 892, which -- I should have a binder for  
10 you.

11 **A.** 892?

12 **Q.** Can you please tell us -- it is double sided. It is two  
13 pages. If you can please tell us what this is and whether or  
14 not it would be helpful in explaining your opinion on  
15 confidence intervals to the jury.

16 **THE COURT:** Here it is. It is out of order.

17 **MS. WAGSTAFF:** It is out of order.

18 **THE COURT:** It is after 903.

19 **A.** Which one are we looking at?

20 **BY MS. WAGSTAFF**

21 **Q.** 892. If you can please tell the Court what those --

22 **A.** These?

23 **Q.** Yeah, just what those are. And if it would be helpful for  
24 you to show those to the jury to explain your opinion.

25 **A.** Yes. This is just a visual representation of what I just

## RITZ - DIRECT / WAGSTAFF

1 waved my hands --

2 **THE COURT:** I think Ms. Wagstaff will publish that to  
3 the jury, so --

4 **THE WITNESS:** Yes.

5 **THE COURT:** -- you don't need to hold that up to them.  
6 You can just describe it.

7 **THE WITNESS:** Okay.

8 **MS. WAGSTAFF:** Permission to publish, Your Honor.

9 **MS. MATTHEWS:** No objection.

10 **THE COURT:** All right. Go ahead.

11 **BY MS. WAGSTAFF**

12 **Q.** Let's start with the first page -- who is controlling  
13 this -- thank you.

14 **A.** So this is a simple graphic. You see my red line is what  
15 we call a null effect, the 1; right? The number of cases in  
16 the exposed is exactly the same as the number of cases in the  
17 unexposed, and this shows 1. That is the one line.

18 And then you conduct a study and you find well, my  
19 relative risk is actually 1.5. You say 1.5 is above 1, so  
20 there is a 50 percent increase in cases. So instead of 10 in  
21 the unexposed, I have 15 in the exposed. That's how I get my  
22 1.5. 15 divided by 10; right? I get the 1.5. Okay. I know  
23 now there is a 50 percent increase in cancer risk.

24 Well, not so fast because we also know that a small study  
25 might find this 15 over 10; but if I had had a bigger study,

## RITZ - DIRECT / WAGSTAFF

1 and I could have looked at 150 exposed over -- or found 150  
2 exposed cases over 100 unexposed, then actually I would be more  
3 certain that there is a 50 percent increase. And if I had  
4 1,500 and a thousand, I would be even more certain.

5 So with every increase in my numbers of exposed cases over  
6 unexposed, my confidence increases that I have the right  
7 estimate, right; that it is not just one case that flip-flopped  
8 where I made a mistake, where somebody entered the wrong data.  
9 So in order for us to visualize what my data came from, that  
10 1.5, whether it is 15 over 10 or 1,500 over a thousand, right,  
11 we are putting these confidence intervals around the 1 --  
12 around the estimate.

13 So this represents how much information we have in the  
14 study to rule out random error and nothing else, only random  
15 error. Making a mistake randomly, not systematically.  
16 Randomly.

17 So what it shows is that most of my information tells me  
18 it should be a 1.5, but you see that this little bell curve  
19 there -- that is the density of information, how much  
20 information I have -- it goes -- that lower ends goes across  
21 the 1. So there is a slight chance that actually the true  
22 estimate -- if I would repeat the study over and over again --  
23 would be below 1; but there is only a 2.5 percent chance that  
24 that would ever happen, okay.

25 However, if I asked my students is this a statistically

1 significant result, well, in 97.5 percent of the time if I  
2 repeated this, I would get an estimate above 1, but in  
3 2.5 percent of the time I wouldn't. So statistically speaking  
4 it is not significant although most of the information tells me  
5 there should be an effect, but my study wasn't big enough.  
6 Sorry.

7 So according to the rules of statistical significance  
8 testing, I'm not allowed to say it is statistically  
9 significant. That doesn't mean it is not medically  
10 significant. It is significant in any other ways. It is just  
11 not statistically significant according to those rules.

12 So what represents what I said much better are these  
13 whiskers. You have the dot in the middle, and you have the  
14 whiskers, and you can see how far these whiskers go out and  
15 whether they cross the red line. And if they cross the red  
16 line, you now know it is not statistically significant; but it  
17 doesn't mean there is no effect. That's all.

18 **Q.** All right. Have you taught this concept of using  
19 confidence intervals to help you rule out chance to your  
20 students at UCLA?

21 **A.** Absolutely.

22 **Q.** If you can turn to binder Number 908 -- and I -- is this  
23 a -- is this a chart that came out of peer-reviewed literature?

24 **A.** The chart -- the chart -- I made up the slide, but the  
25 chart on it comes from the peer-reviewed literature, yes.

## RITZ - DIRECT / WAGSTAFF

1 Q. Okay. And the -- if you will turn to page -- to binder  
2 Number 912, which is the Stang article?

3 A. Right.

4 Q. Is this is the article where this chart came from?

5 A. Yes.

6 Q. Is this an accurate representation of that article in this  
7 chart?

8 A. Yes.

9 Q. And will using this chart help you explain your opinion to  
10 the jury?

11 A. Yes.

12 MS. WAGSTAFF: Permission to publish to the jury.

13 MS. MATTHEWS: No objection.

14 BY MS. WAGSTAFF

15 Q. If I could ask, will it help for you to come down and  
16 write on this board?

17 A. Probably, yeah.

18 MS. WAGSTAFF: Permission, Your Honor.

19 THE COURT: Yes.

20 Dr. Ritz, make sure to speak up because the court reporter  
21 needs to get your voice.

22 THE WITNESS: So this is actually a slide I have used  
23 in my classroom a few weeks ago. I really apologize to you  
24 that I spring this on you without all the other stuff that  
25 comes before.

1           When I show it to my students, it is a slide show so this  
2 doesn't appear yet. All they see is this side of the slide,  
3 and the title which says "The Ongoing Tyranny of Statistical  
4 Significance Testing in Biomedical Research," and it is  
5 published by colleagues that I know quite well including  
6 Charlie Poole, who is a very well-respected methodologist and  
7 has been writing about this his whole career.

8           So what they are trying to say is we should not just use  
9 one tool. When we have a nail, you know, we need a hammer, but  
10 we can also -- there are many kinds of hammers and many kinds  
11 of tools, and statistical significance testing is just one who  
12 wants to encourage students to do more, right, to be better, to  
13 involve all the information that we can gain into their  
14 decision-making.

15           So if I -- when I show this to my students, I show them  
16 this slide first and you see here it says -- my line isn't in  
17 red, but that should be the red line; right? Then we have this  
18 dot. That is my point estimate. It says incidence break  
19 ratio. It is a ratio measure. It is twofold, meaning we had  
20 10 over 5 subjects in the exposed over the unexposed that came  
21 down with the disease. That's what that says. I have my 2  
22 here.

23           Then I have told you we have a confidence interval. How  
24 confident am I that this is a twofold increase and not just  
25 random because, you know, I miscounted, make mistakes,

## RITZ - DIRECT / WAGSTAFF

1 whatever. So here is my confidence interval. It goes from .9  
2 to 4.2. That is pretty wide; right? And that's reflected in  
3 here. And most important for the people who love statistical  
4 significance testing, it crosses the magic line of 1.

5 It doesn't cross it too much. It ends up here, given that  
6 it could go all the way to null; right? But it goes from .9 to  
7 4.2. And I could say, Well, it might be a twofold risk  
8 increase, but there is uncertainty. There is random error, and  
9 I can truly not tell whether that study should be taken  
10 serious; right? Maybe it was just too small and too much  
11 random error. They didn't measure well enough. That is  
12 another way of getting random error. They didn't measure  
13 right. They didn't measure the exposure right or the disease  
14 right and everything. So there was a mistake.

15 I would stay at this and then say -- in most studies when  
16 I write papers, I would say, This is an indication that  
17 possibly something is wrong, but now I have to achieve --  
18 actually go to work. I need a larger study or I need other  
19 studies to convince myself there is something; I'm not right.  
20 Then I'm showing this.

21 This says prior studies. I didn't have to go out there  
22 and do more studies. All I had to do was actually read the  
23 literature, which I tell my students before you say, I'm going  
24 to go get something for the next study, go and read the  
25 literature.

## RITZ - DIRECT / WAGSTAFF

1           So this person now read the literature and found studies  
2 that actually assessed the same association, pesticides and  
3 NHL, smoking and lung cancer, whatever it is; right? And these  
4 are all the prior studies, and they came up with different  
5 estimates. Not one of them really came up with exactly the  
6 same point estimate.

7           So their risk ratio is from this largest one, probably 3.2  
8 to down there, very close to 1; right? And if you had only  
9 done this study, I would have said there is nothing. If you  
10 had only done this study, everybody would have agreed this is  
11 statistically significant because this is above 1. This is a  
12 big effect. It is almost fourfold; right? Haha, there is  
13 something there.

14           Do you see now how you have to put things in context? You  
15 now have one, two, three, four, five, six, seven, eight, nine  
16 studies; and then you have your little study here with the 2.  
17 And now you are doing something in your head already that  
18 people, scientists, have to do. They have to go beyond what  
19 they can do themselves and put it in the context of the  
20 literature and what we already know.

21           And when I show this to my student and say, Do you believe  
22 this twofold now more or less? I think all these dots are  
23 above 1. There are some studies that don't have enough  
24 information to say it is statistically significant. It is this  
25 study, this study, this study. These do, but then overall,

## RITZ - DIRECT / WAGSTAFF

1 look at the pattern; right? It is all above 1.

2 So, overall, if I were to put all of this information  
3 together -- and that's what we call a meta-analysis or a pooled  
4 analysis -- I pool all the information of these studies -- and  
5 then I probably would get a nice estimate somewhere in between  
6 all of these, and that estimate would be fairly close to 2, and  
7 that prior knowledge -- we call this prior knowledge from what  
8 has already been done in the literature, et cetera -- would  
9 then give me a idea of how to interpret this estimate. And I  
10 would not go and say, Oh, you know, we see something but there  
11 is probably nothing because it is not statistically  
12 significant.

13 No. I would say, My little study here confirms what other  
14 studies have shown, and actually adds to the amount of  
15 information we now have out there, right?

16 We now have a lot more information than any one of these  
17 studies could have given me. I would not have been certain  
18 with this study or with this study or with this study. What we  
19 do is we put them all together and say in the context of all of  
20 what we have done, Do I believe that estimate is above 1 and it  
21 is not just chance that did it.

22 **BY MS. WAGSTAFF**

23 **Q.** Thank you. You can have a seat.

24 Dr. Ritz, can you explain to the ladies and gentlemen of  
25 the jury, please, a difference between a never-ever analysis

## RITZ - DIRECT / WAGSTAFF

1 and a dose response analysis, to include the strengths and  
2 weaknesses of both, please?

3 **A.** Right. So when I do my exposure assessment, which is  
4 what -- you know, the most important part of my work, we want  
5 to know not only have you ever used this agent, but we want to  
6 know when have you used it, how much have you used it, for how  
7 many years have you used it, how have you used it, did you  
8 protect yourself while you have been using it, did you spill  
9 the stuff on you, were you given bathroom access like the  
10 workers in the Central Valley to wash the stuff off if you  
11 spilled it? What happened; right?

12 And all of that information then goes into how much I  
13 think that person actually got exposed. And if you don't do  
14 that, you would be doing something like you ask a smoker, Are  
15 you a smoker? And he says, Yes and that's it. He is a smoker.

16 But you could also say, Well, how many cigarettes have you  
17 ever smoked? And the answer could be, You know, when I went  
18 into the military, I tried it for a month, and, you know, it  
19 didn't become me and then I stopped. But the question, Have  
20 you ever smoked, would have been yes. So you classify somebody  
21 who smoked -- tried smoking for a month as a smoker.

22 And then you have your neighbor who you have seen smoking  
23 every single day on the balcony.

24 **THE COURT:** Dr. Ritz, there is an objection.

25 **MS. MATTHEWS:** Objection based on prior rules.

## RITZ - DIRECT / WAGSTAFF

1           **THE COURT:** Overruled.

2           **THE WITNESS:** So you have your neighbor and you ask  
3 him the same question, Have you ever smoked? And he said,  
4 Yeah, and you leave it at that and you call him a smoker.

5           Then you ask yet another person whether or not they ever  
6 smoked. You would not know whether that person has stopped  
7 smoking when they were pregnant, smoked maybe one cigarette a  
8 day, or tried to keep it within five cigarettes a day, or has  
9 actually a three-pack habit that he sustained for 40 years;  
10 right? It is that simple.

11           So when you say never-ever, you are saying a smoker is a  
12 smoker is a smoker no matter what they answer to how much, how  
13 often, how long have you done this. So dose response  
14 actually -- my colleagues who do -- who did the early smoking  
15 studies were really smarter the way they did it. They asked  
16 all these questions.

17           They didn't just say, Well, are you a smoker or not? They  
18 asked all the questions I just told you. And then they said,  
19 How can we summarize this? And they came up with something  
20 called "pack years."

21           So they asked people, How many packs a day do you smoke?  
22 And then, How many years have you smoked? And then they  
23 multiply that and you get a pack year. So you have a lifetime  
24 pack year exposure, and then they look at, Okay. If I have 5  
25 pack years, 10 pack years, 20 pack years, 40 pack years, what

## RITZ - DIRECT / WAGSTAFF

1 is the risk of lung cancer?

2           And the general rule is that if you see that the risk  
3 increases with dose -- and what I just told you, the pack years  
4 are considered a dose -- then you believe that there is  
5 probably a higher chance that what you are seeing is not  
6 random, is not just, you know, some mistake, because with dose  
7 comes the poison; right? The more you get, the more -- the  
8 higher your risk is that you actually come down with the  
9 disease. And that's what we call a dose response. And  
10 whenever we can, we actually do that.

11           Whenever we have the information, we are trying to tease  
12 out what is the dose. And when we can't do that, we at least  
13 are trying to figure out who is the most highly exposed, and  
14 who is just an occasional user who maybe I should call  
15 unexposed or treat like the people who never touched a  
16 cigarette, right, because they are closer to them than to the  
17 people who used a lot.

18 **BY MS. WAGSTAFF**

19 **Q.** All right. Thank you.

20           If you can turn to Exhibit Tab 904, please, in your  
21 binder. Tell me when you are there.

22 **A.** Yes.

23 **Q.** Dr. Ritz, did you participate in making this chart?

24 **A.** Yes.

25 **Q.** All right. Dr. Ritz, is this a chart that summarizes some

## RITZ - DIRECT / WAGSTAFF

1 of the epidemiological literature that you reviewed in forming  
2 your opinion in this case?

3 **A.** Yes, it does.

4 **Q.** Dr. Ritz, would it be helpful for you to show the jury  
5 this demonstrative in expressing your opinion to them?

6 **A.** Yes.

7 **MS. WAGSTAFF:** Permission to publish.

8 **MS. MATTHEWS:** No objection.

9 **THE COURT:** Go ahead.

10 **MS. WAGSTAFF:** I actually have a demonstrative,  
11 Your Honor. May I publish the demonstrative?

12 **THE COURT:** Of course. You mean it is the replication  
13 of this?

14 **MS. WAGSTAFF:** It is a complete replication. However,  
15 I'm going to write on this one.

16 **BY MS. WAGSTAFF**

17 **Q.** Dr. Ritz, could you please explain to the ladies and  
18 gentlemen of the jury the categories of -- just orient them to  
19 this chart to include what the names in parentheses are, what  
20 the type means, the size and the exposed cases, if you can  
21 orient them, please.

22 **A.** Yes. So this is a complicated chart that will give us a  
23 little bit of an inside overview of the human data from what we  
24 call the epidemiologic studies -- so those are the studies that  
25 I do -- have provided to us. And under study you see where the

## RITZ - DIRECT / WAGSTAFF

1 study was done, like in Sweden or in Canada; who conducted the  
2 study. That is in brackets. You see *Hardell* et al. That is  
3 the name of the first author, and the et al. tells you there is  
4 more than one author. You know, there is usually a list. And  
5 then the year the study was published.

6 Then under Type you see what kind of study design we call  
7 that was used, and there are mainly two study designs. One is  
8 where it start from the cases, and I select non-cases from the  
9 population; and I ask them all these questions about exposure.  
10 So we are going from somebody who is diagnosed backwards in  
11 time asking about exposures, and we are doing that also for  
12 people who didn't get the disease; and then we compare what the  
13 exposures were in those who did and didn't get the disease in  
14 order to find that bad actor, right, whatever gave up group of  
15 people, that group of people who became cases, the disease. So  
16 that is called a case control study, and that's what is listed  
17 mostly on there.

18 And we also call them population based. That means they  
19 are -- every case that occurred in a whole geographic area.  
20 So, for example, in all of Sweden or in providences of Sweden  
21 or in Canada. And then the size -- under Size you see the  
22 number of cases they identified. So in this case, it would all  
23 be NHL cases; right?

24 And then under -- the next number refers to the controls.  
25 So we have control subjects meaning the people who did not get

1 the disease.

2 And then we have Findings and you see nothing. There is  
3 nothing there yet. So we will walk you through what the  
4 findings are.

5 And what is also important in all of these studies is not  
6 only how many cases do we have, but how many exposed cases do  
7 we have; so how many people actually had the exposure that we  
8 are interested in identifying, in this case glyphosate or  
9 glyphosate-based compounds.

10 Q. All right. And I think you mentioned that this table of  
11 literature refers to epidemiological literature that has  
12 Roundup and non-Hodgkin's lymphoma; is that right?

13 A. Right.

14 Q. All right.

15 A. Uh-huh.

16 Q. So I probably should have put this on there, but let's  
17 just make that clear.

18 Okay. So let's just walk through each of these briefly.  
19 If you could turn to Binder Number 443.

20 And, Mr. Wolf, if you could pull up the Hardell 1993, 443,  
21 please.

22 And, Dr. Ritz, if you could tell the jury, please give a  
23 little bit of context and background about the Hardell case.

24 A. Right. So here we have that Swedish study by two authors,  
25 Lennart Hardell and Mikael Eriksson, who used the resources of

1 the Swedish Public Health System, which includes a Cancer  
2 Registry, to identify cases of non-Hodgkin's lymphoma in  
3 Northern Sweden.

4 And Northern Sweden is very woodsy and they are using in  
5 forestry and in agriculture herbicides, and one of the  
6 herbicides was a Roundup-like product.

7 And what they did is they identified all of these  
8 non-Hodgkin's lymphomas. As soon as they're diagnosed, they  
9 get into that registry, and that's like the California Cancer  
10 Registry, only the Swedes had it for longer.

11 And so for a certain amount of years in the end '80s,  
12 early '90s, he identified these 400 cases; and then since in  
13 Sweden they also have a population register, meaning every  
14 resident is registered in the system, they can randomly select  
15 from that registry noncases of the same age, the same sex, who  
16 live in the same province, and that's what they did.

17 And then they went out and asked them all these questions  
18 about: Who are you? What have you done in your life? You  
19 know, what kind of jobs did you have? What kind of chemicals  
20 did you use?

21 And this is -- Northern Sweden is very rural. If you know  
22 Sweden, the major cities it's Stockholm and then in the south,  
23 so this is really rural Sweden.

24 Q. Okay. And, Mr. Wolf, if you could pull up Table 1 and  
25 please highlight the row related to glyphosate.

## RITZ - DIRECT / WAGSTAFF

1           And, Dr. Ritz, just to confirm, you relied on all of these  
2 studies in forming your opinion; correct?

3     **A.**    Yes.

4     **Q.**    Okay.  So let's just go through the findings.  And if you  
5 could please just tell me the risk ratio and the confidence  
6 interval, please.

7     **A.**    So here we have a table that looks at the herbicide use,  
8 the insecticide, and fungicide use, but for us of interest it's  
9 glyphosate.  So we can highlight glyphosate and we get the  
10 number of exposed cases and controls, and we get -- and then,  
11 you know, we go through our mass here and we get our  
12 odds ratio/risk ratio of 2.3.  So that's like that ratio  
13 measure that gives you 2.3.

14           But as I told you, don't take that at face value.  You  
15 want to know more.  You want to know these whiskers; right?  
16 How wide are they?  Is this just random?  Especially since it's  
17 only four exposed cases.

18           So your intuition probably tells you it should be wide,  
19 you're right.  It's wide.  It's .42 -- and now I can't read it.  
20 9.9?

21     **Q.**    .4 to what?

22     **A.**    I think it's 9.9, but I can't read it really well.  Let me  
23 go to this.

24           (Witness examines document.)  Oh, boy.  It's as bad in  
25 here.  I think it's 9.9.

1 Q. Okay. We'll put 9.9 question mark.

2 All right. And the jury heard a little bit about adjusted  
3 and unadjusted risk factors in the opening statements.

4 A. Right.

5 Q. And so I'd like you to please explain if these numbers --  
6 if this 2.3 risk ratio was adjusted or unadjusted and what that  
7 means, and then I'll ask you to tell the significance of that  
8 on your opinion.

9 A. Yes. So so far all we have done is worry about random  
10 error, but there's something that actually is just as bad and  
11 that's called systematic error. So -- and it is what the word  
12 says. It has a system to it, meaning it draws that estimate to  
13 one or the other side. It's systematically overestimating or  
14 underestimating.

15 And the way that works is it generates a bias, and we have  
16 factors that may generate these biases and we need to concern  
17 ourselves with this bias.

18 This morning I told you we can't go back in time.  
19 Instead, what we're doing is trying to find a group of people  
20 who is as similar to the people who are exposed except for the  
21 exposure. Right?

22 But we have to check whether that's actually the case or  
23 were they actually dissimilar in terms of other things.

24 "Dissimilar" meaning are they all older than the people who  
25 were exposed? Am I comparing women to men? And there might be

1 a difference in disease risk in women and men. Are they of  
2 different races, of different ethnicities and, therefore, they  
3 have a different chance of getting sick? Right? Or have they  
4 done different jobs that also expose them to something else?

5 So what we're most worried about are usually these  
6 factors, like, sex and race and ethnicity; and in Sweden they  
7 didn't have to worry about ethnicity. In the northern Swedish  
8 parts, they are all pretty white so they didn't worry about  
9 that; but they definitely matched, which means made the  
10 comparison group as similar as they could in terms of sex and  
11 age.

12 So that is actually adjusted for. "Adjustment" means  
13 nothing but making similar and making sure that the comparison  
14 group is actually similar to the group that you want to say  
15 something about, which are the people who have the exposure.  
16 Right?

17 So that estimate we call unadjusted but only unadjusted  
18 for having used a different type of pesticide. Okay? They are  
19 adjusted for other risk factors, such as sex and age. But  
20 let's call it unadjusted.

21 Q. Okay. And, Dr. Ritz, I had my tech guy pull up the  
22 cleaner copy of this, and would you agree or would you have any  
23 reason to disagree that the outer boundaries are 13?

24 **MS. MATTHEWS JOHNSON:** Objection.

25 **THE COURT:** Sustained.

## RITZ - DIRECT / WAGSTAFF

1           **MS. WAGSTAFF:** Okay. All right.

2           **Q.** Well, we'll just leave your 9.9 then.

3           **A.** I didn't bring my glasses.

4           **Q.** Okay. So you said this was unadjusted. Is this the  
5 only --

6           **THE COURT:** So you can disregard that prior question  
7 because I sustained the objection, Dr. Ritz.

8           **BY MS. WAGSTAFF:**

9           **Q.** Yeah. Right. That means -- okay.

10          **A.** (Witness examines document.) Yeah, I can't see it.

11          **Q.** Okay.

12          **THE COURT:** That's okay. I sustained the objection so  
13 you can disregard the question. Wait for the next one.

14          **THE WITNESS:** Yes. Okay.

15          **BY MS. WAGSTAFF:**

16          **Q.** So is this the only data that you were able to pull out of  
17 the Hardell 1999 study?

18          **A.** No. Actually they did go ahead and said: Well, you know,  
19 we don't have many exposed -- glyphosate-exposed cases and they  
20 did that also for other pesticides but, you know, since people  
21 are using multiple pesticides, and in 1999 when this was  
22 published we aren't really sure which pesticide might be  
23 causing the cancer so we should probably make sure that the  
24 un -- what we call unexposed group is really comparable also  
25 with respect to having not other types of exposure.

## RITZ - DIRECT / WAGSTAFF

1           So the people you call exposed to glyphosate and compare  
2 them to those not exposed to glyphosate, could it be that  
3 everybody who was not exposed to glyphosate is actually using  
4 2,4-D? And if they are, could 2,4-D then have given them the  
5 cancer?

6           And that would mean I wouldn't see anything; right? I  
7 wouldn't see an effect because I'm now comparing exposed to  
8 exposed only it's two different pesticides; right?

9           And we're worried about that. We're also worried about  
10 something like, okay, I call these people exposed to glyphosate  
11 but maybe they also were exposed to 2,4-D, and I compare them  
12 to the unexposed and they were all really unexposed. Neither  
13 2,4-D nor glyphosate; right?

14           So my 2.3 risk ratio there tells me not just something  
15 about glyphosate, it tells me something about glyphosate and  
16 2,4-D because these people were co-exposed. They had all the  
17 exposures; right? So I shouldn't be saying it's glyphosate.  
18 It could be glyphosate and 2,4-D or 2,4-D. I just can't say;  
19 right?

20           So in order to come up with an opinion about that, I'm now  
21 adjusting for other pesticides, meaning I'm generating a  
22 statistical model where I put the information about whether or  
23 not these people also used other pesticides into that model,  
24 and that's what we are calling adjusting. Okay?

25           And when they adjust it, and they tell you that in the

1 text on page 1357, they generated an odds ratio of 5.8 with a  
2 confidence interval of .6 to 54. You can see how our  
3 confidence interval completely exploded; right? It's much  
4 wider now. That's what we expect. Unfortunately, that's what  
5 happens. The more factors you are trying to take into account  
6 in your modeling, the more you are widening the possible random  
7 error; the possibility that, you know, something went wrong and  
8 estimates might be not as stable. We call it not as stable.

9 But what you also see here, that adjusting for other  
10 pesticides, that estimate went from 2.3 to 5.8. That's an  
11 element sixfold risk increase. But I would not tell you to  
12 take this study serious and say glyphosate will cause a sixfold  
13 increase in NHL because of that large confidence interval and  
14 the small number of cases they were able to use.

15 **Q.** And, Dr. Ritz, what does this "NR" mean?

16 **A.** That means that they didn't tell me in the text where they  
17 told me what the odds ratio is how many cases were in that  
18 analysis that were exposed, but I presume that they had all  
19 four cases in there.

20 **Q.** Okay. And you just gave the jury a description of what a  
21 confounder is and described how to adjust for a confounder.

22 **A.** Right.

23 **Q.** How do you know if something is a confounder that you  
24 should adjust for?

25 **A.** Right. So at the very beginning of the game when we're

## RITZ - DIRECT / WAGSTAFF

1 trying to figure out what is what and what causes cancer and  
2 what doesn't -- so find the bad actor; right? -- unless you  
3 think everything causes cancer -- we don't think that -- you  
4 actually have a very hard time identifying whether or not you  
5 should believe the estimate 2.3 or the estimate 5.8.

6       And the reason for that is that this systematic bias where  
7 the estimate is drawn to one side or the other side of the  
8 null, the 1, has rules to it, and the rules are that factor  
9 that is a systematically biasing factor actually has to be a  
10 risk factor for the outcome, has to be a risk factor for NHL.

11       If I don't know whether pesticides are a risk factor for  
12 NHL, how would I know that? Right? So what we are doing is  
13 playing these games putting adjusting and not adjusting and  
14 saying, "Hmm, what's happening if I do?" But honestly that's  
15 playing a game. What you really want to know is: Is this  
16 other pesticide a *bone fide* carcinogen? Then I worry about it.

17       I know that age is a risk factor for the outcome. I know  
18 that when I look at lung cancer, smoking is a risk factor for  
19 the outcome. In a lung cancer study, I want to adjust for  
20 smoking; right? It's a risk factor for the outcome.

21       But here very little is known about these insecticides and  
22 pesticides. We are in 1999. Not many studies have been done.  
23 Almost none; right? So we're just guessing. We are guessing,  
24 "Oh, maybe I should put 2,4-D in the model. Oh, maybe I should  
25 put Dicamba in the model. Oh, maybe I should put creosote in

## RITZ - DIRECT / WAGSTAFF

1 the model." Right?

2 But we don't really know whether that's a good idea or not  
3 because we have no determination that that agent that I'm also  
4 throwing into my model should be thrown in because I may  
5 actually generate bias instead of taking it out.

6 And so between the 2.3 and the 5.8, I don't know which is  
7 the truth, I really don't, because we at this point in time of  
8 Hardell, if it's not a risk factor for NHL, it should have been  
9 kept out of the model. That's what I know; right?

10 **Q.** All right. And is this the end of the Hardell story?

11 **A.** No. They actually realized that they did not have enough  
12 data to say anything about most of the pesticides they were  
13 interested in, though they said, "Well, let's do a little bit  
14 of what I explained to you before, do a better job and do a  
15 better -- a larger study." So they were actually able to add  
16 cases and also noncases, controls, into their study; and they  
17 then published those results in 2002, I guess. Right? I lost  
18 my --

19 **Q.** And, Dr. Ritz, can I hand you this copy? I just want to  
20 go back to the previous study. It's a more legible copy.

21 I can show counsel if you'd like to take a look at this.  
22 I'm just going to show her a more legible copy.

23 You were saying that you had a hard time with the 9.9  
24 so --

25 **A.** Oh, yes. Let me see.

## RITZ - DIRECT / WAGSTAFF

1 Q. -- the outer bound.

2 A. It was actually 13.

3 Q. 13. Okay.

4 A. Yeah.

5 Q. So maybe on a break I'll change that 9.9 to a 13.

6 A. Yes.

7 Q. I was starting to smudge it a bit.

8 All right. So if you could publish, please, Mr. Wolf, the  
9 Hardell 2002, which is Binder Number 499; and if you could pull  
10 up, Mr. Wolf, Table 1, please.

11 And, Dr. Ritz, if you could explain the second part of  
12 Hardell to the jury, please.

13 A. So this is the same group of authors. They were a little  
14 disappointed that their study wasn't more informative. They  
15 added cases and they added controls, and by doing so they are  
16 increasing their statistical power; right? So they now have  
17 more cases; and not only do they have more cases, but they also  
18 have more exposed cases. So they're pretty much in this case  
19 doubling the number of exposed cases to eight.

20 Q. Okay. And let's talk about what the Hardell-2 found. If  
21 you could look at Table 1.

22 Yes, Mr. Wolf, if you could highlight the glyphosate.

23 And please explain to the jury what the Hardell-2 found.

24 A. So here we now have eight glyphosate-exposed cases. That  
25 risk ratio is 3.04. So right between those two estimates I

## RITZ - DIRECT / WAGSTAFF

1 showed you before, right between 2.3 and 5.8, and look the  
2 magic. I did what I said we need to do to make something  
3 statistically significant; right? It happened. So our  
4 confidence interval is now 1.08 to 8.52.

5 **Q.** Okay. And are these numbers adjusted or unadjusted for  
6 other pesticides?

7 **A.** They're not adjusted for other pesticides.

8 **Q.** Okay. And did Hardell-2 give us any other data?

9 **A.** So the first thing I want to say here, this is a trick  
10 where you would say -- where people who only use statistical  
11 testing would say the first study is a null study, meaning  
12 there's no significance in glyphosate causing NHL. All we have  
13 done is add cases and controls in the second study, and we get  
14 exactly the same -- a similar effect size, 3 instead of 2.3 or  
15 5.8; but because those whiskers shortened -- right? -- they  
16 pulled in, they pulled across the 1, which is even more  
17 important, they now can claim we have a statistically  
18 significant result for glyphosate causing NHL.

19 I think both studies tell the same story. It's just that  
20 in the first study you couldn't completely rule out random  
21 error. Okay?

22 **Q.** All right. If you could pull up Table 7, please,  
23 Mr. Wolf.

24 And we're introducing yet another set of terms here, the  
25 univariate and the multivariate. Can you please explain to the

## RITZ - DIRECT / WAGSTAFF

1 jury what those mean?

2 **A.** So that's just a different term for saying I'm adjusting.  
3 Univariate means I only have one pesticide in the model; multi,  
4 multiple, I have multiple pesticides in the model. So the  
5 multivariate model actually throws then these other pesticides  
6 that people may have been exposed to into the model. So it's  
7 an adjusted estimate.

8 **Q.** All right. And can you tell me what the adjusted numbers  
9 were, please, for Hardell-2?

10 **A.** They are 1.85 with a confidence interval of .55 to 6.2 and  
11 that's adjusted.

12 **Q.** Okay. And was there another set of numbers from Hardell  
13 or --

14 **A.** No. That's pretty much it.

15 **Q.** Okay.

16 **A.** So what happened here -- different from the first Hardell,  
17 we're actually throwing in other pesticides -- increased my  
18 estimate to 5.8. Now throwing in other pesticides,  
19 co-exposures to other pesticides reduced my estimate from 3 to  
20 1.85.

21 However, when you look at the pattern of all the  
22 estimates, it tells you there's an 85 percent to sixfold  
23 increase in risk depending on what estimate you want to  
24 believe. However, you can see that the 1.85 now, the whiskers,  
25 are broader again and they're crossing the 1.

1           So, again, our adjusted estimate has added random error.  
2           It doesn't tell you about bias. It just tells you there's more  
3           random error. And as I told you, that happens every time you  
4           throw another variable into a model. You're generating more  
5           random error so these whiskers go out again. And in this case  
6           they crossed the 1; right?

7           So somebody who believes in statistical testing would say,  
8           "Ha, you adjusted for other pesticides, you have a null result.  
9           There's nothing there."

10          Well, if you look at the effect estimate, it's 1.85.  
11          That's pretty impressive still. That's 85 percent risk  
12          increase. And in the context of everything I know about this  
13          study, that's not the same as saying the estimate is 1; right?

14          **Q.** Okay. Let's go back, then. Let's skip back up to  
15          McDuffie.

16          So is this the end of the Hardell story?

17          **A.** Yes.

18          **Q.** Okay. So let's go back to McDuffie.

19          And, Mr. Wolf, if you could please publish the McDuffie  
20          study, which is Binder Number 447. And if you could please  
21          pull up Table 2.

22                   **THE COURT:** Before we go to McDuffie, I think maybe  
23          this would be the time to take a five-minute afternoon break.

24                   **MS. WAGSTAFF:** Sure.

25                   **THE WITNESS:** Thank you.

## RITZ - DIRECT / WAGSTAFF

1           **THE COURT:** Why don't we break for about five minutes.  
2 We'll resume at what is, according to that clock -- did it get  
3 switched? Did it get fixed?

4           **THE CLERK:** Not yet.

5           **THE COURT:** So five minutes to -- we'll resume at five  
6 minutes to 2:00 --

7           **MS. WAGSTAFF:** Great.

8           **THE COURT:** -- which I think on your clock is --

9           **MS. WAGSTAFF:** I'm not leaving.

10          **THE COURT:** -- ten minutes to 2:00 or 2:00. I can't  
11 remember. Ten minutes to 2:00. No. 2:00. We'll resume at  
12 2:00 according to your phones, and we will get that clock fixed  
13 by tomorrow.

14          (Proceedings were heard out of the presence of the jury:)

15          **THE COURT:** I'm totally confused about what time it is  
16 but, anyway, I'll see you in five minutes.

17          **MS. WAGSTAFF:** Okay. Thank you, Your Honor.

18          **THE CLERK:** Court is in recess.

19                                 (Recess taken at 1:51 p.m.)

20                                 (Proceedings resumed at 1:59 p.m.)

21          (Proceedings were heard in the presence of the jury:)

22          **THE COURT:** Okay. You can resume.

23          **BY MS. WAGSTAFF:**

24          **Q.** All right. Dr. Ritz, pursuant to my questions about --  
25 oh, wow. This is a little -- sorry for turning my back on you

## RITZ - DIRECT / WAGSTAFF

1 when I'm writing. This is a little harder than I thought, but  
2 I was going to change the 9.9 --

3 **THE CLERK:** Hold on. Stop. Timeout. We're missing  
4 somebody.

5 **THE COURT:** We're missing a juror.

6 **MS. WAGSTAFF:** Good catch. I'll just keep erasing.

7 (Pause in proceedings.)

8 **THE COURT:** I'm pretty confident that was my fault.  
9 Sorry about that.

10 Okay. You can resume.

11 **BY MS. WAGSTAFF:**

12 **Q.** All right. Dr. Ritz, prior to our break, I had showed you  
13 a new copy or a cleaner copy of the Hardell, and you had  
14 realized that it was actually 13 instead of 9.9.

15 **A.** Correct.

16 **Q.** So I did my best to erase that, and I'm going to fill it  
17 in with 13. And that was unadjusted; correct?

18 **A.** (Nods head.)

19 **Q.** Okay. I just wanted to have accurate numbers on there.  
20 All right. So now if we could turn to the McDuffie study.

21 **A.** Yeah. So McDuffie, Helene.

22 **MS. WAGSTAFF:** Can we publish that, please, Ms. Melen?

23 **THE CLERK:** Yes.

24 **MS. WAGSTAFF:** Mr. Wolf?

25 All right. And if we could pull up Table 2.

## RITZ - DIRECT / WAGSTAFF

1 Q. Okay. Doctor, sorry.

2 A. Yeah. So this is a Canadian study, and it's conducted by  
3 the Agricultural Medicine Center of Saskatchewan together with  
4 the Canadian National Cancer Institute. So these folks were  
5 interested, as we are, in finding out whether in agriculture  
6 the exposures such as pesticides that may be causing cancer.

7 So they do the same thing as our Swedish colleagues did.  
8 They used the Canadian Cancer Registry, and they pull out --  
9 how many? I can't see it now -- 500 and -- no. How many  
10 cases? 515? I don't have my slide up.

11 Q. Dr. Ritz, this chart is Number 903 in your binder. If you  
12 want to pull that out so you can --

13 A. Yes, that's good.

14 Q. As you're flipping the cases, that may help. If you just  
15 unclip your binder and pull out 904.

16 A. Oh, yes.

17 So in Canada we have now 517 cases assembled in the same  
18 way as the Swedes did, but they have 1500 control subjects,  
19 meaning people who don't have NHL. So all 517 cases have NHL.

20 And they were drawn from actually six provinces in Canada,  
21 and they were mostly agricultural provinces. They didn't want  
22 the big metropolitan centers, and most of these people turned  
23 out to be -- almost half of them turned out to be farmers all  
24 been living on farms. So we have a heavily farming population  
25 again just like in Sweden where we had Northern Sweden, which

1 was mostly farming.

2 And they also conducted what's called a population-based  
3 study because not only could they find the cancer cases in the  
4 registry, they could also then go to population registries in  
5 Canada and identify people of the same age and the same  
6 provinces, the same sex, and then approach them and say, "Would  
7 you mind being part of a cancer study?" That's how they do it.  
8 And 1506 were enrolled and gave them that information.

9 **Q.** Okay. So there were two types of analyses done in  
10 McDuffie; right?

11 **A.** Uh-huh.

12 **Q.** Okay. Let's talk about the one that yielded 51  
13 non-Hodgkin's lymphoma cases. Can you tell us -- can you tell  
14 the jury, please, the results from that study and what that  
15 was?

16 **A.** Right. So we have Table Number 2 here and they are  
17 showing us all of the results that they got for asking about  
18 different herbicides, and one of the herbicides they asked  
19 about was actually glyphosate and in brackets they say it's  
20 Roundup, and there are 51 exposed subjects. So many more than  
21 we had in Sweden. Meaning in Canada that use was much more  
22 widespread.

23 And they compare it to the number of people -- the percent  
24 of people among the controls, and you can see that their  
25 relative risk odds ratio that you see under -- is it being

## RITZ - DIRECT / WAGSTAFF

1 highlighted now? -- the A one and the B one is 1.26 and 1.2 and  
2 we're using the 1.2 because that's the one that has more  
3 adjustments. Meaning the first one was just adjusted for age  
4 and sex and province of residence, and then the second one they  
5 also put a lot of medical risk factors and family risk factors  
6 into the model.

7 So they're co-adjusting for family risk factors and  
8 medical risk factors such as different viral infections,  
9 et cetera, but they're not co-adjusting for pesticides. Right?  
10 They're just doing one pesticide at a time here. That's why we  
11 still call this unadjusted.

12 And in this case the estimate is 1.2, which tells us  
13 20 percent increase of NHL among those who were exposed to  
14 glyphosate. And our whiskers, we draw them out in this  
15 confidence interval, they go across the 1; right? Not  
16 statistically significant. They go from .83 to 1.74. So we  
17 have something on the right side of the null, but we don't have  
18 a significant result.

19 **Q.** Okay. And then McDuffie broke that 51 down into two  
20 groups.

21 **A.** Right.

22 **Q.** And, Mr. Wolf, if you could turn to Table 8.

23 And, Dr. Ritz, if you could explain to the jury what's  
24 going on in Table 8 and the significance of the data?

25 **A.** Right. So we talked about dose-response before and

## RITZ - DIRECT / WAGSTAFF

1 calling somebody who smoked for one month in his lifetime a  
2 smoker or calling somebody who smoked for 40 years, three packs  
3 a day, a smoker, and calling them the same, a smoker; right?  
4 And maybe that's not the right thing to do.

5 So in this questionnaire data that they collected, they  
6 did a similar thing. They said, "Well, have you ever used  
7 these pesticides? Yes or no." And then they went on and said,  
8 "Well, if you have used it, how many hours a day have you used  
9 it and how many days have you used it per year?" And --

10 **MS. MATTHEWS JOHNSON:** I apologize. I just have one  
11 objection for the record. I'm not sure the witness said --

12 **THE REPORTER:** I'm sorry. I can't hear you,  
13 Ms. Matthews Johnson.

14 **MS. WAGSTAFF:** She said we talked about dose.

15 **THE WITNESS:** Response.

16 **MS. WAGSTAFF:** Yeah, response.

17 **THE WITNESS:** Did I say --

18 **THE COURT:** Overruled.

19 **BY MS. WAGSTAFF:**

20 **Q.** Keep going. Sorry.

21 **A.** So basically what they're saying here is: Well, we have  
22 several categories of people in my study. Some people who  
23 clearly never touched glyphosate. Let's call them unexposed.

24 But now we have a group of people who said, "Yeah, I used  
25 glyphosate." But when we then went and asked them how much did

## RITZ - DIRECT / WAGSTAFF

1 you use; how many hours a day; you know, did you use multiple  
2 days a year; then we actually have people who report, "Ah, I  
3 used it for one day or maybe two days last summer, but never  
4 again." And then people who said, "Yeah, I used it three days  
5 for the last 10 days -- years or 30 days for the last 10  
6 years," and we are calling them all the same glyphosate  
7 exposed. That's that estimate 1.2, every glyphosate exposed.  
8 Okay?

9 And so they're splitting it up and they're splitting it up  
10 in a way where, you know, nobody knows. With smoking we know,  
11 okay, maybe five cigarettes a day starts being a problem.  
12 Maybe one isn't. But here we know nothing.

13 So we only have statistical tools, and they use a  
14 statistical tool saying, "Well, let's have -- let's form  
15 subgroups," but we need to still have people exposed in the  
16 subgroups or else, you know, we can't estimate anything when  
17 nobody's exposed, when nobody's in that group.

18 So what they did is they called people that said, "Yes, I  
19 used, but used no more than one or two days per year," and  
20 called them low exposed or whatever they called them, more than  
21 zero and less than or equal to two days per year. And then  
22 they estimated just in that subgroup, and that was a subgroup  
23 of 28 exposed NHL cases, and we have a 1.0 and the confidence  
24 interval is .63 to 1.57.

25 Q. 1.57?

## RITZ - DIRECT / WAGSTAFF

1 A. Yes.

2 Q. All right.

3 A. Okay. And so clearly in the group of people who have very  
4 little exposure on that measure, meaning one or two days a  
5 year, that's it. There's no effect. We are hitting the 1.  
6 That's so unusual, we should send them a card. I've rarely  
7 ever seen that. So it's 1. No risk increase.

8 But now -- now look what happens when you're going to the  
9 people who used it more than two days a year, which could be  
10 anywhere between 3 days, 10 days, 100 days.

11 Q. And so, Dr. Ritz, these two estimates for the one to two  
12 days and over two days are also unadjusted for --

13 A. For other pesticides, yes.

14 Q. So I want to be clear on that.

15 A. So we haven't done that.

16 Q. All right. So please give us the data for over two days a  
17 year.

18 A. That's a 2.12.

19 Q. 2.12.

20 A. Right. And the confidence interval is 1.20 to 3.73.

21 Still unadjusted for other pesticides, but it's adjusted for  
22 what I told you, which is age, sex, province, and medical risk  
23 factors. That's already a lot.

24 Q. All right. And is this finding statistically significant?

25 A. You guys would know now; right? It is because the

## RITZ - DIRECT / WAGSTAFF

1 lower -- the lower number is above 1 --

2 Q. Okay.

3 A. -- of that confidence interval. So this is clearly  
4 statistically significant, but I don't care about that. What I  
5 care about is the pattern I see.

6 The pattern I see is, yeah, there's no risk increase if  
7 you use glyphosate for a day or two; but look at what happens  
8 when you're using it regularly, more than two days a year.  
9 That's where all of the risk is, and it's more than twofold and  
10 it's statistically significant but still unadjusted for other  
11 pesticides.

12 Q. Okay. Let's move on to the next case.

13 And, Mr. Wolf, if you could pull up De Roos 2003, which is  
14 451 in your binder. And if you could go to Table 3, please.

15 And, Dr. Ritz, if you could tell the jury a little bit  
16 about De Roos 2003.

17 A. Right. So this is really a beautifully done study by a  
18 colleague who at the time was at the National Cancer Institute  
19 of the U.S., and actually I think four of the co-authors,  
20 including Dr. Blair and Cantor and Zahm, they all were at the  
21 National Cancer Institute; and this study is a compilation, a  
22 pooling of other studies, of three previous studies done in the  
23 U.S.

24 Because we kind of tricked you here a little bit. We  
25 started with the Swedish study, but actually the earliest

1 studies ever done on pesticides and cancer were in the U.S.,  
2 and they were done by these colleagues and they were small  
3 studies, small. And remember the problem with small. Random  
4 error. You can't really say much. So all of them had maybe we  
5 see something but maybe we can't really base our decisions on  
6 those.

7 So by the time they had the third study done, this  
8 young -- this young epidemiologist came along, Anneclaire  
9 De Roos, said, "Ah, I have this beautiful data sitting out  
10 there on the computer. Why don't we pool it? Why don't we try  
11 to actually bring all this data together; and once we have  
12 brought it together see what it tells us? And that's what she  
13 did.

14 So she used data from Nebraska, Kansas, Minnesota, and  
15 Iowa. And guess why they did the studies there? Rural; right?  
16 Lots of rural communities, farming communities, again lots of  
17 pesticide use.

18 **Q.** All right. So why don't you tell the jury, please, what  
19 De Roos 2003 found about the glyphosate that's in Table 3?

20 **A.** Right. And so in this pooled study, they listed every  
21 pesticide that was ever looked at in one of the three studies  
22 of the four states, and in that Table 3 they published a result  
23 on glyphosate that's based on 36 exposed cases and 61 exposed  
24 controls, and that ratio measure that we always talk about is  
25 2.1 with a confidence interval of 1.1 to 4.0. And that's

## RITZ - DIRECT / WAGSTAFF

1 exactly the same ratio measure we've been looking at all the  
2 time here.

3 And here we are actually allowed to call it adjusted. We  
4 can say A. And not because it's adjusted for sex, age, and  
5 state and maybe some other factors, but because it's also  
6 adjusted for all the other pesticides, and those are 47. Okay?  
7 It's co-adjusted for every other pesticide.

8 And you can tell what happened here -- you can't tell  
9 because I didn't give you the original studies where they took  
10 all the data from, but in the original studies the confidence  
11 interval whiskers would have been really wide and included the  
12 1; right? Because we weren't sure it was random error.

13 Here where she has a lot more cases, she has 650 cases and  
14 almost 2,000 controls, she was able to do this beautiful  
15 analysis where she threw everything and the kitchen sink, we  
16 call that, into the model and the effect for glyphosate on NHL  
17 did not go away. It's 2.1 and we would call it statistically  
18 significant.

19 **Q.** Okay. So if you could turn to -- if you could pull up  
20 actually the same study.

21 It looks like we have a new analysis in this case, which  
22 is the hierarchical regression versus the logistical  
23 regression. So we have two sets of data from this case.

24 **A.** Right.

25 **Q.** Was this the logistical regression?

## RITZ - DIRECT / WAGSTAFF

1 A. Yes. And the logistical regression is the same modeling  
2 that was done in the other studies.

3 Q. So the logistical regression is what we have been talking  
4 about. We just have never mentioned it by name.

5 A. Right.

6 Q. Can you tell the jury what the hierarchical regression is?

7 A. Hierarchical regression? I told you this was a young,  
8 very ambitious researcher who came to the NCI with a lot of  
9 abilities in analysis, and she had just learned this great new  
10 tool hierarchical regression. And what that allows her to do  
11 is actually use contextual information and add it to her data.

12 Meaning I can now say, well, if I presume -- I'm testing  
13 47 chemicals here. I throw them all in one model. I let the  
14 model tell me whether there's an increased risk for any one of  
15 them, but I had not made a hypothesis that one or the other  
16 should be causing NHL.

17 But I do know something about NHL because in the meantime,  
18 this is in 2003, there are actually all these other studies and  
19 there is an EPA evaluation, but there's not -- nothing else I  
20 think from IARC yet, but we have a little bit more of a sense  
21 which of these chemicals should actually be bad actors.

22 And she said, "Well, let me use what we know." Right?  
23 And how did she do that? She gave weights to these estimates  
24 that are in this table. And so the weight she gave to  
25 glyphosate was a downweighing of the evidence because no

## RITZ - DIRECT / WAGSTAFF

1 previous studies and no evaluation had called it carcinogen.

2 So in 2003, glyphosate was not considered a carcinogen so  
3 she said, "My prior knowledge, what I believe because of  
4 science and what we know now in 2003, glyphosate shouldn't be a  
5 carcinogen. So my estimate of 2.1 may be an overestimate."

6 Right? "I'm actually calling something a carcinogen I  
7 shouldn't be calling a carcinogen, so I'm downweighing this."  
8 And then she comes up with the hierarchical estimate of 1.6.

9 Q. Okay. And what's the confidence interval for that  
10 regression?

11 A. .9 to 2.8.

12 Q. Okay. And I just want to -- and this was adjusted or  
13 unadjusted?

14 A. Adjusted.

15 Q. Okay. For the same 47 chemicals?

16 A. That's what the hierarchical regression does, yeah.

17 Q. Oh, okay.

18 And so you told -- you just told the jury that there were  
19 assumptions made in the hierarchical regression.

20 A. Right.

21 Q. And those assumptions were based on previous  
22 determinations, and I think you mentioned EPA and IARC.

23 A. Right. And IARC hadn't made one.

24 Q. Okay. And has -- if IARC has ruled on a chemical within  
25 that model, what effect does that have on this analysis?

1   **A.**   So the weight she gave the 2.1 was .3, meaning there's  
2   only 30 percent chance that this is really true.  If she would  
3   use the IARC evaluation from 2015, according to what she said  
4   in this assessment --

5           **MS. MATTHEWS JOHNSON:**  Objection, Your Honor.

6           **THE COURT:**  Overruled.

7           **THE WITNESS:**  -- in this weighing --

8           **THE COURT:**  Overruled.

9           You can answer.

10          **THE WITNESS:**  Sorry.

11          -- it would have been either a .9 or a .8.  So meaning  
12   that 2.1 would have been pretty much 2.1 because what she's  
13   doing is she's saying, "I want to correct.  I want to correct  
14   my data-driven estimate with what I believe and know from  
15   everything else in the world we know so far.  So if it hasn't  
16   been classified as a carcinogen, then I'm not as certain that  
17   really the 2.1 is true and I should downweigh that and not  
18   alarm people."

19          That's why we do this.  We are very careful as scientists.  
20   We want -- we don't want to cry wolf.  Nobody will believe us  
21   anymore; right?

22          So what she did here is she downweighed her own data with  
23   a weight that draws it closer to the 1 saying "Ah, we may have  
24   overestimated."  And that weight was .3 and it was based on the  
25   knowledge of 2003.

## RITZ - DIRECT / WAGSTAFF

1 **BY MS. WAGSTAFF:**

2 **Q.** Okay. So in 2003, IARC, you're telling the jury, has not  
3 ruled on the glyphosate chemical at that point?

4 **A.** No.

5 **Q.** Today has IARC ruled on the glyphosate chemical?

6 **MS. MATTHEWS JOHNSON:** Objection. Cumulative.

7 **THE COURT:** Overruled.

8 **THE WITNESS:** Yes.

9 **BY MS. WAGSTAFF:**

10 **Q.** And what was IARC's ruling on glyphosate?

11 **A.** It's a 2A probable carcinogen.

12 **Q.** Okay. And in your opinion, based on your knowledge and  
13 experience of environmental epidemiology, redoing -- should  
14 this number be redone based on the fact that IARC has now ruled  
15 on glyphosate?

16 **A.** Absolutely, because the weight should change and that  
17 estimate would change.

18 **Q.** Okay. And does this -- when you redid it, would it drive  
19 the risk ratio up or down?

20 **A.** It would go towards the 2.1. Be almost 2.1, maybe 2.

21 **Q.** Okay. And so you just mentioned the word "carcinogen."  
22 Can you tell the ladies and gentlemen of the jury what a  
23 carcinogen is?

24 **A.** Well, the definition for "carcinogen" is an agent that can  
25 cause cancer, and actually the IARC classification was based on

## RITZ - DIRECT / WAGSTAFF

1 NHL for glyphosate.

2 **MS. WAGSTAFF:** Okay. And as far as timing,  
3 Your Honor, I know that you're mindful of the jury's time, it  
4 might be good if I could just get through three more studies  
5 and finish and then finish up in the morning. I don't know  
6 what your schedule --

7 **THE COURT:** Well, keep going. We'll see how things  
8 are going.

9 **MS. WAGSTAFF:** Okay. Excellent.

10 **Q.** All right. Let's talk about the next study, which is  
11 Eriksson, which is on page 4 -- or Binder 452.

12 If you could pull that up, Mr. Wolf, and turn to Table 2,  
13 please.

14 All right. Dr. Ritz, if you could tell the ladies and  
15 gentlemen of the jury, please, about the Eriksson study.

16 **A.** So this is another Swedish study, but it's done much later  
17 than the first study, and it's done in other parts of Sweden.  
18 They are now also including more of Southern Sweden.

19 And otherwise they're doing exactly the same kind of  
20 study. It's a case control study, but they're now more  
21 conscientious about having to actually assemble a lot of cases  
22 so it's almost a thousand cases, 910, and as many controls and  
23 they're going out there again in the same way asking people  
24 about their work exposures.

25 **Q.** Okay. And if you look at Table 2, please, and if you

## RITZ - DIRECT / WAGSTAFF

1 highlight glyphosate, can you explain to the jury, please, what  
2 those three rows tell you and give me data to write on the  
3 board?

4 **A.** Right. So they learned their lesson they need a lot of  
5 cases in order to look at exposures, and you can see that  
6 instead of 4 and 8, they now have 29 exposed cases. And in  
7 those -- with those 29 exposed cases and 18 exposed controls,  
8 they estimate a relative risk of 2.02 and the confidence  
9 interval is 1.10 to 3.71.

10 So this is a new study, new cases that arrived later in  
11 time. More of them were exposed, which makes a lot of sense  
12 because glyphosate use increased. Right? So we now have  
13 actually a lot more data to base our opinion on, and we see  
14 again a twofold risk increase and we would call this  
15 statistically significant because it excludes the 1; right?  
16 It's on that side of the 1, 1.1.

17 **Q.** Okay. And was this data adjusted or unadjusted?

18 **A.** It's unadjusted for other pesticides but adjusted for age,  
19 sex, and year of diagnosis and enrollment.

20 **Q.** So we're going to call it unadjusted because we're just  
21 worried about pesticides.

22 **A.** Right.

23 **Q.** And so is there any other data that you found relevant  
24 with respect to this study?

25 **A.** Yes. So they must have read the McDuffie study and said,

## RITZ - DIRECT / WAGSTAFF

1 "Well, what they can do, we can do. So let's actually now  
2 distinguish between occasional users and regular users" --  
3 right? -- "people who use it a lot."

4 And in their data that was a 10-day difference. Before we  
5 had a one- to two-day, more than two days. Here they said --  
6 and, I mean, it makes sense -- right? -- because we're now  
7 using more glyphosate, and so more people used for more days.  
8 And here it's below and above 10 days, and that splits their  
9 exposed group nicely into two, which is, again, a nice  
10 statistical property, that's what we want, and we're getting  
11 now risk ratios of 1.69.

12 Q. Is this for the zero to 10 days?

13 A. Yes.

14 Q. Okay. 1.69?

15 A. Right.

16 Q. Okay.

17 A. And the next one is -- oh, the confidence interval is .7  
18 to 4.07. So I don't have --

19 Q. .7 to what?

20 A. .7 to 4.07.

21 Q. Okay.

22 A. And I don't have yet the statistical power to say this is  
23 significant, but it's definitely above 1, the point estimate,  
24 1.69. And then we have the one that's more than 10 days and we  
25 have a 2.36 with a confidence interval of 1.04 to 5.37.

## RITZ - DIRECT / WAGSTAFF

1 Q. Okay. And, Dr. Ritz, these are all unadjusted numbers;  
2 correct?

3 A. Yes.

4 Q. And so is this a dose analysis just like the McDuffie  
5 study?

6 A. That's what they are attempting to do here. They are  
7 trying to say there are unexposed people, there are people who  
8 are occasional users and exposed, and they're the ones who are  
9 using a lot.

10 And as you can see, the risk is different if you're using  
11 a little bit, maybe 69 percent risk increase but we can't say.  
12 The confidence interval is wide; right? But definitely the  
13 ones using more than 10 days, they are more than twofold risk  
14 increased.

15 Q. Okay. And so if you could actually, Mr. Wolf, turn to  
16 Table 7.

17 And here it looks like the Eriksson scientists also did a  
18 multivariate and a univariate analysis, and I want you to  
19 explain to the jury why that's not actually a new concept.

20 A. Right. So this is -- I think we had that before, that we  
21 had a univariate and a multivariate. Univariate again says,  
22 "You know, I'm testing one factor, one pesticide at a time."  
23 Multi, "We have multiple pesticides we are testing." So we are  
24 co-adjusting for other use. We are making these comparison  
25 groups more similar in terms of all the other pesticides. We

1 only are interested in them being glyphosate differently  
2 exposed.

3 And in that -- and that multivariate adjusted estimate is  
4 1.51 with a confidence interval of .77 to 2.94.

5 Q. All right. And is it fair to say that is an adjusted  
6 analysis?

7 A. Yes.

8 Q. Okay.

9 A. But, remember, that's an analysis of ever/never. We are  
10 not looking at people who have more than 10 days versus less  
11 than 10 days. This is everybody's called a user.

12 Q. Okay. And, actually, Mr. Wolf, if you could pull back to  
13 page 1659 and to the top of -- right above Table 2.

14 And, Dr. Ritz, if you could turn your binder to page 1659  
15 and tell us what that area of the study means to you.

16 A. So -- so these authors also do something differently that  
17 is a good way of looking at your data from a different  
18 perspective to gain even more information about whether it  
19 matters when you were exposed and not just whether you were  
20 exposed and how much you were exposed.

21 And these analyses we call latency analysis. So what --  
22 basically what they're doing here is saying, "Okay. It's not  
23 only important whether you were one day or 10 days exposed or  
24 more, but when those 10 days were. Are those 10 days per year  
25 or whatever they were" -- right? -- "or they were within the

1 last 10 years before you got diagnosed with NHL or was that  
2 actually before?"

3 And that's what they're estimating here. They're saying,  
4 "Let's just look at the time 10 years or more prior to  
5 diagnosis or within that 10-year period until you were  
6 diagnosed and see what we see there."

7 **Q.** Okay. And what did the scientists see when they did that  
8 analysis?

9 **A.** They saw that with a latency of more than 10 years -- so  
10 the exposure didn't happen in the last 10 years right before  
11 you were diagnosed but 10 years earlier -- that odds ratio was  
12 2.26.

13 **Q.** 2.26. Okay. I'm going to have to write it a little  
14 differently because I'm running out of room.

15 **A.** Right. And the confidence interval is 1.16 to 4.40.

16 **Q.** 4.40?

17 **A.** Yes. So, again, it means if you were exposed 10 days or  
18 more in the past, then your risk is more than twofold, and in  
19 this case statistically significant.

20 **Q.** I think you meant to say 10 years.

21 **A.** More than 10 years in the past.

22 **Q.** Okay. I just wanted to make sure there was --

23 **A.** Yes. Not in the last 10 years prior to diagnosis but even  
24 earlier.

25 **Q.** Okay. Let's look at the next case, Doctor, which is Orsi.

## RITZ - DIRECT / WAGSTAFF

1    **A.**    Uh-huh.

2    **Q.**    I don't have the binder number written down for some  
3    reason.

4    **A.**    I got it.

5    **Q.**    It's Binder Number --

6    **A.**    898.

7    **Q.**    -- 898.

8           And if you could tell the jury, please, a little bit about  
9    this study.

10   **A.**    So now we're going to France and we know that French  
11   people like wine, and they have a lot of cheese and agriculture  
12   and they have the same problems we have here.  They're using  
13   pesticides and insecticides to save their crops -- right? --  
14   and herbicides to get rid of weeds and they have cancer.

15           They don't have, I think, a National Cancer registry, at  
16   least they're not using it here.  What they're doing is they go  
17   to hospitals and they now go to hospitals within big cities,  
18   the biggest cities in France, including Bordeaux, which is a  
19   wine region, and Lyon, which is another wine region, and some  
20   others, and they are -- everybody who comes in with NHL, they  
21   try to enroll in their study, take blood, and ask them what  
22   their occupation was and what kind of pesticides they used.

23           But we need the control group; right?  So we need people  
24   who didn't have NHL and then we want to compare:  Well, is what  
25   the people with NHL did different from those who didn't --

1 right? -- didn't get it?

2 And so they go to other parts of the hospital and enroll  
3 other patients and say, "Well, you don't have NHL, you have  
4 something else and different diseases. Tell me what you are.  
5 And, you know, were you a farmer? Have you used a pesticide?"

6 And that's what we call a hospital-based case control  
7 study. It's not what we've seen before where we went into  
8 the -- from the population register we selected people. And  
9 the American study also they actually went into the population  
10 and asked people to participate. This is simply patients.  
11 Anybody who comes to the hospital and doesn't have NHL is now  
12 allowed to enroll as a control subject. They have other  
13 diseases.

14 So the question we have when we do these kind of studies  
15 is: Is that a good comparison group? Because if the pesticide  
16 may have also caused these other diseases, what do I do? I  
17 generate a bias. We call that a selection bias because if the  
18 pesticide brings you to the hospital, then you cannot determine  
19 whether NHL was, you know, more -- people with NHL were more  
20 exposed than those who didn't get it because the others just  
21 got something else. Right? I'm not saying that that happened,  
22 but we're worried about this when we do these kind of studies,  
23 and that's why we call them hospital-based.

24 And that's actually the type of study that has given the  
25 study design a slightly bad name because we never know whether

## RITZ - DIRECT / WAGSTAFF

1 the other patients really are a good comparison group. And  
2 it's also a smaller study so we have 244 cases and 560 -- 56  
3 controls, but you know now they are not really healthy people  
4 from the population. They're people who came to the hospital  
5 for other diseases.

6 **Q.** Okay. And can you tell me the data that this hospital  
7 study found?

8 **A.** So they looked at lots and lots of pesticides, and they  
9 also looked at subgroups of non-Hodgkin's lymphoma; but for all  
10 cases, the 244 non-Hodgkin's lymphoma, they had 12 exposed  
11 cases and for them they estimated a relative risk of 1 with a  
12 confidence interval of 0.5 to 2.2 and it was not adjusted for  
13 other pesticides.

14 **Q.** Okay. Great.

15 And what table did you get that data out of?

16 **A.** Three.

17 **Q.** Okay. So if we could turn to Table 4, please.

18 Can you explain how the data in Table 4 is different than  
19 the data in Table 3?

20 **A.** Yes. So these are people who are starting with the  
21 hospital, and at the hospital they have pathologists and these  
22 pathologists can tell you we have -- you know, maybe or not --  
23 that non-Hodgkin's lymphoma has different subtypes, and so they  
24 said, "Well, let's at least look at some major subtypes and see  
25 whether these subtypes actually have increases or not."

## RITZ - DIRECT / WAGSTAFF

1           And so here they're giving you an estimate for diffuse  
2 large-cell lymphoma follicular and then for chronic lymphocytic  
3 leukemia and hairy-cell leukemia.

4 **Q.**    Okay.  So let's turn to the next one.

5                   **THE COURT:**  Before we do that, how much time do you  
6 have on the next one?  I'm thinking this might be a good time  
7 to wrap up for the day.

8                   **MS. WAGSTAFF:**  I think that if I could get through the  
9 North American Pooled Project, maybe five or so minutes, that  
10 leaves the AHS for tomorrow.  That's a good break.

11                   **THE COURT:**  Okay.

12 **BY MS. WAGSTAFF:**

13 **Q.**    All right.  If we could turn to, in your binder, 899 and  
14 900.

15 **A.**    Yes.

16 **Q.**    Before we publish anything, why don't you tell the jury  
17 what the North American Pooled Project is.

18 **A.**    Yeah.  So this is not a new study at all.  This is  
19 actually an effort that unfortunately has never been published  
20 yet, but yet another effort to bring more data together so we  
21 can do more fancy things with the data; right?

22                   And so what data do we have?  We have now all of the North  
23 American data from these case control studies in Kansas,  
24 Nebraska, Minnesota, and Iowa and we are adding the six  
25 provinces of Canada to it.  So we now have a huge dataset of

## RITZ - DIRECT / WAGSTAFF

1 all those cases in the rural North American states plus the  
2 Canadian states. Not new data, just looking at the same data  
3 with different tools.

4 **Q.** Okay. And let me just jump back up to De Roos real quick.  
5 Was Dr. Weisenburger an author of De Roos 2003?

6 **A.** Let me see, which tab is it?

7 **Q.** 451.

8 **A.** I should know that.

9 (Witness examines document.) Yes, he was.

10 **Q.** Okay. And is Dr. Weisenburger also an author of the North  
11 American Pooled Project, if you know?

12 **A.** (Witness examines document.) There's no name on there.  
13 Oh, wait. He's not -- yeah. He's on the second slide set from  
14 Brazil.

15 **Q.** Okay. So why don't we go to -- explain what these two  
16 documents are, 899 and 900, please.

17 **A.** So these are now not published results. They are slide  
18 decks, and we prepare them to go to conferences, show results,  
19 and discuss them with colleagues, and that's what these are.

20 **Q.** Okay. And these aren't numbered unfortunately, so,  
21 Mr. Wolf, if you could turn to the 12th page of Exhibit 899.  
22 Yep, that's it.

23 Dr. Ritz, could you tell the ladies and gentlemen of the  
24 jury, please, what this data is and the significance of this  
25 data, please?

## RITZ - DIRECT / WAGSTAFF

1 **A.** Right. So, again, we are pooling. Now we are pooling  
2 across the McDuffie Canadian study and the De Roos American  
3 studies, and you can see that we are really increasing the  
4 number of cases that reported glyphosate use to 113. That's a  
5 really nice number, big number.

6 **Q.** Okay. And so what data was found?

7 **A.** So in this analysis, they are presenting a relative risk  
8 of 1.22 with a confidence interval of .91 to 1.63.

9 **Q.** Okay. And is this adjusted or unadjusted?

10 **A.** This is actually adjusted and it's adjusted for 2,4-D use,  
11 Dicamba use, and malathion use. So three different pesticides  
12 have been entered into the model.

13 **Q.** And if you could please turn to page 14, Mr. Wolf.

14 **A.** Yeah.

15 **Q.** And this is some additional data that the North American  
16 Pooled Project found about glyphosate handling NHL risks;  
17 right?

18 **A.** Right.

19 **Q.** And is this a dosing analysis?

20 **A.** This is the same analysis we already have discussed with  
21 McDuffie where they said "Let's distinguish between the people  
22 who use very little, one day or two, and the people who use  
23 more than two days." It's the same analysis but it's more  
24 data. It's not just Canadian data. It's the American data as  
25 well.

## RITZ - DIRECT / WAGSTAFF

1 Q. Okay. So that would mean that this is also a dosing  
2 analysis?

3 A. Yes.

4 Q. Okay. And can you tell us the data that this dosing  
5 analysis from the North American Pooled Project gives us?

6 A. So the zero to -- more than zero and less equals two is  
7 .83, and the confidence interval is 0.51 and 1.34.

8 Q. All right. Let me write that down. So for zero to two  
9 days --

10 A. Yeah.

11 Q. -- it's .83?

12 A. Uh-huh.

13 Q. With a confidence interval of -- can you read that again?

14 A. 0.51 --

15 Q. Okay.

16 A. -- to 1.34. So essentially there's no effect. When  
17 you're only -- when you're an occasional user, one or two days,  
18 no effect. We've seen that before; right? But this --

19 **THE COURT:** Sorry to interrupt, Dr. Ritz.

20 Ms. Wagstaff, you didn't ask for this to be published in  
21 front of the jury. Did you want this?

22 **MS. WAGSTAFF:** Oh, yes. Please, can this be published  
23 in front of the jury?

24 Thank you, Your Honor.

25 Q. Okay. And so this is -- is this adjusted as well?

## RITZ - DIRECT / WAGSTAFF

1 A. Yes.

2 Q. Okay.

3 A. So the only difference is we have more data, we're doing  
4 the same analysis, and now we are also putting these other  
5 three pesticides into the model saying we are co-adjusting. We  
6 are -- we are taking care of potential bias because people were  
7 also exposed to these other pesticides.

8 Q. Okay. And so when you did over two days --

9 A. Right.

10 Q. -- what were the numbers?

11 A. 1.98, so almost 2.

12 Q. 1.98. Okay.

13 A. Uh-huh. And a confidence interval of 1.16 to 3.4.

14 Q. 3.4?

15 A. Uh-huh.

16 Q. Okay. And was that adjusted or unadjusted?

17 A. That was adjusted.

18 Q. Okay. And so this is a statistically significant adjusted  
19 dose analysis --

20 A. Correct.

21 Q. -- is that correct?

22 Okay. Now, if you move two over, it looks like the same  
23 analysis was done for DLBCL?

24 A. Yes, and this is actually one reason why they probably are  
25 trying to do this pooling of data, throwing them all together,

## RITZ - DIRECT / WAGSTAFF

1 because now they have enough cases to also look at subtypes of  
2 non-Hodgkin's lymphoma. So they don't have to call all  
3 lymphomas the same. They can actually look at different types.  
4 And there's this type called DLBCL in the third column there.

5 **Q.** Can you tell the jury what, if you know, what "DLBCL"  
6 means?

7 **A.** Diffuse lymphocytic B-cell lymphoma.

8 **Q.** Okay. So DLBCL?

9 **A.** CL.

10 **Q.** Okay. And they did two analyses for DLBCL; correct?

11 **A.** In the same way that we had for overall.

12 **Q.** Okay. So I'll just put this data on the other side and  
13 use this.

14 For the zero to two days, what was the data for DLBCL?

15 **A.** Again, we see a .77 with a confidence interval of .37 to  
16 1.58, meaning there's nothing or, if anything, it's protective,  
17 which we don't believe. But, you know, there's no effect if  
18 you're an occasional user.

19 **Q.** Okay. And what about for the people who were in the  
20 high-dose group?

21 **A.** That odds ratio is 2.49 with a confidence interval of 1.23  
22 to 5.04.

23 **Q.** 5.04, okay.

24 And are these adjusted numbers as well for DLBCL?

25 **A.** Yes.

## RITZ - DIRECT / WAGSTAFF

1 Q. Okay.

2 A. For three different pesticides.

3 Q. Okay. So I just want to square off these as being  
4 adjusted dose and statistically significant; right?

5 A. Correct.

6 Q. Okay.

7 A. They actually give you a P for trend. That's a trend test  
8 for dose.

9 **MS. WAGSTAFF:** Okay. Excellent.

10 Your Honor, this would be a good time to stop for the day.

11 **THE COURT:** Sure. That would be great.

12 Okay. Ladies and gentlemen of the jury, we're done with  
13 day one. Thank you for being so attentive.

14 And I'll remind you once again, because of how important  
15 it is, don't go home and talk to anybody about this trial or  
16 how it's going or what you're learning. Don't do any  
17 independent research on your own. Don't look up any terms on  
18 the Internet or anything like that.

19 And stay away from any media reports on the case. And if  
20 you accidentally come across a media report, please turn away  
21 immediately and don't pay attention to it.

22 If you've been exposed to any information that you should  
23 not have been exposed to or if you have reason to believe that  
24 somebody else on the jury has been exposed to information they  
25 should not have been exposed to, please let us know as soon as

## PROCEEDINGS

1 you can.

2 And with that, we will see you tomorrow.

3 And, Mr. Pungyan, I'll be with you in a few minutes back  
4 there to discuss your issue.

5 (Proceedings were heard out of the presence of the jury:)

6 **THE COURT:** Okay. Thank you, Dr. Ritz. You're free  
7 to step down.

8 **THE WITNESS:** Thank you.

9 **THE CLERK:** Please be seated.

10 **THE COURT:** So is there anything you-all want to talk  
11 about before I go back and chat with Mr. Pungyan briefly and  
12 then bring him out?

13 **MS. WAGSTAFF:** Your Honor, may I take a picture of  
14 this just since we're going to leave it in the courtroom?

15 **THE COURT:** Good idea.

16 **MR. KILARU:** Can we do the same, Your Honor?

17 **THE COURT:** Sure.

18 **MS. MOORE:** Not before you talk to the jury.

19 **THE COURT:** Okay.

20 **MS. WAGSTAFF:** I do have one housekeeping item.

21 **THE COURT:** Okay.

22 **MS. WAGSTAFF:** This is Exhibit Number 914. We updated  
23 these graphs recently to include that new study that came out,  
24 and there was a mistake in the one that I gave you.

25 **MR. STEKLOFF:** We have it.

## PROCEEDINGS

1           **MS. MATTHEWS JOHNSON:** We have ours.

2           **THE COURT:** Okay.

3           **MS. WAGSTAFF:** So if you want to just rip out the 914  
4 you have and put that in there, that will be great.

5           **MS. MOORE:** Your Honor, we can hole punch it too.

6           **MS. WAGSTAFF:** I'm sorry.

7           **THE COURT:** No worries.

8           **MS. MOORE:** Thank you.

9           **MS. WAGSTAFF:** It just had a dot where there should be  
10 a square and a square where there should be a dot.

11           **THE COURT:** Very important distinction.

12           Okay.

13           **THE CLERK:** I'll give that back to you.

14           **MS. WAGSTAFF:** Thank you.

15           **THE COURT:** Okay. Do you want to talk about ground  
16 rules for conversations with experts during their testimony?

17           **MR. STEKLOFF:** I think our view, Your Honor, is that  
18 once a witness is passed for cross-examination, then the  
19 witness should not be -- I would have no problem, for example,  
20 them trying to refine and make their examination of Dr. Ritz  
21 more efficient now; but once a witness is passed, I think that  
22 it runs into issues.

23           **THE COURT:** Sounds good.

24           **MS. WAGSTAFF:** We're okay with that.

25           **THE COURT:** Okay. That will be the rule then.

## PROCEEDINGS

1           **MR. STEKLOFF:** And I think the only issue we have to  
2 raise is really just what -- it is unclear to us which  
3 witnesses plaintiffs planned on presenting. I suspected  
4 deposition testimony, but it is unclear to us how they're  
5 filling the next day.

6           **THE COURT:** Aren't we supposed to know that by now?

7           **MS. MOORE:** Yes, Your Honor, and we did e-mail them  
8 about that. We notified them that tomorrow we will be  
9 finishing up with Dr. Ritz, and then our plan is to go right  
10 into video deposition and that would be Dr. Portier.

11           There was a little bit of discussion --

12           **THE COURT:** Well, wait a minute. There's a little bit  
13 of a problem there.

14           **MS. MOORE:** I know and that's what I was going to get  
15 to. We have teed up Dr. Portier and also Dr. Reeves, and we've  
16 had meet and confers about that. So depending on the Court's  
17 orders, we have the tech people working on getting both of  
18 those depositions ready and that way they can take out whatever  
19 the Court says excluded, and we'll be ready to roll. So it  
20 will be video depositions following Dr. Ritz.

21           **THE COURT:** Well, except that I have not yet received  
22 evidentiary objections to any aspects of Portier's testimony  
23 that you want to designate, or Reeves for that matter, so I  
24 think you need to be ready with something else --

25           **MS. MOORE:** Yes, Your Honor. I understand.

## PROCEEDINGS

1           **THE COURT:** -- in case you haven't gotten that to me  
2 in time for me to rule on the objections.

3           **MS. MOORE:** I understand, Your Honor. And so to kind  
4 of back up and let you know what's happened with that, so of  
5 course you know Dr. Portier was taken last week. We have  
6 expedited everything as much as we can with the teams coming  
7 from Australia.

8           We sent --

9           **THE COURT:** I understand it's hard and I'm sure you've  
10 run into problems along the way. All I'm saying is that you  
11 cannot count on beginning Dr. Portier's testimony tomorrow and  
12 you cannot count on beginning Dr. Reeves' testimony tomorrow  
13 because you have not yet given to me the objections to the  
14 designated testimony for those two individuals and, therefore,  
15 I cannot rule on the objections.

16           So you have to be ready with something else, whether it's  
17 the three treating physicians or Dr. Weisenburger or whoever.  
18 You need to be ready with another witness in case that hasn't  
19 been teed up on time.

20           **MS. MOORE:** I understand, Your Honor, absolutely. No  
21 question about that.

22           **THE COURT:** And just to be very clear, it's coming out  
23 of your time if you're not ready with something else.

24           **MS. MOORE:** I understand that, Your Honor. I will not  
25 let that happen.

## PROCEEDINGS

1           Going back to Dr. Portier, we notified the defense that  
2 our plan is to present his direct testimony for Phase I on  
3 Tuesday, and we asked them if they would be withdrawing any of  
4 their objections that were made contemporaneously. They've  
5 gotten back to us. I believe I have an e-mail from today on  
6 that.

7           So we are now -- we'll be prepared, if the Court would  
8 entertain us, to hear some arguments about that. I think some  
9 of it is kind of some broad issues. If we could get guidance  
10 from the Court, we'll be able to meet and confer and narrow  
11 that down so we can try to start Dr. Portier tomorrow after  
12 Dr. Ritz is off the stand.

13           So we have done that. It's not been filed with the Court,  
14 but there's been meet and confer on that.

15           With respect to Dr. Reeves, I understand it has been filed  
16 now with the Court and we do have copies of the transcript that  
17 we'll be able to hand to Your Honor. And, again, it's also  
18 some big global pictures that we can kind of talk about that  
19 will help us know whether or not either side will continue to  
20 maintain certain objections.

21           **THE COURT:** So you have the hard copies of Reeves  
22 and -- is it Reeves you have?

23           **MS. MOORE:** Dr. Reeves is what we have, yes,  
24 Your Honor.

25           **THE COURT:** And this is the hard copy of the

## PROCEEDINGS

1 deposition transcript with the objections interposed?

2 **MS. MOORE:** That's correct, Your Honor. So we have  
3 copies of that and we've been -- that's after several meet and  
4 confers about Dr. Reeves.

5 **THE COURT:** So what do you want me to do? Do you want  
6 to have argument about that now or --

7 **MS. WAGSTAFF:** I've got five copies so --

8 **THE COURT:** I think we probably need one or two.  
9 Maybe two.

10 **MS. WAGSTAFF:** It's a two-day deposition. So,  
11 Your Honor, here's --

12 **MS. MOORE:** Your Honor, so we're -- we had discussed  
13 with defense, and I don't know if you wanted to address the  
14 juror issue first because I don't want to have him wait, but we  
15 were prepared to, if the Court would entertain us, discuss  
16 Dr. Reeves, Dr. Blair, and Ross and Dr. Goldstein, as well as  
17 Dr. Portier. And some of this can go fairly quickly because  
18 once we get an idea from the Court, it's -- there's an  
19 objection as to whether we can even play Dr. Blair, Ross, and  
20 Dr. Goldstein in Phase I at all.

21 **THE COURT:** I assumed there might be.

22 **MS. MOORE:** So I think, you know, if we get insight  
23 from Your Honor on that, then that's going to take away a lot  
24 of the issues that we may have with those depositions. So I  
25 don't think that's going to take that long.

## PROCEEDINGS

1           **THE COURT:** Okay. I'm happy to have a discussion with  
2 you in the abstract if that will help, but I don't know if I'm  
3 going to be able to rule on the abstract. I might need to  
4 actually read the testimony and the objections --

5           **MS. MOORE:** I understand, Your Honor.

6           **THE COURT:** -- and spend a little more time thinking  
7 about it.

8           **MS. MOORE:** For example, on Dr. Goldstein, this is  
9 his --

10          **THE COURT:** Well, like I said, I'm happy to have an  
11 abstract discussion with you after we deal with the juror  
12 issue.

13          **MS. MOORE:** Okay.

14          **THE COURT:** But why don't you give me five minutes,  
15 I'll go back and chat with him briefly, and then likely we'll  
16 bring him out.

17          **MS. MOORE:** Okay.

18          **THE COURT:** By the way, let me ask you this:  
19 Assuming -- I passed on certain basic information to you about  
20 his situation this morning. Is either side going to want to  
21 ask him further questions about that?

22          **MS. MOORE:** I don't believe so, Your Honor. I mean,  
23 it sounds like he has an economic hardship similar to what  
24 we've -- what you excused other jurors on.

25          **THE COURT:** And so what's -- do both sides agree that

## PROCEEDINGS

1 I should excuse him based on what I've described to you?

2 **MS. MOORE:** That's our position, Your Honor.

3 **MR. STEKLOFF:** I think, Your Honor, it's just worth  
4 following up, and I do not need to ask any questions. I would  
5 be happy for you to follow-up with him; and if the economic  
6 hardship still presents, I would defer to your judgment on  
7 that. I don't need to talk to him about that.

8 **THE COURT:** Okay.

9 **MR. STEKLOFF:** But I think it is worth following up  
10 with him to explain the conversation that you had and just make  
11 sure there are no issues.

12 **THE COURT:** Okay. Sounds good.

13 **MS. MOORE:** All right. Thank you, Your Honor.

14 **THE CLERK:** Court is in recess.

15 (Recess taken at 2:54 p.m.)

16 (Proceedings resumed at 2:57 p.m.)

17 (Proceedings were heard out of the presence of the jury:)

18 **THE COURT:** Okay. We are back on the record.

19 Mr. Pungyan, I'm going to repeat for the record what I've  
20 already discussed with you back there. So the first thing is  
21 that you expressed concern to us that on the day of jury  
22 selection, your wife was informed that her hours were being  
23 cut. And you initially thought it would be okay to serve on  
24 the jury but after you learned that your wife's hours had been  
25 cut, that was a real problem for you and your family because

## PROCEEDINGS

1 her hours are cut and your hours would be cut because typically  
2 you work Friday -- sorry -- Wednesday, Thursday, Friday,  
3 Saturday, Sunday at Kaiser.

4 So when I heard of this concern, I got on the phone with  
5 the Kaiser general counsel's office, and I said, "Is there  
6 anything you can do for this guy given the situation? Can you  
7 pay him for, you know, five -- during the time he's on the jury  
8 can, you pay him five days a week as he's been working even  
9 though he wouldn't be working Wednesday, Thursday, Friday?"

10 And the response I got was that if there was anything in  
11 our power to do it, we would; but his employment is governed by  
12 a collective bargaining agreement, so it would actually be  
13 illegal for us to compensate him for the jury service.

14 So that while we can -- while we can guarantee that he  
15 would work a shift on Thursday -- in addition to his regular  
16 Saturday and Sunday shift -- we can't unfortunately do anything  
17 more than that. And so I relayed that to you this morning, and  
18 you expressed the concern to me that that would -- just working  
19 on Thursdays in addition to Saturday and Sunday would be  
20 inadequate based on the fact that your wife's hours were cut at  
21 her job. Have I accurately described our conversation and your  
22 feeling about it?

23 **JUROR PUNGYAN:** Yes, Your Honor.

24 **THE COURT:** So is it your feeling that given the  
25 situation that I have just described, which was not your fault

## PROCEEDINGS

1 as unanticipated, of course, that it would be an economic  
2 hardship for you to serve on the jury?

3 **JUROR PUNGYAN:** Yes, Your Honor.

4 **THE COURT:** Does anybody wish to ask Mr. Pungyan any  
5 questions?

6 **MS. MOORE:** No, Your Honor.

7 **MR. STEKLOFF:** No.

8 **THE COURT:** I will go ahead and have you go back to  
9 the jury room. Sit tight and wait for a report. We will be  
10 with you in a few minutes. Thank you very much.

11 (Juror Pungyan exited.)

12 **THE COURT:** Is there anything else anyone wants to say  
13 about Mr. Pungyan?

14 **MS. MOORE:** No, Your Honor.

15 **THE COURT:** I was not anticipating losing one of our  
16 nine jurors on the first day of trial. It is no fault of his  
17 own, and I'm very appreciative for him being willing to serve  
18 during selection on Wednesday even though it would have already  
19 been financially difficult for him, and I think it's an  
20 unexpected development for him means I think we will have to  
21 excuse him. So I will be excusing him. Let me go back there  
22 real quick and let him know, and I will call you back in just a  
23 minute.

24 (Recess taken at 3:00 p.m.)

25 (Proceedings resumed at 3:02 p.m.)

## PROCEEDINGS

1 (Proceedings were heard out of presence of the jury:)

2 **THE COURT:** So for the record, I just went back and I  
3 told Mr. Pungyan that all the restrictions still apply to him  
4 in terms of talking about the case until after the case is  
5 over. So he's under a court order now that he's not to speak  
6 with any members of the media or anybody else about the case or  
7 what's happened thus far.

8 Okay. So what do you-all want to talk about?

9 **MS. MOORE:** Your Honor, on an abstract issue, probably  
10 the -- as soon as I say the easiest, it probably will not be  
11 the easiest, but the easiest one, we want -- the plaintiff  
12 wants to play a very short -- thank you -- a very short  
13 deposition of Dr. Goldstein who was designated as Monsanto's  
14 corporate representative. And this deposition what we  
15 designated I think is around 12 or 13 minutes, Your Honor. And  
16 it concerns the 1997 Dr. Acquavella memo.

17 And as the Court will recall, that was one of the issues  
18 that we brought to the Court's attention after the phased trial  
19 decision came down, and it's Plaintiffs' *Motion in Limine*  
20 Number 14, Your Honor, and Pretrial Order 81.

21 And it's our understanding that the Court is permitting us  
22 to introduce during Phase I Dr. Acquavella's July 22nd, 1997,  
23 memo criticizing the AHS for the purpose of impeaching any  
24 Monsanto expert to rely on it.

25 Your Honor, it's our position that instead of having to

## PROCEEDINGS

1 wait until their case-in-chief to impeach an expert, we would  
2 like to go ahead and play that deposition since it's a  
3 corporate rep; and clearly from the opening this morning, we  
4 know that the AHS is central to their defense in this case, and  
5 that's why we would like to go ahead and play it in Phase I.

6 **MR. KILARU:** Your Honor, we think it would make -- how  
7 we understood the ruling was that they could confront the  
8 experts who talk about the AHS with the Acquavella memo and ask  
9 questions about it as opposed to having in their case-in-chief  
10 affirmative testimony played from a witness about what that  
11 study says.

12 **THE COURT:** Well, what's the difference at this point?  
13 I mean, I think, you know, your argument on that point was well  
14 taken, you know, in the abstract; but thinking about it  
15 practically now and after, you know, listening to the opening  
16 statement and knowing just how much Monsanto is going to be  
17 relying on AHS, what's the difference?

18 **MR. KILARU:** Well, I guess just in terms of it being  
19 an impeachment issue, you know, there's not really an actual  
20 evidentiary statement from anyone about the AHS. As we were  
21 told repeatedly I think is correct, the arguments in the  
22 openings are not evidence.

23 **THE COURT:** I guess I'm asking you, as a practical  
24 matter, what's the difference?

25 **MR. KILARU:** It's more a question of whether we get to

## PROCEEDINGS

1 present our position on it first versus the plaintiffs coming  
2 in with it, which is how I think an impeachment would typically  
3 work. Ultimately I recognize that sort of the point will come  
4 in at some point, but I do think to the extent it's an  
5 impeachment, the ordering does matter somewhat.

6 **THE COURT:** Well, I think, you know, given the need  
7 to -- I mean, it's either going to come -- that testimony is  
8 either going to come in now or it's going to come in a little  
9 bit later; and I think, you know, in terms of ordering the  
10 trial and given the contents of the opening statement -- it's  
11 true that an opening statement is not evidence, but something a  
12 lawyer says in opening statement can open the door to evidence  
13 coming in that might not have come in before.

14 I think -- I just think it, A, it doesn't matter, it  
15 really doesn't matter when this evidence comes in; and, B,  
16 given the opening statement, I think it would be fine for the  
17 plaintiffs to bring that in now.

18 So that's fine. You can play that.

19 **MS. MOORE:** Thank you, Your Honor.

20 And we'll have that ready. And, again, I understand the  
21 notice rules and so if there's an objection, we can deal with  
22 that.

23 **THE COURT:** Say again.

24 **MS. MOORE:** I understand the notice rules as far as  
25 when we have to tell them about depositions. In light of, you

## PROCEEDINGS

1 know, the Portier rulings that we need to get from Your Honor,  
2 the Goldstein one, which is very short, we can work that out.  
3 It's already cut so I would like to go ahead and tell them that  
4 that would be our backup deposition tomorrow to be played to  
5 try to keep things moving along.

6 **THE COURT:** Okay.

7 **MS. MOORE:** Okay. Thank you, Your Honor.

8 And then the other issue, the other depositions, if I  
9 could, Your Honor, do those in conjunction, and that is  
10 Dr. Aaron Blair and Dr. Matthew Ross, and --

11 **THE COURT:** Was Ross another member of the IARC?

12 **MS. MOORE:** Yes, Your Honor, he was.

13 **THE COURT:** Okay.

14 **MS. MOORE:** And the Ross deposition is very short. I  
15 don't have the exact time. It's less than -- now with the  
16 designation, it's less than an hour, Your Honor.

17 But both of these, it's our position, and this relates  
18 to --

19 **THE COURT:** I mean, let me just say one thing just to  
20 make it clear. You keep referencing the breadth or the  
21 brevity, I should say, of the excerpts. You know, you have  
22 overall time limits and how you use your time is up to you. So  
23 given that you have overall time limits, I'm less concerned  
24 with the length or brevity of the excerpts and far more  
25 concerned with whether they fit within Phase I or not.

## PROCEEDINGS

1           **MS. MOORE:** And that's fair, Your Honor. I probably  
2 just have this chess clock running in my head so that's why I  
3 keep saying it. So I apologize.

4           This relates to, Your Honor, your order, Pretrial Order  
5 Number 81. It's Monsanto's *Motion in Limine* Number 1. And as  
6 you'll recall, it's our understanding from the ruling in the  
7 second paragraph that, Your Honor, you ruled that witnesses,  
8 which would be Dr. Blair and Dr. Ross, who participated in IARC  
9 may testify that they were a member of the IARC committee, may  
10 further explain how that membership supports their credibility,  
11 but must limit their scientific testimony to their own  
12 independent conclusions.

13           **THE COURT:** What are you reading from?

14           **MS. MOORE:** Your order, Your Honor.

15           **MR. KILARU:** MIL Pretrial 81.

16           **MS. MOORE:** It's 81, Pretrial Order 81.

17           **THE COURT:** Let me go back there.

18                                 (Pause in proceedings.)

19           **THE COURT:** Okay. But when I said that, I was  
20 referring to expert witnesses who you were calling.

21           **MS. MOORE:** Yes, Your Honor.

22           **THE COURT:** Okay.

23           **MS. MOORE:** And we designated Dr. Blair and Dr. Ross  
24 both as nonretained expert witnesses when we did our expert  
25 disclosures in November of last year in accordance with the

## PROCEEDINGS

1 Court's pretrial order.

2 **THE COURT:** Okay.

3 **MS. MOORE:** And so the reason we've teed this up this  
4 afternoon is that we had meet and confers with Monsanto. I  
5 think it's their position we shouldn't be allowed to play any  
6 part of Dr. Blair and Dr. Ross, even any of it in Phase I. Our  
7 position is that we should and we went ahead and did a meet and  
8 confer. They didn't waive their objection, Your Honor, to  
9 playing it in the entirety, but we went ahead and did a meet  
10 and confer. So those depositions have been narrowed down  
11 substantially based on that meet and confer.

12 **THE COURT:** I don't think I'm in a position right now  
13 to rule on whether Blair and Ross can testify at Phase I. I  
14 would think that I would want to look at the content of the  
15 testimony.

16 **MS. MOORE:** That's fine, Your Honor. And I can  
17 hand -- I think -- Your Honor, I think you already have the  
18 color transcripts with the designations, counters, and  
19 objections for Dr. Blair. I also have a copy, Your Honor, of  
20 Dr. Ross that I can hand to you.

21 **MR. KILARU:** Your Honor, I'm not actually sure that's  
22 accurate. I don't think -- I'm not accusing anyone of  
23 anything. I think the only ones that have been filed thus far  
24 with Your Honor are Reeves and Ross. I do not believe that  
25 Blair has been filed or submitted.

## PROCEEDINGS

1           **MS. MOORE:** Your Honor, if it has not been filed yet,  
2 it's been agreed upon by the parties, and so it may just not  
3 have gotten filed, but we did hand you a copy of the transcript  
4 that the parties have reached an agreement that that is the  
5 designations and the objections that we'll need rulings on.

6           **THE COURT:** I think all I have in front of me right  
7 now is Reeves.

8           **MS. MOORE:** Oh. I apologize, Your Honor.

9           **THE COURT:** So I don't know what you filed.

10          **MS. MOORE:** Oh, sorry. Sorry. I misspoke,  
11 Your Honor. I'm sorry. That's Dr. Reeves.

12          **THE COURT:** So this is Dr. Reeves' testimony that is,  
13 like, ready for me to review for objections?

14          **MS. MOORE:** Yes, Your Honor. Yes, Your Honor. And  
15 that's filed. And then I'm handing you now Dr. Ross.

16           I apologize, Your Honor.

17          **THE COURT:** Okay.

18          **MS. MOORE:** And I have a copy for counsel too. And  
19 this is the color transcript. My understanding is Dr. Ross is  
20 filed and that this is the color transcript that would contain  
21 the designations and the objections. And as you can tell,  
22 Your Honor, it's not that many pages on Dr. Ross.

23          **THE COURT:** Okay. All right.

24          **MS. MOORE:** And I will come back, Your Honor, on the  
25 issue. My understanding is Dr. Blair we have reached an

## PROCEEDINGS

1 agreement as to what transcript we should present to you for  
2 decision, and I will find out if that's filed. I thought it  
3 was so I apologize if I misspoke.

4 **THE COURT:** Okay. But if it's going to be filed, do  
5 you have a hard copy there of what is going to be filed?

6 **MS. MOORE:** I'm being told I do not right now --

7 **THE COURT:** Okay.

8 **MS. MOORE:** -- but I will try to get that, Your Honor.

9 **THE COURT:** Okay. And Monsanto's position, I gather,  
10 is that I should draw a distinction between Blair and Ross on  
11 the one hand and Portier and Jameson on the other hand in terms  
12 of whether any testimony should be allowed from them on the  
13 IARC and their participation in the conference?

14 **MR. KILARU:** Yes, Your Honor. Could I briefly explain  
15 that a little bit?

16 **THE COURT:** Yes.

17 **MR. KILARU:** Just as a technical disclosure matter,  
18 both Blair and Ross we acknowledge were disclosed back in  
19 November as nontestifying experts, but on the witness list that  
20 was filed a couple nights ago they were listed as Monograph 112  
21 participants and I think that accurately reflects what their  
22 testimony is.

23 They do not have independent scientific conclusions. What  
24 the deposition testimony is is them essentially repeating the  
25 conclusions of IARC, and that I think would be not what was

## PROCEEDINGS

1 envisioned by the *motion in limine* ruling. I think that would  
2 go beyond the rule that we intended for IARC to have.

3 **THE COURT:** Okay. I understand. I understand the  
4 landscape I think, and I'll just look at the testimony.

5 **MS. MOORE:** Thank you, Your Honor.

6 The only other issue --

7 **MR. KILARU:** Sorry. Just one housekeeping thing to go  
8 back on Goldstein.

9 I'm sure we can get a transcript on file if you want to  
10 review it. There were a few other more minor objections that I  
11 don't know if -- because you haven't seen, you haven't had a  
12 chance to rule on. I don't think they will take long, but I  
13 just want to flag that I don't think we're sort of camera ready  
14 on Goldstein just yet even though I acknowledge your ruling on  
15 the broader issue.

16 **MS. MOORE:** Your Honor, we will have the color  
17 transcript delivered for Dr. Goldstein and Dr. Blair this  
18 afternoon so you will have that in hand.

19 And then the only other point I wanted to bring to  
20 Your Honor's attention is that with respect to Dr. Blair, he  
21 also was a co-author of the De Roos 2003 and he also was an  
22 author in the AHS as well. So that was part of the other  
23 reason that he was testifying.

24 **THE COURT:** It seems to me that a lot of -- it's going  
25 to depend largely on what the testimony is.

## PROCEEDINGS

1           **MS. MOORE:** Your Honor, and we tried to narrow that,  
2 and we -- you'll see in Dr. Blair more so than Dr. Ross, but in  
3 Dr. Blair the first part of his testimony is his background,  
4 his credentials. As you'll recall, he was the head of the work  
5 group for IARC so he has pretty lengthy credentials. We tried  
6 to narrow that down.

7           And then we went into that he participated in IARC; the  
8 conclusion that we very briefly talk about that he reviewed --  
9 you know, he was part of the epidemiology subgroup, and that he  
10 very briefly he reviewed the studies. He doesn't go into  
11 detail like Dr. Ritz has done today because that would be  
12 cumulative so we have just highlighted that.

13           You know, I'd be fine, you know, if we wanted to cut that  
14 out. We suggested that. The defense has objected to us having  
15 him answer questions that he reviewed McDuffie, Eriksson, and  
16 De Roos in his discussions about reaching his conclusion to  
17 vote for the IARC monograph, but then they did not object when  
18 it came to the discussion about the AHS.

19           And so our position is if we're going to talk about  
20 epidemiology studies and allow Dr. Blair to say "Here's the  
21 ones that we reviewed in reaching our conclusion and our vote,"  
22 that it should be all of them and not piecemeal. And so I  
23 think that's the main issue there.

24           But, again, it's -- it doesn't get into the weeds of the  
25 studies because that's what Dr. Ritz is here to do.

## PROCEEDINGS

1           **THE COURT:** Okay. I'll look at it.

2           **MS. MOORE:** Okay. Thank you, Your Honor.

3           **THE COURT:** Anything else you-all want to discuss?

4           **MR. KILARU:** There are a couple.

5           **THE COURT:** So let me just emphasize, given what has  
6 been given to me --

7           **MS. MOORE:** I know, Your Honor.

8           **THE COURT:** -- and given what you're anticipating  
9 giving to me later, it seems unlikely that I'm going to be able  
10 to get to Dr. Portier's testimony, which has not even yet been  
11 given to me. So you need to assume that you're not calling  
12 Dr. Portier tomorrow.

13           **MS. MOORE:** I understand, Your Honor. And if it would  
14 be helpful to the Court, our position would be, from a priority  
15 standpoint, Dr. Goldstein and then Dr. Blair and Ross, which  
16 you should have this afternoon Dr. Blair, Your Honor.

17           You know, the Reeves, again, there's some big global  
18 issues there that, you know, we have that cut so it is ready to  
19 go. I mean, you know, I guess it depends, Your Honor, I don't  
20 know what your schedule is. And I apologize, Your Honor.  
21 We've done our best to try to get those to you as quickly as we  
22 can. But, you know, if you'd rather tackle a bigger one, then  
23 Dr. Reeves would be the way to start.

24           **MR. KILARU:** Your Honor, I don't know your calendar  
25 right now and I wouldn't presume to keep you. There are a

## PROCEEDINGS

1 couple big issues that I think would knock out pretty big parts  
2 of Reeves that we can talk about, but only if it's helpful to  
3 you.

4 **THE COURT:** We can try. Like I said, I'm not sure  
5 I'll be able to do it in the abstract but, sure.

6 **MR. KILARU:** Okay. So if I could give just one  
7 example, and it's an issue that actually came up earlier. It  
8 is this whole issue of the Knezevich and Hogan study and what  
9 evidence should come in and should not.

10 As you know, you issued a *motion in limine* ruling that we  
11 should continue to confer about what do we think should come  
12 in, and we did do that over the weekend and what we had offered  
13 to the plaintiffs was -- excuse me -- a stipulation, which is  
14 the following: Which is to introduce the studies, which I  
15 think we've always thought both the initial review and the  
16 later review could come in; and a stipulation that during  
17 the -- for this case, that during the process of obtaining EPA  
18 approval of glyphosate, Monsanto hired Dr. Kushner to review  
19 the tumor slides from the Knezevich and Hogan study based on  
20 concerns about the regulatory consequences of that study.

21 I think that pretty closely mirrors what we had discussed  
22 when we had the argument over the sort of pick three pieces of  
23 evidence a while ago.

24 That's where we are. The plaintiffs disagree with that  
25 and don't want to accept that, which we understand.

## PROCEEDINGS

1           But just to give you sort of a concrete example of what  
2 the alternative is that's been proposed, there's 100 pages of  
3 testimony in Reeves or 100-page range in Reeves, which probably  
4 I would say 50 to 60 pages has been designated, and it is  
5 literally all of the memos, including the Lyle Gingrich memo  
6 that you mentioned in your order, other internal documents.  
7 And those are some of the documents we didn't get before  
8 opening but were shown in the opening today. So quotes from  
9 that exact memo, quotes from other people, the EPA's responses,  
10 and so on.

11           And I thought one of the purposes of the discussion was to  
12 try to streamline what evidence would come in and come to an  
13 advance agreement of that, and we submit that our proposal is a  
14 better one for moving forward on that as opposed to really  
15 extensive discussions through Reeves and also based on what's  
16 been seen already.

17           **THE COURT:** Well, my preliminary reaction to your  
18 proposal is that it's too restrictive, and so I don't -- you  
19 know, I don't really know -- I'm trying to go to the slide.

20           I mean, let me just say that I think the slide -- given  
21 the procedural posture, given the fact that this was -- you  
22 know, this was still being worked out as to what could come in  
23 and what could not come in, the slide was clearly  
24 inappropriate; right? I mean, that -- so that's -- you know, I  
25 mean -- and, by the way, this is not the first time this has

## PROCEEDINGS

1 happened with the plaintiffs where a dispute was teed up and  
2 they didn't wait for the dispute to be resolved before they  
3 acted; right? And so all of that will be taken into account in  
4 connection with the Order to Show Cause whether Ms. Wagstaff  
5 should be sanctioned.

6 And my tentative inclination right now, by the way, is to  
7 sanction Ms. Wagstaff \$1,000 for these transgressions. I'm  
8 also wondering -- I will think about whether to issue an Order  
9 to Show Cause why the entire team should not be sanctioned  
10 since presumably the entire team was responsible for those  
11 slides and for that opening; but I'll consider that later, and  
12 Ms. Wagstaff will have an opportunity to file something tonight  
13 by 8:00 o'clock and will have an opportunity to be further  
14 heard on the matter before I make my final decision.

15 **MS. MOORE:** Your Honor, when would you entertain  
16 argument on the show cause?

17 **THE COURT:** What?

18 **MS. MOORE:** When will you entertain argument on the  
19 show cause?

20 **THE COURT:** I'm not sure yet.

21 **MS. MOORE:** Okay. Thank you.

22 **THE COURT:** We'll have to find a time. Maybe tomorrow  
23 afternoon. Maybe Wednesday afternoon.

24 **MS. MOORE:** Okay.

25 **MR. KILARU:** I think it would be, through my memory,

## PROCEEDINGS

1 about two thirds of the way through towards the end of the  
2 animal section is I believe where it came up.

3           **THE COURT:** Okay. But I want to flip to the slide,  
4 nonetheless, because, you know, the question is -- you know, as  
5 I've said, this concept can come in but it's going to be  
6 limited. So the question is how to limit it. I think the way  
7 you are proposing my gut reaction is that that's too limited.  
8 My guess is that the 50 pages of deposition testimony that they  
9 want to designate is not limited enough. I don't know. It's  
10 just a guess.

11           This quote "Short of a new study or finding tumors in  
12 control groups, what can we do to get this thing off Group C,"  
13 where was that from again?

14           **MR. KILARU:** It's from the Gingrich memo, Your Honor.

15           **THE COURT:** It's from the memo we still had not  
16 decided if it was going to be admissible?

17           **MR. KILARU:** Yeah.

18           **THE COURT:** Okay. And then what about this  
19 February 1985 quote?

20           **MR. KILARU:** I don't have it in front of me so I -- I  
21 think you have the only copy.

22           **THE COURT:** From EPA, "A prudent person would reject  
23 the Monsanto assumption"?

24           **MR. KILARU:** So that, I'm not sure exactly which  
25 discussion, but it is one of the -- we did discuss many

## PROCEEDINGS

1 internal -- not internal documents but EPA documents at the  
2 point, and I think -- I don't know if -- just on that, I think  
3 one concern that we have is if -- and we understand  
4 Your Honor's ruling on this, EPA like IARC is supposed to be  
5 limited during the trial -- if we have a lot of EPA documents  
6 coming in from the 1980s that suggest doubt about glyphosate,  
7 it does seem to present a little bit of a --

8 **THE COURT:** No, I mean, I think -- I mean, one of the  
9 big questions that was running through my mind is that as  
10 Ms. Wagstaff was presenting this, is has she completely  
11 forgotten the forest from the trees because the plaintiffs  
12 moved to exclude a variety of EPA documents.

13 **MR. KILARU:** Right.

14 **THE COURT:** And to then get up in the opening  
15 statement and start quoting a bunch of EPA documents where it  
16 was clear that they were probably not going to be admissible  
17 and we hadn't even decided whether that memo -- it was still up  
18 in the air whether that internal Monsanto memo was going to be  
19 admissible, I mean, in addition to being, you know,  
20 intentionally violative of my ruling on the *motion in limine* on  
21 the mouse studies, it's, I mean, incredibly dumb. You know, I  
22 can't believe that she would have risked opening the door to  
23 all of the EPA studies, all the EPA documents, that they wanted  
24 to exclude and that I ruled are excludable.

25 **MR. KILARU:** Right.

## PROCEEDINGS

1           **THE COURT:** So, you know, there's an issue of  
2 misconduct here but there's also an issue of just, you know,  
3 are the plaintiffs so intent on committing misconduct, that  
4 they're not realizing that they're opening the door to bad  
5 evidence against them. So those are the issues that I'm going  
6 to need to think about. But again I'm not sure I can give you  
7 an abstract ruling.

8           **MR. KILARU:** That's fine, Your Honor. I do think  
9 that's helpful because one of our concerns is that one aspect  
10 of the EPA story doesn't come in and maybe the later aspects  
11 that we've got come out.

12           **THE COURT:** I think they may have opened the door in  
13 their opening statement. I think they may have opened the door  
14 to the later EPA documents. I think that's a real possibility.

15           **MS. MOORE:** And, Your Honor, if I can address two  
16 things quickly; that with respect to the EPA, what we moved to  
17 exclude were two documents in particular. And the discussion  
18 that you're referencing --

19           **THE COURT:** Oh, I know. I know what you moved to  
20 exclude.

21           **MS. MOORE:** Okay.

22           **THE COURT:** And it was totally improper to be quoting  
23 those EPA documents in the opening statement, and the whole  
24 point was that -- the whole point of Monsanto's argument for  
25 why those EPA documents that you moved to exclude should come

## PROCEEDINGS

1 in were that they need to tell the whole picture because the  
2 plaintiffs are trying to tell a misleading picture about the  
3 EPA.

4 And so now that the plaintiffs have painted part of that  
5 picture in their opening statement, it may very well be that  
6 they've opened the door to those later EPA documents, and  
7 that's something that I will need to consider in addition to  
8 sanctioning Ms. Wagstaff for.

9 **MS. MOORE:** And, Your Honor, we'll address that in the  
10 response then. Thank you.

11 **MR. KILARU:** Other than that, Your Honor, I don't know  
12 that we need to necessarily back and forth, though I'm  
13 obviously happy to do whatever is convenient.

14 I thought I could just tell you what the other set of  
15 objections are in broad brush that we made in case that helps.

16 **THE COURT:** Okay.

17 **MR. KILARU:** So just in categories. One is the --

18 **THE COURT:** You're talking about the Reeves testimony?

19 **MR. KILARU:** In Reeves, yes. So one is Knezevich,  
20 which we just discussed.

21 A second is testimony sort of asking for Monsanto's  
22 official position on other pieces of science and about the  
23 general science around Roundup, which we think is more a  
24 Phase II issue than Phase I issue.

25 A second category -- and just so you have it, there's some

## PROCEEDINGS

1 examples of that on pages 29 and 30 and 182, just so you know  
2 where the categories are that I'm talking about.

3 Second would be sort of failure-to-test arguments, that  
4 certain tests weren't run. Our position would be that that's  
5 at most a Phase II issue without proof of what the studies  
6 would show. And there's examples of that at pages 32 to 35,  
7 65, 519 to 22. So, for example, questions about "You didn't  
8 run this kind of test," I think our position would be that  
9 absent proof of what that test would have showed, that doesn't  
10 push the causation inquiry one way or another.

11 Third, there are a bunch of discussions of internal  
12 e-mails among Farmer and Acquavella and Heydens and others  
13 about reactions to studies. And I know we talked about the AHS  
14 '97 memo but there were also some other *motion in limine*  
15 rulings about other internal reactions to studies. So, for  
16 example, there was a Farmer e-mail about the McDuffie abstract  
17 and whether something was in it or out of it; and there's a lot  
18 of e-mails of that nature that I think they're proposing to  
19 introduce and try to discuss with Mr. Reeves. So that's just  
20 another category of those.

21 I actually think that's it in terms of broad-brush  
22 categories.

23 **THE COURT:** Okay. Anything else?

24 **MS. MOORE:** I don't think so, Your Honor. We've set  
25 forth our position in the transcript and as to why that

## PROCEEDINGS

1 information should come in. It's not getting in to -- we went  
2 back and removed anything dealing with ghostwriting. Of  
3 course, unless they open the door later. But this is about the  
4 actual scientific studies, and so that's what we narrowed down  
5 Dr. Reeves' testimony.

6 **THE COURT:** Okay.

7 **MS. MOORE:** Okay.

8 **MR. KILARU:** Just one, sorry, Your Honor, last  
9 housekeeping matter.

10 **THE COURT:** Sure.

11 **MR. KILARU:** On the exhibit disclosures, and this  
12 might be something that could have helped with this morning,  
13 but our understanding is that the exhibits that are to be  
14 disclosed are basically anything that's marked with an exhibit  
15 in the case. So if something is marked as, say, Exhibit 904  
16 and they intend to use that on an examination, or we do as well  
17 and we would comply, that that should be disclosed as opposed  
18 to if an exhibit is being shown sort of for pure demonstrative  
19 purposes. I don't think that would fall outside the rule.

20 **THE COURT:** Yes, that's correct.

21 **MR. KILARU:** Okay. Thank you.

22 **MS. MOORE:** And, Your Honor, the clarification, the  
23 reason that he is raising this is that we reached an agreement  
24 last week that demonstratives itself do not need to be on the  
25 exhibit list.

## PROCEEDINGS

1           When we first did the exhibit list, we --

2           **THE COURT:** Disclose to them any documents,  
3 demonstratives, or anything that you intend to use.

4           And, by the way, on that note, I'm going to require both  
5 sides to disclose their closing argument slides to me in  
6 advance. So you're going to have to get your closing argument  
7 slides done in advance because I'm going to review them in  
8 advance.

9           **MS. MOORE:** Okay. But not to each other; correct?

10          **THE COURT:** I mean, part of me wonders if you now  
11 should be disclosing to each other, but I'd be fine just  
12 reviewing them myself.

13          **MS. MOORE:** Okay. Thank you, Your Honor.

14          And just to clarify, I mean, because here's what kind of  
15 happens with demonstratives, as the Court I'm sure is aware, is  
16 that those are works in progress; and right now our rule is  
17 that we have to exchange exhibits, which we've been doing, 48  
18 hours in advance for a witness. And, you know, typically  
19 you're preparing with the expert the day before, and so we  
20 would just ask that if it's demonstratives, that we would do  
21 that the night before instead of 48 hours in advance.

22          **THE COURT:** Any problem with that?

23          **MR. KILARU:** I think we're all on the same page. So  
24 just to give two examples that are in the courtroom. The  
25 charts up here, you know, I think those to me, I don't know

## PROCEEDINGS

1 that those would need to be disclosed because they are sort of  
2 demonstratives.

3 I guess my concern is that maybe an exhibit, like, say,  
4 I'm just going to use a random number, Exhibit 904, if they're  
5 going to use that, whether as a demonstrative or not, I think  
6 we should know that that's part of what they're going to be  
7 presenting so we have an opportunity to cross-examine and  
8 vice versa. That's, I think -- that's the point I was trying  
9 to impress.

10 **THE COURT:** So you're saying you don't want  
11 demonstratives that are not identified as exhibits to be  
12 disclosed?

13 **MR. KILARU:** I'd probably phrase it the other way,  
14 which is if an exhibit -- if something on the exhibit list is  
15 going to be used with the witness in any capacity, we think  
16 that that should be disclosed.

17 **THE COURT:** Yeah. That sounds fine.

18 **MS. MOORE:** And what we had done is we were disclosing  
19 to them what's on the exhibit list that's going to be entered  
20 into evidence. If we were just publishing --

21 **THE COURT:** Anything you're going to use.

22 **MS. MOORE:** Okay. All right. But we can do the  
23 demonstratives the night before instead of 48 hours?

24 **THE COURT:** Sure.

25 **MS. MOORE:** Okay.

## PROCEEDINGS

1           **THE COURT:** That's fine.

2           **MS. MOORE:** Thank you, Your Honor. I appreciate that.

3           **THE COURT:** Okay.

4           **MR. KILARU:** Thank you.

5           **THE CLERK:** Court is adjourned.

6           **MS. MOORE:** Your Honor, I apologize. We had a  
7 request -- I'm so sorry.

8           We had a request about bringing in an extra TV screen to  
9 show the documents for Dr. Portier's deposition because of the  
10 way it was filmed in Australia. We need to have one additional  
11 screen. I just want to make sure we had your permission to do  
12 that.

13           **THE CLERK:** I e-mailed you about this earlier today --

14           **MS. MOORE:** I'm sorry. I haven't checked my e-mail.  
15 Sorry.

16           **THE CLERK:** -- and there was a proposed order.

17           I e-mailed the whole group that was on there and it was  
18 due by 1:00 p.m. today a proposed order so he could review it,  
19 and that way they could get it in the building.

20           **MS. MOORE:** I apologize, Ms. Melen. Because I had  
21 been in court all day --

22           **THE COURT:** It doesn't sound like Portier is coming on  
23 tomorrow anyway so hopefully you can find the right time to get  
24 it done.

25           **THE CLERK:** Okay. We'll chat about a bunch of stuff

## PROCEEDINGS

1 anyway.

2 **MS. MOORE:** Thank you.

3 (Proceedings adjourned at 3:31 p.m.)

4 ---oOo---

5  
6  
7 CERTIFICATE OF REPORTERS

8 I certify that the foregoing is a correct transcript  
9 from the record of proceedings in the above-entitled matter.

10  
11 DATE: Monday, February 25, 2019

12  
13  
14 

15 \_\_\_\_\_  
16 Jo Ann Bryce, CSR No. 3321, RMR, CRR, FCRR  
17 U.S. Court Reporter

18  
19 

20 \_\_\_\_\_  
21 Marla F. Knox, RPR, CRR  
22 U.S. Court Reporter  
23  
24  
25