

# **Exhibit 10**

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

UNITED STATES DISTRICT COURT  
NORTHERN DISTRICT OF CALIFORNIA

IN RE: ROUNDUP )  
PRODUCTS LIABILITY ) MDL No. 2741  
LITIGATION )  
\_\_\_\_\_ ) Case No.  
THIS DOCUMENT RELATES ) 16-md-02741-VC  
TO ALL CASES )

TUESDAY, JANUARY 23, 2018  
CONFIDENTIAL - PURSUANT TO PROTECTIVE ORDER  
- - -

VIDEOTAPED DEPOSITION of LORELEI A.  
MUCCI, ScD, held at the offices of Cetrulo LLP,  
2 Seaport Lane, Boston, Massachusetts,  
commencing at 9:01, on the above date, before  
Maureen O'Connor Pollard, Registered Merit  
Reporter, Realtime Systems Administrator,  
Certified Shorthand Reporter.

- - -  
GOLKOW LITIGATION SERVICES  
877.370.3377 ph | 917.591.5672 fax  
deps@golkow.com

Page 2

1                   A P P E A R A N C E S :  
 2 ANDRUS WAGSTAFF, P.C.  
 3 BY: DAVID J. WOOL, ESQUIRE  
    david.wool@andruswagstaff.com  
    7171 West Alaska Drive  
    Lakewood, Colorado 80226  
    303-376-6360

5                   -and-

6                   THE MILLER FIRM LLC  
 7 BY: JEFFREY A. TRAVERS, ESQUIRE  
    jtravers@millerlawllc.com  
    108 Railroad Avenue  
    Orange, Virginia 22960  
    540-672-4224  
    Counsel for Plaintiffs

10                   HOLLINGSWORTH LLP  
 12 BY: ERIC G. LASKER, ESQUIRE  
    elasker@hollingsworthllp.com  
    1350 I Street, N.W.  
    Washington, DC 20005  
    202-898-5800  
    Counsel for Defendant Monsanto

15                   V I D E O G R A P H E R :  
 19 CHRISTOPHER COUGHLIN,  
 20 Golkow Technologies, Inc.  
 21                   - - -  
 22  
 23  
 24  
 25

Page 4

1                   P R O C E E D I N G S

2

3                   THE VIDEOGRAPHER: We are now on the  
 4 record. My name is Chris Coughlin, and I'm a  
 5 videographer for Golkow Technologies. Today's  
 6 date is January 23, 2018, and the time is  
 7 9:01 a.m.

8                   This video deposition is being held in  
 9 Boston, Massachusetts, In Re: Roundup Products  
 10 Liability Litigation, United States District  
 11 Court, Northern District of California, MDL  
 12 number 2741, Case Number 16-md-02741-VC.

13                   The deponent is Dr. Lorelei Mucci.  
 14 Will counsel please identify  
 15 yourselves and state whom you represent.

16                   MS. WOOL: David Wool of Andrus  
 17 Wagstaff for the plaintiffs.

18                   MR. TRAVERSE: Jeffrey Travers, The  
 19 Miller Firm, for the plaintiffs.

20                   MR. LASKER: Eric Lasker,  
 21 Hollingsworth LLP, for Monsanto.

22                   THE VIDEOGRAPHER: The court reporter  
 23 is Maureen O'Connor, and she will now swear in  
 24 the witness.

25                   MR. LASKER: Let me clarify, do we

Page 3

1                   I N D E X

EXAMINATION	PAGE
LORELEI A. MUCCI, ScD	
BY MR. WOOL	5

3

4                   E X H I B I T S

NO.	DESCRIPTION	PAGE
32-1	Supplemental Expert Report of Lorelei A. Mucci, ScD, MPH.....	5
32-2	Andreotti, et al article, Glyphosate Use and Cancer Incidence in the Agricultural Health Study.....	6
32-3	Blair, et al article, Reliability of Reporting on Life-Style and Agricultural Factors by a Sample of Participants in the Agricultural Health Study from Iowa.....	43
32-4	Heltshe, et al article, Using multiple imputation to assign pesticide use for non-responders in the follow-up questionnaire in the Agricultural Health Study.....	64

15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Page 5

1                   have anyone on the phone? We don't have  
 2 anything set up, so maybe we don't.

3

4                   LORELEI A. MUCCI, ScD,  
 5 having been first duly identified and sworn, was  
 6 examined and testified as follows:

7                   E X A M I N A T I O N

8                   B Y M R. W O O L:

9                   Q. Good morning, Dr. Mucci.  
 10                  A. Good morning.

11                  Q. How are you doing this morning?  
 12                  A. Fine. How are you?  
 13                  Q. Doing well.

14                  So we are here to talk about your  
 15 supplemental report, is that your understanding?

16                  A. Yes.

17                  Q. I'm going to go ahead and hand you  
 18 what I've marked as Exhibit 32-1.  
 19                  (Whereupon, Exhibit Number 32-1,  
 20 Supplemental Expert Report of Lorelei  
 21 A. Mucci, ScD, MPH, was marked for  
 22 identification.)

23                  MR. WOOL: Which is your supplemental  
 24 report that you authored pursuant to PTO 34 in  
 25 this litigation, is that correct?

Page 6

1 A. Yes.

2 Q. And if you don't know the pretrial  
3 order number, that's fine.

4 A. Okay.

5 Q. And does this report along with the  
6 original report that you authored contain all of  
7 your opinions on the Andreotti study that was  
8 just published, or is soon to be published in  
9 2018?

10 MR. LASKER: Objection to form.

11 A. It's based on my opinion in reading  
12 the most recent publication, as well as  
13 additional readings I've done, yes.

14 BY MR. WOOL:

15 Q. Okay. Let me go ahead and hand you  
16 what I've marked as Exhibit 2.  
17 (Whereupon, Exhibit Number 32-2,  
18 Andreotti, et al article, Glyphosate  
19 Use and Cancer Incidence in the  
20 Agricultural Health Study, was marked  
21 for identification.)

22 BY MR. WOOL:

23 Q. Which is the study in question.  
24 And so I guess my question is, does  
25 this supplemental report, which is Exhibit 1,

Page 7

1 together with your original report contain all  
2 of the opinions that you intend to offer  
3 relevant to Exhibit 2 that you have in front of  
4 you?

5 MR. LASKER: Objection to form.

6 A. There may be additional -- I tried to  
7 keep my report brief, and as such there may be  
8 specific topics I didn't cover. I raised the  
9 most important topics, and those are enclosed in  
10 my supplemental report.

11 BY MR. WOOL:

12 Q. As you sit here today, are there any  
13 opinions that you are aware of that you intend  
14 to offer about Exhibit 2 that are not contained  
15 in either Exhibit 1 or your original expert  
16 report?

17 A. I'll have to hear the questions and  
18 then -- it's not clear to me. There are  
19 additional readings that I've done since I  
20 submitted my report, and those are included in  
21 the information that you all have received. And  
22 there's a little bit more that I've learned  
23 about the topic, but the major points are  
24 covered in the supplemental report.

25 Q. When you say since you submitted your

Page 8

1 report, are you referring to Exhibit 1?

2 A. My supplemental report, Exhibit 1,  
3 yes.

4 Q. I just want to clarify.

5 All right. And did anybody help you  
6 in drafting Exhibit 1 other than, say, advice  
7 that you received from counsel?

8 A. No.

9 Q. You didn't receive any help from a  
10 grad student?

11 A. No.

12 Q. Did anybody summarize any articles for  
13 you?

14 A. No.

15 Q. Nobody -- okay.

16 And you said you had read a couple of  
17 new articles since you submitted that report,  
18 correct?

19 A. Yes.

20 Q. And were those provided to us pursuant  
21 to your notice of deposition?

22 A. I'm sorry, I don't understand the  
23 question.

24 Q. Let me clarify that.  
25 Do you recall offhand what additional

Page 9

1 materials you reviewed since submitting that  
2 report?

3 A. I've read a study, for example,  
4 published by Benbrook describing trends in  
5 glyphosate use over time. There's papers like  
6 that that I felt were relevant to my  
7 understanding of the epidemiology literature,  
8 particularly with respect to the Agricultural  
9 Health Study.

10 Q. Okay. And have you read any of the  
11 plaintiffs' depositions that were taken?

12 A. Yes.

13 Q. Which ones did you read?

14 A. I've read through Dr. Ritz and  
15 Dr. Neugut.

16 Q. Just those two?

17 A. Yes.

18 Q. And any of the plaintiffs' expert  
19 reports?

20 A. Yes.

21 Q. Do you recall which expert reports?

22 A. Yes. I read through Dr. Ritz, and I  
23 skimmed through Dr. Neugut. And I can't recall  
24 the other ones that I've skimmed through.

25 Q. That's fine.

Page 10

1 Okay. So let's talk about, I guess  
 2 we'll call it the Andreotti study, is that fair?  
 3 A. Yes.  
 4 Q. Exhibit 2.  
 5 A. Yes.  
 6 Q. Okay. So that study contained  
 7 information on both private and commercial  
 8 applicators, correct?  
 9 A. Yes.  
 10 Q. And there was a separate questionnaire  
 11 issued at enrollment for each subset, correct?  
 12 MR. LASKER: Object to the form.  
 13 A. I'm sorry, I don't understand the  
 14 question.  
 15 BY MR. WOOL:  
 16 Q. Okay. Have you reviewed the  
 17 questionnaires that the cohort members were  
 18 given at enrollment?  
 19 A. Yes.  
 20 Q. And do you recall if there was a  
 21 separate questionnaire for private applicators  
 22 and a different one for commercial applicators?  
 23 A. I don't recall that, no.  
 24 Q. Fair enough.  
 25 And following enrollment, everybody

Page 11

1 who was contained within the cohort received a  
 2 follow-up questionnaire at an approximate five  
 3 year interval, is that correct?  
 4 A. I'm sorry, could you restate the  
 5 question?  
 6 Q. So the cohort members were given a  
 7 questionnaire at enrollment, right?  
 8 A. Yes.  
 9 Q. And then there was a follow-up  
 10 questionnaire that was given at an approximate  
 11 five year interval?  
 12 A. Yes.  
 13 Q. And enrollment occurred in the early  
 14 '90s, correct, approximately?  
 15 A. I just want to confirm. So enrollment  
 16 was between 1993 to 1997.  
 17 Q. Okay. And then follow-up occurred  
 18 starting in approximately 1999?  
 19 A. Yes.  
 20 Q. To about 2005, correct?  
 21 A. Yes.  
 22 Q. And are you aware -- strike that.  
 23 Do you know what percentage of  
 24 respondents filled out their questionnaires in,  
 25 say, 1999 as opposed to, say, 2000, 2001, 2002,

Page 12

1 etcetera?  
 2 A. No, that information is not provided.  
 3 Q. Would that be important for you to  
 4 know?  
 5 A. The information that was provided in  
 6 the Andreotti study describes a five year time  
 7 period, and so that provided sufficient  
 8 information that on average the cohort filled  
 9 out the questionnaire five years between  
 10 baseline and follow-up.  
 11 Q. Is that information you would want to  
 12 know? To clarify, would you want to know when  
 13 the cohort members filled out their follow-up  
 14 questionnaire?  
 15 MR. LASKER: Objection to the form.  
 16 A. As I said, I think there's sufficient  
 17 information that's provided in the methods from  
 18 Andreotti, et al describing that it was a five  
 19 year time period between the baseline  
 20 questionnaire and the enrollment questionnaire.  
 21 BY MR. WOOL:  
 22 Q. So as you sit here today, when a  
 23 cohort member filled out their questionnaire is  
 24 not a piece of information you would be  
 25 interested in?

Page 13

1 MR. LASKER: Objection to form.  
 2 A. While it is important to understand  
 3 the timing of the questionnaire, I think there's  
 4 enough information that's provided in Andreotti,  
 5 et al to give a sense of the timing of the  
 6 baseline and follow-up questionnaire being five  
 7 years.  
 8 BY MR. WOOL:  
 9 Q. Okay. And in the follow-up  
 10 questionnaire, the cohort was asked to report  
 11 the number of days a pesticide was used in the  
 12 most recent year, correct?  
 13 A. Yes.  
 14 Q. And that answer was used to determine  
 15 three metrics that are used in the Andreotti  
 16 study?  
 17 MR. LASKER: Objection to form.  
 18 A. Could you clarify, three metrics?  
 19 BY MR. WOOL:  
 20 Q. So the follow-up questionnaire was  
 21 used to determine ever-never use along with the  
 22 enrollment questionnaire, correct?  
 23 A. Yes.  
 24 Q. It was used to determine lifetime days  
 25 of use?

Page 14

1 A. Yes.

2 MR. LASKER: Object to form.

3 BY MR. WOOL:

4 Q. And the follow-up questionnaire was

5 also used to determine the intensity of weighted

6 lifetime days of use?

7 MR. LASKER: Object to form.

8 A. The information for both

9 questionnaires was integrated into the lifetime,

10 weighted lifetime intensity measure, yes.

11 BY MR. WOOL:

12 Q. So if a cohort member had not used

13 glyphosate prior to enrollment, ever-never use

14 for that member would be calculated from the

15 follow-up questionnaire, correct?

16 MR. LASKER: Object to the form.

17 A. I'm sorry, I don't understand the

18 specific question.

19 BY MR. WOOL:

20 Q. Okay. So, for example, if a cohort

21 member had never used glyphosate at or prior to

22 enrollment -- right?

23 A. Yes.

24 Q. -- the ever-never use that's

25 calculated in Andreotti would be dependent upon,

Page 15

1 I guess, both enrollment and then the follow-up

2 questionnaire, right?

3 MR. LASKER: Object to the form.

4 A. Both pieces of information were

5 integrated in determining ever-never exposure as

6 well as the intensity measures as well.

7 BY MR. WOOL:

8 Q. And so if a cohort member did not use

9 glyphosate at enrollment or in the year prior to

10 follow-up, the follow-up questionnaire would

11 show that member as never having used

12 glyphosate, correct?

13 MR. LASKER: Object to the form.

14 A. I'm sorry, could you repeat the

15 question?

16 BY MR. WOOL:

17 Q. Yes.

18 So if somebody enrolled in the AHS

19 study --

20 A. Yes.

21 Q. -- and they did not use glyphosate

22 prior to enrollment --

23 A. Yes.

24 Q. -- and then they did not use

25 glyphosate prior to the follow-up year, the

Page 16

1 results of Andreotti would show that participant

2 as never having used glyphosate?

3 MR. LASKER: Objection to form.

4 A. So just to -- so if a person had -- so

5 the information on ever-never use gets updated

6 across time because you have these two points of

7 information, and so the information on

8 ever-never exposure is based on the baseline

9 questionnaire, and then it's updated information

10 on the follow-up questionnaire, which is a

11 pretty standard epidemiological approach to

12 integrating a time varying exposure.

13 BY MR. WOOL:

14 Q. And I think I've asked this, but the

15 follow-up questionnaire only inquired as to the

16 previous calendar year of use of a pesticide,

17 correct?

18 MR. LASKER: Object to form.

19 A. Yes. The follow-up questionnaire

20 asked about the prior year of use, which is

21 actually a pretty standard epidemiological

22 approach to asking follow-up questionnaires.

23 You like to give a reference time point for

24 participants to answer whether or not they have

25 participated in an exposure.

Page 17

1 BY MR. WOOL:

2 Q. So if somebody had used glyphosate

3 after enrollment but did not use glyphosate in

4 the calendar year immediately preceding

5 follow-up, would the follow-up questionnaire

6 have captured that glyphosate use?

7 MR. LASKER: Objection to form.

8 A. While that particular individual would

9 have been classified as being unexposed at both

10 time points, that would represent likely a very

11 unlikely scenario, a very low proportion of

12 participants.

13 BY MR. WOOL:

14 Q. And --

15 A. And would suggest actually that the

16 majority of their person time actually was spent

17 as unexposed, which would be appropriate, since

18 they would have only used a very short window of

19 time between the baseline questionnaire and the

20 follow-up questionnaire.

21 Q. Okay. And I believe you said that

22 that -- strike that.

23 How were lifetime days of use

24 calculated in the Andreotti study?

25 A. The information that was used to

Page 18

1 calculate lifetime days of use included the  
 2 number of years an individual was using  
 3 glyphosate and the number of days of use per  
 4 year that it was being used.  
 5 Q. And in determining the number of days  
 6 per year of use for the -- strike that.  
 7 So it is a combination of the days of  
 8 use reported in both the enrollment  
 9 questionnaire and at follow-up, correct?  
 10 A. So again, it's a time varying  
 11 exposure, so the information sort of gets --  
 12 they're at -- you have the baseline information,  
 13 and then it gets updated again based on the  
 14 follow-up information. So it's sort of a -- the  
 15 way the questionnaires were -- the data from the  
 16 questionnaires were integrated in terms of the  
 17 number of days of use and the lifetime days  
 18 allows this time varying exposure to be  
 19 calculated.  
 20 Q. Now, you just used the term "time  
 21 varying exposure."  
 22 A. Yes.  
 23 Q. What do you mean by that term?  
 24 A. It means, there are some things in  
 25 epidemiology that are fixed, someone's sex,

Page 19

1 someone's genetic susceptibility. There are  
 2 other things where the exposures can vary over  
 3 time, smoking for example, someone may be  
 4 smoking at one time point and then may stop  
 5 smoking at the second time point, so things that  
 6 can -- whose exposure the prevalence can vary  
 7 over time is a time varying exposure.  
 8 Q. All right. And the Andreotti study  
 9 also calculated intensity weighted lifetime days  
 10 of use?  
 11 A. Yes.  
 12 Q. Correct?  
 13 Okay. And how is the intensity score  
 14 calculated, if you recall?  
 15 A. So the intensity -- there are several  
 16 publications, actually, which nicely show the  
 17 method by which the Agricultural Health Study  
 18 used different information on the use of  
 19 protective gear, information on the type of  
 20 spraying, whether they personally mixed. And  
 21 there are a number of really -- one of the  
 22 strengths of the Agricultural Health Study is  
 23 the fact that it uses validated algorithms to  
 24 calculate this weighted intensity data and show  
 25 that it has a very good validity.

Page 20

1 So while I don't -- I couldn't tell  
 2 you the exact formula, I do know in reading the  
 3 epidemiology literature on this topic that they  
 4 really used a validated algorithm for  
 5 calculating the intensity weighted days.  
 6 Q. What do you mean by "validated  
 7 algorithm"?  
 8 A. The approach that the Agricultural  
 9 Health Study took was to compare the information  
 10 from the questionnaire algorithm versus a  
 11 biological marker to compare how well, and there  
 12 was a first formula that was used, and then it  
 13 was actually revised based on additional  
 14 information on how well it predicted the urinary  
 15 markers.  
 16 Q. Okay. Now, if you look at Exhibit 2,  
 17 at the top of the second page, on the right-hand  
 18 column the authors state that "the intensity  
 19 score was derived from an algorithm based on  
 20 literature-based measurements and information  
 21 provided by the applicator, specifically whether  
 22 the participant mixed or applied pesticides,  
 23 prepared pesticide related equipment, used  
 24 protective equipment, and application method  
 25 used."

Page 21

1 Are you following me?  
 2 A. Yes. That's the -- I was just  
 3 referring to -- so that was the -- based on the  
 4 algorithm that Dr. Coble had examined and then  
 5 had -- so it was based -- there was an earlier  
 6 algorithm they had developed which was used  
 7 actually in the first Agricultural Health Study,  
 8 and then they've actually refined this  
 9 algorithm, and this is what was used in this  
 10 updated publication of Andreotti, et al. And so  
 11 it actually -- the way that they tested whether  
 12 the updated algorithm improved the information  
 13 on intensity weighted was using urinary based  
 14 biomarkers, so it's listed by Coble, et al.  
 15 Q. And the authors state the algorithm  
 16 was based on literature-based measurements,  
 17 correct?  
 18 A. Yes. So I believe that was based on  
 19 the Dosemici algorithm. But again, so they  
 20 started -- used that as a starting point, and  
 21 then they further refined it based on their own  
 22 questionnaire and tried to really optimize the  
 23 intensity weighted measure within the  
 24 Agricultural Health Study.  
 25 Q. And is that what they mean when they

Page 22

1 say literature-based measurements?  
 2 MR. LASKER: Objection to form.  
 3 A. I'm not sure what they mean by  
 4 literature-based measurements. But what I  
 5 believe in reading all the past publications,  
 6 and if you read the Coble publication, it  
 7 describes in detail the approach that they took  
 8 starting with this baseline algorithm, and then  
 9 refining the algorithm using additional  
 10 components from the questionnaire, and then they  
 11 tested that within the Coble study to compare it  
 12 for two of the pesticides, compared and show  
 13 that the algorithm -- the new algorithm actually  
 14 improved the prediction with the biomarker  
 15 compared with the older algorithm.  
 16 So I'm not sure specifically what they  
 17 meant there by the literature base, but if you  
 18 read through the Coble study that's, in fact,  
 19 the process they used.  
 20 BY MR. WOOL:  
 21 Q. Okay. And in calculating the  
 22 intensity score, they also based that  
 23 calculation upon information provided by the  
 24 applicator, correct?  
 25 A. It was the information that was

Page 23

1 provided in the first and second questionnaires.  
 2 Q. Okay. And specifically whether the  
 3 participant mixed or applied pesticides?  
 4 A. There were a variety of factors  
 5 actually. That was one of the factors, but  
 6 there were a variety of factors that went into  
 7 the algorithm.  
 8 Q. And one of those was whether the  
 9 applicator used protective equipment, correct?  
 10 A. Yes. There were actually several  
 11 features, though. What was interesting to see  
 12 in the Coble study was the importance of  
 13 including these multiple measures in the  
 14 intensity weighted algorithm.  
 15 Q. And the questionnaire simply asked  
 16 whether personal protective equipment was used  
 17 when mixing, correct?  
 18 MR. LASKER: Object to the form.  
 19 A. I'm sorry, I don't recall the specific  
 20 wording of the questionnaire.  
 21 BY MR. WOOL:  
 22 Q. Let me ask this.  
 23 Do you recall whether the  
 24 questionnaire asked whether personal protective  
 25 equipment was used specifically for mixing or

Page 24

1 applying glyphosate?  
 2 A. I'm sorry, I don't remember the exact  
 3 wording of those questions.  
 4 Q. Is the use of personal protective  
 5 equipment something that could affect exposure?  
 6 MR. LASKER: Objection to form.  
 7 A. In the Coble publication, that really  
 8 describes in detail the algorithm. That's one  
 9 of the factors that's used in the algorithm.  
 10 And because it's felt that it's one of several  
 11 factors, that may influence the actual intensity  
 12 of the exposure. So it is, in fact, one of many  
 13 variables that goes into the algorithm.  
 14 BY MR. WOOL:  
 15 Q. And do you know if the questionnaire  
 16 asked whether somebody used personal protective  
 17 equipment generally for applying all pesticides?  
 18 A. I'm sorry, if you have the  
 19 questionnaire I could take a look at it. I just  
 20 don't recall the specifics of how the questions  
 21 were asked.  
 22 Q. And I think the last part, and I might  
 23 be mistaken on this about the intensity score,  
 24 is that it weighed the application method used  
 25 by the applicator, is that correct?

Page 25

1 MR. LASKER: Objection to form.  
 2 A. I'm sorry, I don't understand the  
 3 question.  
 4 BY MR. WOOL:  
 5 Q. Did the intensity score incorporate  
 6 the specific application method used in applying  
 7 pesticides, if you recall?  
 8 A. I believe that it did, yes. There  
 9 were several factors that went into the  
 10 intensity weighted score. If you have the  
 11 publication by Coble, et al we could take a look  
 12 and look at specifically, but I believe that is  
 13 the case.  
 14 Q. We might get to that in a little bit.  
 15 So in effect what the authors of  
 16 Andreotti did with the follow-up questionnaire  
 17 was use the last year of use, and use the  
 18 information gathered from that to determine the  
 19 previous five years of use, is that fair?  
 20 A. So the -- as I'd mentioned previously,  
 21 it's pretty standard in an epidemiological  
 22 questionnaire to provide some sort of reference  
 23 year. And so the way the information on  
 24 ever-never was assessed, as well as the days and  
 25 years of use was updated, so you have

Page 26

1 information that was the baseline, and then it  
 2 was updated with the second questionnaire.  
 3 Q. So based on the second questionnaire  
 4 and the answers that were given in that  
 5 questionnaire, did the authors use those answers  
 6 to essentially predict what the use would have  
 7 been for the five years prior to the  
 8 questionnaire?  
 9 MR. LASKER: Objection to form.  
 10 A. I'm not sure I understand specifically  
 11 your question. Are you trying -- could you  
 12 clarify your question?  
 13 BY MR. WOOL:  
 14 Q. I can clarify it.  
 15 So at follow-up, the follow-up  
 16 questionnaire, we agreed, only asked about the  
 17 year immediately prior to follow-up, correct?  
 18 A. Correct.  
 19 Q. And did the authors use that  
 20 information to predict what the use would have  
 21 been for the years between enrollment and  
 22 follow-up?  
 23 A. The -- if somebody was using  
 24 glyphosate at the enrollment questionnaire and  
 25 then not using glyphosate at the follow-up

Page 27

1 questionnaire, and they talked about the year  
 2 prior, then that person would have been  
 3 classified appropriately as exposed up until the  
 4 second questionnaire, and then would be assigned  
 5 as unexposed from the year before and going  
 6 forward. Does that make sense?  
 7 So the information -- yeah, so I  
 8 think -- yeah. I'm not sure if I'm answering  
 9 the question specifically.  
 10 Q. If I use glyphosate for -- let's say  
 11 five times a year for the year immediately prior  
 12 to enrollment --  
 13 A. Yes.  
 14 Q. -- in calculating my lifetime days of  
 15 use, how would the authors use that information?  
 16 MR. LASKER: Objection to form.  
 17 A. So I think you would have to also  
 18 account for the baseline information. So again,  
 19 what we're thinking about is a follow-up forward  
 20 in time, so they would use that information,  
 21 they use the information on the baseline  
 22 questionnaire up until, and then updated the  
 23 information based on the follow-up questionnaire  
 24 which is, again, like standard epidemiological  
 25 approach that you would take for looking at an

Page 28

1 exposure that may or may not vary over time.  
 2 BY MR. WOOL:  
 3 Q. Okay. And if we turn to, I believe,  
 4 Page 3 of the Andreotti study. Actually, sorry,  
 5 Page 4, Table 2.  
 6 The quartiles that are provided are  
 7 based on the intensity weighted lifetime days of  
 8 glyphosate use, correct?  
 9 A. Yes.  
 10 Q. And quartile 1 being the least amount  
 11 of use, correct?  
 12 A. So the way the quartiles are formed,  
 13 it divides those who were exposed, it divides  
 14 those groupings into four equal groupings. So,  
 15 yes, the quartile 1 would be those who have used  
 16 glyphosate but have less use, and quartile 4  
 17 would be the ones who are using glyphosate with  
 18 the most use.  
 19 Q. And quartile 2 and 3 would be -- would  
 20 show increasing use?  
 21 A. Correct.  
 22 Q. Okay. Now, would you expect to see  
 23 some random error in a cohort of this size?  
 24 A. I'm sorry, with respect to what?  
 25 Q. With respect to the exposure

Page 29

1 information that was provided by the cohort  
 2 members.  
 3 A. I'm sorry, could you clarify what you  
 4 mean by "random error"?  
 5 Q. You've heard the term random error  
 6 before?  
 7 A. As an epidemiological concept, random  
 8 error in terms of chance, or random error in  
 9 terms of misclassification?  
 10 Q. In terms of either.  
 11 MR. LASKER: Objection to form.  
 12 A. Have I -- so I guess, I think, in my  
 13 mind random error is a vague term, so I think if  
 14 you could ask me specifically what type of error  
 15 you're referring to when you ask me if there's  
 16 random error.  
 17 BY MR. WOOL:  
 18 Q. With respect to chance, what does  
 19 random error mean to you as an epidemiologist?  
 20 A. Random -- the role of chance implies  
 21 that you have a -- there's a true measure of the  
 22 relative risk, and then based on random sampling  
 23 you might get a certain distribution around that  
 24 true relative risk. And the larger study that  
 25 you have, and the larger number of cases you

Page 30

1 have, as we have here, then that -- the  
 2 likelihood that random error is playing a role  
 3 actually decreases substantially.  
 4 Q. So if I understand your answer  
 5 correctly, the larger the study the less the  
 6 likelihood of random error, correct?  
 7 MR. LASKER: Objection to form.  
 8 A. There's actually several factors that  
 9 go into whether or not you think random error is  
 10 playing a role, or the role of chance. So the  
 11 size of the study, the number of cases, the  
 12 number of exposed cases, all of those are  
 13 factors that go into the role of changes. So  
 14 the larger the study, the more cases you have,  
 15 and the higher the problems of exposed cases you  
 16 have, all of those will lower the likelihood,  
 17 and this is the case here we have in Andreotti.  
 18 BY MR. WOOL:  
 19 Q. Do you know if the participants in the  
 20 cohort were allowed to take their questionnaires  
 21 home prior to filling them out?  
 22 A. I'm sorry, I don't know that answer.  
 23 Q. Do you know if they were allowed to  
 24 cross-reference their purchase records?  
 25 A. I'm sorry, I don't know that answer.

Page 31

1 Q. Do you think that the data would have  
 2 been more reliable if they had been allowed to  
 3 cross-reference their purchase records?  
 4 A. I'm not sure one way or the other.  
 5 What I do know was given the way the  
 6 questionnaire was given, there was actually some  
 7 validation studies that were done to show the  
 8 information the way they provided it was highly  
 9 reliable. So there was a sample of about 4,000  
 10 of the participants who happened, because of the  
 11 regulations of the applicators came back a year  
 12 after they had filled out the baseline  
 13 questionnaire, and then they filled out the same  
 14 information, and then there was a reliability  
 15 study and said how reliable was the information  
 16 they gave a year ago with what they gave now,  
 17 and that actually showed high reliability.  
 18 So I think -- I'm not sure what they  
 19 had done and whether they were able to take the  
 20 questionnaire home, but what I do know is based  
 21 on the way the questionnaire was given the  
 22 results seemed to be very reliable in reporting  
 23 of glyphosate.  
 24 Q. And the study that you described in  
 25 your answer, that is the Blair 2002 study, if

Page 32

1 I'm not mistaken, is that correct?  
 2 A. I believe it was Blair 2001.  
 3 Q. Blair 2001?  
 4 A. Yes.  
 5 Q. And are there any other validation  
 6 studies that you're relying upon that you  
 7 believe indicates that the answers given at  
 8 enrollment were accurate?  
 9 A. Yes, there was another nice  
 10 publication. Again, one of the really nice  
 11 things about the Agricultural Health Study is  
 12 that there are so many publications they've done  
 13 looking at the potential for bias, and I think  
 14 the Agricultural Health Study, in particular, is  
 15 a really nice example of epidemiology.  
 16 But another study they did was to  
 17 compare when different pesticides came on the  
 18 market, and then sort of did a -- you know, did  
 19 anybody report using glyphosate or other  
 20 pesticides prior to when they actually had come  
 21 on the market. So again, that's another kind of  
 22 test of the reliability of the data. And that  
 23 actually also showed very low likelihood of  
 24 people reporting a number of these pesticides,  
 25 including glyphosate, before they ever came on

Page 33

1 the market. So that's another kind of proof of  
 2 principle that the information is quite  
 3 reliable.  
 4 Q. Do you have any experience collecting  
 5 occupational data, such as pesticide exposures,  
 6 for any of your own publications?  
 7 MR. LASKER: Object to the form.  
 8 A. While I haven't collected information  
 9 on pesticides exposure, I've been involved in  
 10 multiple, multiple studies collecting a wide  
 11 array of data. There are a number of  
 12 commonalities in the collection of  
 13 epidemiological data, so I'm very familiar with  
 14 the principles of epidemiology data collection.  
 15 BY MR. WOOL:  
 16 Q. So for any of those studies that you  
 17 just described, did any of these studies involve  
 18 occupational exposures?  
 19 A. I'm sorry, could you clarify the  
 20 question?  
 21 Q. Did they involve exposures to a  
 22 chemical of some sort that somebody was exposed  
 23 to during the course of their occupation?  
 24 A. I'm sorry, which studies are you  
 25 referring to?

Page 34

1 Q. You just said that you had been --  
 2 A. My own studies.  
 3 Q. Yes.  
 4 A. Sorry.  
 5 So again, as I said, I have not been  
 6 involved in the collection of occupational data.  
 7 However, I have been involved in a wide array of  
 8 epidemiological risk factors. Each of these  
 9 have a number of common principles. I think the  
 10 reliability of information is valid, whether  
 11 it's a dietary factor or occupational factor or  
 12 body mass index. So reliability is a well  
 13 standard epidemiological principle for assessing  
 14 the quality of exposure information.  
 15 Q. Have you ever been involved in the  
 16 design of a questionnaire for occupational  
 17 exposure studies?  
 18 A. As I had just mentioned, I haven't  
 19 been involved in studies of occupational based  
 20 exposures. However, I have been involved in  
 21 multiple -- design of multiple questionnaires in  
 22 a range of study populations.  
 23 Q. Have you ever been involved in the  
 24 validation of any questionnaires relevant to  
 25 occupational exposures?

Page 35

1 MR. LASKER: Object to the form.  
 2 A. As I've said, I haven't been involved  
 3 in the design or validation. However, there are  
 4 some very common principles of assessing the  
 5 quality of data collection, and I think I can --  
 6 although I haven't been involved in the design  
 7 or specific validation of pesticides, I can look  
 8 at the epidemiology literature, I can look at  
 9 the study of Blair 2001 and Hoppin that show the  
 10 quality of the occupational -- or the pesticide  
 11 data that was collected in the Agricultural  
 12 Health Study seemed to be very reliable.  
 13 Q. Okay. And the questionnaires asked  
 14 about -- strike that.  
 15 The Agricultural Health Study  
 16 questionnaires didn't actually evaluate  
 17 exposure, did they? They asked about use of a  
 18 pesticide and used some other factors, like  
 19 whether protective equipment was worn, etcetera,  
 20 to sort of determine exposure, right?  
 21 MR. LASKER: Objection to form.  
 22 A. I'm not sure what you mean by  
 23 "exposure."  
 24 BY MR. WOOL:  
 25 Q. Well, so the Andreotti study

Page 36

1 determined the exposure by looking at the  
 2 frequency of glyphosate use, correct?  
 3 MR. LASKER: Objection to form.  
 4 A. The Andreotti study used a wide array  
 5 of factors, including the number of years of  
 6 use, the number of days of use, the different  
 7 use of protective gear. There are a number of  
 8 factors in the algorithm that went into this  
 9 classification of intensity of days use,  
 10 weighted intensity days use.  
 11 BY MR. WOOL:  
 12 Q. Do you recall whether the  
 13 questionnaire asked specific questions about the  
 14 methods of glyphosate application?  
 15 A. I'm sorry, I don't recall that.  
 16 MR. LASKER: Objection to form.  
 17 BY MR. WOOL:  
 18 Q. Do you know whether the methods of  
 19 application can determine actual pesticide  
 20 exposure?  
 21 MR. LASKER: Objection to form.  
 22 A. I'm sorry, I'm not -- that's not my --  
 23 necessarily my area of expertise. Again, I'm  
 24 not sure how the specific questions on  
 25 glyphosate were collected on the questionnaire.

Page 37

1 BY MR. WOOL:  
 2 Q. Okay. Do you know if the AHS study  
 3 examined the correlation between the methods of  
 4 application and the prevalence of non-Hodgkin's  
 5 lymphoma?  
 6 MR. LASKER: Objection to form.  
 7 A. I'm sorry, I don't understand your  
 8 question.  
 9 BY MR. WOOL:  
 10 Q. So the AHS study gathered information  
 11 about the method of application, correct?  
 12 MR. LASKER: Which study?  
 13 MR. WOOL: Sorry, the Andreotti study,  
 14 my apologies.  
 15 MR. LASKER: Start again.  
 16 BY MR. WOOL:  
 17 Q. So the Andreotti study collected data  
 18 on the method of application, correct?  
 19 A. By "method," you mean whether it was  
 20 aerial spraying?  
 21 Q. Correct.  
 22 A. Yes.  
 23 Q. And do you know if the Andreotti study  
 24 looked at the correlation between that  
 25 information and the prevalence of non-Hodgkin's

Page 38

1 lymphoma in the study population?  
 2 A. I don't recall reading any specific  
 3 study looking at that, no.  
 4 Q. Okay.  
 5 A. But actually, you know, I think what  
 6 the study by Coble showed actually was that they  
 7 developed -- and following up on the publication  
 8 of Dosemici, is that this algorithm that they  
 9 developed and tested in a number of different  
 10 studies that have been published by authors  
 11 involved in the Agricultural Health Study show  
 12 this updated algorithm that integrated multiple  
 13 pieces of information into the algorithm really  
 14 seemed to perform the best in terms of  
 15 predicting exposure to glyphosate, or the  
 16 intensity of exposure to glyphosate.  
 17 Q. And in the Andreotti study, the cohort  
 18 members were selected because they applied for  
 19 licenses to use restricted use pesticides, is  
 20 that correct?  
 21 A. I believe that they were -- let me  
 22 just refer to it. Yes, they were seeking  
 23 licenses to apply restricted use pesticides when  
 24 they were enrolled.  
 25 Q. And what is a restricted use

Page 39

1 pesticide?  
 2 A. I'm not familiar with that term. I'm  
 3 not sure what they mean by that specifically.  
 4 Q. Okay. Let's turn to Page 7 of your  
 5 report, which is Exhibit 2, and in the second  
 6 paragraph you note that "potential limitations  
 7 of the study" -- which is the Andreotti study,  
 8 which is Exhibit 1 in this deposition --  
 9 "include the possibility of non-differential  
 10 misclassification of glyphosate-based herbicide  
 11 exposure."  
 12 Did I read that correctly?  
 13 A. Yes.  
 14 Q. And just so we're clear, how would you  
 15 define non-differential misclassification?  
 16 A. In this particular context what I mean  
 17 is that if there is measurement error in  
 18 glyphosate exposure, it's unrelated to the  
 19 outcome of non-Hodgkin's lymphoma. And that's  
 20 one of the strengths of a cohort study.  
 21 In contrast, a differential  
 22 misclassification can occur sometimes in  
 23 case-control studies because the reporting of  
 24 the information on the exposure may be  
 25 influenced by the outcome itself. It's a

Page 40

1 measure of recall bias.  
 2 Q. And it's your opinion that  
 3 non-differential exposure misclassification is a  
 4 potential limitation of the Andreotti study,  
 5 correct?  
 6 A. What I said is in epidemiology, it's a  
 7 standard approach. We want to say if we see a  
 8 finding that's null, we want to try to  
 9 understand whether bias confounding or chance  
 10 were playing a role. One factor that we might  
 11 be concerned about is non-differential  
 12 misclassification because it would tend to bias  
 13 a finding to the null.  
 14 Q. Okay. And if we turn to Page 3 of  
 15 your report, I believe you actually talk about  
 16 that potential limitation.  
 17 A. Yes.  
 18 Q. Now, is it your opinion that some  
 19 exposure misclassification did occur in the  
 20 Andreotti study?  
 21 A. It's possible that there is some  
 22 misclassification, non-differential  
 23 misclassification of glyphosate-based exposure.  
 24 However, there's a number of lines of data that  
 25 would suggest that the amount of

Page 41

1 misclassification is probably not large, and  
 2 that's -- as I'd mentioned earlier, it's based  
 3 on the Hoppin publication, based on the Blair  
 4 2001 publication showing the very reliable  
 5 information. It's based on the algorithm  
 6 developed by Coble and showing the validation  
 7 with urinary biomarkers. So all of these would  
 8 suggest that while there -- if there is -- it's  
 9 important not only to know if there is  
 10 misclassification, but the extent of the  
 11 misclassification, so if there is  
 12 misclassification it's likely to be small.  
 13 Q. So as you sit here today, can you tell  
 14 me whether there was some misclassification in  
 15 the Andreotti study?  
 16 A. While I can't necessarily say  
 17 definitively yes or no if there is  
 18 misclassification, it would -- the true relative  
 19 risk would actually have been more protective  
 20 than what we observed in the study which -- you  
 21 know, so again, what I can say definitively is  
 22 that non-differential misclassification did not  
 23 hide a positive association between  
 24 glyphosate-based herbicides and NHL risk, so  
 25 that I can say.

Page 42

1           Whether there is some non-differential  
 2 misclassification I can't exclude, but it would  
 3 not have led to a true relative risk being a  
 4 positive association in this study.  
 5           Q. So if some non-differential  
 6 misclassification did occur, is it your opinion  
 7 that the true relative risk would be even lower  
 8 than what's reported?  
 9           A. It's not my opinion, it's actually a  
 10 standard epidemiological principle. So if you  
 11 have -- as I've shown in my figure 1 in my  
 12 report, it's a mathematical relationship. If  
 13 you have a relative risk that you observe that's  
 14 less than 1, and you have non-differential  
 15 misclassification, then the true relative risk  
 16 would actually be even smaller than 1, than what  
 17 you observed away from 1. So it's just a  
 18 mathematical relationship. So it's not my  
 19 opinion, but it's actually an epidemiological  
 20 principle.  
 21           Q. And so if, just to be clear, if some  
 22 exposure of misclassification did occur, then  
 23 the true relative risk reported in the Andreotti  
 24 study would, in fact, be lower than what is  
 25 reported, which I think you point out as .86?

Page 43

1           A. Yes.  
 2           Q. Okay. And you discuss some of the  
 3 validation studies that show that the cohort  
 4 provides reliable information?  
 5           A. Yes.  
 6           Q. And it is on the basis of some of  
 7 those validation studies that you are able to  
 8 surmise that the percent of exposure  
 9 misclassification was low, I think -- strike  
 10 that, actually.  
 11           Okay. You cited to the 2001 Blair  
 12 paper to support the proposition that exposure  
 13 misclassification was limited in the Andreotti  
 14 study, correct?  
 15           A. Yes.  
 16           Q. Okay. Let's go ahead and take a look  
 17 at this.  
 18           I'm marking Blair 2001 study as  
 19 Exhibit 3.  
 20           (Whereupon, Exhibit Number 32-3,  
 21 Blair, et al article, Reliability of  
 22 Reporting on Life-Style and  
 23 Agricultural Factors by a Sample of  
 24 Participants in the Agricultural  
 25 Health Study from Iowa, was marked for

Page 44

1           identification.)  
 2           BY MR. WOOL:  
 3           Q. Okay. Just briefly, can you explain  
 4 what Blair did to determine the extent of  
 5 exposure misclassification?  
 6           A. Yes. So there were data available  
 7 from about 4,000 of the participants who filled  
 8 out a baseline questionnaire in the Agricultural  
 9 Health Study who actually came in a year later  
 10 and filled out the same exact questionnaire, and  
 11 so the authors compared how reliable the  
 12 information was between those two  
 13 questionnaires. And reliability is an  
 14 established methodology for assessing the  
 15 quality of epidemiological data from  
 16 questionnaires. So they compared the exact  
 17 agreement between these two questionnaires.  
 18           Q. Okay. If you turn to Page 95, Table  
 19 1.  
 20           A. Yes.  
 21           Q. You will see that they have what they  
 22 describe as a comparison of dichotomous  
 23 responses on pesticide use between first and  
 24 second questionnaires, correct?  
 25           A. Yes.

Page 45

1           Q. And they actually break down how  
 2 individual pesticides or herbicides fared in  
 3 terms of exact agreement, correct?  
 4           A. Yes.  
 5           Q. And Table 1 examines ever-never use,  
 6 is that correct?  
 7           A. Yes.  
 8           Q. And for glyphosate, the exact  
 9 agreement between the first and second  
 10 questionnaire is 82 percent, is that correct?  
 11           A. Yes.  
 12           Q. Okay. And what is the kappa statistic  
 13 measuring?  
 14           A. So the kappa statistic takes into  
 15 account the role that chance might play in the  
 16 fact that two people say the same thing on the  
 17 two different questionnaires. So, you know, if  
 18 -- with glyphosate you have fairly high  
 19 prevalence of the exposure and therefore just by  
 20 chance you may have two people saying they used  
 21 glyphosate on the two different questionnaires,  
 22 so the kappa statistic basically adjusts for the  
 23 prevalence of the exposure in leading to  
 24 concordant answers.  
 25           Q. And further down in Table 1 they

Page 46

1 provide these same calculation using method of  
 2 application, correct?  
 3 A. Yes.  
 4 Q. And, for example, the exact agreement  
 5 with hand-spraying on application is 72 percent,  
 6 correct?  
 7 A. Yes.  
 8 Q. And depending on what type of  
 9 application method was used, there are kind of a  
 10 range of different figures for exact agreement,  
 11 correct?  
 12 A. Yes, yes. So they ranged from  
 13 72 percent up to 99 percent.  
 14 Q. Now, does the Blair paper indicate to  
 15 you that use of a pesticide in any given year  
 16 can be used to determine -- strike that.  
 17 Is it your opinion that the Blair  
 18 paper demonstrates that use of a pesticide in  
 19 any given year can accurately predict the  
 20 frequency of pesticide application in another  
 21 year?  
 22 MR. LASKER: Objection to form.  
 23 A. So what this tells us is about the  
 24 reliability of the quality of the information  
 25 that's provided. It doesn't -- it gives you

Page 47

1 some sense of what the quality of  
 2 epidemiological data is. That's what this paper  
 3 is telling us.  
 4 BY MR. WOOL:  
 5 Q. And when you say "quality," does that  
 6 include whether the information is reliable?  
 7 A. Exactly, yes.  
 8 Q. Now if you turn the page over to  
 9 Page 96, and you look at Table 2, Table 2 is  
 10 telling us the agreement between the days per  
 11 year of pesticide use mixed and applied,  
 12 correct?  
 13 A. It tells us a number of different  
 14 measures, including years mixed, days per year,  
 15 and decade first applied, yes.  
 16 Q. Okay. And if we look at glyphosate  
 17 and the days per year mixed or applied, the  
 18 exact agreement provided by Blair 2001 is  
 19 53 percent, correct?  
 20 A. Actually that's the years mixed or  
 21 applied is 53 percent.  
 22 Q. I'm sorry, yes.  
 23 A. Yes. And while that is true, if you  
 24 look further in the text, what's important to  
 25 note is that 90 percent of the subjects gave

Page 48

1 responses actually within one category of  
 2 agreement. I think that's really an important  
 3 feature about -- you know, while it's true that  
 4 we may in epidemiology be unable to tell with  
 5 complete specificity the exact number of days  
 6 that somebody has used glyphosate or the number  
 7 of years they've applied, what this tells us  
 8 here is that we're able to appropriately rank  
 9 people as either high, low, or not exposed.  
 10 And so I think that's an important  
 11 feature as well. So it's not only what's the  
 12 exact agreement in terms of the number of years  
 13 mixed, but also, you know, was it -- if the  
 14 categories were so disparate, then you're right,  
 15 then you might be a little bit more concerned  
 16 about that percent agreement.  
 17 But the fact in the text where it says  
 18 90 percent of subjects give responses within one  
 19 category of agreement, that's really important  
 20 additional information. It suggests we can  
 21 appropriately rank people as high, low, or no  
 22 exposed.  
 23 Q. Okay. And if you look down below  
 24 Table 2, for years mixed or applied, the  
 25 categories are 1 or less, 2 to 5, 6 to 10, 11 to

Page 49

1 20, 21 to 30, and more than 30, correct?  
 2 A. Yes.  
 3 Q. Okay. And if we go down in Table 2 to  
 4 days per year mixed or applied, for glyphosate  
 5 the exact agreement reported in Blair is  
 6 52 percent, correct?  
 7 A. Yes. And we have the same point  
 8 below, which is that although it's -- the exact  
 9 agreement is 52 percent, that the categories  
 10 within one -- 90 percent of the responses were  
 11 within one category of agreement.  
 12 Q. And the categories for the days per  
 13 year of usage are less than 5, 5 to 9, 10 to 19,  
 14 20 to 39, 40 to 59, and 60 to 150 -- I'm sorry,  
 15 and more than 150, correct?  
 16 A. Correct. So what this tells us, then,  
 17 is that although the exact agreement of  
 18 somebody, for example, filling out 60 to 150 is  
 19 52 percent, it's highly unlikely that somebody  
 20 who used 60 to 150 would then on the second  
 21 questionnaire report less than 5. So I think  
 22 the fact that you have 90 percent agreement  
 23 within one category is a really important  
 24 feature of this study.  
 25 Q. But somebody could report, say, 150

Page 50

1 uses a year and then drop down to 40 years --  
 2 sorry, 40 uses per year --  
 3 A. But that --  
 4 Q. -- and that would be one category  
 5 apart, correct?  
 6 A. Oh, I see what you're saying. It's  
 7 possible, but we don't know exactly what the  
 8 difference was. We don't know the exact value,  
 9 because it's such a broad range there.  
 10 Q. Okay. Right. And so just what I want  
 11 to clarify is that the days per year mixed or  
 12 applied exact agreement figure is not telling us  
 13 that somebody might have used glyphosate one  
 14 more day per year, it's telling us that they are  
 15 in a different category, correct?  
 16 A. I'm sorry, I don't understand.  
 17 Q. Sorry, that was my fault. The  
 18 question was not clear at all.  
 19 And so what I'm asking is, the exact  
 20 agreement percentage does not -- is not looking  
 21 strictly at whether or not there's a slight  
 22 variation in agreement, it is, in fact, looking  
 23 at whether or not somebody is in a different  
 24 category, correct?  
 25 A. I'm sorry, I still don't understand

Page 51

1 specifically your question.  
 2 Q. The percentage of agreement is based  
 3 on which category a cohort member falls into,  
 4 correct?  
 5 MR. LASKER: Objection to form.  
 6 A. So in the case of days per year, the  
 7 percent exact agreement of 52 percent suggests,  
 8 then, that 52 percent of participants reported  
 9 being in the same category of days per year of  
 10 use on both questionnaires. And then the  
 11 follow-up is that 90 percent of the subjects  
 12 were within one category of exposure.  
 13 So again, you know, these are  
 14 categories of exposure, and suggesting that  
 15 we're able with this questionnaire to  
 16 appropriately rank people, and that's really the  
 17 goal of epidemiology.  
 18 BY MR. WOOL:  
 19 Q. And what is the known rate of error  
 20 for predicting frequency of glyphosate use using  
 21 this method in the Blair study?  
 22 MR. LASKER: Objection.  
 23 A. I'm sorry, I don't understand your  
 24 question.  
 25 BY MR. WOOL:

Page 52

1 Q. Okay. Let's go to Page 7 of your  
 2 expert report. And you state in the second  
 3 sentence of the second paragraph, "However,  
 4 validation studies" -- are you there?  
 5 A. Yes.  
 6 Q. Okay. "However, validation studies  
 7 within the Agricultural Health Study show that  
 8 these licensed applicators have been shown to be  
 9 able to provide reliable self-reported  
 10 information in this cohort." And then your cite  
 11 to that is this Blair study that we're looking  
 12 at in Exhibit 3.  
 13 A. Yes, that's what I say in my report,  
 14 yes.  
 15 Q. Are there any other cites or studies  
 16 that you rely upon to validate this opinion?  
 17 MR. LASKER: Objection to form. Asked  
 18 and answered.  
 19 A. As I had mentioned earlier, although I  
 20 didn't cite it here, another piece of  
 21 information that's quite helpful is the  
 22 publication by Hoppin which looked at comparing,  
 23 particularly for the baseline questionnaire,  
 24 when people reported when they first started  
 25 using different pesticides, the authors compared

Page 53

1 those -- they wanted to know what -- if it was  
 2 an issue that people were reporting starting use  
 3 of pesticides prior to when they came on the  
 4 market, which would suggest they were an  
 5 incorrect response. So that was another piece  
 6 of information that shows the reliability of the  
 7 information on exposure.  
 8 BY MR. WOOL:  
 9 Q. Okay. Can you turn to Page 98 of the  
 10 Blair article, please? Now, at the top of the  
 11 right-hand column, the authors note that  
 12 "Although the reliability" --  
 13 A. I'm sorry, you said at the top of the  
 14 right-hand --  
 15 Q. Top of the right-hand column on  
 16 Page 98.  
 17 A. Yes.  
 18 Q. The authors note that "Although the  
 19 reliability of reported pesticide use among  
 20 farmers is as good as, for many other factors,  
 21 assessed by questionnaires in epidemiological  
 22 research and better than for some variables it  
 23 is important to assess affects of potential  
 24 misclassification on estimates of relative risk.  
 25 If the level of agreement between the first and

Page 54

1 second interview is considered a measure of  
 2 non-differential misclassification, we can  
 3 calculate affects on relative risk. For  
 4 example, if the true relative risk was 4.0 in  
 5 non-differential misclassification for  
 6 ever-never handled individual pesticides is as  
 7 in Table 1 (from 79 percent to 88 percent  
 8 agreement), the calculated relative risk would  
 9 range from 2.0 to 2.6."  
 10 Did I read that correctly?  
 11 A. Yes, that is what it says. But I  
 12 think one important thing to remember is also  
 13 that the effect on the relative risk is also  
 14 going to be a function of the prevalence of the  
 15 exposure.  
 16 Q. So what do you mean by that, just so  
 17 I'm clear?  
 18 A. So if you -- if the prevalence of the  
 19 exposure is much lower, and you have the same  
 20 sort of agreement, you're going to see more  
 21 distortion in the relative risk than you would  
 22 with an exposure that's more common such as with  
 23 glyphosate, because the rare -- an exposure is  
 24 the more sensitive it is going to be to  
 25 misclassification on an absolute scale.

Page 55

1 Q. Okay. I just want to make sure I  
 2 understand what you're saying correctly.  
 3 You were saying that for more commonly  
 4 used pesticides that are not rare, that the  
 5 effect on the relative risk is not going to be  
 6 as sensitive?  
 7 A. I can't recall which year it was, but  
 8 I know Blair has another publication about  
 9 misclassification where the authors show the  
 10 effect of the amount of misclassification on the  
 11 relative risk as a function of the prevalence of  
 12 the exposure. I just don't recall specifically  
 13 what year that was.  
 14 Q. I think 2011 maybe.  
 15 A. Yes. Possibly, yes. So I think that  
 16 kind of shows the -- how those things are  
 17 interrelated with each other.  
 18 Q. Do you believe that it is impossible  
 19 for non-differential exposure misclassification  
 20 to conceal a true positive association?  
 21 MR. LASKER: Objection to form.  
 22 A. Could you ask the question again?  
 23 BY MR. WOOL:  
 24 Q. Yes. Do you believe that it is  
 25 impossible for non-differential

Page 56

1 misclassification to conceal a true positive  
 2 association?  
 3 MR. LASKER: Objection to form.  
 4 A. I'm sorry, the words are  
 5 straightforward, but I'm still not understanding  
 6 what you're asking.  
 7 BY MR. WOOL:  
 8 Q. Is it possible that in the Andreotti  
 9 study exposure misclassification could conceal a  
 10 true positive association?  
 11 A. It's highly unlikely. And the reason  
 12 that I say that is that given the odds ratio  
 13 that was estimated in Andreotti, et al was less  
 14 than 1, that makes it highly, highly, highly  
 15 unlikely that misclassification would mask a  
 16 positive association. And that's based on  
 17 standard epidemiology principles.  
 18 Q. So are you saying in effect that while  
 19 misclassification could bias the result towards  
 20 the null it could not, say, jump across 1?  
 21 A. That's not just based on what I'm  
 22 saying, it's based on standard epidemiology  
 23 principles mathematically. Like if you have a  
 24 very small study, a very small study, which we  
 25 don't have here in Andreotti, by chance it is

Page 57

1 possible that you might have something like  
 2 that. But in this case of Andreotti, et al  
 3 where chance is very unlikely to have -- to do  
 4 this, mathematically non-differential  
 5 misclassification is going to bias a true  
 6 relative risk towards the null. Therefore,  
 7 given the observed relative risk that we see in  
 8 Andreotti, et al, it's highly, highly unlikely  
 9 that it's masking a true positive association.  
 10 Q. Now, if we go back to Table 2 --  
 11 A. Of --  
 12 Q. -- of the Blair article, Exhibit 3.  
 13 And again, we look at the days per year mixed or  
 14 applied figure for glyphosate.  
 15 A. Sorry, days per year, or the years  
 16 per --  
 17 Q. The days per year in the middle of  
 18 Table 2 --  
 19 A. Yes.  
 20 Q. -- which is reported again as  
 21 52 percent, would you expect the accuracy of --  
 22 or strike that.  
 23 In the questionnaires that were given  
 24 in Andreotti, et al, those questionnaires asked  
 25 about the last year of use, correct?

Page 58

1 MR. LASKER: Objection to form.  
 2 A. No, that's not correct. It was -- in  
 3 the follow-up questionnaire it referred to the  
 4 last year farmed.  
 5 BY MR. WOOL:  
 6 Q. Okay. The last year farmed.  
 7 A. Yes.  
 8 But this particular -- I'm sorry to  
 9 interrupt you. But this particular reliability  
 10 study actually looked at the baseline  
 11 questionnaire, not the follow-up questionnaire.  
 12 Q. Okay.  
 13 A. Do you think it might be appropriate  
 14 for a quick break?  
 15 Q. Absolutely. We can take a break  
 16 right. Now.  
 17 A. That would be awesome.  
 18 THE VIDEOGRAPHER: Going off the  
 19 record. The time is 10:03.  
 20 (Whereupon, a recess was taken.)  
 21 THE VIDEOGRAPHER: Back on the record.  
 22 The time is 10:17.  
 23 BY MR. WOOL:  
 24 Q. All right. So we were talking about  
 25 the Blair paper briefly before we went off the

Page 59

1 record, right?  
 2 A. The Blair 2001?  
 3 Q. The Blair 2001 paper.  
 4 A. Yes.  
 5 Q. And the Blair paper only examined the  
 6 exact agreement between enrollment  
 7 questionnaires, correct?  
 8 A. It looked specifically at the baseline  
 9 questionnaire, yes, the reliability of the  
 10 information in the baseline questionnaire.  
 11 Q. Are you aware of any papers that have  
 12 looked at the follow-up questionnaire?  
 13 A. In terms of the reliability?  
 14 Q. Yes.  
 15 A. I'm not familiar, no.  
 16 Q. And this Blair paper only looked at  
 17 two years of questionnaire data, correct?  
 18 MR. LASKER: Objection to form.  
 19 A. I believe actually the questionnaires  
 20 were completed one year apart.  
 21 BY MR. WOOL:  
 22 Q. One year apart.  
 23 So one questionnaire, and then a  
 24 questionnaire the next year, correct?  
 25 A. Correct.

Page 60

1 Q. All right. Let me ask you this. Do  
 2 you consider the AHS to be a null study?  
 3 MR. LASKER: Objection to form.  
 4 Which study are you talking about?  
 5 BY MR. WOOL:  
 6 Q. I'm sorry, the Andreotti study. I  
 7 keep saying AHS.  
 8 Do you consider the Andreotti study to  
 9 be a null study?  
 10 MR. LASKER: Objection to form again.  
 11 A. I find the findings on non-Hodgkin's  
 12 lymphoma, that there's no association between  
 13 glyphosate-based herbicides and the risk of  
 14 non-Hodgkin's lymphoma, or any of the  
 15 non-Hodgkin's lymphoma subtypes.  
 16 BY MR. WOOL:  
 17 Q. You do not consider it to be a  
 18 negative study?  
 19 MR. LASKER: Objection to form.  
 20 A. I'm not sure what you mean  
 21 specifically by "negative study." What I would  
 22 say about this is that the data suggests there's  
 23 no association between glyphosate-based  
 24 herbicides and the risk of non-Hodgkin's  
 25 lymphoma.

Page 61

1 BY MR. WOOL:  
 2 Q. Do you believe that glyphosate-based  
 3 herbicides have a protective effect?  
 4 A. I do not believe that, based on the  
 5 epidemiological evidence in this study, nor in  
 6 the totality of the epidemiology evidence, would  
 7 it suggest either a positive or inverse  
 8 association.  
 9 Q. All right. You're familiar with the  
 10 concept of imputation?  
 11 A. Yes.  
 12 Q. Okay.  
 13 A. In the context of epidemiological  
 14 studies.  
 15 Q. Right. I should have clarified.  
 16 A. Yes.  
 17 Q. And in this study, was it 37 percent  
 18 of the population, I think, that was lost to  
 19 follow-up?  
 20 MR. LASKER: Objection to form.  
 21 A. So just to clarify, when we talk about  
 22 lost to follow-up, there's different  
 23 connotations in epidemiology. We don't -- we  
 24 haven't lost to follow-up in terms of what  
 25 happened in terms of disease outcomes, but

Page 62

1 37 percent of the participants who filled out  
 2 the baseline questionnaire did not fill out the  
 3 second questionnaire.  
 4 BY MR. WOOL:  
 5 Q. In any of your own publications, have  
 6 you ever had 37 percent of a cohort be lost to  
 7 follow-up?  
 8 MR. LASKER: Objection to form.  
 9 A. Well, I haven't -- in the cohort  
 10 studies that I've worked on, we haven't had  
 11 37 percent of our participants not complete a  
 12 second questionnaire. I actually have been  
 13 involved in a cohort study where I -- while I  
 14 didn't use the follow-up questionnaire, that  
 15 particular follow-up questionnaire, more than  
 16 30 percent of the individuals did not fill out a  
 17 second questionnaire. It was the Swedish  
 18 mammography cohort. So I worked with their  
 19 baseline questionnaire, but that particular  
 20 cohort had a second questionnaire 30 percent of  
 21 the participants did not complete. And they  
 22 took an approach very similar to what was done  
 23 with Andreotti, et al in terms of doing multiple  
 24 imputation, comparing multiple imputation to  
 25 complete case assessment, and did a variety of

Page 63

1 things to assess whether the amount of missing  
 2 data might influence the results.  
 3 Q. Do you believe the Andreotti study  
 4 would be more reliable if fewer than 30 percent  
 5 had been lost to follow-up?  
 6 A. Well, it's interesting. In  
 7 epidemiology we should be concerned when we see  
 8 that 37 percent of the participants did not  
 9 complete the second questionnaire. I definitely  
 10 believe that's a valid concern. What's  
 11 reassuring, however, are the different  
 12 approaches that the authors, the Andreotti  
 13 authors, took in their publication to assess  
 14 whether such an amount of missing data might  
 15 influence the results.  
 16 In addition, there's a publication by  
 17 Heltshe which describes the methodology of the  
 18 imputation for the study. They also did a  
 19 number of assessments of the quality of  
 20 imputation which suggest that it actually didn't  
 21 influence the results. And finally there's  
 22 another publication by Montgomery.  
 23 What we're really concerned about is  
 24 whether the association between glyphosate and  
 25 non-Hodgkin's lymphoma is different in those who

Page 64

1 did fill out the second questionnaire and those  
 2 who didn't. So all of those things together, I  
 3 think one should be concerned about this, but  
 4 multiple nodes of evidence suggest that it  
 5 didn't lead to a substantial bias in this study.  
 6 Q. Do you believe that -- or strike that.  
 7 Can you explain briefly how the  
 8 authors imputed -- or strike that. Let's  
 9 actually take a look at the Heltshe study real  
 10 quick. We will mark this as Exhibit 4.  
 11 (Whereupon, Exhibit Number 32-4,  
 12 Heltshe, et al article, Using multiple  
 13 imputation to assign pesticide use for  
 14 non-responders in the follow-up  
 15 questionnaire in the Agricultural  
 16 Health Study, was marked for  
 17 identification.)  
 18 BY MR. WOOL:  
 19 Q. And in the abstract the authors note  
 20 that "To assess the imputation procedure, a  
 21 20 percent random sample of participants was  
 22 withheld for comparison. The observed and  
 23 imputed prevalence of any pesticide use in the  
 24 holdout dataset were 85.7 percent and  
 25 85.3 percent respectively." Correct?

Page 65

1 A. Yes.  
 2 Q. And if you turn to Page 412, in the  
 3 right-hand column. I think it's actually  
 4 highlighted in your copy.  
 5 A. Yes.  
 6 MR. LASKER: Okay. Thank you.  
 7 BY MR. WOOL:  
 8 Q. Okay. And the highlighted portion, I  
 9 believe, in your copy starts with "In pesticides  
 10 with the highest prevalence have the largest  
 11 standard errors, while rarely used pesticides  
 12 have very little variability."  
 13 Is that what's highlighted in yours?  
 14 A. That is what is highlighted. I'm just  
 15 trying to see what they're referring to here.  
 16 What information -- standard error. The  
 17 estimates of the standard error, so the  
 18 variability around the mean, which makes sense,  
 19 yes.  
 20 Q. So, and am I correct that the more  
 21 prevalent a pesticide is used, what the authors  
 22 are saying is there will be a larger standard  
 23 error with that pesticide compared to a  
 24 pesticide that is not used frequently?  
 25 A. It actually refers to they're slightly

Page 66

1 higher than the true standard error.  
 2 Q. Okay.  
 3 A. But that's different than the relative  
 4 error. That concept of the standard error is  
 5 different than the relative error, so it's not  
 6 really describing how well the imputation  
 7 procedure worked.  
 8 Q. Okay. And how is the standard error  
 9 different than the relative error?  
 10 A. Well, the standard error, you know, we  
 11 say the mean or the estimated prevalence is  
 12 40 percent, and then we have sort of a  
 13 distribution of what we think the true expected  
 14 prevalence is. The relative error compares what  
 15 was actually observed in that 20 percent holdout  
 16 versus what was predicted based on the  
 17 imputation, so that relative difference in the  
 18 estimate.  
 19 So the standard error doesn't give you  
 20 a sense of whether the information is a valid or  
 21 not imputation, just giving you -- it's like in  
 22 a 95 percent confidence interval around an odds  
 23 ratio, that is comprised of the standard error  
 24 around the odds ratio. It gives you a sense of  
 25 the distribution.

Page 67

1 Q. And you would consider glyphosate to  
 2 be a highly used pesticide, correct?  
 3 A. Yes, it is a highly -- the prevalence  
 4 is quite high. But again, that doesn't -- what  
 5 that comment in the second column on Page 412  
 6 does not imply that because the prevalence is  
 7 high the relative error -- there's no -- if you  
 8 look, actually, in table -- where did I see it?  
 9 This is different than what I had downloaded.  
 10 Oh, here. So Figure 2 here is a  
 11 figure showing the relative errors, which is a  
 12 better -- is really what you want to look at  
 13 when you want to assess how well the imputation  
 14 worked. And there, actually, you can see that  
 15 there doesn't really seem to be a relationship  
 16 between the prevalence of the pesticide and the  
 17 distribution of the relative errors, and that is  
 18 reassuring actually.  
 19 Q. Okay. Now, on the same page that  
 20 you're on, Page 414.  
 21 MR. LASKER: Okay. I'm there.  
 22 BY MR. WOOL:  
 23 Q. In the right-hand column, the first  
 24 full paragraph reads, "A key assumption of any  
 25 imputation is that missingness is independent of

Page 68

1 the unobserved outcome of interest or  
 2 unobservable confounders (i.e., missing at  
 3 random). The reduction of bias and increase in  
 4 precision from multiple imputations is dependent  
 5 on the covariates associated with both  
 6 non-response and the endpoint variable and  
 7 factors associated with non-participation, which  
 8 were included in our imputation model. For our  
 9 imputation analysis, the 'outcome' of interest  
 10 is the missing pesticide use itself," and they  
 11 cite to Montgomery, et al, which shows that  
 12 "there is little evidence for selection bias in  
 13 Phase 2 of the AHS. However missing at random  
 14 is an untestable assumption without additional  
 15 data; thus it is possible that non-responders  
 16 differ from responders in variables we have not  
 17 measured."  
 18 Did I read that correctly?  
 19 A. Yes, you read that correctly.  
 20 Q. Okay. So what is the untestable  
 21 assumption that they're talking about in that  
 22 section?  
 23 A. It's this concept of the data being  
 24 missing at random, meaning that the reason that  
 25 the data are missing is not related to some

Page 69

1 factor of interest here.  
 2 Q. Now, is it your opinion that this  
 3 imputation method used in Andreotti has general  
 4 acceptance within the epidemiological community?  
 5 A. The use of imputation is a common  
 6 procedure in epidemiology, yes. However, what I  
 7 think is important, as Andreotti has done, is to  
 8 evaluate whether it's worked or not worked. So  
 9 while it is accepted, it's also accepted by  
 10 epidemiologists that we should do our best to  
 11 understand whether the multiple imputation  
 12 approach has given us a valid estimate of the  
 13 missing data.  
 14 Q. And have you used an imputation model  
 15 in any of your own publications?  
 16 A. Yes.  
 17 Q. Have you used this imputation model?  
 18 MR. LASKER: Objection to form.  
 19 A. I wouldn't have used this specific  
 20 multiple imputation model because this was  
 21 specified specific -- you want to -- what you  
 22 want to do with multiple imputation is think  
 23 about what you're trying to predict, and you  
 24 want to use the covariates and the relationship  
 25 of those covariates to best predict the missing

Page 70

1 data. So the approach in the study where I've  
 2 used multiple imputation was very different than  
 3 this. But it's still -- it's using a similar  
 4 strategy which they have done here.  
 5 BY MR. WOOL:  
 6 Q. Could baseline exposure of  
 7 misclassification impact the accuracy of the  
 8 imputation?  
 9 MR. LASKER: Objection to form.  
 10 A. In what context? I'm sorry.  
 11 BY MR. WOOL:  
 12 Q. Insofar as it provides a reliable  
 13 outcome.  
 14 MR. LASKER: Objection to form.  
 15 A. I'm sorry, could you ask specific -- a  
 16 more specific question? I'm not sure I  
 17 understand what you're asking.  
 18 BY MR. WOOL:  
 19 Q. As I understand, the Heltshe is  
 20 looking at, among other things, sort of the  
 21 validity of the imputation model, correct?  
 22 A. Yes.  
 23 Q. Okay. And could a measurement error  
 24 in baseline glyphosate use impact the validity  
 25 of the model as it's used in Andreotti, et al?

Page 71

1 MR. LASKER: Objection to form.  
 2 A. Are you asking more generally, or did  
 3 it in this particular case?  
 4 BY MR. WOOL:  
 5 Q. Could it.  
 6 A. I guess it may or may not. It would  
 7 be hard to predict, because it would rely on a  
 8 number of factors. So it might, but it may not  
 9 as well.  
 10 I think here in this specific example  
 11 what's really nice to see is that the imputation  
 12 methodology performed well in predicting use of  
 13 glyphosate in this study.  
 14 Q. Now, if you turn back, I think, to  
 15 Table 3, you'll see that Table 3 gives us a  
 16 number of figures for the various pesticides at  
 17 use, or at issue in the Andreotti study,  
 18 correct?  
 19 A. Yes.  
 20 Q. And three of the calculations that  
 21 Table 3 provides are reference Brier scores,  
 22 Brier score, and Brier skill score, correct?  
 23 A. Yes.  
 24 Q. Now, what is a reference Brier score?  
 25 A. Well, what these three metrics were

Page 72

1 used for here was to say how well -- did the  
 2 imputation approach do a better job, was it more  
 3 predictive than if you just used the model or  
 4 just looked at what the actual observed  
 5 prevalence was. And so these three values here  
 6 are used to say did the imputation add more  
 7 information than if you just used the actual  
 8 observed data.  
 9 So it's a measure of should you just  
 10 do simple -- a simple approach, or should you do  
 11 this much more complicated approach. So that's  
 12 what the Brier score is being used for here.  
 13 Q. And have you ever calculated a Brier  
 14 score in any of your own publications?  
 15 A. I have not used the Brier score, no.  
 16 Q. Were you familiar with the Brier score  
 17 before this litigation?  
 18 A. Although I wasn't familiar with this  
 19 particular score, I'm very familiar with  
 20 prediction modeling in different strategies  
 21 people use to assess how well predicted model  
 22 adds information compared to sort of a baseline  
 23 model. So I wasn't familiar with this specific  
 24 measure, but could easily understand why it's  
 25 being used here.

Page 73

1 Q. And so what does the reference Brier  
 2 score for glyphosate indicate?  
 3 A. So again, you know, what we're really  
 4 interested here in this table is the Brier skill  
 5 score because it gives us a sense, compared to  
 6 the reference Brier, how much additional  
 7 information the multiple imputation model did in  
 8 proving the accuracy in the prediction. So what  
 9 it tells us is that the imputation model gave  
 10 almost a 10 percent improvement in the  
 11 prediction of the imputed data compared to just  
 12 relying on this simple model. So, and that is  
 13 compared to some of the other pesticides, for  
 14 example, benomyl where it doesn't look like the  
 15 imputation added much more information than if  
 16 you just used the simple model.  
 17 So does that answer your question?  
 18 Q. Yeah, I think it answers it well  
 19 enough.  
 20 Do you believe that maintaining a high  
 21 rate of follow-up is integral to ensuring study  
 22 validity?  
 23 MR. LASKER: Objection to form.  
 24 A. Yeah. As an epidemiologist, our goal  
 25 is to optimize the amount of follow-up, because

Page 74

1 that would ensure that there's no issue of a  
 2 selection bias being introduced. But at the  
 3 same time, just because you might not have all  
 4 of the participants in your study completing the  
 5 second questionnaire, it doesn't necessarily  
 6 imply that a bias has resulted. It's important  
 7 to evaluate whether a bias has resulted, but it  
 8 doesn't necessarily mean that it has occurred.  
 9 BY MR. WOOL:  
 10 Q. In terms of the non-responders in  
 11 Andreotti, is it possible to rule out selection  
 12 bias?  
 13 A. There are multiple nodes of evidence  
 14 that suggest that selection bias is not likely  
 15 to be a big concern here, and, you know, I think  
 16 we have that data from the Andreotti publication  
 17 itself where they looked at a number of  
 18 sensitivity analyses. We have that in the  
 19 Montgomery study which looked at the -- a number  
 20 of factors in those who did and did not complete  
 21 the second questionnaire. They also tried to  
 22 assess the potential role of selection bias in a  
 23 number of exposure/outcome relationships. And  
 24 then also from Heltshe as well.  
 25 So I think all of these pieces of

Page 75

1 information would suggest that it's very  
 2 unlikely that selection bias would have led to a  
 3 bias in this Andreotti study.  
 4 Q. But you can't definitively rule out  
 5 selection bias having occurred in the Andreotti  
 6 study, correct?  
 7 MR. LASKER: Objection to form.  
 8 A. Well, as an epidemiologist where we  
 9 never would be able to completely rule anything  
 10 out, I think again what's really important here  
 11 is that there's multiple nodes of evidence  
 12 showing whether this bias existed, and all of  
 13 these different nodes of evidence suggest that  
 14 the bias is very unlikely to have occurred in  
 15 this Andreotti study.  
 16 MR. LASKER: Just for clarification,  
 17 are you saying nodes or modes?  
 18 THE WITNESS: Nodes.  
 19 MR. LASKER: That's what I thought, I  
 20 wanted to clear it up.  
 21 BY MR. WOOL:  
 22 Q. We talked about a high rate of  
 23 follow-up just a second ago, right?  
 24 A. (Nodding in the affirmative).  
 25 Q. Okay. Is there any agreement within

Page 76

1 the field of epidemiology as to what constitutes  
 2 a high rate of follow-up?  
 3 MR. LASKER: Objection to form.  
 4 A. I wouldn't -- I mean, I think it's  
 5 very context specific. And again, our goal is  
 6 to try to have as high follow-up as possible.  
 7 If that doesn't occur, then it's also important  
 8 as an epidemiologist to evaluate the potential  
 9 for bias, which Andreotti has done specifically  
 10 here. And also not only Andreotti, et al, but  
 11 also the many other publications that have  
 12 relied on the Agricultural Health Study second  
 13 questionnaire have also done -- looked at this  
 14 issue as well in the context of the exposure and  
 15 the outcome they were looking at.  
 16 BY MR. WOOL:  
 17 Q. Would you consider a 37 percent loss  
 18 in follow-up to be a high rate of follow-up?  
 19 MR. LASKER: Objection to form.  
 20 A. I would say, again, it is a -- we  
 21 would be concerned just as we would be concerned  
 22 with any amount of missing data. However, just  
 23 because there is that amount of missing data  
 24 doesn't mean necessarily bias occurred.  
 25 And I think as we've just talked

Page 77

1 about, these authors and many of the other  
 2 authors in the Agricultural Health Study have  
 3 evaluated the impact of bias. Because you're  
 4 right, as an epidemiologist we should be  
 5 concerned. However, it's really reassuring to  
 6 see from multiple studies, multiple lines of  
 7 evidence, the way they've looked at the  
 8 potential for bias in multiple ways, all of  
 9 these analyses suggest that selection bias did  
 10 not result in any -- in the study of Andreotti,  
 11 et al and glyphosate and NHL risk analysis.  
 12 BY MR. WOOL:  
 13 Q. Would it be reasonable for an  
 14 epidemiologist to put less weight on a study due  
 15 to a 37 percent loss in follow-up?  
 16 MR. LASKER: Objection to the form.  
 17 A. Again, that's a very general comment.  
 18 And what I would want to know is -- so we can  
 19 think of it it's almost like a Bayesian  
 20 approach. A priori if I heard there was  
 21 37 percent missing data, that would raise my  
 22 concern. However, if I see that the authors,  
 23 and multiple authors have looked at this  
 24 question in multiple ways, and there doesn't  
 25 seem to be a bias occurred, my posterior

Page 78

1 probability then would be based on all this  
 2 information that a bias is unlikely to have  
 3 happened.  
 4 So while it is something to think  
 5 about and to be concerned about, there are  
 6 standard approaches we can take as  
 7 epidemiologists to investigate whether a bias  
 8 indeed occurred. And in this case, and again  
 9 from all of these different pieces of data that  
 10 we've talked about, it doesn't seem that the  
 11 37 percent missing data has resulted in any  
 12 substantial bias in this study. And I think --  
 13 BY MR. WOOL:  
 14 Q. Okay. So in your capacity as a peer  
 15 reviewer, have you ever come across a study  
 16 where 37 percent of the cohort was lost to  
 17 follow-up?  
 18 MR. LASKER: Objection to form.  
 19 A. As I mentioned, this wasn't  
 20 necessarily in the context of peer review. But  
 21 as I've mentioned, I had previously collaborated  
 22 on the Swedish mammography cohort study, and  
 23 there -- and that's an NCI-funded cancer  
 24 epidemiology cohort, they published literally  
 25 hundreds of publications, and they have

Page 79

1 30 percent of their participants did not  
 2 complete the second questionnaire. They did  
 3 multiple imputation, they compared it, just as  
 4 Andreotti did, to the complete case assessment,  
 5 they did a variety of assessments to see whether  
 6 the participants who completed both  
 7 questionnaires differed from those who only  
 8 completed one, so I -- there are  
 9 well-established epidemiology studies, cohort  
 10 studies that do have large amounts of missing  
 11 data.  
 12 BY MR. WOOL:  
 13 Q. And is it possible that the loss in  
 14 follow-up in the Andreotti study is related to  
 15 exposure status?  
 16 MR. LASKER: Objection to form.  
 17 A. I'm not sure I understand what you  
 18 mean. Because what you're really concerned  
 19 about is not whether the missing data is related  
 20 to the exposure status, but really whether the  
 21 missing data on the exposure is also  
 22 differentially related to the outcome. That's  
 23 where the selection bias would occur.  
 24 BY MR. WOOL:  
 25 Q. So I guess my question should be, do

Page 80

1 you know if lost in follow-up in AHS is related  
 2 to outcome status or -- strike that.  
 3 Can you definitively rule out that  
 4 loss in follow-up in the Andreotti study is  
 5 related to outcome status?  
 6 MR. LASKER: Objection to form.  
 7 A. While -- in the approach, one of the  
 8 approaches that -- there are a couple of  
 9 different approaches that would suggest that is  
 10 not the case. In the sensitivity analysis  
 11 Andreotti, et al looked at first just the  
 12 individuals who had filled out both  
 13 questionnaires, so the complete case, so where  
 14 selection bias wouldn't have caused a problem.  
 15 And when you look at the relative risk estimates  
 16 for the association between glyphosate and NHL  
 17 risk there and compare it to the imputation, the  
 18 findings are very, very similar, very, very  
 19 similar.  
 20 Also, when they say well, let's just  
 21 look at the baseline questionnaire, when they do  
 22 that, again the results of that baseline  
 23 questionnaire compared to the follow-up  
 24 questionnaire, very, very similar. So both of  
 25 those strategies would suggest that such a bias

Page 81

1 did not lead to any bias of the results.  
 2 BY MR. WOOL:  
 3 Q. What does the concept of -- or what  
 4 does external validity mean within the field of  
 5 epidemiology?  
 6 A. So external validity refers to  
 7 generalizability, meaning can you take the  
 8 findings in this one cohort study and  
 9 extrapolate that to other populations.  
 10 Q. Do you believe that you can  
 11 extrapolate the results of the Andreotti study  
 12 to other populations?  
 13 A. There's no reason for me to suggest --  
 14 there's no inclination to me to suggest why that  
 15 would not be the case, why an underlying  
 16 relationship between glyphosate and NHL risk  
 17 would differ in this population versus another  
 18 population.  
 19 And in fact, actually there was a  
 20 really nice editorial that accompanied  
 21 Andreotti, et al by Ward, Elizabeth Ward,  
 22 suggesting, actually, that the Agricultural  
 23 Health Study in many ways is an excellent  
 24 population to look at the association between  
 25 glyphosate and NHL risk.

Page 82

1 Q. Now, what about the concept of  
 2 internal validity as it relates to the larger  
 3 field of epidemiology?  
 4 A. Yes, internal validity is what we've  
 5 been talking about already. It's thinking about  
 6 the concepts of whether bias confounding or  
 7 chance might explain an observed association.  
 8 Q. And is internal validity a necessary  
 9 prerequisite to establish external validity?  
 10 A. Certainly. Well, I mean, really you  
 11 wouldn't want to generalize a bias finding to a  
 12 different population, so that's what that  
 13 concept means.  
 14 Q. So I guess yes, internal validity is a  
 15 necessary prerequisite to external validity?  
 16 MR. LASKER: Objection to form.  
 17 A. Well, you need to have a study to be  
 18 internally valid to say anything meaningful  
 19 about the observed association, regardless of  
 20 generalizability. But that's the case for every  
 21 epidemiological study, you want to make sure  
 22 that bias confounding and chance have not -- are  
 23 not explaining the observed association that you  
 24 have, which, you know, again, has been nicely  
 25 investigated here in Andreotti, et al.

Page 83

1 BY MR. WOOL:  
 2 Q. Now, in your supplemental expert  
 3 report you completed a meta-analysis, correct?  
 4 A. Yes. What I did was to do an updated  
 5 meta-analysis where I, as you can see from  
 6 Figure 2 in my supplemental report, I looked at  
 7 point estimates from four different studies.  
 8 Q. And one of those studies was Pahwa, et  
 9 al, 2016?  
 10 A. Yes. Was it -- yeah, Pahwa 2016.  
 11 Q. Would it be improper to exclude that  
 12 study?  
 13 A. Would it be improper to exclude that  
 14 study?  
 15 Q. Yes, in the meta-analysis.  
 16 A. I'm sorry, I don't understand your  
 17 question.  
 18 Q. If I were to -- I guess, if a  
 19 meta-analysis did not include the Pahwa study,  
 20 would you consider that to be a flawed  
 21 meta-analysis?  
 22 A. Well, I think in -- what you would do  
 23 in a meta-analysis is to evaluate -- you would  
 24 want to go through an understanding of all of  
 25 the available epidemiological studies that meet

Page 84

1 the criteria for the meta-analysis that you're  
 2 performing, so it would be very unclear why you  
 3 would exclude Pahwa here.  
 4 Q. Okay. And in the Andreotti study,  
 5 they evaluated the cohort at 20 years, correct?  
 6 MR. LASKER: I'm sorry.  
 7 A. I'm sorry, I don't understand your  
 8 question.  
 9 BY MR. WOOL:  
 10 Q. Let me just go to Table 3, I think  
 11 that is little bit more clear.  
 12 MR. LASKER: Where are you?  
 13 MR. WOOL: Table 3 of Andreotti.  
 14 MR. LASKER: Just get myself organized  
 15 here.  
 16 Page 6?  
 17 MR. WOOL: Yes.  
 18 BY MR. WOOL:  
 19 Q. And what is the right-hand column  
 20 showing us?  
 21 A. So in this table the authors presented  
 22 data on intensity weighted days of exposure of  
 23 glyphosate and cancer risk, and in the right  
 24 column is looking at an analysis lagging -- or  
 25 introducing a latency to look at longer term

Page 85

1 effects of glyphosate-based herbicides.  
 2 MR. LASKER: Just for the record, I  
 3 don't know if this is intended or not, this  
 4 Exhibit 32-2 does not include the supplemental  
 5 table. I don't know if you intended it not to,  
 6 but we don't have it.  
 7 MR. WOOL: It should have.  
 8 MR. LASKER: It should have. We don't  
 9 have it.  
 10 MR. WOOL: Well --  
 11 MR. LASKER: You won't ask those  
 12 questions.  
 13 MR. WOOL: It is what it is at this  
 14 point.  
 15 BY MR. WOOL:  
 16 Q. So staying in the right-hand column,  
 17 for the 20 year lag and looking at non-Hodgkin's  
 18 lymphoma, what are the figures in the  
 19 parenthesis telling us?  
 20 A. I'm sorry, in the parenthesis, those  
 21 are 95 percent confidence intervals. Is that  
 22 what you're referring to?  
 23 Q. Yes.  
 24 And so what is the upper figure  
 25 telling us in those parenthesis?

Page 86

1 A. I'm sorry, I don't understand what  
 2 you're referring to.  
 3 Q. If we look at the first quartile in  
 4 the parenthesis we see a range of .91 to 1.64,  
 5 correct?  
 6 A. Yes.  
 7 Q. Okay. What is the 1.64 telling us?  
 8 A. That's the upper bound of the  
 9 95 percent confidence interval.  
 10 Q. And what -- you said upper bound or  
 11 upper --  
 12 A. That's the upper bound of the  
 13 95 percent confidence interval.  
 14 Q. What does the upper bound mean in the  
 15 field of epidemiology?  
 16 A. So it gives you -- so we're estimating  
 17 what you think to be the relative risk, and then  
 18 you have some uncertainty around that estimate.  
 19 The amount of uncertainty is a function of the  
 20 number of cases, the prevalence of the exposure,  
 21 so this gives you a range of values that are  
 22 consistent. Although you would think that the  
 23 range of values are more consistent with the  
 24 point estimate than the -- either the lower or  
 25 upper bound. But to me what that tells you when

Page 87

1 you look at the 20 year lagged analysis, there's  
 2 no association between glyphosate-based  
 3 herbicides and risk of non-Hodgkin's lymphoma  
 4 with 20 -- even if you lag 20 years of exposure.  
 5 Q. Okay. But the upper bound for all  
 6 quartiles with a 20 year lag for non-Hodgkin's  
 7 lymphoma are above 1, correct?  
 8 MR. LASKER: Objection to form.  
 9 A. Well, that is correct. The other way  
 10 to look at this is that all of the lower bounds  
 11 of the 95 percent confidence intervals are below  
 12 1, because when you look at the overall  
 13 association here, this really is telling us  
 14 there's no association between glyphosate-based  
 15 herbicides, assuming a 20 year lagged analysis,  
 16 and the risk of NHL.  
 17 I actually have -- I don't know if  
 18 it's helpful, but in my supplemental report we  
 19 also looked at the 15 -- I'm sorry, I don't have  
 20 those numbers specifically, but there was no  
 21 association either with assuming a 10 year, a  
 22 15 year, or a 5 year lag, which we see also in  
 23 this Table 3.  
 24 BY MR. WOOL:  
 25 Q. And am I correct that for your

Page 88

1 meta-analysis, you did not include the results  
 2 with the 20 year lag?  
 3 A. That information was not available for  
 4 all of these studies, so this particular  
 5 meta-analysis simply looks at the ever-never  
 6 exposure that was available from each of the  
 7 publications.  
 8 My goal wasn't to -- my goal was  
 9 really just to give sort of an information about  
 10 what the totality of the epidemiology is saying  
 11 to us. You know, there is caveats, as I've said  
 12 previously, that we can come up with a meta  
 13 relative risk estimate, but it doesn't adjust  
 14 for any potential biases or confounders that  
 15 have not been taken into account here.  
 16 Q. Just so I'm clear, you're not saying  
 17 that -- strike that. I understand your answer.  
 18 Okay. I think that's it for right  
 19 now.  
 20 MR. WOOL: If you have any questions?  
 21 MR. LASKER: None. You don't have an  
 22 option. We're done.  
 23 A. Thanks so much.  
 24 THE VIDEOGRAPHER: This concludes the  
 25 January 23, 2018 deposition of Dr. Lorelei

Page 89

1 Mucci. Going off the record. The time is  
 2 10:55.  
 3 (Whereupon, the deposition was  
 4 concluded.)  
 5  
 6  
 7  
 8  
 9  
 10  
 11  
 12  
 13  
 14  
 15  
 16  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25

Page 90

1 COMMONWEALTH OF MASSACHUSETTS )  
 2 SUFFOLK, SS. )  
 3 I, MAUREEN O'CONNOR POLLARD, RMR, CLR,  
 4 and Notary Public in and for the Commonwealth of  
 5 Massachusetts, do certify that on the 23rd day  
 6 of January, 2018, at 9:01 o'clock, the person  
 7 above-named was duly sworn to testify to the  
 8 truth of their knowledge, and examined, and such  
 9 examination reduced to typewriting under my  
 10 direction, and is a true record of the testimony  
 11 given by the witness. I further certify that I  
 12 am neither attorney, related or employed by any  
 13 of the parties to this action, and that I am not  
 14 a relative or employee of any attorney employed  
 15 by the parties hereto, or financially interested  
 16 in the action.  
 17 In witness whereof, I have hereunto  
 18 set my hand this 5th day of February, 2018.  
 19  
 20 \_\_\_\_\_  
 21 MAUREEN O'CONNOR POLLARD, NOTARY PUBLIC  
 22 Realtime Systems Administrator  
 23 CSR #149108  
 24  
 25

Page 91

1 INSTRUCTIONS TO WITNESS  
 2  
 3 Please read your deposition over  
 4 carefully and make any necessary corrections.  
 5 You should state the reason in the appropriate  
 6 space on the errata sheet for any corrections  
 7 that are made.  
 8 After doing so, please sign the  
 9 errata sheet and date it. It will be attached  
 10 to your deposition.  
 11 It is imperative that you return  
 12 the original errata sheet to the deposing  
 13 attorney within thirty (30) days of receipt of  
 14 the deposition transcript by you. If you fail  
 15 to do so, the deposition transcript may be  
 16 deemed to be accurate and may be used in court.  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25

Page 92

1 -----  
 2 E R R A T A  
 3 -----  
 4 PAGE LINE CHANGE  
 5 \_\_\_\_\_  
 6 REASON: \_\_\_\_\_  
 7 \_\_\_\_\_  
 8 REASON: \_\_\_\_\_  
 9 \_\_\_\_\_  
 10 REASON: \_\_\_\_\_  
 11 \_\_\_\_\_  
 12 REASON: \_\_\_\_\_  
 13 \_\_\_\_\_  
 14 REASON: \_\_\_\_\_  
 15 \_\_\_\_\_  
 16 REASON: \_\_\_\_\_  
 17 \_\_\_\_\_  
 18 REASON: \_\_\_\_\_  
 19 \_\_\_\_\_  
 20 REASON: \_\_\_\_\_  
 21 \_\_\_\_\_  
 22 REASON: \_\_\_\_\_  
 23 \_\_\_\_\_  
 24 \_\_\_\_\_  
 25 \_\_\_\_\_

Page 93

1  
 2 ACKNOWLEDGMENT OF DEPONENT  
 3  
 4 I, \_\_\_\_\_, do  
 5 Hereby certify that I have read the foregoing  
 6 pages, and that the same is a correct  
 7 transcription of the answers given by me to the  
 8 questions therein propounded, except for the  
 9 corrections or changes in form or substance, if  
 10 any, noted in the attached Errata Sheet.  
 11 \_\_\_\_\_  
 12 LORELEI A. MUCCI, ScD DATE  
 13  
 14  
 15  
 16 Subscribed and sworn  
 17 To before me this  
 18 \_\_\_\_\_ day of \_\_\_\_\_, 20\_\_\_\_.  
 19 My commission expires: \_\_\_\_\_  
 20  
 21 \_\_\_\_\_  
 22 Notary Public  
 23  
 24  
 25

1	LAWYER'S NOTES		
2	PAGE LINE		
3	_____	_____	_____
4	_____	_____	_____
5	_____	_____	_____
6	_____	_____	_____
7	_____	_____	_____
8	_____	_____	_____
9	_____	_____	_____
10	_____	_____	_____
11	_____	_____	_____
12	_____	_____	_____
13	_____	_____	_____
14	_____	_____	_____
15	_____	_____	_____
16	_____	_____	_____
17	_____	_____	_____
18	_____	_____	_____
19	_____	_____	_____
20	_____	_____	_____
21	_____	_____	_____
22	_____	_____	_____
23	_____	_____	_____
24	_____	_____	_____
25	_____	_____	_____