

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SUPERIOR COURT OF CALIFORNIA

COUNTY OF ALAMEDA

BEFORE THE HONORABLE WINIFRED Y. SMITH, JUDGE PRESIDING

DEPARTMENT NUMBER 21

---oOo---

COORDINATION PROCEEDING)	
SPECIAL TITLE (RULE 3.550))	
)	
ROUNDUP PRODUCTS CASE)	JCCP No. 4953
)	
_____)	
THIS TRANSCRIPT RELATES TO:)	
)	
Pilliod, et al.)	Case No. RG17862702
vs.)	
Monsanto Company, et al.)	Pages 1834 - 2073
_____)	Volume 13

Reporter's Transcript of Proceedings

Wednesday, April 3, 2019

Reported by: Lori Stokes, CSR No. 12732, RPR
Court Reporter



BAY AREA REPORTING
SOLUTIONS

888.526.8243
www.BayAreaReportingSolutions.com

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES OF COUNSEL:

For Plaintiffs:

THE MILLER FIRM, LLC
108 Railroad Avenue
Orange, Virginia 22960
(540) 672-4224

BY: MICHAEL J. MILLER, ATTORNEY AT LAW
mmiller@millerfirmllc.com
SHAYNE HODGE, ATTORNEY AT LAW
shodge@millerfirmllc.com

BAUM HEDLUND ARISTEI & GOLDMAN PC
10940 Wilshire Boulevard, 17th Floor
Los Angeles, California 90024
(310) 207-3233

BY: R. BRENT WISNER, ATTORNEY AT LAW
rbwisner@baumhedlundlaw.com
PEDRAM ESFANDIARY, ATTORNEY AT LAW
pesfandiary@baumhedlundlaw.com

AUDET & PARTNERS, LLP
221 Main Street, Suite 1460
San Francisco, California 94105
(415) 568-2555

BY: MARK BURTON, ATTORNEY AT LAW
mburton@audetlaw.com

(APPEARANCES CONTINUED ON FOLLOWING PAGE)

1 **APPEARANCES:** (CONTINUED)

2 For Defendants:

3 EVANS FEARS & SCHUTTERT LLP
4 2300 W. Sahara Ave, Suite 950
5 Las Vegas, Nevada 89102
6 (702) 805-0290
7 **BY: KELLY A. EVANS, ATTORNEY AT LAW**
8 kevens@efstriallaw.com

9 HINSHAW
10 One California Street, 18th Floor
11 San Francisco, California 94111
12 (415) 362-6000
13 **BY: EUGENE BROWN JR., ATTORNEY AT LAW**
14 ebrown@hinshawlaw.com

15 GOLDMAN ISMAIL TOMASELLI BRENNAN & BAUM LLP
16 564 West Randolph Street, Suite 400
17 Chicago, Illinois 60661
18 (312) 681-6000
19 **BY: TAREK ISMAIL, ATTORNEY AT LAW**
20 tismail@goldmanismail.com

21 (Multiple other counsel present as reflected in the
22 minutes.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

Wednesday, April 3, 2019

PLAINTIFFS' WITNESSES

PAGE VOL.

Portier, Christopher (Resumed)

Direct Examination resumed by Mr. Wisner	1854	13
Cross-Examination by Mr. Ismail	1882	13
Redirect Examination by Mr. Wisner	2064	13

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Wednesday, April 3, 2019

9:01 a.m.

(Proceedings commenced in chambers out of the presence
of the jury.)

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

1

[REDACTED]

2

[REDACTED]

3

[REDACTED]

4

[REDACTED]

5

[REDACTED]

6

[REDACTED]

7

[REDACTED]

8

[REDACTED]

9

[REDACTED]

10

[REDACTED]

11

[REDACTED]

12

[REDACTED]

13

[REDACTED]

14

[REDACTED]

15

[REDACTED]

16

[REDACTED]

17

[REDACTED]

18

[REDACTED]

19

[REDACTED]

20

[REDACTED]

21

[REDACTED]

22

[REDACTED]

23

[REDACTED]

24

[REDACTED]

25

[REDACTED]

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

[REDACTED]

[REDACTED] [REDACTED] [REDACTED]

[REDACTED]

[REDACTED] [REDACTED]

[REDACTED] [REDACTED]

[REDACTED] [REDACTED] [REDACTED]

[REDACTED]

[REDACTED] [REDACTED]

[REDACTED]

[REDACTED] [REDACTED]

[REDACTED]

[REDACTED] [REDACTED]

[REDACTED] [REDACTED]

[REDACTED] [REDACTED]

[REDACTED] [REDACTED]

[REDACTED] [REDACTED]

[REDACTED]

[REDACTED] [REDACTED]

(Recess taken at 9:09 a.m.)

(Proceedings resumed at 9:25 a.m.)

(The following proceedings were heard in the presence of the jury:)

THE COURT: Good morning, everyone. Welcome back. We're going to continue with the direct by

1 Mr. Wisner of Dr. Portier.

2 And you're under oath, Dr. Portier.

3 **CHRISTOPHER PORTIER,**

4 called as a witness for the Plaintiffs, having been
5 previously duly sworn, testified further as follows:

6 **THE COURT:** And then we'll have
7 cross-examination by Mr. Ismail. We'll have a short
8 break after direct examination to allow a changing of
9 the guard and then continue with cross.

10 Okay, go ahead.

11 **DIRECT EXAMINATION (resumed)**

12 **BY MR. WISNER:**

13 **Q.** Good morning, Doctor. How are you?

14 **A.** I'm good, thank you.

15 **Q.** Yesterday we went over the mouse charts, and
16 we talked about all the tumors that EPA didn't address
17 in their report.

18 I just want to do the same thing quickly for
19 the rat tumors, okay?

20 **A.** Okay.

21 **Q.** All right. So in the Lankas study from 1981,
22 which tumors did the EPA not address?

23 **A.** The thyroid tumors and the pancreatic tumors.

24 **Q.** For Sout & Ruecker?

25 **A.** The skin tumors, the thyroid tumors, and the

1 adrenal carcinomas.

2 Q. So they only addressed the pancreatic isolate
3 cell tumors and the hepatocellular carcinomas?

4 A. Correct, those two.

5 Q. The Atkinson study from 1993?

6 A. None of them.

7 Q. They didn't get any of these tumors?

8 A. That's correct.

9 Q. The Enemoto study?

10 A. None of those. I don't know about the skin,
11 basal cell tumors; I would have to go back and look.
12 But the first two, they did not do.

13 Q. Well, let's look, because I want to be
14 complete here.

15 Exhibit 336. This is the EPA report. And I
16 believe we were on page 70. Atkinson, there we go.

17 A. We were looking at Enemoto.

18 Q. Oh, thank you. Here we go.

19 Is that right here, where it says:

20 "There were no treatment-related increases
21 observed in the study"?

22 A. Correct.

23 Q. All right. Suresh, did they find any that you
24 didn't?

25 A. No.

1 Q. All right. Brammer?

2 A. They did get the liver tumors. That's the
3 only tumor there. Yes, they looked at that.

4 Q. Oh, the hepatocellular, that's a liver tumor?

5 A. That's a liver tumor.

6 Q. I'm going to call it that from now on. That's
7 a lot easier to say.

8 How about Wood?

9 A. They missed the skin tumors and the pituitary
10 tumors.

11 Q. So they just got the mammary ones?

12 A. That is correct.

13 Q. All right. Thank you, sir.

14 I briefly want to just go over one issue
15 regarding the Kumar study, okay?

16 We discussed yesterday how the EPA dismissed
17 the tumor findings in there because of an alleged viral
18 infection.

19 Do you recall that?

20 A. Yes.

21 Q. And we also discussed how there had been a
22 teleconference in that European document about an EPA
23 official named Jess Rowland who had said about this
24 viral infection.

25 Do you recall that?

1 A. Yes, I do.

2 Q. I want to call your attention, in your binder,
3 to Exhibit 705.

4 A. Okay.

5 Q. Have you seen this document before?

6 A. Is this the report EPA put up on the web and
7 then took it down? Yes, I've seen this report.

8 Q. Okay. This is often referred to as the
9 report.

10 Do you recall that?

11 A. Yes.

12 Q. And this is something you reviewed?

13 A. Yes, I have.

14 **MR. WISNER:** Your Honor, permission to
15 publish.

16 **MR. ISMAIL:** No objection, Your Honor.

17 **THE COURT:** Go ahead.

18 **BY MR. WISNER:**

19 Q. This is the initial report put out by the EPA.
20 Do you see it's dated October 1st, 2015?

21 A. Yes, I do.

22 Q. This is how long after the publication of the
23 IARC Monograph did this report come out?

24 A. I guess about six months.

25 Q. Okay. And we see right here, the first author

1 on this is a person by the name of Jess Rowland.

2 Do you see that?

3 A. Yes, I do.

4 Q. This is that person on the teleconference,
5 telling the Europeans about that viral infection?

6 A. That is correct.

7 Q. All right. Go to page 45 in this. Actually,
8 page 39.

9 We have this discussion down here at the
10 bottom:

11 "A carcinogenicity study in Swiss mice
12 (Feinchemie Schwebda, 2001) was not included
13 due to the presence of viral infection within
14 the colony, which confounded the
15 interpretation of the study findings."

16 Do you see that?

17 A. Yes, I do.

18 Q. Is that what ultimately made it into the 2017
19 report?

20 A. It looks identical. I can't be certain.

21 Q. So it appears, then, that this viral infection
22 that ultimately appears in the EPA's report in 2017
23 comes from this report that was, in part, authored by
24 Jess Rowland?

25 A. It's certainly in there.

1 Q. All right. Now, Dr. Rowland, he actually was
2 at IARC, wasn't he?

3 A. Are you talking about for the working group
4 meeting, the Monograph meeting?

5 Q. Yeah, for glyphosate.

6 A. Yes, he was.

7 Q. And what was his role in that meeting when he
8 was there?

9 A. He was an observer.

10 Q. So he didn't vote?

11 A. No.

12 Q. And do you know who he was an observer for?

13 A. United States EPA.

14 Q. So Dr. Rowland was actually at the IARC
15 Monograph and observed the proceedings there?

16 A. Correct.

17 Q. Do you recall, when he was there, if he ever
18 raised an issue about a viral infection in the Kumar
19 study?

20 A. I don't -- no. Not that I'm aware of. I
21 didn't sit in on all of the subgroup meetings for the
22 animal subgroup, so I can't be certain.

23 Q. Okay. So I want to talk about some other
24 participants in the IARC meeting, okay?

25 Previously, we discussed that a scientist by

1 the name of Lauren Zeiss participated; is that right?

2 A. Correct.

3 Q. And where is she from?

4 A. She's from here in California. She works for
5 California EPA.

6 Q. Do you know what kind of scientist she is?

7 A. Her Ph.D. is in, I think, biomathematics. But
8 she's a toxicologist/mathematician/statistician.

9 Q. When you say she's a scientist "here in
10 California," do you mean for the California EPA?

11 A. That is correct.

12 Q. And are you aware, following the IARC
13 Monograph, if the California EPA determined that
14 glyphosate is a substance known to the State of
15 California to cause cancer?

16 A. Yes.

17 Q. And did they conclude that?

18 A. Yes, that is what they concluded.

19 Q. Now, I understand that following the listing
20 of glyphosate as a carcinogen, the California EPA did
21 something called a no significant risk limit.

22 Is that right?

23 A. That's what they usually do, yes, and they did
24 it in this case.

25 Q. Just generally speaking -- I don't want to get

1 too far into this -- but it's called an NSRL.

2 Do you know what that is?

3 A. It's a regulatory limit --

4 MR. ISMAIL: Your Honor, may we be heard
5 briefly?

6 THE COURT: Yes.

7 (Sidebar discussion not reported.)

8 MR. WISNER: May I proceed, Your Honor.

9 THE COURT: You may.

10 BY MR. WISNER:

11 Q. Dr. Portier, just quickly, when that NSRL was
12 being set by the California EPA, did you submit expert
13 opinions or comments to that?

14 A. Yes, I did.

15 Q. All right. I want to turn to EFSA and ECHA.
16 Again, just for our understanding, what is
17 EFSA?

18 A. European Food Safety Agency. They are
19 responsible for providing scientific guidance to the EU
20 on the safety of things that appear in food, including
21 pesticides.

22 Q. And what is ECHA?

23 A. European Chemical Agency. They're responsible
24 for how regulatory reviews occur within the EU. And
25 then other things like the REACH program and things

1 along those lines.

2 Q. And when EFSA -- well, did EFSA respond in any
3 way to the IARC classification of glyphosate as a
4 probable human carcinogen?

5 A. Yes. They did respond. And they asked -- can
6 I take a minute and explain how regulations are done in
7 Europe?

8 Q. That would be helpful.

9 A. It's a little more complicated than the U.S.
10 way of doing things.

11 The way a regulation is done in Europe for
12 reregistration, the registrant, the companies that want
13 to have this product on the market in Europe have to
14 submit a dossier to EFSA.

15 What EFSA does is, when the product is ready
16 for reregistration, EFSA has to nominate one of the
17 member states, one of the countries in Europe that's a
18 member of the European Union, to draft their risk
19 assessments, draft a report about the science behind
20 this particular compound.

21 And for glyphosate, the principal rapporteur
22 state was Germany. So EFSA turns this document over to
23 German authorities. They are supposed to write their
24 own draft. The German authorities submit it to EFSA.

25 EFSA has a standing committee of a whole bunch

1 of people who read that thing, make comments. It goes
2 back to Germany, they change it, and it goes back to
3 EFSA. Finally everybody agrees, and they make a final
4 decision and EFSA makes a recommendation to the European
5 commission about what to do about this particular
6 compound.

7 So that's the normal way. The way EFSA
8 responded to the IARC review was, they asked the German
9 government to look at what IARC did and draft a
10 response. So they wrote an appendix to the overall
11 review that was added into the review about what they
12 thought about IARC's review.

13 Q. Just for background, the standards through
14 which EFSA assesses a chemical compound, how are they in
15 any way similar to what -- the standards that IARC uses?

16 A. You mean the rules?

17 Q. Yeah.

18 A. The guidelines that they're using, and the
19 labels they use for sufficient evidence and things like
20 that, they are identical to what IARC use. In fact,
21 they reference the IARC as the source of their rules for
22 evaluation.

23 Q. And is that -- in your opinion -- because IARC
24 is such a renowned institution for assessing cancer
25 risk?

1 **A.** It's very well-respected for the way in which
2 they assess cancer risk, yes.

3 **Q.** Now, just curious, is the member country
4 that's looking at glyphosate, has that changed recently
5 for the EU?

6 **A.** Yeah. After a very tortuous process, the EU
7 reregistered, for a period of time, glyphosate. But
8 they're going to review it one more time.

9 And so now the member state that's
10 responsible -- it's three or four member states now.
11 They're trying to get a much broader review. It does
12 not include Germany; it includes other countries.

13 **Q.** Countries like France?

14 **A.** Yes.

15 **Q.** And has France decided to ban glyphosate?

16 **MR. ISMAIL:** Objection, Your Honor.

17 **THE COURT:** Sustained.

18 **MR. WISNER:** Can I get a quick sidebar,
19 Your Honor, clarification?

20 **THE COURT:** Sure.

21 (Sidebar discussion not reported.)

22 **BY MR. WISNER:**

23 **Q.** Dr. Portier, has France taken any action to
24 ban, restrict, or phase out the use of glyphosate?

25 **A.** As far as I know, they have restricted the

1 over-the-counter sale of glyphosate-based products to
2 the public. I don't think they have restricted its use
3 in farming or commercial enterprise.

4 Q. Thank you.

5 Just generally speaking, are you aware of
6 countries around the world -- I don't need to know which
7 ones -- but are there countries around the world that
8 have taken action to restrict or ban glyphosate use?

9 A. Not that I would feel comfortable trying to
10 list off, no.

11 Q. But there are some that you've heard about.
12 Is that right?

13 A. Yes.

14 Q. Okay. Well, then I want to get back to EFSA,
15 because that's where we were at.

16 Now, the German rapporteur, they did this
17 initial analysis. And then they responded to IARC.

18 Is that right?

19 A. Correct.

20 Q. And did you respond back to them?

21 A. Yes, I did.

22 Q. And were you joined by anyone?

23 A. Yes. I think there were a total of 96 authors
24 on the letter back to the Commissioner of Health for
25 Europe.

1 Q. If you look at Exhibit 2131 in your binder.
2 Is that a copy of that letter?

3 A. Yes, it is.

4 Q. To be clear, this wasn't just a letter that
5 was just sent, you know, by mail; this was published in
6 a journal?

7 A. This one is published in a journal. There was
8 another letter, slightly different than this, that was
9 sent to the Commissioner of Health. And that was a
10 letter.

11 Q. Now, to be clear, why was this letter
12 published? I mean, why didn't you just mail it to the
13 commissioner? Why is it in a journal?

14 A. We felt that the issue needed to be brought to
15 the scientific community, as well as sort of the public
16 debate that we had put ourselves into. And so we
17 published it.

18 **MR. WISNER:** Permission to publish,
19 Your Honor.

20 **MR. ISMAIL:** No objection.

21 **THE COURT:** Go ahead.

22 **BY MR. WISNER:**

23 Q. This is Exhibit 2131. And the title of this
24 publication is "Differences in the Carcinogenic
25 Evaluation of Glyphosate Between the International

1 Agency for Research on Cancer and the European Food
2 Safety Authority."

3 Is that the title of it?

4 A. Yes.

5 Q. And I see you, right there, are listed as the
6 first author?

7 A. Yes.

8 Q. And then if we actually look at the rest,
9 there are approximately, you said, 96 other authors on
10 this letter with you?

11 A. I think on this letter, there's 94, but I'm
12 not certain.

13 Q. Okay. And are these just random people, or
14 are they scientists?

15 A. They're scientists.

16 Q. From all over the world?

17 A. Correct.

18 Q. And I want to call out a few of them, just
19 because I think the jury is going to hear about them,
20 particularly when we talk about epidemiology.

21 We have here Lennart Hardell.

22 Do you see that?

23 A. Yes.

24 Q. Who is Dr. Hardell?

25 A. He's an epidemiologist in Sweden.

1 **Q.** By the way, my counsel pointed this out to me
2 earlier. I kept calling Jess Rowland a doctor.

3 Do you know if he's actually a doctor or not?

4 **A.** No, I guess I don't.

5 **Q.** Let's just run that one to the ground because
6 I don't want to be misspoken here. If we go to the IARC
7 participant list, this was --

8 **MR. ISMAIL:** Your Honor, the witness just said
9 he has no idea in answer to the question.

10 **MR. WISNER:** I'm sorry, what?

11 **THE COURT:** He said, "No, I guess I don't."

12 **MR. WISNER:** Yeah. So we're going to look --

13 **THE COURT:** Do you know if he's actually a
14 doctor?

15 He doesn't know.

16 **MR. WISNER:** Sure. I can show him the
17 participant list -- I'm going to show him.

18 **THE COURT:** Okay. You can refresh his memory.

19 **MR. WISNER:** Exhibit 1329, this was shown
20 later. And right here, we have the observers, and we
21 have -- I guess it doesn't specify, does it? Sorry. We
22 can't run it into the ground, Your Honor.

23 **THE COURT:** Okay.

24 **BY MR. WISNER:**

25 **Q.** So back to where we are on the publication.

1 We're looking at this article, and I talk about Hardell.

2 Has Dr. Hardell published any epidemiological
3 studies related to glyphosate and non-Hodgkin's
4 lymphoma?

5 A. I believe he's a co-author on three of them.

6 Q. And in through here, we also have Anneclaire
7 De Roos.

8 Do you see that?

9 A. Yes.

10 Q. Is she an author on some of the
11 epidemiological literature in this case?

12 A. Yes. Again, I think she's an author on three
13 of them.

14 Q. And specifically, she's an author on both of
15 the studies involving the Agricultural Health Study?

16 A. Both of the papers, yes.

17 Q. And I believe there's another -- well, right
18 here we have Dr. Charles Lynch.

19 Do you see that?

20 A. Yes, I do.

21 Q. And Dr. Charles Lynch, he's also an author on
22 the recent AHS publication?

23 A. Yes, that's correct.

24 Q. I just want to go to the very end of what you
25 and your co-authors conclude.

1 And you say right here:

2 "The most appropriate and scientifically-based
3 evaluation of the cancers reported in humans and
4 laboratory animals, as well as the supportive
5 mechanistic data, is that glyphosate is a probable
6 human carcinogen. On the basis of this conclusion,
7 and in the absence of evidence to the contrary, it
8 is reasonable to conclude that glyphosate
9 formulations should also be considered likely human
10 carcinogens."

11 Was that you and your co-authors' conclusion
12 here?

13 **A.** Yes, it was.

14 **Q.** Was that based primarily at this point on the
15 information that was reviewed by IARC?

16 **A.** To some degree, as well as the appendix that
17 BfR had written. Because in their appendix, they
18 brought more tumors into the issue that we were just
19 surprised to see, and it strengthened our overall
20 conclusion.

21 **Q.** That's kind of going to where I'm headed.

22 IARC's assessment had some limitations. But
23 following IARC, did you go beyond what IARC had done?

24 **A.** Yes.

25 **Q.** And when you looked at all these animal

1 studies and the genotox studies and the epi studies and
2 the recent meta-analysis by Dr. Zhang, did you consider
3 all that additional data before you rendered your
4 opinions in this case?

5 A. Yes.

6 Q. Is there a scientific method that exists to
7 assess causality?

8 A. Yes.

9 Q. What is that called?

10 A. I'm not going to call it a scientific method.
11 It's more of a process or -- a series of things you
12 would like to see. And it's called the Bradford Hill
13 criteria.

14 Q. And did you go through the Bradford Hill
15 criteria or considerations in looking at Roundup and
16 whether it causes cancer?

17 A. Yes.

18 Q. I understand we prepared a demonstrative to
19 help us walk through those. It's Exhibit 99.

20 MR. WISNER: Your Honor, permission to
21 publish. I don't think it should be objected to.

22 MR. ISMAIL: No objection.

23 THE COURT: Granted.

24 BY MR. WISNER:

25 Q. All right. So we have this chart here. It

1 reads "Bradford Hill Considerations," and it has these
2 considerations here on the right.

3 Do you see that?

4 **A.** Yes.

5 **Q.** And what I want to do -- we want to do this
6 quickly, because I want to hand you over to Defense
7 Counsel so they can ask you some questions.

8 What is the consideration, and then I'm going
9 to ask you what your opinion is about it, okay?

10 **A.** Okay.

11 **Q.** So start out with consistency of association.

12 What is that?

13 **A.** That's asking the question, had you looked
14 across the scientific literature, does it seem to be
15 consistent, especially when considering the epidemiology
16 data? Do all the studies show the same thing, is it in
17 the same direction, et cetera?

18 **Q.** And just to be clear, these Bradford Hill
19 considerations, are they the same considerations
20 discussed in the EPA guidelines?

21 **A.** Yes.

22 **Q.** Same discussions discussed by IARC?

23 **A.** Yes, they are.

24 **Q.** Are these the standard considerations used by
25 scientists such as yourself to assess causality?

1 **A.** Yeah, with some twists from different times
2 and different places.

3 **Q.** Sure. All right.

4 So what is your opinion about consistency of
5 association based on the glyphosate data here?

6 **A.** It's strong.

7 **Q.** Okay. Strength of association.

8 What does that refer to?

9 **A.** That's referring to the magnitude of the
10 relationships that you see. Originally, Bradford Hill
11 thought it was -- he was looking for big numbers in the
12 epidemiology data, but most groups like EPA and IARC now
13 look at the statistical significance of the overall
14 picture to talk about strength of association.

15 **Q.** Okay. And what is your opinion about the
16 strength of association observed in this data?

17 **A.** That's also strong.

18 **Q.** Biological plausibility.

19 What does that refer to?

20 **A.** Do you have an understanding of a mechanism
21 that could have caused this to happen? Do you have
22 animal evidence, other mammalian systems that are
23 getting cancers, the same types of cancers? All of
24 those questions go in that box.

25 So we looked at the mice studies, and they all

1 have lymphoma in it.

2 Q. Does that lend to the biological plausibility
3 that, in fact, Roundup or glyphosate caused lymphoma?

4 A. Yes, it does.

5 Q. And the genotoxicity data that we went
6 through, does that lend to that biological plausibility?

7 A. Yes, it does.

8 Q. And the oxidative stress, does that also add
9 to it?

10 A. Yes, it does.

11 Q. What is your opinion about the strength of
12 that criteria?

13 A. That's very strong.

14 Q. Okay. What is gradient?

15 A. Gradient means, do you see -- in the epi data,
16 as you increase the exposure level, do you see an
17 increase in the risk ratio? And at the same time, you
18 have to see the same thing in the animal evidence, as
19 well. So you would want to look at both of them.

20 Q. Okay. And we didn't really spend too much
21 time on epidemiology because we have an epidemiologist
22 coming.

23 But in the epi data, did they look at, the
24 more exposure people have to Roundup, the more chance
25 they have of getting non-Hodgkin's lymphoma?

1 **A.** Yes, that's been looked at.

2 **Q.** What has that data generally shown?

3 **A.** It's mixed. But it's generally showing an
4 increase.

5 **Q.** And so what would you give the gradient
6 criteria?

7 **A.** I would give moderate to that one.

8 **Q.** Okay. Temporality.

9 What does that refer to?

10 **A.** The exposure has to come before the disease.
11 It's a very simple thing. You have to have that one.
12 It's the only one that absolutely must be there, and it
13 is satisfied.

14 **Q.** Okay. Just to be clear, it's because in
15 animal studies, they got exposed to glyphosate before
16 they had tumors, right?

17 **A.** Yes.

18 **Q.** And in the epidemiology, they were exposed to
19 Roundup before they got non-Hodgkin's lymphoma?

20 **A.** Yes.

21 **Q.** And when we talk about the genotoxicity
22 studies, the cells didn't have genetic damage until they
23 were exposed to glyphosate or Roundup?

24 **A.** We checked against the controls. They had --
25 they always have some genetic damage. It just wasn't as

1 big as it was after the exposure to glyphosate.

2 Q. Fair enough.

3 This next one is a little trickier:

4 Specificity.

5 What does that refer to?

6 A. Yeah, that is a little trickier. I originally
7 read that differently than EPA read that and others have
8 read that, and I finally concluded that there are two
9 meanings to that.

10 First, specificity is, if you have a disease
11 for which this is your only known cause, that adds
12 strength to the overall evaluation. You've finally got
13 some knowledge of a new cause for disease.

14 That was my original interpretation. And
15 since NHL has other causes, that one was not satisfied.
16 It's not there.

17 Q. Okay.

18 A. But others, including the EPA and others, look
19 at it the flip way. If this is the only disease
20 associated with this compound, and you've looked at a
21 lot of other diseases, and they all fall away, and
22 you're just left with this one, that adds strength to
23 the overall interpretation.

24 And there's a lot of reasons to that. And
25 that is satisfied here.

1 Q. So let's break those down to clarify.

2 So the first one is when you have a disease
3 that only has one possible cause, right?

4 A. Correct.

5 Q. Can you think of an example of that, that you
6 know.

7 A. Mesothelioma in the lung and asbestos
8 exposure.

9 Q. So that type of cancer is only caused by
10 asbestos?

11 A. Yeah. That's pretty specific.

12 Q. But that's not what we're talking about here.
13 We're talking about when we have all the
14 scientific data, they all keep pointing to the same
15 specific disease?

16 A. Correct.

17 Q. So, for example, in the mice data, it's
18 pointing to lymphoma?

19 A. Correct.

20 Q. In the human epidemiological data, it keeps
21 pointing to lymphoma?

22 A. Correct.

23 Q. So the first part is not there, right?

24 A. Correct.

25 Q. But the second one is satisfied?

1 **A.** I would say it's strong.

2 **Q.** Okay.

3 **A.** Satisfied is fine.

4 **Q.** I want to use your words.

5 Coherence.

6 **A.** Coherence has to do with looking at the whole
7 picture itself. So have you evidence that shows that
8 the compound is getting into the body? Have you
9 evidence that shows you where it goes in the body? Have
10 you evidence that shows how long it stays in the body
11 before it's released? Have you evidence that the
12 lymphomas in the mouse are part of coherence, as well as
13 biological plausibility?

14 All of that plays into this category of
15 coherence.

16 **Q.** Let me just ask you: Is it coherent that a
17 pesticide can cause lymphoma?

18 **A.** Yes.

19 **Q.** Is it coherent that a pesticide can cause
20 lymphoma in animals?

21 **A.** Yes.

22 **Q.** So how -- what's your opinion about the
23 coherence of this criteria?

24 **A.** That's strong.

25 **Q.** Okay. To sort of finish up this examination,

1 I want to talk about how all these criteria play into
2 your ultimate opinions, okay?

3 And before I do that, I guess my question is:
4 The opinions that you've offered in this case, have you
5 reached them to a reasonable degree of scientific
6 certainty?

7 A. Yes.

8 Q. Okay. And if we go back to the document
9 camera, we kind of went through this, but these are the
10 different pillars of science that you looked at related
11 to Roundup and glyphosate, right?

12 A. Correct.

13 Q. I would like to go through the last
14 demonstrative we have here. It's Exhibit 114.

15 MR. WISNER: I would like permission to
16 publish.

17 Oh, I don't think you have a copy.

18 Permission to approach, Your Honor?

19 THE COURT: Yes.

20 BY MR. WISNER:

21 Q. Dr. Portier, this exhibit is the, sort of,
22 summary of some of your opinions?

23 A. It's questions.

24 Q. Yes. That reflect some of the things you've
25 been asked to look at in this case?

1 **A.** Yes.

2 **MR. WISNER:** Permission to publish,
3 Your Honor.

4 **MR. ISMAIL:** No objection.

5 **THE COURT:** Granted.

6 **BY MR. WISNER:**

7 **Q.** All right. So the question to begin is does
8 Roundup cause, okay?

9 So question number 1 -- and this is to a
10 reasonable degree of scientific certainty -- does
11 Roundup cause tumors in mammals?

12 **A.** Yes.

13 **Q.** Does Roundup cause malignant lymphoma in mice?

14 **A.** Yes.

15 **Q.** Does Roundup cause genetic damage in human
16 lymphocytes?

17 **A.** Yes.

18 **Q.** Does Roundup cause oxidative stress in human
19 cells?

20 **A.** Yes.

21 **Q.** And finally, Doctor, does Roundup cause
22 non-Hodgkin's lymphoma in humans at real-world
23 exposures?

24 **A.** I'm almost 100 percent there, but not
25 100 percent there. It's probably yes.

1 **Q.** And when you say "probably," sir, not close to
2 100 percent. But, like, 90, 95?

3 **A.** I'm in that range. I'm very close.

4 The animal evidence is very strong. I'm still
5 less comfortable with the epidemiology evidence. I
6 would like another one or two good solid studies in
7 there to get me to that point of absolutely, undeniably,
8 yes, this causes non-Hodgkin's lymphoma in humans.

9 **Q.** Does it more likely than not cause cancer,
10 specifically non-Hodgkin's lymphoma?

11 **A.** Definitely more likely than not.

12 **MR. WISNER:** Thank you, Your Honor.

13 **THE COURT:** Okay. We'll take a quick break.
14 Don't leave the building. Thank you.

15 (Recess taken at 10:06 a.m.)

16 (Proceedings resumed at 10:19 a.m.)

17 (The following proceedings were heard in the
18 presence of the jury:)

19 **THE COURT:** All right. Mr. Ismail, you may
20 proceed.

21 **MR. ISMAIL:** Thank you, Your Honor.

22 Your Honor, may I approach the witness with
23 some exhibit binders?

24 **THE COURT:** Yes.

25 **MR. ISMAIL:** And I provided them to

1 Plaintiffs' counsel. You're being handed them as we
2 speak.

3 CROSS-EXAMINATION

4 **BY MR. ISMAIL:**

5 Q. I'm going to be asking mostly yes or no
6 questions through my examination, and to the extent you
7 can, I would appreciate it if you could give me a direct
8 yes or no answer to the questions I pose.

9 Is that fair?

10 A. That's fair.

11 Q. I want to go over a couple points of your
12 background, and sort of the scope of your testimony here
13 today, okay?

14 You're not a medical doctor, true?

15 A. That is correct.

16 Q. And by extension, you're not a pathologist,
17 for example?

18 A. That is correct.

19 Q. You've never diagnosed a patient with
20 non-Hodgkin's lymphoma?

21 A. That is correct.

22 Q. You have never, obviously, treated a patient
23 for non-Hodgkin's lymphoma?

24 A. That is correct.

25 Q. You've never told a patient the cause of his

1 or her NHL, true?

2 A. True.

3 Q. Now, you've also never reviewed human
4 pathology slides to diagnose a case of NHL, correct?

5 A. Correct.

6 Q. You're not a veterinary pathologist yourself,
7 right?

8 A. Right.

9 Q. And you talked about the tissue stamps and
10 tumor findings in normal animal studies.

11 That's not something, as a pathologist, that
12 you do in your scientific work, correct?

13 A. I have done it, but I don't routinely do that,
14 correct.

15 Q. Fair enough.

16 You are not here in this case to testify about
17 Mr. Pilliod or Mrs. Pilliod specifically, correct?

18 A. Correct.

19 Q. You're not here to tell the jury what caused
20 Mr. Pilliod or Mrs. Pilliod's NHL, true?

21 A. True.

22 Q. You have not reviewed the plaintiffs' medical
23 records, correct?

24 A. Correct.

25 Q. You do not know their medical histories from

1 your own review, true?

2 A. True.

3 Q. You do not know either plaintiffs' clinical
4 risk factors for developing NHL, true?

5 A. True.

6 Q. For example, you do not know whether either
7 Mr. Pilliod or Mrs. Pilliod had a weakened immune
8 system, correct?

9 A. Correct.

10 Q. You do not know when Mrs. Pilliod or
11 Mr. Pilliod were diagnosed with NHL, how they were
12 treated, or whether they're in remission today, correct?

13 A. Correct.

14 Q. You do not know Mr. Pilliod or Mrs. Pilliod's
15 exposure to Roundup or glyphosate, correct?

16 A. That is correct.

17 Q. You don't know how many days, how often they
18 used the product, correct?

19 A. Correct.

20 Q. Now, there are different subtypes of
21 non-Hodgkin's lymphoma, correct?

22 A. That is correct.

23 Q. And you are not an expert in the clinical risk
24 factors for developing any particular subtype of NHL,
25 true?

1 A. I wouldn't call myself an expert.

2 Q. That was my question, thank you.

3 A. That's true.

4 Q. Now, other than NHL and things that might be
5 forms of NHL, you are not giving an opinion that
6 glyphosate causes any other form of cancer in humans,
7 true?

8 A. That is true.

9 Q. And just to finish that line of questioning,
10 you do not know whether Mr. Pilliod or Mrs. Pilliod had
11 other forms of cancer before they developed NHL, true?

12 A. I will stipulate that I know nothing about
13 their medical history.

14 Q. Fair enough.

15 Now, during your direct examination and even
16 today, there was, I guess, a metaphor, a demonstrative
17 about the pillars of different types of scientific
18 evidence.

19 Do you recall that discussion with Mr. Wisner?

20 A. Yes.

21 Q. And I think I can put it up on the screen
22 here. This is the demonstrative aid you used to sort of
23 guide your presentation to the jury, correct?

24 A. Correct.

25 Q. And you talked about the various forms of

1 scientific evidence that you reviewed and relied upon
2 for the opinions you're offering?

3 A. That is correct.

4 Q. Now, during opening statements, I know you
5 weren't here, but there was some discussion about the
6 difference between glyphosate and the formulated
7 products.

8 Do you understand that there's a difference
9 between talking about the ingredient versus the
10 formulated product?

11 A. Yes.

12 Q. And would you agree, sir, that you are in no
13 way, shape, or form an expert on the difference between
14 glyphosate and the glyphosate formulations?

15 A. In what sense is "the difference"? Because
16 obviously there's chemical difference, there's
17 difference in the response in animal studies, there are
18 differences in the response in cells.

19 So if you're asking about chemical differences
20 between them, I would have to say correct to your
21 question.

22 MR. ISMAIL: Your Honor, may I approach with a
23 copy of the witness' prior testimony?

24 THE COURT: Sure.

25 MR. ISMAIL: I'll give a copy to Mr. Wisner.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. WISNER: Page and line?

MR. ISMAIL: Page 137, line 17.

Your Honor, would you like a copy?

THE COURT: I would.

MR. ISMAIL: I'm sorry.

MR. WISNER: Your Honor, I would object to this being improper impeachment. There's been no prior inconsistent statement.

THE COURT: Sustained. This indicates he doesn't know.

MR. WISNER: Okay.

BY MR. ISMAIL:

Q. So you would agree, then, that you're not an expert on the chemical differences between the formulated product and the active ingredient glyphosate, correct?

A. Correct.

Q. Now, in terms of these pillars of the different categories of evidence here, you would agree that there are studies that look at the active ingredient glyphosate, and studies that look at the formulated product, and some studies that look at both?

A. Correct.

Q. So, for example, there are mechanism studies, genotoxicity studies that look at both the formulated

1 product and the active ingredient, correct?

2 A. Correct.

3 Q. And there are animal studies that look at
4 glyphosate, correct?

5 A. Correct.

6 Q. And the epidemiology only looks at the
7 formulated product that has been published in the
8 literature?

9 A. That is correct.

10 Q. And you would agree, sir, that between the
11 glyphosate studies and the formulated product studies in
12 the various categories of evidence, there is sufficient
13 testing and data to allow a competent scientist to
14 conclude whether or not these products cause cancer,
15 correct?

16 A. Correct.

17 Q. And that was true in 2015, true?

18 A. True.

19 Q. And that was true even before 2015, correct?

20 A. Correct.

21 Q. Now, one of the pillars you spoke about
22 yesterday were the mechanism studies.

23 And that's like those genotoxicity studies you
24 just referenced, correct?

25 A. Correct.

1 Q. Now, the genotoxicity studies are not used to
2 establish that glyphosate causes NHL in people, true?

3 A. Could you say the question again.

4 Q. Genotoxicity studies are not used to establish
5 that glyphosate causes NHL in people, true?

6 A. So you're asking, specifically genotox
7 studies, do they specifically pertain to NHL in humans?

8 Q. Yes, sir.

9 A. No.

10 Q. So you would agree with my --

11 A. They can pertain, but there's nothing that
12 says it's that specific.

13 Q. In terms of the animal data you talked about,
14 you would agree, sir, that it would be difficult to
15 conclude that glyphosate is causing NHL in humans using
16 only the animal evidence, correct?

17 A. "Difficult" maybe too strong of a word. One
18 would have to walk through a bit of evidence that has
19 not been presented yet on the relationship between
20 seeing malignant lymphomas in mice and seeing NHL in
21 people.

22 MR. ISMAIL: Now, if I could provide you with
23 prior testimony.

24 MR. WISNER: Thanks.

25 MR. ISMAIL: Page 377, line 19.

1 **THE WITNESS:** Could you say that again,
2 please.

3 **MR. ISMAIL:** The page is 377, line 19.

4 **MR. WISNER:** Your Honor, I have no objection
5 to the reading of the testimony, but I do believe, for
6 completeness sake, it needs to go through 378, to
7 line 10.

8 **THE COURT:** Do you have any objection to that?

9 **MR. ISMAIL:** Sure. Happy to read it.

10 **THE COURT:** Yes.

11 **BY MR. ISMAIL:**

12 **Q.** Okay. Doctor, were you asked --

13 **MR. ISMAIL:** So, Your Honor, this is the first
14 time I think the jury has seen reference to a
15 deposition. I don't know if Your Honor has an
16 instruction or commentary to that effect that you give
17 the jury usually.

18 **THE COURT:** I do. I don't have it in front of
19 me, but the essence of it is that deposition testimony
20 is evidence; it's to be considered like all other
21 evidence.

22 I'll read the complete instruction to you.
23 Deposition testimony is to be considered as any other
24 piece of evidence submitted by either party.

25 ///

1 **BY MR. ISMAIL:**

2 **Q.** So are you with me, sir, at page 377, line 19?

3 **A.** Yes, I am.

4 **Q.** And you were under oath when you gave the
5 testimony on this date, correct?

6 **A.** Correct.

7 **Q.** And this was about five weeks ago, in a
8 deposition?

9 **A.** I think so.

10 **Q.** And there was a court reporter there, just
11 like there is here, taking down what was asked of you
12 and your responses, correct?

13 **A.** Correct.

14 **Q.** So line 19:

15 "Q. In order to determine whether
16 or not glyphosate was causing NHL, we
17 would really need to look at the human
18 epidemiological evidence, right?

19 "A. In my opinion, it would be
20 difficult to conclude that glyphosate is
21 causing NHL in humans using only animal
22 evidence."

23 Have I read it correctly so far?

24 **A.** Yes, you have.

25 **Q.** And that was your answer, under oath, to the

1 question, correct?

2 A. Correct.

3 Q. And then going on:

4 "Q. Is that a yes?

5 "A. I'm not sure, the way you
6 stated the question. I'm trying to
7 state the answer that I'm comfortable
8 with."

9 And there was a new question:

10 "Q. You need to look at the human
11 data, correct?

12 "A. We would need human data in
13 order to make that leap from animals to
14 humans for a specific disease."

15 Were you asked those questions, and was that
16 your sworn testimony under oath?

17 A. It is.

18 Q. So you agree, sir, that you would have to
19 consider that the animal data alone, without looking at
20 the human data, it would be difficult to form a
21 causation opinion in this case, as you stated?

22 A. In my opinion, I'll go a little stronger. If
23 all I have is the animal data, making a causal statement
24 about a specific human disease would be very difficult,
25 close to impossible.

1 But that's not what you always have. You
2 always have human data.

3 Q. And so in terms of the human data we have in
4 this case, you agree that you cannot make a firm
5 statement that Roundup causes NHL from the epidemiology
6 data alone, true?

7 A. Correct. I can't do it from the epidemiology
8 data alone.

9 Q. Now, you went through with Mr. Wisner -- you
10 had a long discussion of -- let me back up.

11 You agree, sir, that there are scientists who
12 disagree with the views you've offered to the jury in
13 this case?

14 A. Yes.

15 Q. And you talked with Mr. Wisner about one group
16 of scientists at the EPA who have concluded differently
17 than what you've given opinions about to this jury,
18 true?

19 A. That's true.

20 Q. And you would recognize that there are
21 scientists at regulatory bodies around the world who
22 have assessed the data and have come to conclusions
23 different than yours, true?

24 A. Well, I would first question the statement
25 "have assessed the data." But certainly they have

1 looked at some part of this data and reached the
2 conclusion that was different than mine.

3 Q. Now, in terms of the various organizations and
4 scientific bodies that have looked at this data, you
5 talked about the EPA, correct?

6 A. Correct.

7 Q. And you made some reference to a couple of the
8 scientific bodies in Europe who have assessed this
9 issue?

10 A. Correct.

11 Q. I don't think you showed the jury what they
12 concluded, but we'll do that, perhaps, this afternoon.

13 But I would ask you to turn to Exhibit 5129 in
14 your binder.

15 A. 512...

16 Q. 5129.

17 Are you there, sir?

18 A. Yes, I am.

19 Q. And you've seen this document before; it's
20 been shown to you in prior testimony, correct?

21 A. That is correct.

22 Q. You recognize that on the front page, it's
23 described as the reevaluation decision of Health Canada
24 on the issue of glyphosate, dated April 28th, 2017,
25 correct?

1 A. That is correct.

2 Q. Now, the IARC decision was in 2015, correct?

3 A. That is correct.

4 Q. And prior to 2015, all the health agencies
5 that looked at the data and came to a final conclusion
6 concluded that glyphosate was not carcinogenic, correct?

7 A. I can't be certain. I mean, I have not read
8 every single risk assessment for every agency around the
9 world.

10 Q. Right. My question was a little more narrow.
11 Of those that you are aware of, as of the IARC
12 meeting in 2015, all of them had concluded that there
13 was not a carcinogenic risk with glyphosate, correct?

14 A. That's only two, but yes, the answer would be
15 correct.

16 Q. And since 2015, there have been several
17 agencies that have looked at that question, correct?

18 A. That is correct.

19 Q. One of which we have in front of you, and
20 that's the assessment from Health Canada, correct?

21 A. Correct.

22 **MR. ISMAIL:** Permission to publish.

23 **THE COURT:** Yes.

24 Any objection?

25 **MR. WISNER:** I believe there are specific

1 pages that are admissible.

2 **THE COURT:** Correct. Pursuant to the MIL.

3 **MR. ISMAIL:** Thank you, Your Honor.

4 Just to orient everyone, this is the
5 reevaluation decision from Health Canada in 2017.

6 **BY MR. ISMAIL:**

7 **Q.** And you agree, sir, that Health Canada is a
8 scientific -- has scientists who are part of their
9 evaluation process?

10 **A.** Yes.

11 **Q.** I would ask you, sir, if you could, to turn to
12 page 9.

13 Are you there, sir?

14 **A.** Yes, I am.

15 **Q.** Now, if you look at the top paragraph -- I'll
16 call it out so everyone can see.

17 Just to orient where they are in the timeline
18 here, Health Canada is referring to the decision of IARC
19 that classified glyphosate similar with how you
20 described it to the jury, correct?

21 **A.** Say that again, I'm sorry.

22 **Q.** All I'm trying to establish here, sir, is
23 that, at the time that Health Canada did this assessment
24 of glyphosate, by their own document, they are aware of
25 and referencing that IARC had come to its determination

1 in 2015.

2 A. If that's the thing you want to take from
3 this, that's fine. That's correct.

4 Q. Okay. And then I want to ask you about the
5 next paragraph.

6 So let's just walk through what's described
7 here. First sentence says:

8 "In November 2015 the European Food Safety
9 Authority, EFSA, finalized their reassessment
10 of glyphosate, concluding that glyphosate is
11 unlikely to pose a carcinogenic hazard to
12 humans."

13 Did I read that correctly?

14 A. Yes, you did.

15 Q. And EFSA is the organization that you were
16 talking about with Mr. Wisner this morning, correct?

17 A. Correct.

18 Q. And you were aware of that in 2015, as we'll
19 see in a minute, because you actually corresponded with
20 EFSA about their conclusion, correct?

21 A. Correct.

22 Q. Then it goes on, talking about some -- another
23 statement, and this is in May of 2016, an organization
24 called JMPR.

25 Do you see that listed there?

1 A. Yes, I do.

2 Q. And I believe yesterday, you made reference to
3 your review of a regulatory document prepared by JMPR,
4 correct?

5 A. It's not regulatory. They have no regulatory
6 authority, but it is a document done by JMPR.

7 Q. I appreciate that clarification, because
8 actually JMPR is a part of the World Health
9 Organization, correct?

10 A. Correct.

11 Q. And I think you mentioned that IARC has some
12 affiliation with WHO, as well?

13 A. Correct.

14 Q. But JMPR is another World Health
15 Organization-affiliated organization?

16 A. It's not really an organization; it's a
17 committee.

18 Q. Fair enough.

19 A. It's a WHO committee.

20 Q. A WHO committee that includes scientists and
21 other specialists in the field of study, correct?

22 A. Correct.

23 Q. And as reflected here in this document by
24 Health Canada, there's a reference that it's unlikely to
25 be genotoxic, correct?

1 A. Specifically, unlikely to be genotoxic in
2 anticipated dietary exposures.

3 Q. And in March of 2017, there's a reference to
4 the European Chemical Agency, correct?

5 A. Correct.

6 Q. And that's another one of the organizations
7 that you talked about with Mr. Wisner, correct?

8 A. Correct.

9 Q. And you know that in 2017, the European
10 Chemical Agency concluded that glyphosate is not a
11 carcinogen, correct?

12 A. That's not their conclusion.

13 Q. Okay. We'll look at their conclusion in a
14 minute.

15 But you know that they concluded contrary to
16 what you testified to the jury, correct?

17 A. Correct. It didn't reach the level of concern
18 to be listed in their criteria.

19 Q. So ECHA has the sort of criteria that, if a
20 certain chemical reaches a level of concern, then they
21 list it as a carcinogen?

22 A. Correct.

23 Q. And ECHA, in 2017, after the IARC decision,
24 decided that glyphosate did not reach that level of
25 concern?

1 **A.** That is correct.

2 **Q.** Very good.

3 And then there's another reference here to the
4 Australian Pesticides and Veterinary Medicines
5 Authority, correct?

6 **A.** Correct.

7 **Q.** And as Health Canada indicates, that
8 organization determined that glyphosate is not a
9 carcinogen?

10 **A.** That's correct.

11 **Q.** And Health Canada goes on to say:

12 "Currently, no pesticide regulatory authority,
13 including Health Canada, considers glyphosate
14 to be a carcinogenic risk of concern to
15 humans."

16 Did I read that correctly?

17 **A.** Yes, you did.

18 **Q.** And as of 2017, when this was prepared, that
19 is a correct characterization, true?

20 **A.** Of what they believe, yes.

21 **Q.** And that is still true today, right?

22 **A.** I'm unaware of a new document coming out of
23 Health Canada.

24 **Q.** Or any other pesticide regulatory authority
25 that's contrary to this statement, true?

1 **A.** True. I'm unaware of any.

2 **Q.** Thank you.

3 Now, I want to talk a little bit further about
4 your background before you got to the IARC in 2015,
5 okay?

6 **A.** Okay.

7 **Q.** You told us yesterday about your years at
8 various governmental agencies and the positions that you
9 held. For example, at NTP or the National Institute of
10 Environmental Health Sciences, those organizations at
11 which you worked, correct?

12 **A.** Correct.

13 **Q.** But it's true that in this case, you are not
14 speaking on behalf of any of those agencies, correct?

15 **A.** That is correct.

16 **Q.** You are here only offering your personal
17 opinions of Dr. Portier, true?

18 **A.** True.

19 **Q.** Did you retire in 2013?

20 **A.** Yes, I did.

21 **Q.** Until your retirement in 2013, you were
22 employed in a governmental position for approximately
23 30, 35 years?

24 **A.** Let's see. I'll just figure it out for you.
25 Thirty-five years.

1 Q. Very good.

2 And in that 35 years of work in the various
3 roles that you described, you never came to the opinion
4 that glyphosate was a carcinogen, true?

5 A. That is true.

6 Q. The first time you personally came to that
7 belief was in 2015, when you attended the IARC working
8 group meeting, correct?

9 A. Yes.

10 Q. And I guess while we're on this topic, you're
11 not here speaking on behalf of IARC, correct?

12 A. No. In fact, I didn't even -- I didn't even
13 render my opinion in their opinion.

14 Q. Right.

15 A. Because I was not allowed.

16 Q. And with respect to glyphosate, you never
17 spoke on behalf of IARC?

18 A. That is correct.

19 Q. Okay. And again, you're here offering your
20 personal opinions based on the review you described?

21 A. That is correct.

22 Q. Now, you served for a time as the associate
23 director of the National Toxicology Program, correct?

24 A. Correct.

25 Q. You recognized the NTP as an authority, true?

1 A. That is true.

2 Q. There are researchers at NTP you believe and
3 understand to do good quality toxicology research,
4 correct?

5 A. I haven't followed them as closely as I used
6 to. But I assume they're still doing that, yes.

7 Q. Based on your experience in government
8 service, you would certainly agree that that's a true
9 statement?

10 A. While I was there, absolutely that's true.

11 Q. And a major part of your job at NTP was to
12 figure out methods and ways in which chemicals may cause
13 cancer, true?

14 A. A major part? It was certainly part.

15 Q. Fair enough.

16 You told us yesterday that 80, 90 percent of
17 your work was on carcinogens, especially when you were
18 at NIH and NTP, true?

19 A. That is true.

20 Q. Now, the NTP will do their own studies at
21 times, correct?

22 A. They contract them out to laboratories.

23 Q. They sponsor and fund studies, correct?

24 A. Correct.

25 Q. And that would include studies in mice or rat

1 models, correct?

2 A. That is correct.

3 Q. And they will even do some of those
4 genotoxicity studies that you talked about with
5 Mr. Wisner yesterday, correct?

6 A. Correct.

7 Q. And you know that NTP actually has studied
8 glyphosate, correct?

9 A. That is correct.

10 Q. Now, the NTP has evaluated close to
11 3,000 substances since its inception, correct?

12 A. I would guess that's about the right number.

13 Q. I won't hold you to the precise number, but
14 it's in that neighborhood?

15 A. It's bigger than that now because of the
16 program I put in with high fructose screening. Now
17 they're well into tens of thousands, but it's not
18 intense study like the 3,000 you're talking about.

19 Q. So there's different levels of investigation
20 by NTP, some of which they'll sponsor and fund, rodent
21 studies or actual laboratory work on substances,
22 correct?

23 A. Correct.

24 Q. And then you described other sorts of
25 evaluations or computerized model screening of

1 substances, as well?

2 A. It's not computerized model screening. It's
3 still laboratory work, but with robots and all kinds of
4 things. And running it through as quickly as you can to
5 get a broader picture for chemicals.

6 Q. Through your work at the NTP, you gained
7 familiarity with something called the Report on
8 Carcinogens, correct?

9 A. That is correct.

10 Q. Now, the purpose of the Report on Carcinogens
11 is for the United States Secretary of Human Health to
12 retain a list of what is known or reasonably anticipated
13 to be a human carcinogen, correct?

14 A. Correct.

15 Q. The NTP is designated to provide advice and
16 guidance to those maintaining the list, correct?

17 A. Yes.

18 Q. And actually, the Report on Carcinogens is
19 submitted to Congress, right?

20 A. As far as I understand, yes.

21 Q. And the NTP makes recommendations on
22 identified causes of cancer to be included in this
23 Report on Carcinogens, correct?

24 A. That is correct.

25 Q. Now, your job at NTP was to recommend what you

1 believe should be included in the Report on Carcinogens,
2 true?

3 A. The recommendations were usually generated by
4 either staff or from outside of the NTP. It was my
5 responsibility to look at those recommendations and
6 narrow it down into what would be included. I made the
7 final decision.

8 Q. Right. That would be my next question.

9 For a period of time -- for at least five or
10 six years -- you were the person who made the final
11 recommendation to the United States Secretary of Human
12 Health on what should go in the Report for Carcinogens?

13 A. Yes. Technically, that was my boss, but he
14 never once changed my opinion; so, in essence, it was
15 me.

16 Q. And you obviously took on your
17 responsibilities as best you could while at NTP?

18 A. Yes.

19 Q. Including your work with respect to the Report
20 on Carcinogens, true?

21 A. True.

22 Q. You never recommended that glyphosate be on
23 that list of carcinogens when you had that
24 responsibility, correct?

25 A. That is correct.

1 Q. Now, the Report on Carcinogens, I believe, is
2 in its 14th edition now?

3 A. Probably.

4 Q. And you know that if you go on the Report on
5 Carcinogens today, there are over 200 substances listed
6 there, right?

7 **MR. WISNER:** Objection. Lacks foundation.
8 Hearsay.

9 **THE COURT:** Sustained.

10 **BY MR. ISMAIL:**

11 Q. Doctor, do you have any understanding of
12 the -- well, let me ask it this way: While you were at
13 NTP, do you know approximately how many substances were
14 on the official Report on Carcinogens that were prepared
15 with the assistance of NTP?

16 A. I think the 10th edition, which I was -- the
17 last one I was in charge of -- had about 140 chemicals.

18 Q. And your expectation would be, as that work
19 continued to go on, there would be additional chemicals
20 added to the list? That's how this works?

21 A. Correct.

22 Q. So reasonably putting those pieces together,
23 you would expect that the Report on Carcinogens is even
24 larger today than when you had some responsibility for
25 it.

1 Is that fair?

2 **A.** That would be fair.

3 **Q.** And you know, sir, through your work in this
4 case, that glyphosate is not on the Report on
5 Carcinogens today, true?

6 **A.** I would guess. I haven't gone to look. But
7 it would surprise me if it was. They generally don't
8 review things that EPA has authority over.

9 **Q.** Thank you for that.

10 Now, in terms of your time in government
11 service, that ended in around 2013?

12 **A.** Yes.

13 **Q.** And then you became a private consultant for a
14 period of time, and still are today?

15 **A.** Amongst other things, yes.

16 **Q.** Amongst other things.

17 So in the 35 years you spent in -- as part of
18 various agencies in which you've described, at least for
19 some of those years, a large part of your work was
20 identifying potential causes of cancer, right?

21 **A.** I have to back off from that. I mean, much of
22 my work was looking at the ways in which you do that.
23 Not necessarily taking the next chemical and the
24 chemical after that and identifying it.

25 It was more methodology, developing the

1 methodologies and the processes.

2 Q. Certainly, you worked with scientists in your
3 organizations who had those responsibilities, as well,
4 correct?

5 A. Correct.

6 Q. Okay.

7 A. I did some of that. But it's not the main
8 part of my work.

9 Q. Fair enough.

10 A. That's the part I was having trouble with.

11 Q. I appreciate the clarification.

12 Again, throughout all of that work that you
13 did, whether it's a major part, minor part, or somewhere
14 in between, you never concluded glyphosate was a
15 carcinogen, true?

16 A. That is true.

17 Q. So you're invited to this working group
18 meeting in 2015. There were other chemicals that were
19 under consideration by IARC at that meeting, correct?

20 A. That's correct.

21 Q. And you were not invited to the IARC meeting
22 because of glyphosate, but rather the other pesticides
23 that were being considered, true?

24 A. That is my understanding.

25 Q. So right up to that IARC meeting in 2015,

1 there was no time in that period that you came to the
2 judgment that glyphosate was the cause of cancer, true?

3 **A.** True.

4 **Q.** Prior to that working group meeting in March
5 of 2015, you had not looked at any of the scientific
6 evidence on the carcinogenicity of glyphosate, correct?

7 **A.** That's not correct.

8 **Q.** You got some materials brought up to that
9 meeting; is that correct?

10 **A.** Yes. But I've also -- I -- as you know if
11 you've read my depositions, I had looked at McDuffie at
12 one point years and years earlier.

13 But there is another one that I hesitate to
14 mention. I wrote a letter with two colleagues regarding
15 the retraction of a paper that we were not happy with.
16 And I had read that paper, too.

17 **Q.** Now, prior to the IARC meeting, you did not
18 review the publicly-available material from EPA or other
19 regulatory organizations.

20 Is that fair?

21 **A.** Up to -- close to the IARC meeting, I did scan
22 some of the regulatory material prior to the meeting.
23 It was in the near neighborhood.

24 **Q.** Sure. So just so we're all clear on the
25 timeline here.

1 Within the weeks before that meeting, you had
2 made available to you some of the regulatory materials?

3 **A.** That is correct.

4 **Q.** Fair enough.

5 Now, the working group members of IARC, you
6 went through some of their professional affiliations
7 with Mr. Wisner.

8 Do you recall doing that?

9 **A.** Yes.

10 **Q.** Now, when an individual appears at IARC, they
11 are appearing in their individual capacity, true?

12 **A.** Absolutely.

13 **Q.** And so --

14 **A.** On the working group.

15 **Q.** On the working group?

16 **A.** Observers are not required to have that issue.

17 **Q.** And the observers don't write the Monographs
18 or vote on the outcome, correct?

19 **A.** That is correct.

20 **Q.** So those who are actually writing the
21 materials and voting on IARC's classification, they're
22 all there in their individual capacities?

23 **A.** That is correct.

24 **Q.** So if someone was from EPA on the working
25 group, it's not as if they're saying this is EPA's

1 position or EPA agrees with what's being included here?

2 A. That would be correct.

3 Q. And that's true for each and every one of the
4 affiliations that you went through with Mr. Wisner
5 yesterday?

6 Those were not those organizations adopting
7 and ratifying what IARC said, true?

8 A. That's true. The preamble that IARC has lays
9 out rules, and that's one of the rules. You're here on
10 your own, not representing whatever.

11 Q. You told us that the working group classified
12 glyphosate as category 2A, correct?

13 A. I don't think I said 2A, but yes. Probable
14 human carcinogen.

15 Q. So there's probable, possible -- various
16 classification structures within the IARC system,
17 correct?

18 A. That is correct.

19 Q. Now, by IARC's own description, those terms
20 probable or possible have no quantitative significance,
21 correct?

22 A. Quantitative in the sense of -- I have to be a
23 little more specific.

24 Quantitative in the sense of exposure response
25 relationships, dose response concepts, and risk to a

1 population. It has quantification -- or in terms of the
2 magnitude of the science behind it. But not necessarily
3 in the magnitude of the risk.

4 Q. You would agree, sir, that a particular
5 finding of probably carcinogenic or possibly
6 carcinogenic doesn't mean 75 percent or 80 percent or
7 even 40 percent, because those descriptors have no
8 quantitative significance, true?

9 A. So if that was your question, that is true.

10 Q. Okay.

11 Now, you described your work with IARC -- the
12 working group -- as an invited specialist.

13 That's the formal title that you held, right?

14 A. That is correct.

15 Q. What that means is you weren't a voting
16 member, as you referenced this morning, true?

17 A. True.

18 Q. And the reason you were not a voting member is
19 because IARC concluded you had a possible conflict of
20 interest, correct?

21 A. That is correct.

22 Q. You disclosed that to them as part of the
23 vetting process?

24 A. That's correct.

25 Q. Because you believe potential conflicts of

1 interest are important?

2 A. They are.

3 Q. Being hired by Plaintiff lawyers would be an
4 actual conflict of interest, correct?

5 A. Absolutely, yes.

6 Q. So shortly after the IARC meeting in March of
7 2015, you were contacted by Plaintiff lawyers to serve
8 as a consultant for them, correct?

9 A. That is correct.

10 Q. And before the end of March 2015, so within a
11 few weeks of the IARC meeting, you had signed a contract
12 to consult with Plaintiffs' lawyers, correct?

13 A. I believe that is correct.

14 Q. And these were lawyers you knew from even
15 before the IARC meeting in other professional contexts?

16 A. Professional -- just providing them advice on
17 the phone every once in a while, but yes.

18 Q. So from that point forward, from March 2015,
19 you have received compensation from Plaintiff lawyers
20 with respect to your work on glyphosate, correct?

21 A. No. Not all my work. With respect to the
22 specific things I've been asked to do for them, I have
23 received compensation.

24 Q. And that wasn't the spirit of my question, but
25 I appreciate you being precise.

1 You have received compensation for the work
2 you've done on behalf of Plaintiff lawyers since March
3 of 2015?

4 **A.** That is correct.

5 **Q.** And I don't think you told us yesterday or
6 today, but what is your hourly rate?

7 **A.** \$450 an hour.

8 **Q.** And is that for work you do both inside the
9 courtroom and outside the courtroom?

10 **A.** That is correct.

11 **Q.** On behalf of Plaintiffs' counsel?

12 **A.** That is correct.

13 **Q.** Now, I think you told us this morning that in
14 the course of -- let me rephrase.

15 IARC has very specific rules as to what data
16 the working groups are allowed to consider, correct?

17 **A.** That is correct.

18 **Q.** And one of those rules is that it has to be
19 publicly peer-reviewed data, correct?

20 **A.** No. It has to be publicly available.

21 **Q.** Publicly available.

22 **A.** The working group can peer-review it
23 themselves. So it has to be publicly available.

24 **Q.** So just to sort of cut to the chase here,
25 IARC, at that working group meeting in 2015, did not

1 review all the scientific data that had been generated
2 by that point on the potential link between glyphosate
3 and NHL, true?

4 A. That is true.

5 Q. Just to be a little more specific, there were
6 epidemiology data that had not been published in 2015.

7 You know that now, correct?

8 A. Well, there is always new data coming out,
9 yes.

10 Q. My question, in fairness, is a little more
11 specific.

12 You know that in March of 2015, there were
13 epidemiology data that had not yet been published that
14 actually showed there was no association between
15 glyphosate and NHL, true?

16 A. I would not agree to that.

17 Q. Okay. Then we'll -- we'll circle back to that
18 when we talk about some of the data.

19 A. I would agree to say that the authors of that
20 draft document had concluded that.

21 Q. So the distinction you're making is that you,
22 Dr. Portier, don't interpret that data the way the
23 authors of the study interpreted it?

24 A. Correct.

25 Q. So let's just sort of close the loop on that.

1 You know that there were epidemiology data
2 that the researchers themselves believed show that there
3 was not a link between glyphosate and NHL, true?

4 **A.** That was a draft document where that's what
5 they had said.

6 **Q.** And when we say "glyphosate" in this question,
7 really we're talking about formulated product because
8 it's human epidemiology?

9 **A.** That's correct.

10 **Q.** And the draft document you're talking about,
11 those included researchers from the National Cancer
12 Institute, right?

13 **A.** That is correct.

14 **Q.** And the National Institutes of Viral Health
15 Sciences, where you used to work?

16 **A.** That's correct.

17 **Q.** We're going to come back to that study as we
18 continue our conversation.

19 But just on this question of what IARC had
20 available to them to consider, there's some human
21 epidemiology data that, by the rules, they did not look
22 to when they were making their assessment, correct?

23 **A.** To be fair, no one could have looked to that.

24 **Q.** Do you know whether working group members had
25 access to that data before that meeting was held?

1 **A.** The co-authors of the paper had access to that
2 paper, it seems, before the meeting.

3 **Q.** My question was more specific.

4 Do you know whether members of the working
5 group, in March of 2015, had access to the epidemiology
6 data that showed no link between glyphosate and NHL that
7 had not yet been published in a journal?

8 **A.** One of the working group members was an author
9 of that draft.

10 **Q.** So that's a yes?

11 **A.** That's a yes.

12 **Q.** Now, in terms of the other sort of pillars
13 that we've talked about, the mechanism studies, I think
14 you told us there are -- I think you said over
15 100 different genotoxicity studies that have been done
16 on glyphosate, correct?

17 **A.** Correct.

18 **Q.** And by their rules -- I'm not debating whether
19 they're good rules or bad rules -- but by their rules,
20 IARC did not consider the totality of that genotoxicity
21 data, correct?

22 **A.** They came pretty close, because much of it was
23 available in advance. They came pretty close.

24 **Q.** Do you know what fraction of the genotoxicity
25 data the IARC working group considered in March of 2015?

1 **A.** If you exclude the salmonella assays -- I'll
2 explain that very clearly.

3 IARC said the salmonella was just negative.
4 And there was a large review document, and they said,
5 we're just not going to go there. We're just going to
6 concede it's negative.

7 If you include that, then they probably got
8 80, 90 percent of the data available at that time.

9 **Q.** Right. But if we actually look at all of it,
10 not excluding a category, it was less than 80 percent,
11 correct?

12 **A.** Correct.

13 **Q.** And in terms of the animal data that you
14 described during the course of your testimony, you
15 identified some 12 or 13 rodent studies that you believe
16 are of sufficient quality to -- for you to review and
17 opine on, correct?

18 **A.** Correct.

19 **Q.** And IARC did not consider the same set of
20 rodent studies in its assessment that you did here,
21 correct?

22 **A.** That would be overstating it. They were aware
23 of all 12 studies because of the Greim paper. But they
24 felt that five or six of them -- they didn't have
25 sufficient information in hand to review it.

1 Q. Okay. So just to be precise then: IARC was
2 aware of additional rodent studies, but did not have
3 access or sufficient comfort level that they knew the
4 data to specifically analyze them in their assessment.

5 Is that fair?

6 A. Right.

7 Q. Okay. And you know that regulatory agencies,
8 the likes of which we've already seen summarized here in
9 court, had access and considered more information than
10 did IARC when answering this precise question, true?

11 A. That would be true.

12 Q. Now, following the IARC meeting in 2015, you
13 personally interacted with several of the scientific
14 groups in various regulatory agencies, correct?

15 A. I'm not sure that would be a fair statement,
16 other than EPA's head of their science advisory panel
17 review group.

18 Most of my interactions were at the level of
19 letters and conversations, not with them directly.

20 Q. My question was imprecise.

21 When I say "personally interacted," I intended
22 to include written correspondence.

23 A. Written correspondence, yes.

24 Q. Okay. So --

25 A. But I want to be fair. Again, the written

1 correspondence never went to the regulatory authorities.

2 It went to -- one went to the head of the
3 Health -- Department of Health for the European
4 Commission, and the other one went to the president of
5 the European Commission.

6 There were letters to the EPA as a public
7 record letter on some of their things.

8 Other than that, there was very little
9 correspondence directly with regulatory people.

10 Q. Is it your testimony, sir, that you did not
11 direct correspondence, for example, to ECHA?

12 A. They got copies, yes.

13 Q. By your direction?

14 A. Yes, of course. They got copies. Thank you.

15 Q. You sent it to them?

16 A. Yes.

17 Q. When you're saying you did not personally
18 correspond with ECHA or EFSA, there was a different top
19 line, but you, Dr. Portier, sent correspondence to ECHA
20 and EFSA?

21 A. That's correct.

22 Q. Okay. And you also submitted written
23 documentation to EPA, true?

24 A. That is correct.

25 Q. Now, I think this is agreed to in your

1 testimony, but just so I'm clear.

2 During the entire time in which you had
3 written correspondence with either U.S. or European
4 regulators about glyphosate, you have been a paid
5 consultant for Plaintiffs' counsel in this litigation
6 for the work you were doing on their behalf, correct?

7 A. Yes.

8 Q. Now, you talked about this morning about the
9 European Union's structure for reviewing the safety of
10 chemicals, herbicides, pesticides, and their regulatory
11 approval.

12 Do you recall doing that this morning?

13 A. Yes.

14 Q. And as you indicated, it's a different
15 structure than we have here in the United States;
16 there's various groups within different parts of that
17 governmental structure that have very specific roles,
18 correct?

19 A. Correct.

20 Q. And one of the things you indicated was that
21 in its process, the European Union, in terms of the
22 doing the initial heavy lifting on the science
23 evaluation, will designate a member state to review the
24 scientific record and prepare a report, correct?

25 A. Correct.

1 Q. And that was done with glyphosate with respect
2 to the alleged link with non-Hodgkin's lymphoma, true?

3 A. It's not that specific.

4 Q. The review by --

5 A. Was everything. It does everything. They
6 weren't specifically looking at that question of
7 non-Hodgkin's lymphoma.

8 Q. So let's just expand on that.

9 So as part of its review process, the European
10 Union structure would designate a member state to review
11 various types of toxicity information with respect to a
12 product or chemical that's being sought to be registered
13 to be used in Europe, correct?

14 A. Correct.

15 Q. And one of the things that they considered in
16 that process, specifically as to this compound, was the
17 alleged carcinogenicity, correct?

18 A. Correct.

19 Q. And the member state that did that review in
20 Europe was Germany, correct?

21 A. They led the review. There was another state
22 who had some minor roles.

23 Q. And that was Slovenia?

24 A. I believe, yeah.

25 Q. So as a member state that's designated sort of

1 the lead group of scientists doing the heavy lifting,
2 they can get support from another member state,
3 scientific review as needed to prepare the written
4 documentation?

5 A. Correct.

6 Q. Very good.

7 And prior to the IARC meeting, the German
8 health and safety organization -- I'm not going to
9 attempt its name in German -- did that review for
10 glyphosate, correct?

11 A. Correct.

12 Q. And I believe it was --

13 A. Well, that's not totally correct.

14 BfR, which is the German agency, reviewed a
15 review. They didn't write their own. But they reviewed
16 a review.

17 Q. Right. And that means that glyphosate
18 formulations had been approved on the market in Europe
19 for many years.

20 And then by 2013, as part of the process of
21 re-review, BfR, this German organization, did that
22 scientific evaluation, correct?

23 A. Correct.

24 Q. Very good.

25 And I think in Exhibit 4203 -- and maybe you

1 can do this from your own recollection, sir, but if you
2 want to look at the German written documentation
3 assessing this product glyphosate, do you recall that in
4 2013, their conclusion was that glyphosate is unlikely
5 to pose a carcinogenic risk to humans?

6 A. That is what I recall.

7 Q. So in the timeline, we have that glyphosate
8 has been assessed by Germany, and that is unlikely to
9 pose a carcinogenic risk in humans, and that was adopted
10 by the European Union-wide organization, correct?

11 A. EFSA, you mean?

12 Q. EFSA.

13 A. Yes, correct.

14 Q. So IARC makes its determination in 2015. And
15 as far as your review in this case, that was the first
16 scientific body that had raised -- or had classified
17 glyphosate as a possible or probable carcinogen, true?

18 A. Let me make sure I have the question right.
19 EFSA didn't reach that conclusion of not likely to be
20 carcinogenic in humans until after IARC. They had not
21 finished the 2013 BfR thing.

22 We can get back to the IARC question now.

23 Q. I appreciate you being precise.

24 The German regulators and scientists made
25 that -- made the determination of unlikely to pose a

1 carcinogenetic risk to humans before IARC, right?

2 **A.** It's carcinogenic. I want to fix that. I
3 keep saying yes to you.

4 It's carcinogenic, not carcinogenetic.

5 **Q.** I apologize if I misspoke.

6 And that was the determination of the German
7 scientists, correct?

8 **A.** Correct.

9 **Q.** And IARC comes out in 2015, and Europe was in
10 the middle of its -- EFSA was in the middle of its
11 evaluation, correct?

12 **A.** That's correct.

13 **Q.** Now, I think you were -- I think my question
14 prior to your clarification was as follows:

15 When IARC made its determination in 2015, that
16 was the first scientific organization that had ever
17 classified glyphosate as a probable carcinogen, true?

18 **A.** That's my understanding. I can't be
19 absolutely certain.

20 **Q.** To your knowledge and your investigation in
21 this case, that's a true statement, correct?

22 **A.** I didn't even investigate it. But as far as I
23 know, it's true.

24 **Q.** But you accept it as true, sitting here today?

25 **A.** Correct.

1 Q. Now, EFSA then, once IARC came out, said,
2 okay, we have this new finding from IARC, and we're in
3 the middle of this re-review. We want to make sure that
4 we have an opportunity to consider what IARC has set
5 forth in their Monograph.

6 Words to that effect, correct?

7 A. I believe they were told they had to. But --
8 the net effect is they did, indeed, look at it.

9 Q. They were instructed to consider IARC,
10 correct?

11 A. Correct.

12 Q. And what they did was, they then delegated
13 that task initially to Germany to make that assessment
14 because Germany was the member state who was doing that
15 review?

16 A. That is correct.

17 Q. And then EFSA evaluates the safety of products
18 like glyphosate, correct?

19 A. Correct. Well, they are -- technically ECHA
20 has the authority to do that, but they give that
21 authority to EFSA.

22 Q. Now, do you recall what Germany concluded in
23 2015 upon considering the conclusions of IARC?

24 A. I can't remember the exact wording of the
25 conclusion.

1 **Q.** It was similar to the finding they made
2 before, correct?

3 **A.** They clearly disagreed with IARC.

4 **Q.** Now, just so we can sort of keep track of the
5 timeline here, in around 2013, Germany makes its initial
6 determination, correct?

7 **MR. WISNER:** Your Honor, I don't have any
8 objection to this. But before he publishes anything, I
9 should see a copy.

10 **MR. ISMAIL:** I apologize. I'll give you a
11 copy.

12 **MR. WISNER:** Okay.

13 **MR. ISMAIL:** Here is a copy for the Court, as
14 well, Your Honor.

15 **MR. WISNER:** No objection to the
16 demonstrative -- strike that.

17 **MR. ISMAIL:** Permission to publish,
18 Your Honor.

19 **THE COURT:** Yes.

20 **BY MR. ISMAIL:**

21 **Q.** Just to orient, because we have different
22 organizations and different dates. So 2013, that's the
23 review from Germany that we talked about.

24 Then the IARC meeting in 2015 is held, and
25 there's determination made, correct?

1 **A.** Correct. I'm not going to testify correct to
2 the months. I will for the IARC one. But I don't know
3 if it was December 2013 for BfR. I know it was 2013.

4 **Q.** I included the exhibit there in your binder,
5 if you want to review back to it. That's fine.

6 But we know it was before IARC?

7 **A.** Yes.

8 **Q.** Good enough.

9 And then in or around October of 2015 -- is
10 there a problem?

11 **MR. WISNER:** Is there an exhibit number?

12 **MR. ISMAIL:** We have not marked it as an
13 exhibit. We'll give it an exhibit number.

14 **MR. WISNER:** I didn't mean to interrupt,
15 sorry.

16 **MR. ISMAIL:** No worries.

17 **BY MR. ISMAIL:**

18 **Q.** So in around October of 2015, EFSA comes out
19 with a conclusion. And they include, as part of their
20 conclusion, the findings of the German BfR, correct?

21 **A.** Correct.

22 **Q.** Now, you -- and you understand that EFSA's
23 position today is almost identically stated to that of
24 EPA, correct?

25 **A.** It's very similar.

1 Q. What is that conclusion, as you understand it?

2 A. It's not likely to be carcinogenic to humans.

3 Q. When EFSA made its determination in 2015, you
4 directed correspondence to individuals at EFSA, among
5 others, correct?

6 A. Correct.

7 Q. And Exhibit 5403 --

8 A. Yes, it is.

9 Q. -- is a November 2013 letter you sent to
10 several individuals, correct?

11 A. Correct.

12 **MR. ISMAIL:** May I publish, Your Honor?

13 **THE COURT:** Any objection?

14 **MR. WISNER:** No objection.

15 **THE COURT:** Yes.

16 **BY MR. ISMAIL:**

17 Q. So to orient everyone, the date is November
18 27, 2015. And this is the Commissioner for Health and
19 Food Safety who you have on your top line -- to whom you
20 were sending this letter, correct?

21 A. Correct.

22 Q. And as you and I chatted a moment ago, you
23 included other individuals; for example, the executive
24 director of EFSA, among others?

25 A. Among others.

1 Q. And what you did here was, you were pointing
2 out where you disagree with both the process and the
3 conclusions of what EFSA had determined, correct?

4 A. That is correct.

5 Q. You believe that EFSA should have classified
6 this product as a carcinogen, correct?

7 A. I believe that it warrants a classification as
8 a carcinogen, so I guess that's the same thing.

9 I more passionately believed at the time that
10 EFSA should have done their job right.

11 Q. And you provided various analyses and
12 commentaries as to where you think EFSA didn't do their
13 job right?

14 A. That is correct.

15 Q. One of the things you did -- and I'm going to
16 refer back to this in a minute -- but just in terms of
17 the body of evidence that you were including in your
18 letter, you talked about some of the epidemiology, some
19 of the animal studies.

20 And one of the things you said -- I'm sorry,
21 I'm on page 4, sir. I didn't tell you where I was. I'm
22 on page 4 of your letter.

23 You talked about some of the epidemiology
24 studies that were referenced on that forest plot you
25 showed yesterday, correct?

1 A. That's correct.

2 Q. And I'm at the top of page 4 if you want to
3 see the context more fully. But at the end of that
4 paragraph, you say, in reference to one of the studies:

5 " There were only 92 cases of NHL included in
6 the Agricultural Health study unadjusted analysis,
7 and fewer in adjusted analyses, compared to 650 in
8 the pooled case-control analysis from the United
9 States."

10 Did I read that correctly?

11 A. Correct.

12 Q. And what you're referring to there is, you
13 were comparing the size of the Agricultural Health Study
14 to a different study that you had on your chart, called
15 De Roos, correct?

16 A. That is correct.

17 Q. And your interpretation was that the
18 case-controlled study had a larger dataset?

19 A. It had more cases.

20 Q. Now, you got a response back, correct?

21 A. Yes, I did.

22 Q. And just so we're pointing it back on the
23 time, we have your letter of November 2015.

24 You actually got a letter back from EFSA in
25 January of 2016, correct?

1 **A.** Correct. There was an earlier letter directly
2 from Andriukaitis telling me that EFSA would respond,
3 just to be clear.

4 **Q.** So the specific person to whom you sent the
5 letter said that EFSA is going to respond for our
6 organizations to the comments you referenced in your
7 letter, correct?

8 **A.** Correct.

9 **Q.** And that's Exhibit 6764, which is in your
10 binder. And I'll ask you if you can identify that as
11 the January 2016 response to the letter you sent.

12 **A.** Yes, that is the response.

13 **MR. ISMAIL:** May I publish, Your Honor?

14 **MR. WISNER:** No objection, Your Honor.

15 **THE COURT:** Yes.

16 **BY MR. ISMAIL:**

17 **Q.** And so what we have here is a response from
18 the executive director of EFSA.

19 And it's dated January of 2016, correct?

20 **A.** Correct.

21 **Q.** And what EFSA does in this letter, is they
22 talk about the conclusions -- first of all, they talk
23 about their critique of your comments, correct?

24 **A.** Correct.

25 **Q.** And, in fact, they have a rather detailed 13

1 or 14 single-spaced response to the various comments you
2 made in your prior letter, true?

3 A. True.

4 Q. And you viewed this carefully, correct?

5 A. Yes, I did.

6 Q. Now, if you turn to page 2, in the
7 paragraph -- the second paragraph.

8 A. Yes.

9 Q. We'll continue this conversation when my
10 computer is brought back to life here.

11 So the second paragraph that begins, "EFSA's
12 assessment of glyphosate."

13 Do you see where I am?

14 A. Yes, I do.

15 Q. It reads:

16 "EFSA's assessment of glyphosate is an
17 essential part of the EU regulatory system with
18 relation to pesticides widely regarded as one of the
19 strictest in the world," correct?

20 A. That is what it says.

21 Q. And then it goes on to say:

22 "This is the system EFSA has followed in the
23 assessment of hundreds of active substances since
24 2003"?

25 That's in the next paragraph.

1 A. That's what it says.

2 Q. And so if you turn then to -- as we page
3 through this letter and the responses, you'll see the
4 annex, which includes the scientific response to what
5 you said in your letter, on page 4.

6 The second paragraph begins, "EFSA notes
7 that."

8 Tell me when you're there, sir.

9 A. I'm there.

10 Well, there are two of those.

11 Q. There are. The second paragraph.

12 A. Okay.

13 Q. And it's on the screen, if it's easier to
14 follow.

15 What EFSA is doing here is, they're commenting
16 specifically on that portion of your letter I showed
17 where you were comparing the size of the Agricultural
18 Health Study to the case study done by De Roos, correct?

19 A. Correct.

20 Q. And EFSA has critiqued your analysis of the
21 epidemiology data, correct?

22 A. No. They are -- at this point, they're just
23 trying to respond to the 92 versus 650. They're not
24 critiquing my analysis of the epidemiology.

25 Q. What I'm referring to is that specific comment

1 regarding the size of the Agricultural Health Study
2 compared to De Roos.

3 A. And other comments involving the meta-analysis
4 and the weights used in the meta-analysis, but yes.

5 Q. Here, they say:

6 "The open letter states, 'There were only 92
7 NHL cases included in the AHS, Agricultural Health
8 Study, unadjusted analysis, and fewer in the
9 adjusted analyses, compared to 650 in a pooled
10 case-control analysis from the United States.'"

11 That's the --

12 A. That a quote.

13 Q. That's a quote from you that we showed the
14 jury just a moment ago?

15 A. Correct.

16 Q. And EFSA, the bottom paragraph, while
17 discussing your comments, described your commentary as
18 "misleading," correct?

19 A. That's what it says.

20 Q. And thought that you had not fairly
21 represented the epidemiology data in that particular
22 characterization we just read, true?

23 A. This is being taken out of context, but yes.

24 Q. I'm sorry?

25 A. It's taken out of context.

1 Q. EFSA notes that a comparison is made between
2 the relative strength of the De Roos, et al.

3 That is the case-controlled study in your
4 letter, correct?

5 A. Yes.

6 Q. And that is one of the case-controlled studies
7 you talked about with the jury yesterday, correct?

8 A. Correct.

9 Q. And comparing that to the Agricultural Health
10 Study, that's the De Roos '05, correct?

11 A. Correct.

12 Q. Okay.

13 "By using just one figure from each of those
14 two studies, this is misleading."

15 Did I read it correctly?

16 A. You read it correctly.

17 And it is misleading, but it's taken out of
18 context. The context of the sentence that they are
19 quoting, that you have extracted here, dealt with the
20 fact that there was weight given to the studies in the
21 meta-analysis, but EFSA was giving all the weight to the
22 cohort study.

23 Q. Okay. So the description of your prior letter
24 as misleading is EFSA's, not mine, correct?

25 A. No. This is just a little piece of them

1 answering one sentence in a whole paragraph that dealt
2 with the issue of them putting too much weight on the
3 cohort study.

4 **Q.** So the answer to my question is yes?

5 The particular passage from your letter that
6 EFSA is commenting on here, their description, not mine,
7 is that your sentence that is quoted here was
8 misleading, true?

9 **A.** True. That's what they say.

10 **Q.** Thank you. That's all I'm asking, sir.

11 Now, when you continue in this discussion --
12 well, let's just ask it this way: There are several
13 points throughout the contents of this letter where EFSA
14 specifically disagrees with your conclusions and how you
15 characterize EFSA's work, true?

16 **A.** That is true.

17 **Q.** And at the end of this analysis, EFSA tells
18 you what their conclusions are, right?

19 **A.** I don't recall.

20 **Q.** Well, it's in the section called "Summary" on
21 page 12.

22 Tell me when you're there.

23 **A.** Yes, I'm there.

24 **Q.** Okay. And herein, EFSA says they've
25 considered the arguments that you brought forth in your

1 letter, correct?

2 A. Yes.

3 Q. And the arguments you brought forth in your
4 letter include some of the arguments that you've talked
5 about with the jury, true?

6 A. Yes.

7 Q. And then going forward, EFSA says:

8 "There is very limited evidence for an
9 association between glyphosate formulations and
10 non-Hodgkin's lymphoma. And the overall evidence is
11 inconclusive for a causal or otherwise convincing
12 associated relationship between glyphosate and
13 cancer in human studies."

14 Correct?

15 A. That's what it says.

16 Q. And that was their interpretation of the
17 epidemiology evidence described in this letter, correct?

18 A. Correct.

19 Q. And then they go on to critique the animal
20 data that you were relying on, correct?

21 Very next sentence.

22 A. Yes.

23 Q. Okay.

24 "There's no evidence" --

25 A. I was reading it to make sure that's the case.

1 Q. Okay.

2 "There's no evidence of carcinogenicity in
3 either rats or mice."

4 And they go on to explain why they have come
5 to that conclusion, right?

6 A. Can we finish the sentence?

7 Q. Happy to.

8 A. Thank you.

9 Q. Okay.

10 "Due to a lack of statistical significance in
11 pairwise comparison tests, lack of consistencies in
12 multiple animal studies, and slightly increased
13 incidences only at dose levels at or above the limit
14 dose, MTD, lack of pre-neoplastic lesions and/or
15 being within historical control range."

16 Do you want me to keep going?

17 A. No. That's enough. That's exactly the points
18 where they're also not following their own guidelines.

19 Q. I understand your opinion is that these
20 scientists got it wrong as well, correct?

21 A. No. They're not following their own
22 guidelines.

23 Q. They're saying they did follow the guidelines,
24 they just came to a different conclusion, true?

25 A. I can tell you that their guidelines very

1 specifically state that the range of historical controls
2 is not something you use to exclude a study.

3 Hence, in this one sentence, they have simply
4 reinforced my belief that they did not follow their
5 guidelines.

6 Q. And I'm sure -- Doctor, we know you have
7 critiqued these other scientific groups and their
8 analysis, and you believe they did not follow either
9 their own guidelines or guidelines you think are
10 appropriate for the reader.

11 A. That's correct.

12 Q. We'll take that as a given that that is your
13 personal belief, okay?

14 A. Okay.

15 Q. But what you have to acknowledge at the same
16 time is, throughout this letter, the scientists at EFSA
17 are explaining to you why they came to the conclusions
18 they did and why they believe they were following the
19 guidelines, true?

20 Whether you agree with it or not, that is the
21 conclusions of this organization; is that fair, sir?

22 A. That would be fair.

23 Q. Thank you.

24 Now -- and you know that in the final
25 analysis, what EFSA concluded here is that, even with

1 IARC's decision and the Monograph explaining IARC's
2 decision, even with your letter explaining your point of
3 view, EFSA concludes that there's not a carcinogenetic
4 risk with glyphosate, correct?

5 A. Carcinogenic risk, correct.

6 Q. Now, going back to --

7 A. Well, not likely a human carcinogen, is their
8 conclusion.

9 Q. So we have here, EFSA's response to you.
10 And EFSA actually publishes a conclusion in
11 2017, correct?

12 A. Yes, correct.

13 Q. And I believe -- well, we'll get to that
14 document in a minute.

15 But you sent another letter in 2017, correct?

16 A. Probably. Which letter are you talking about?

17 Q. Okay. If you would turn to Exhibit 5404.

18 A. Oh, there we go. Yes.

19 Q. And you identify this, sir, as a --

20 **MR. ISMAIL:** Before I finish that question,
21 Your Honor, did you want to take another morning break,
22 or should we press on?

23 **THE COURT:** No. We'll take a lunch break at
24 noon or shortly after.

25 **MR. ISMAIL:** I just knew we had been going for

1 a while.

2 **THE COURT:** Madam Reporter, is that all right
3 with you?

4 **THE REPORTER:** Yes, that's fine. Thank you
5 very much.

6 **BY MR. ISMAIL:**

7 **Q.** Can you identify Exhibit 5404 as a May 28,
8 2017 letter that you wrote?

9 **A.** Yes, I can.

10 **Q.** And to whom did you write it?

11 **A.** The president of the European Commission.

12 **MR. ISMAIL:** May I publish, Your Honor?

13 **MR. WISNER:** No objection.

14 **THE COURT:** Go ahead.

15 **BY MR. ISMAIL:**

16 **Q.** So this is another letter that you wrote,
17 correct?

18 **A.** Correct.

19 **Q.** And there was, earlier, some discussion
20 that -- there was other signatories to the first letter
21 that you sent to the European regulatory bodies.

22 This one is just you?

23 **A.** That is correct.

24 **Q.** And so we say -- this is an open letter review
25 of the carcinogenicity of glyphosate by ECHA, that's the

1 European Chemical Agency?

2 A. Correct.

3 Q. EFSA, which is the European Food Safety
4 Authority, and BfR, which is the abbreviation for a
5 German word that means the German health science safety
6 organization?

7 A. Yes. Risk assessment organization.

8 Q. And what you're sending it to is -- or
9 commenting on all three of these organizations, because
10 all three have some involvement in this review following
11 the IARC meeting, correct?

12 A. I'm not sure that the intent of the letter was
13 to comment on those, other than to inform them they had
14 missed some tumors. That was all. But I'm sure there's
15 comment in there.

16 Q. Okay. You write in the executive summary,
17 your understanding of what both EFSA and ECHA included,
18 correct?

19 A. Yes.

20 Q. And you said that both EFSA and ECHA have
21 completed their assessments, right?

22 A. Right.

23 Q. And what was your understanding of what those
24 two organizations concluded?

25 A. The evidence does not support a classification

1 for glyphosate.

2 Q. For what?

3 A. For glyphosate.

4 Q. And so you took -- you sent this letter to
5 critique the EFSA/ECHA review, correct?

6 You're looking at me puzzled, so let me
7 withdraw that question and ask a more precise one.

8 A. Okay.

9 Q. You sent this letter to point out some things
10 that you believed these organizations did not consider
11 appropriately in their prior review?

12 A. Correct. It wasn't a repeat of the previous
13 complaints, which still exist.

14 Q. And these organizations had the benefit of
15 your prior letter because you sent it to them, correct?

16 A. Correct.

17 Q. And now you're sending additional comments,
18 pointing out additional information you thought should
19 be considered?

20 A. That is correct.

21 Q. And you spell it out in your letter. We are
22 not going to go through it in detail; we can if you
23 like.

24 But you can confirm for the jury that it
25 includes some of the things you discussed for the jury

1 here, right?

2 A. It was my analysis of the raw data that
3 brought forth new tumors that I felt they hadn't
4 considered.

5 Q. And that includes some of the analysis you
6 shared with the jury here in court?

7 A. Absolutely.

8 Q. You got a response, did you not?

9 A. I did get a response.

10 Q. That's at Exhibit 5395.

11 Tell me when you're there, sir.

12 A. I'm there.

13 Q. Do you recognize this exhibit as the response
14 jointly signed by an official from ECHA and an official
15 from EFSA?

16 A. Yes.

17 Q. In July of 2017?

18 A. Yes.

19 **MR. ISMAIL:** May I publish, Your Honor?

20 **MR. WISNER:** No objection.

21 **THE COURT:** Granted.

22 **BY MR. ISMAIL:**

23 Q. Again, just to orient everyone here, this is
24 actually a joint response to you from both
25 organizations, correct?

1 A. Correct.

2 Q. And I believe L-U-G is some abbreviation,
3 maybe in Italian, for July?

4 A. That is correct.

5 Q. So now we're two-years-plus after IARC,
6 correct?

7 A. Correct.

8 Q. And this is a letter to you.

9 It's directed to "Dear Dr. Portier," correct?

10 A. Correct.

11 Q. Now, in this letter, these two officials
12 describe what had occurred to date, which is that there
13 had been -- sorry. Before we get there.

14 This letter is saying it's got -- has the
15 input of both EFSA scientists, ECHA scientists, and
16 those from the German safety organization, correct?

17 A. Correct.

18 Q. So this is a joint letter that has conclusions
19 from three different organizations and their respective
20 scientific bodies?

21 A. Correct.

22 Q. And they reference, sort of, the history of
23 the review that you and I have gone over, which includes
24 the various conclusions of these regulators regarding
25 the same types of information we talked about with the

1 jury so far in this trial, correct?

2 A. That was a complicated statement.

3 Q. I'll make it simpler.

4 In its review of glyphosate, you can confirm
5 that EFSA and BfR looked at human epidemiology, true?

6 A. Yes, true.

7 Q. They looked at mechanism data, true?

8 A. True.

9 Q. And they looked at rodent -- the rodent data,
10 as well?

11 A. That's correct.

12 Q. And they came to a different conclusion than
13 you?

14 A. That's correct.

15 Q. Now, in this letter, these two officials, the
16 head of -- let's get their proper titles.

17 Director of Risk Management and the EFSA Head
18 of Department of Scientific Evaluation of Regulated
19 Products.

20 That's who you directed this letter to?

21 A. That's who wrote back, yes.

22 Q. And you know these are both Ph.D. scientists
23 who lead these organizations, correct?

24 A. That, I do not know.

25 Q. You do not know them personally, I take it?

1 **A.** No.

2 **Q.** And in the course of this letter, both EFSA
3 and ECHA are telling you that they've considered your
4 comments, both those that you made in 2017 and that
5 you've made in 2015, and continue to disagree with your
6 opinions.

7 Is that fair?

8 **A.** That's -- it's a long letter. That summary
9 is -- if I could try in my own words?

10 **Q.** Please.

11 **A.** What they're basically saying is, no, we
12 considered all these tumors you've given to us. We just
13 didn't write about them in the actual report.

14 And then they gave me case-by-case on each of
15 the tumors that I had put together for them.

16 **Q.** So what these organizations and scientists are
17 telling you is, no, we didn't miss the tumors; we
18 considered them and just didn't write up those analyses
19 in the relevant documents?

20 **A.** Effectively, that's what they're saying.

21 **Q.** So it's not as if they didn't -- at least
22 that's their description -- consider those tumors, they
23 just disagree with you as to whether they are evidence
24 of a positive finding.

25 Fair?

1 **A.** I didn't say these are evidence of a positive
2 finding. I simply told them these are things.

3 I mean, I don't know if they're coming back to
4 me and saying -- I don't remember that part in here.

5 **Q.** Then I'll make it shorter.

6 **A.** Okay.

7 **Q.** EFSA and ECHA are telling you, hey,
8 Dr. Portier, thank you for your letter. We are aware of
9 the information you brought to our attention, and we
10 continue to believe that glyphosate is unlikely to be a
11 carcinogen.

12 Fair?

13 **A.** I think that would be a pretty fair statement
14 of what they wrote.

15 **Q.** And as we go through this letter -- it's
16 actually a pretty detailed description of statistical
17 methods, EFSA and ECHA's assessment of the findings of
18 the various rodent studies, correct?

19 **A.** There's some of that in there.

20 **Q.** And it goes on for several pages.

21 And if you actually go through, as they go to
22 their conclusion, which is on page 11, I believe --
23 there it is.

24 You see the section "Conclusions"?

25 **A.** Yes, I do.

1 Q. And then they go through and they describe
2 what they conclude from the rodent data that you were --
3 that had been generated and what you were pointing out
4 to them, correct?

5 A. Correct.

6 Q. And similar to what we looked at before, EFSA
7 and ECHA have a different conclusion as to what those
8 data show than what you shared with the jury, true?

9 A. That is true.

10 Q. And we know from your comments earlier that
11 you disagree with these scientists and how they approach
12 their work and how they interpret the data, right?

13 A. I -- I'm not sure it's disagree, okay? I just
14 simply feel they did not follow their own guidelines.

15 Q. So you disagree with them that they followed
16 their own guidelines?

17 A. Absolutely.

18 Q. And they were telling you, hey, we believe we
19 followed our own guidelines?

20 A. That's correct.

21 Q. So that level of disagreement is Dr. Portier
22 telling EFSA and ECHA, my view is that your scientists
23 did not follow your own associations guidelines.

24 True, so far?

25 A. True.

1 Q. And they wrote back and said, no, we do
2 believe we followed our own guidelines, correct?

3 A. Correct.

4 Q. And your interpretation of the data led you to
5 conclude one thing, correct?

6 A. Correct.

7 Q. And you talked with the jury about what your
8 conclusions have been and the personal opinions you
9 have, right?

10 A. Correct.

11 Q. And these other scientists are writing back to
12 you and saying, we're looking at the same data, and we
13 just have a difference of opinion on the scientific
14 literature, correct?

15 A. Correct.

16 Q. Now --

17 **THE COURT:** If you're at a transition at some
18 point in the next 15 minutes --

19 **MR. ISMAIL:** Pick a spot?

20 **THE COURT:** Yeah, just pick a spot.

21 **MR. ISMAIL:** Thank you, Your Honor.

22 **BY MR. ISMAIL:**

23 Q. I'll just complete the timeline here.

24 Starting back pre-IARC with the German safety
25 review, the re-review in light of IARC, the back and

1 forth, the scientific back and forth you had with EFSA
2 and ECHA, we sort of walked through that with the jury
3 here.

4 And that's sort of what we're summarizing on
5 this timeline, correct?

6 **A.** Correct.

7 **Q.** And as far as, you know, sir, it remains the
8 opinion and findings of EFSA and ECHA today that
9 glyphosate is unlikely to be a carcinogen, correct?

10 **A.** They would not classify it as a carcinogen,
11 correct.

12 **Q.** So the conclusions we just reviewed remain the
13 official position of those European Union-wide
14 organizations, correct?

15 **A.** Correct.

16 **Q.** And you talked about this morning, a reference
17 to France putting restrictions on over-the-counter
18 versus agricultural use.

19 Do you remember that?

20 **A.** Yes.

21 **Q.** That is not -- there's not a scientific
22 document from France that French officials are
23 critiquing the evidence that we talked about with the
24 jury that you're aware of, correct?

25 **A.** I'm not aware of one, but I haven't looked for

1 one.

2 Q. Sure. So that was the -- the decisions of
3 France that you referred to a moment ago, at the end of
4 your direct testimony, is not a specific scientific
5 review that we've talked about, to your best
6 understanding?

7 A. Again, I can't comment on it. I haven't
8 looked. I have no clue.

9 Q. So when you told the jury this morning, in
10 response to Mr. Wisner's questions, there's restrictions
11 in France; in fairness, you have no idea what that's
12 based on?

13 A. No, I have no idea what it's based on.

14 Q. That's important information to know.

15 So you have no idea what the process was that
16 France went through to make that decision, if, indeed,
17 they have?

18 A. That is correct.

19 Q. And in terms of the official scientific
20 review, that's what we've talked about with the jury in
21 terms of the European Union-wide effort to assess this
22 product.

23 Fair?

24 A. That's fair.

25 Q. And as far as you know, the German

1 organization, the BfR, hasn't changed their view
2 regarding glyphosate, true?

3 A. Yes. I have no idea. The only thing I have
4 from them is the draft. Their draft -- their documents
5 that they put up to EFSA.

6 Q. It's not their draft; it's their --

7 A. Review of the science.

8 Q. Perfect.

9 A. Suggested review of the science for peer
10 review by EFSA's committee.

11 MR. ISMAIL: And on that, Your Honor, this is
12 a good time.

13 THE COURT: Ladies and gentlemen, we're going
14 to take 45 minutes for lunch. We're going to be
15 breaking at 3:30 today. So we're only going to take
16 45 minutes for lunch. So please be ready to come back
17 in at 12:35.

18 Please don't discuss anything you've heard
19 this morning with yourselves or amongst anyone else.
20 Thank you very much.

21 (Luncheon recess was taken at 11:51 a.m.)

22 AFTERNOON SESSION

12:42 p.m.

23 (The following proceedings were heard in the
24 presence of the jury:)

25 THE COURT: You may be seated.

1 All right, Mr. Ismail. You may proceed.

2 MR. ISMAIL: Thank you, Your Honor.

3 BY MR. ISMAIL:

4 Q. Good afternoon, Doctor.

5 A. Good afternoon.

6 Q. Are you ready to proceed?

7 A. Yes.

8 Q. Okay, terrific.

9 Doctor, I want to continue our discussion. We
10 were just going through some assessments and conclusions
11 of some folks at different scientific organizations in
12 Europe, and I want to continue on that discussion in
13 terms of the EPA, okay?

14 A. Okay.

15 Q. In your binder, there should be Exhibit 5737.

16 A. Okay.

17 Q. Can you identify that, sir, as a -- let me
18 back up one step.

19 I think you told us this morning, or maybe it
20 was yesterday, that EPA has been in the process of
21 considering and assessing the alleged cancer risk with
22 glyphosate over the past couple of years, right?

23 A. That is correct.

24 Q. And you indicated that, at one time, the EPA
25 set out some assessment for public comment, correct?

1 **A.** Correct.

2 **Q.** And in 2016, you submitted your comments and
3 interpretation of the data directly to the EPA, correct?

4 **A.** Correct.

5 **Q.** And what we're looking at, Exhibit 5737 is one
6 such set of your comments to the EPA, correct?

7 **A.** This is the first comment, correct.

8 **Q.** I'm sorry?

9 **A.** The first comment, correct.

10 **Q.** Yes. You had subsequent comments as the
11 dialogue continued on the scientific debate, correct?

12 **A.** Correct.

13 **MR. ISMAIL:** May I publish, Your Honor?

14 **MR. WISNER:** No objection.

15 **THE COURT:** Yes.

16 **BY MR. ISMAIL:**

17 **Q.** We have up on the screen, sir, what we were
18 just referring to as your first set of public comments.

19 **A.** Yes.

20 **Q.** And as you indicate: My comments -- you
21 describe them as rather long and detailed, correct?

22 **A.** Correct.

23 **Q.** We don't have to go through each and every one
24 of these comments, but what you are doing here is
25 setting forth your interpretation of the data, and as

1 you described previously, where you think the EPA
2 scientists differed in what you understood to be the
3 guidelines for review.

4 Is that fair?

5 A. That is fair.

6 Q. And so the EPA, at least since 2016, has had
7 the benefit of your perspective on the questions we've
8 been talking about with the jury, true?

9 A. True.

10 Q. Now, in the final analysis -- in your letter,
11 you articulate your point of view that EPA should
12 classify glyphosate as a carcinogen, correct?

13 A. I'm not sure. I say a lot of things.

14 Q. You do.

15 A. I'm not certain.

16 Q. I'm sorry?

17 A. I'm not certain.

18 Q. If you want to confirm it, page 4, last
19 paragraph. "Probable human carcinogen," that was
20 Dr. Portier?

21 A. Yes.

22 Q. And you marshal whatever arguments you have to
23 support and set that forth for the scientists at EPA to
24 consider, correct?

25 A. Correct.

1 Q. And then the EPA had a -- I think the
2 Scientific Advisory Panel was some subject of your
3 testimony yesterday?

4 A. Correct.

5 Q. And that is an organization that is outside
6 the EPA. I called it an organization. It's a group,
7 outside the EPA, of scientists and specialists who
8 advise the EPA on certain issues?

9 A. Correct.

10 Q. And you have some familiarity with groups of
11 the SAP, correct?

12 A. Yes.

13 Q. And in this case -- well, the SAP is part of a
14 peer review process for EPA.

15 Is that a fair description of it?

16 A. They're set up under the law. They are
17 required to have it. It's not peer review; it is an
18 advisory panel. It's advice.

19 In fact, seldom do they actually peer-review
20 something. Mostly what they do is just provide general
21 advice.

22 Q. I'll rephrase in light of your comments.

23 It's an effort by which the EPA has set, by
24 law, to sort of improve the quality of the scientific
25 process and conclusions for the agency.

1 Is that fair?

2 **A.** Specifically for pesticides.

3 **Q.** Specifically for pesticides.

4 So it's part of that improvement of the
5 scientific process for test sides?

6 **A.** Correct.

7 **Q.** So the EPA had the benefit of the comments
8 from the Scientific Advisory Panel in 2016, right?

9 **A.** The Scientific Advisory Panel, in 2016, was
10 asked to answer questions that EPA gave them -- very
11 specific questions to answer -- about their document.

12 **Q.** Right. And that's the EPA's assessment about
13 the alleged cancer risk with glyphosate?

14 **A.** And that was the first draft.

15 **Q.** Right. And the SAP includes -- I think you
16 went through some of the folks who were on that panel --
17 people with relevant expertise, toxicologists,
18 biostatisticians and the like?

19 **A.** Yes.

20 **Q.** And that's the goal, is to get some input from
21 people who have something relevant to say?

22 **A.** Correct. Again, I want to be accurate. So
23 there's the SAP, and then there are special added
24 scientists to the SAP.

25 So you mean the entire meeting group, which is

1 the SAP and the added scientists.

2 Q. And I appreciate the distinction.

3 So there's a standing group. And then as
4 particular issues come, the SAP or EPA or whoever can
5 bring in additional expertise to help the EPA come to
6 the correct decision?

7 A. That is correct.

8 Q. And you know some of the people on the SAP,
9 correct?

10 A. At that particular meeting, yes.

11 Q. That's what I was getting at.

12 So the meeting that we've been talking about
13 with respect to glyphosate review, you indicated that
14 you know some of the folks who helped advise the EPA.

15 A. Correct.

16 Q. And who are some of those people?

17 A. Well, the first one that comes to mind is my
18 brother. The rest, I would have to look at the list
19 again to remind me which ones I really know.

20 Q. You told the jury Dr. Zhang, Dr. Sheppard, I
21 think, yesterday.

22 A. I don't know Dr. Zhang.

23 Q. Oh, okay. You know of her?

24 A. I know of her.

25 Q. Fair enough.

1 But you said a moment ago that your brother
2 was on the SAP that -- the Scientific Advisory Panel
3 that helped provide comments to EPA, correct?

4 **A.** To answer EPA's questions, correct.

5 **Q.** And I think you told us yesterday, in some of
6 your first comments to the jury, that you followed your
7 brother to the University of North Carolina, and you
8 both have degrees in biostatistics, I believe?

9 **A.** That's correct.

10 **Q.** And your brother is a Ph.D., as well?

11 **A.** That is correct, yes.

12 **Q.** And he actually spent some time as a
13 researcher at an academic institution specifically about
14 health risks in agriculture, correct?

15 **A.** I'm not sure about health risks, but certainly
16 agriculture.

17 **Q.** And then following that, your brother went to
18 serve at the American Cancer Institute?

19 **A.** American Cancer Society.

20 **Q.** Thank you.

21 And he served there as the principal
22 statistician for about ten years?

23 **A.** Yes, that's correct.

24 **Q.** And at the time of the SAP on glyphosate, your
25 brother was in that capacity -- was in that position at

1 the American Cancer Society, but participating in the
2 SAP on his own badge?

3 A. Correct.

4 Q. Have you, as part of this case, reviewed the
5 comments and advice your brother gave the EPA at this
6 meeting?

7 A. The actual verbal record?

8 Q. Yes.

9 A. No, I have not.

10 Q. Okay.

11 MR. ISMAIL: May I approach, Your Honor?

12 THE COURT: Yes.

13 MR. WISNER: Your Honor, can we have a short
14 sidebar about this?

15 THE COURT: Sure.

16 (Sidebar discussion not reported.)

17 BY MR. ISMAIL:

18 Q. Dr. Portier, a new question.

19 Are you aware that some of these qualified
20 expert scientists that were on this SAP that we've been
21 talking about with the jury commented on the EPA's
22 conclusion regarding glyphosate and concurred and agreed
23 with the EPA assessment?

24 A. I would have to look back at the full report
25 from the committee to see if it was -- if they fully

1 concurred.

2 The assessment -- are you talking about the
3 final decision or the overall assessment itself?
4 Because that's two different things.

5 Q. Sure.

6 My question went to the final assessment of
7 EPA that glyphosate is not a human carcinogen.

8 A. I am aware that some members said that, not
9 likely to be a human carcinogen.

10 Q. And when we say "some members," we're talking
11 about these -- the Scientific Advisory Panel and the
12 scientists for whom you described are brought in to help
13 advise EPA on matters of science?

14 A. Correct.

15 Q. Now, you are aware that following the EPA's --
16 sorry. That public hearing and the written comments
17 from the SAP, the EPA issued a further document on the
18 alleged cancer risk with glyphosate, correct?

19 You went over it on your direct examination?

20 A. Yes. I don't think they called it that. But
21 yes, they had a document.

22 Q. It was the revised glyphosate paper that you
23 talked about with Mr. Wisner, correct?

24 A. Correct. That's the paper.

25 Q. And it's in the binder I gave you at

1 Exhibit 4941.

2 But if you have notes or anything on the
3 version Mr. Wisner gave you, feel free to refer to that.

4 **MR. ISMAIL:** I believe this was already
5 allowed to be published, Your Honor.

6 **MR. WISNER:** No objection.

7 **THE COURT:** Granted.

8 **BY MR. ISMAIL:**

9 Q. This is the December 12th, 2017 revised
10 glyphosate position paper, correct?

11 A. Yes, it is. I think.

12 Q. And just in terms of how this document is
13 organized, it's actually several hundred pages long,
14 correct?

15 A. Correct.

16 Q. And if we go towards the end, you'll see that
17 there's actually -- on page 148 or 147, there's
18 references.

19 And you're familiar with scientific papers,
20 that the scientists who are preparing the documents will
21 conclude the scientific references in support of the
22 conclusions that it reached?

23 A. Yes.

24 Q. And that's what's reflected here and goes on
25 for many more pages?

1 **A.** Yes.

2 **Q.** And in terms of what the conclusions were, if
3 you go to page 133, there's a discussion here about the
4 epidemiology data, correct?

5 **A.** There is a discussion.

6 **Q.** Let's put it up so folks can follow along.

7 The first sentence says: "At this time" --
8 and by the way, the EPA in this document, the scientists
9 who wrote this were considering many of the epidemiology
10 studies you discussed with the jury during your direct
11 examination, correct?

12 **MR. WISNER:** Objection. Speculation.

13 **MR. ISMAIL:** I'm sorry?

14 **THE COURT:** Overruled.

15 **THE WITNESS:** Oh. Ask the question again,
16 please.

17 **BY MR. ISMAIL:**

18 **Q.** Well, in this document, the EPA scientists
19 discussed some of the epidemiology data that you
20 discussed with the jury during your direct examination,
21 true?

22 **A.** True.

23 **Q.** And it says:

24 "At this time, a conclusion regarding the
25 association between glyphosate exposure and

1 risk of NHL cannot be supported based on the
2 available data due to conflicting results."
3 Is that what the EPA scientists concluded
4 here?

5 A. That's what it says, yes.

6 Q. You disagree?

7 A. Yes, I disagree.

8 Q. Now, if you turn the page, there's a
9 discussion of some of the animal data.

10 Now, in fairness, this document has pages and
11 pages of discussion, but we're just talking about what
12 the bottom-line conclusions are.

13 And there's a discussion of the eight rat and
14 six mouse studies, correct?

15 A. Yes, correct.

16 Q. And it talks about what the EPA scientists
17 concluded: "None of the tumors" -- second sentence:

18 "None of the tumors evaluated were considered
19 to be treatment-related based on weight of
20 evaluations," correct?

21 A. That's what it says, that's correct.

22 Q. Do you disagree with the conclusion of the EPA
23 scientists, as stated here?

24 A. Yes, I disagree with them.

25 Q. The EPA scientists also considered the

1 mechanism issues that you talked about with the jury,
2 correct?

3 A. Correct.

4 Q. If you go to page 143, Section 6.7.

5 Tell me when you're there, sir.

6 A. Yes, I am.

7 Q. Now, before we get further down this document
8 here on this discussion -- well, this is the sentence I
9 wanted to direct your attention to.

10 Here it is at the bottom:

11 "This includes epidemiological, animal
12 carcinogenicity, and genotoxicity studies."

13 And that's part of the current evaluation for
14 registration review.

15 Do you see where I am?

16 A. Yes, I do.

17 Q. As the EPA is describing here, they're looking
18 at the same pillars of evidence you told the jury would
19 be appropriate for any cancer risk assessment, correct?

20 A. Correct.

21 Q. And as we go further in this document, the EPA
22 undertakes further discussion of the data that you've
23 talked about with the jury, correct?

24 MR. WISNER: What page are you on?

25 MR. ISMAIL: 144.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. WISNER: Thank you.

BY MR. ISMAIL:

Q. It goes through and discusses the extensive database for evaluating -- here we are: 63 epidemiological studies, 14 animal carcinogenicity studies and nearly 90 genotoxicity studies for the active ingredient glyphosate, correct?

A. That's what it says. It's not correct, but it's what it says.

Q. And then they go on to do a discussion of the Bradford Hill analysis, correct?

A. Yes, correct.

Q. And that was what you ended your direct examination with today?

A. That's correct.

Q. And you described that as being -- I think you disagreed that it was a method, but it was a process of assessing causality?

A. I don't disagree with the method. I just simply said it had been modified.

Q. It's a process scientists can use to assess causality?

A. Correct.

Q. What the EPA scientists are doing here, they are going through the modified Bradford Hill criteria,

1 much of the same categories that you went over with the
2 jury, correct?

3 A. Correct.

4 Q. And what they conclude, in going through the
5 analysis, is that glyphosate is not likely to be a human
6 carcinogen, correct?

7 A. The middle paragraph, yes.

8 Q. That's -- right.

9 After going through all the analysis and
10 describing it, that was the EPA's conclusion, correct?

11 A. Correct.

12 Q. And this is where the EPA describes its final
13 conclusion.

14 What did the EPA conclude in December of 2017
15 was the strongest support, what classification?

16 A. Not likely to be carcinogenic to humans.

17 Q. And that is the current EPA evaluation for
18 glyphosate today, true?

19 A. It's whatever it was before they started
20 reviewing. I think that's what it was. They haven't
21 finalized this. So this is not the current opinion.
22 The current opinion is the registration opinion.

23 Until this is finalized, this opinion won't
24 hold. So whatever the old registration opinion is.

25 Q. Which is what?

1 A. I think it's not likely to be carcinogenic to
2 humans, but I'm not certain.

3 Q. Thank you.

4 When we took your deposition a few weeks ago,
5 didn't you tell us that you had not read all of the
6 EPA's 2017 review?

7 A. That's true.

8 Q. I think you told us that you maybe only read
9 the executive summary; is that right?

10 A. That, and pieces and parts of it.

11 Q. And have you since read the entirety of the
12 EPA's entire review?

13 A. I don't think I've been through every
14 appendix, table, et cetera. But I would characterize it
15 as I read the report.

16 Q. And when did you do that?

17 A. What did I do then?

18 Q. When did you do that?

19 A. When did I do that?

20 Shortly after the --

21 Q. Last time you gave a deposition?

22 A. Yes.

23 Q. So are you aware, sir, that as recently as
24 December 2018, the EPA has reaffirmed its conclusion
25 that was in the 2017 document that we just went over

1 with the jury?

2 A. No, I'm not aware of that.

3 Q. After your last deposition, have you made
4 yourself available of the 2018 statements by EPA
5 reaffirming their confidence in the assessment made in
6 December of 2017?

7 A. I went to their website. I didn't see
8 anything, but I might have missed it.

9 Q. Now turning to the question of -- we saw
10 earlier, in the Canadian assessment, that they reference
11 that the scientific bodies in Australia had done a
12 similar review, correct?

13 A. Had reached a similar decision. I don't think
14 they said they did a similar review.

15 Q. So if you can turn to Exhibit 4136.

16 A. I have it.

17 Q. Very good.

18 MR. ISMAIL: Your Honor, permission to publish
19 that with respect to the judicial notice.

20 THE COURT: Yes.

21 MR. WISNER: No objection.

22 BY MR. ISMAIL:

23 Q. We have up on the screen, Exhibit 4136. It's
24 the final regulatory position consideration of the
25 evidence for a formal reconsideration of glyphosate

1 conducted by the Australian Pesticides and Veterinary
2 Medicines Authority.

3 A. Is that what you have --

4 Q. Yes. Is that what this document is?

5 A. Yes.

6 Q. And if you look at the -- if you turn to page
7 9 of the document, sir.

8 A. Okay.

9 Q. After describing their process and what they
10 looked at, what was the final regulatory position of the
11 Australian scientific review body on the first bullet
12 point?

13 A. It says:

14 "Exposure to glyphosate does not pose a
15 carcinogenic or genotoxicity risk to humans."

16 Q. You disagree with the conclusions of the
17 scientific authority in Australia, as well, correct?

18 A. Correct.

19 Q. As of the last time we had -- at your last
20 deposition, you had not formed any opinion as to whether
21 or not the Australian regulators did or did not follow
22 their own guidelines in making this assessment, correct?

23 A. That is correct.

24 Q. Now, turning back to Canada, which was --

25 A. But to correct that statement, I have since

1 read what they did --

2 Q. And I take it you disagree --

3 A. -- in the reassessment.

4 Q. I take it you disagree with not only their
5 conclusions, but how they came to their conclusions?

6 A. I'm actually confused about what this document
7 in front of me is. No, there it is. Okay.

8 No, this is what I read. They didn't do a new
9 assessment. That's what this is.

10 Q. They decided, based on their -- the question
11 posed, as to whether to do a reevaluation based on the
12 evidence that was presented at IARC about this.

13 Correct?

14 A. That was the question they were asked to look
15 at.

16 Can I take a little bit of time to explain?

17 Q. In the effort of trying to finish today, sir,
18 if you need to clarify your prior testimony, that's
19 fine.

20 A. I just want to point out that what they ended
21 up doing was evaluating three papers and accepting the
22 evaluations of other papers that had come from EPA.

23 Q. Right. So what they did was, they looked at
24 some of the scientific material that came to conclusions
25 different than yours and agreed with those other

1 scientific papers?

2 A. They looked at the material that IARC
3 referenced that they had looked at before, narrowed it
4 down to a number of papers, which they reviewed three
5 of, and accepted EPA's review of the others.

6 Q. And this is their final regulatory position?

7 A. That is correct.

8 Q. So now turning back to the Canadian review,
9 which we chatted about briefly, that's Exhibit 5129.

10 A. There it is. Okay.

11 Q. In the executive summary at the top, it says:
12 "Health Canada's primary objective in
13 regulating pesticides is to protect Canadians'
14 health and their environment."

15 Right?

16 A. That's what it says.

17 Q. I think you agreed with me this morning that
18 Health Canada is a scientific health organization,
19 correct?

20 A. That is correct.

21 Q. And then if you go through this document, on
22 page 8, does the Health Canada organization give their
23 overall finding?

24 It's on the screen, sir, if it's easier to
25 follow.

1 A. What page?

2 Q. I'm sorry, page 1.

3 A. Oh.

4 Q. It's -- there's two different numberings, one
5 is page 8, the other is page 1.

6 A. Yes, I see it.

7 Q. First of all: The overall finding from Health
8 Canada, glyphosate is not genotoxic.

9 Correct?

10 A. Correct.

11 Q. And you disagree with that, correct?

12 A. Yes, I do.

13 Q. And furthermore, glyphosate is unlikely to
14 pose a human cancer risk, true?

15 A. That's what they say, and I disagree with
16 that.

17 Q. Now, in doing this review you see above,
18 Health Canada indicates -- well, in doing this review,
19 Health Canada had available to it the decisions of IARC,
20 correct?

21 A. That's correct.

22 Q. And do you know that your comments had been
23 forwarded to Health Canada to consider, as well?

24 A. Somebody mentioned that at the last
25 deposition. I don't know it firsthand.

1 **Q.** I want to provide further comments from Health
2 Canada, sir. It's Exhibit 5131 in your binder.

3 **MR. WISNER:** 5131?

4 **MR. ISMAIL:** 5131.

5 Oh, I'm sorry, it's not in your binder.

6 Permission to approach, Your Honor?

7 **MR. WISNER:** May I approach, Your Honor?

8 **THE COURT:** Yes.

9 (Sidebar discussion not reported.)

10 **THE COURT:** We're going to take a real quick
11 break for Juror Number 3.

12 (Recess taken at 1:17 p.m.)

13 (Proceedings resumed at 1:19 p.m.)

14 (The following proceedings were heard in the
15 presence of the jury:)

16 **THE COURT:** You may proceed.

17 **BY MR. ISMAIL:**

18 **Q.** Dr. Portier, we're looking at Exhibit 5131.

19 My first question to you, sir: Have you seen
20 this document before today?

21 **A.** No, I have not.

22 **MR. ISMAIL:** Your Honor, I provided just now
23 to Mr. Wisner a demonstrative that he's reviewing, but I
24 would like to walk through it with Dr. Portier.

25 **MR. WISNER:** I have a cumulative objection,

1 but it's fine, Your Honor. No objection to publish it.

2 **MR. ISMAIL:** Okay.

3 **BY MR. ISMAIL:**

4 **Q.** Dr. Portier, we've gone through now several
5 position statements -- scientific documents with the
6 jury. I want to see if we can wrap up this
7 conversation, okay?

8 **A.** Okay.

9 **Q.** Now, on the left, we have the various
10 scientific organizations that we've covered: ECHA,
11 EFSA, EPA, Health Canada, and Australia.

12 And in the middle, we have some -- the
13 statements that we've read to the jury from their
14 conclusions, okay?

15 **A.** Yes.

16 **Q.** And I want to find out whether you,
17 Dr. Portier, agree or disagree with how these scientific
18 organizations have characterized the issue that the jury
19 is deciding.

20 **A.** Okay.

21 **Q.** First one, ECHA:

22 "Based on the epidemiological data, as well as
23 the data from long-term studies in rats and
24 mice, taking a weight of evidence approach, no
25 hazard classification for carcinogenicity is

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

warned."

Do you agree or disagree?

A. Disagree.

Q. Now EFSA. That's one of the organizations you corresponded with, correct?

A. Correct.

Q. What was EFSA's conclusion in their review?

A. It's right here.

Q. Right.

"Glyphosate is unlikely to pose a carcinogenic hazard to humans."

Correct?

A. Correct.

Q. And do you agree or disagree?

A. Disagree.

Q. EPA: As it's provided here, do you agree or disagree with the EPA's conclusion?

A. Disagree.

Q. Health Canada, their conclusion that we just saw is that:

"Glyphosate is not genotoxic and is unlikely to pose a human cancer risk."

Do you agree or disagree?

A. Disagree.

Q. And the last one we just looked at was

1 Australia, and we just had it up on the screen.

2 Do you agree or disagree with this conclusion?

3 A. Disagree.

4 Q. Now, you told the jury this morning, I
5 believe, that -- you were asked a question about
6 California EPA.

7 Do you recall that?

8 A. Yes, I do.

9 Q. And when we last had a chance to talk to you a
10 couple weeks ago, do you recall testifying that you do
11 not -- that you didn't even know whether or not
12 California EPA determined whether glyphosate was a known
13 carcinogen?

14 A. I don't recall saying that. It would be
15 wrong.

16 Q. Would you like to see your testimony?

17 MR. WISNER: Your Honor, this will require
18 another sidebar.

19 MR. ISMAIL: Let's just move on. We're trying
20 to get to the finish line here, okay?

21 MR. WISNER: Sorry.

22 BY MR. ISMAIL:

23 Q. So as to the California EPA, in terms of their
24 process that they determined, whatever it is that they
25 determined, you don't work for that agency, correct?

1 A. That is correct.

2 Q. And do you know, sir, that the California EPA,
3 to make the determination that you testified to this
4 morning, there's not an independent scientific review to
5 make that determination?

6 A. I do know that, correct.

7 Q. All that requires is, if IARC has made a
8 determination, that automatically gets a certain
9 classification by California EPA, true?

10 A. It's a tad more complicated, but approximately
11 that.

12 Q. So there's not one of these rigorous
13 scientific evaluations, the likes of which we've talked
14 about with the jury today, by California EPA to make the
15 determination you testified to?

16 A. That's correct.

17 Q. Okay. So I would like to turn, Doctor, to our
18 conversation of the mechanism studies, okay?

19 A. Okay.

20 Q. Now, one of the issues you raised was
21 genotoxicity, right?

22 A. Correct.

23 Q. Now, just from a big picture perspective, from
24 the time glyphosate was approved to be used and up until
25 that IARC meeting in March of 2015, no public health

1 agency ever determined glyphosate was genotoxic,
2 correct?

3 A. As far as I know. Again, I can't know what
4 every public health agency says.

5 Q. Those that you're aware of?

6 A. Correct.

7 Q. Now, genotoxicity is what occurs when there's
8 damage to cells, correct?

9 A. Damage to their DNA.

10 Q. To their DNA.

11 Now, it's fair to say that we all have damage
12 to our cellular DNA going on all the time?

13 A. That's correct.

14 Q. A lot?

15 A. A good amount, yes.

16 Q. And human cells ordinarily have this damage to
17 the DNA, but there's a repair mechanism involved?

18 A. Correct, several.

19 Q. You talked about that in your direct
20 examination. You had a cartoon up where you went
21 through that, correct?

22 A. Correct.

23 Q. So just having a genotoxic finding itself does
24 not lead to cancer, correct?

25 A. Correct.

1 Q. And for a chemical to cause cancer through
2 genotoxicity, the genetic change has to progress to a
3 mutation, true?

4 A. A specific type of mutation, true.

5 Q. So just because any exposure, a chemical,
6 anything, can cause damage to DNA, that doesn't mean
7 it's going to cause a mutation, true?

8 A. It doesn't guarantee it, that is true.

9 Q. Okay.

10 A. A crucial mutation, let's say it that way.
11 You will get mutations, but they won't be crucial.

12 Q. And I appreciate that precision.

13 It's not just any mutation you need, you need
14 to have one of the crucial ones you described yesterday?

15 A. Correct.

16 Q. So the genotoxicity studies Mr. Wisner put up
17 on the board and put pluses, minuses, question marks, do
18 you recall doing that yesterday?

19 A. Yes.

20 Q. None of those studies showed that glyphosate
21 caused genotoxicity that progressed to actual mutations,
22 true?

23 A. Give me a second to go through the assays.

24 I believe that's true.

25 Q. What is a mutagen?

1 A. It's a chemical that causes a mutation, or
2 something that causes a mutation.

3 Q. You've reviewed the evidence on mutagenicity
4 with glyphosate, right?

5 A. What little evidence there is.

6 Q. And there's not enough evidence to say that
7 glyphosate causes mutations, true?

8 A. That is true.

9 Q. In fact, those tests that exist for glyphosate
10 are overwhelmingly negative, correct?

11 A. The only tests that exist for mutations for
12 glyphosate are the salmonella tests.

13 Q. And they are overwhelmingly negative, correct?

14 A. They are overwhelmingly negative.

15 Q. Now, going back to the National Toxicology
16 Program, NTP, you've described that organization as
17 doing excellent work, correct?

18 A. Correct.

19 Q. You recognize NTP as an authority?

20 A. Yes, I do.

21 Q. And we said earlier -- I think you agreed
22 earlier that there was no public health agency of which
23 you were aware that concluded that glyphosate causes
24 genotoxicity, and that includes the NTP, right?

25 A. Yes, of course.

1 Q. Now, you've described the NTP as the gold
2 standard, correct?

3 A. For the animal cancer studies, absolutely. I
4 haven't described it -- not here today. But yes,
5 before, I have.

6 Q. Yes. I believe -- in your litigation report,
7 I believe you use that phrase, correct?

8 A. Probably.

9 Q. So you agree with that description, how about
10 that?

11 A. Yes, absolutely.

12 Q. Very good.

13 So that includes -- so the NTP is the gold
14 standard not just in doing the test, but also in
15 presenting the results, correct?

16 A. Yes. For cancer studies. Again, for cancer
17 studies.

18 Q. In 1992, were you working at NTP?

19 A. I was working with NTP. I was technically at
20 NIEHS.

21 Q. So you were working with that organization?

22 A. Correct.

23 Q. And are you aware, sir, that NTP assessed
24 whether or not glyphosate was genotoxic in 1992?

25 A. No, they did two studies. One study in two

1 species. And reported out that study.

2 Q. You've read that report, have you not?

3 A. Yes, I have. It's part of my review.

4 MR. ISMAIL: Can I approach, Your Honor?

5 THE COURT: Yes.

6 BY MR. ISMAIL:

7 Q. Do you recognize Exhibit 4455 as a copy of
8 that report, sir?

9 A. Yes, I do.

10 MR. ISMAIL: Can I publish, Your Honor?

11 MR. WISNER: No objection.

12 THE COURT: Yes.

13 BY MR. ISMAIL:

14 Q. Is that what we have up on the screen, sir?

15 A. Yes, it is.

16 Q. Now, if you turn to page 2, you'll see the
17 individuals who contributed to this report.

18 A. Yes.

19 Q. And without going through each of their
20 qualifications and their names, it looks like there are
21 one, two, three -- about ten or so Ph.D. scientists on
22 this review?

23 A. Yeah.

24 Q. And in addition to that -- I think you would
25 agree that NTP has excellent scientists?

1 A. Yes, I would agree.

2 Q. If you go to page 7, it describes the peer
3 review panel for the preparation of this report.

4 Is that right?

5 A. Yes, it does.

6 Q. And that's common with the preparation of NTP
7 scientific reports, is that they undergo peer review?

8 A. Yes.

9 Q. This particular discussion includes -- this
10 particular review includes a discussion of a rodent
11 study done by the researchers at NTP, correct?

12 A. Correct.

13 Q. Have you had a chance to review that data?

14 A. Yes, I have.

15 Q. If you go to page 14 -- I just want to orient
16 you to the study.

17 You'll see that the methods are described
18 there?

19 A. Correct.

20 Q. You'll see the rodents are given very large
21 doses, correct?

22 A. Yes.

23 Q. And if you go to page 16.

24 A. I thought that's where we were.

25 Q. Well, we're there now.

1 Do you see that it describes a couple of the
2 tests -- it describes the mutagenicity studies and the
3 peripheral blood micronucleus test?

4 A. Correct.

5 Q. And that's what the researchers at NTP were
6 looking at, correct?

7 A. That is correct.

8 Q. And they report -- in terms of what they were
9 looking at here in this micronucleus test,
10 10,000 normochromatic erythrocytes from each animal were
11 scored for micronuclei?

12 A. Yes.

13 Q. And micronuclei is part of that process of
14 genotoxicity described?

15 A. It's a label for genotoxicity being there.

16 Q. So that's one of the --

17 A. Markers.

18 Q. Markers, perfect.

19 So these authors report the results of their
20 work, correct?

21 A. Correct.

22 Q. If you go to page 36.

23 Are you there?

24 A. Yes.

25 Q. I'm at the section that starts, "The results."

1 A. Yes.

2 Q. Okay.

3 "The results of the salmonella" --

4 A. Typhimurium.

5 Q. -- "assays," and that means tests, correct?

6 A. Correct.

7 Q. Okay.

8 "And micronuclei tests showed no evidence that
9 glyphosate is genotoxic."

10 Did I read that correctly?

11 A. Yes, you did.

12 Q. And then they go on to describe that their
13 findings agree with similar conclusions by some of the
14 other findings in the literature, correct?

15 A. Correct.

16 Q. Using standard test methods for testing
17 genotoxicity, correct?

18 A. Correct.

19 Q. And this was the finding of the National
20 Toxicology Program even at the time you were working
21 with them, true?

22 A. This is the finding of that one study,
23 correct.

24 Q. The other method you described was oxidative
25 stress?

1 A. Yes.

2 Q. Now, oxidative stress is happening all the
3 time in our bodies, correct?

4 A. Correct.

5 Q. Exercise causes oxidative stress?

6 A. It increases the amount of free oxygen
7 radicals in our cells.

8 Technically, I don't know if you would call
9 that oxidative stress under that situation, but it does
10 increase the free oxygen radicals.

11 Q. You've called it oxidative stress?

12 A. I would have to say yes, oxidative stress
13 increases in your muscles when exercising.

14 Q. And being sick, having a cold, can cause
15 oxidative stress?

16 A. In some tissues, yes.

17 Q. An exposure that increases oxidative stress
18 does not mean that it causes cancer.

19 Would you agree with that?

20 A. I would agree with that.

21 Q. Oxidative stress is not unique to cancer
22 induction, true?

23 A. True.

24 Q. In fact, there are some medicines that are
25 used to treat cancer that cause oxidative stress,

1 correct?

2 A. That is correct.

3 Q. The body has repair mechanisms that are
4 constantly responding to cellular damage, including
5 oxidative stress, correct?

6 A. Because you put damage in there, I'm a little
7 confused. Oxidative stress is not cellular damage.

8 Oxidative stress is a normal process in the
9 cell. It can cause cellular damage, but there are
10 things in place to fix the cellular damage, and there
11 are things in place to catch the free oxygen radicals.

12 Q. Thank you.

13 No oxidative stress study that you reviewed
14 with the jury can establish in and of itself that
15 glyphosate causes NHL.

16 You would agree with that?

17 A. I agree with that statement.

18 Q. Now, when you showed the jury yesterday a
19 board that said -- I think it said in vitro oxidative
20 stress data. I forget exactly what the board was
21 titled.

22 But do you recall going through with the
23 pluses and minuses again?

24 A. Yes.

25 Q. You're aware that your former colleagues at

1 NTP have studied oxidative stress with glyphosate,
2 correct?

3 A. I have some recollection of it. I'm not
4 absolutely certain. I certainly have not seen a
5 publication, if there is one. It didn't come up in my
6 search.

7 MR. ISMAIL: Can I approach, Your Honor?

8 THE COURT: Yes.

9 BY MR. ISMAIL:

10 Q. I've handed you what's been marked as
11 Exhibit 5810, Dr. Portier.

12 You've been to scientific conferences before,
13 I assume?

14 A. Yes, I have.

15 Q. And you recognize the format of a poster
16 presentation that scientists will give to their
17 scientific colleagues at a meeting such as this?

18 A. Yes, I do.

19 Q. And this particular poster presentation is
20 entitled "Effects of Glyphosate and its Formulation on
21 Markers of Oxidative Stress and Cell Viability,"
22 correct?

23 A. "In HepaRG and HaCaT Cell Lines," yes.

24 Q. Those are human cell lines?

25 A. Those are human cell lines.

1 Q. And this poster presentation is actually from
2 2018 or 2019, very recent.

3 A. I don't know.

4 Q. Well, when you were talking with Mr. Wisner
5 yesterday, that EPA and NTP were collaborating or
6 discussing doing further human cell testing regarding
7 glyphosate, did you look to see whether those tests were
8 done?

9 A. I searched the literature for information on
10 those -- on everything that's been published.

11 Q. So in terms of what's reported in the results
12 here, is it your testimony to the jury that you were
13 unaware of the findings of the National Toxicology
14 Program on the precise issue you discussed with the
15 jury?

16 **MR. WISNER:** Your Honor, I'm going to object.
17 This is undated, it doesn't state where it was
18 published. I don't know how the line of questioning is
19 proper.

20 **THE COURT:** He can answer the question.

21 **THE WITNESS:** As I said before, I heard they
22 were doing it. I don't recall ever seeing a final
23 publication on it. I can't even read this. I don't
24 know what this product is and what the results really
25 are in here.

1 But that's the most I can tell you, my
2 remembrance of this.

3 **BY MR. ISMAIL:**

4 **Q.** So let me just back that up a little bit.

5 You know the NTP scientists were looking at
6 this question of oxidative stress with glyphosate and
7 its formulations, correct?

8 **A.** Correct. I thought they were looking at it in
9 the high 2-foot screening program, not this way.

10 **Q.** When you say "this way," this is a human cell
11 study, correct?

12 **A.** It's a classic in vitro study of oxidative
13 stress.

14 **Q.** Classic in vitro study of oxidative stress,
15 the likes of which you were discussing with the jury
16 yesterday?

17 **A.** Correct.

18 **Q.** And are you saying to this jury that you don't
19 know what the NTP found about oxidative stress and
20 glyphosate?

21 **A.** Obviously, they must have found nothing. But
22 again, I don't recall ever having really reviewed this.
23 So I don't have an opinion on it one way or the other.

24 **MR. ISMAIL:** May I examine the witness on the
25 contents, Your Honor, or would you like me to move on?

1 **THE COURT:** Move on.

2 **MR. ISMAIL:** Okay.

3 **BY MR. ISMAIL:**

4 **Q.** Dr. Portier, in terms of this issue of
5 mechanism that you've talked about, I think we saw in
6 the earlier documentation from the other scientists at
7 regulatory agencies that this question of genotoxicity
8 and oxidative stress were considered, correct?

9 **A.** That is correct.

10 **Q.** And you know that the conclusions of those
11 scientists were the opposite of yours on this issue?

12 **A.** With the exception of EFSA, I would agree with
13 you. I think EFSA was a little less totally negative
14 than EPA and others on oxidative stress.

15 **Q.** If you turn to Exhibit 4722.
16 You recognize this document, correct, sir?

17 **A.** This is ECHA's document, not EFSA.

18 **Q.** I know.

19 **A.** Okay, yes.

20 **Q.** You're familiar with this?

21 **A.** Yes, I am.

22 **Q.** And you reviewed this carefully?

23 **A.** I've read this, yes.

24 **Q.** And you read this carefully, both as part of
25 your process of corresponding with these agencies, and

1 as part of your work in this case, correct?

2 A. In corresponding with them, I didn't read it
3 that well. But for this case, I read this very
4 carefully, yes.

5 MR. ISMAIL: May I publish, Your Honor?

6 THE COURT: Yes.

7 MR. WISNER: No objection.

8 MR. ISMAIL: Okay.

9 BY MR. ISMAIL:

10 Q. So this is a -- what they call the risk
11 assessment document, correct?

12 A. Yes.

13 Q. And they comment in here, "they" being ECHA,
14 on this question of whether these mechanism studies that
15 you talked about with the jury are a matter of concern
16 for cancer risk, correct?

17 A. Yes. Correct.

18 Q. And if you turn to page 25.

19 Are you there?

20 A. Yes.

21 Q. Up on the screen, I have a number of
22 organizations, international and national.

23 And it's referring to several of the
24 scientific bodies we've talked about today:

25 "Have assessed or are in the process of

1 assessing the carcinogenic potential of glyphosate."

2 Correct?

3 A. Yes, correct.

4 Q. Okay. Then they go on to say:

5 "So far, only IARC has concluded that
6 glyphosate is genotoxic."

7 Did I read that correctly?

8 A. Yes, you did.

9 Q. And that's a true statement, right?

10 A. At that time.

11 Q. And the date of this document is what, sir, to
12 the best that you recall?

13 A. 2017. Late '16, '17.

14 Q. May of 2017 ring a bell?

15 A. That would be about right.

16 Q. And since the publication -- the submission of
17 this document, no public health agency has concluded
18 glyphosate is genotoxic, true?

19 A. Again --

20 Q. To the best of your knowledge?

21 A. To the best of my knowledge, that is true.

22 Other than IARC.

23 Q. So IARC stands alone in that, correct?

24 A. Correct.

25 Q. Now, if you go to page 26.

1 A. Yes.

2 Q. There's a discussion of studies in exposed
3 humans.

4 Do you see where I am?

5 A. Yes, I see where you are.

6 Q. And what they're doing here is discussing the
7 three human in vivo mechanism studies you talked about
8 with the jury, correct?

9 A. Correct.

10 Q. These are the only three that exist?

11 A. That I'm aware of, correct.

12 Q. And what they do here is talk about whether
13 these data and these studies support a finding that
14 glyphosate is genotoxic, correct?

15 A. Correct.

16 Q. And what these scientists conclude is that --
17 in the next paragraph, RAC, that's the Risk Assessment
18 Committee?

19 A. Yes.

20 Q. Okay.

21 "RAC concludes that the data available is not
22 sufficient to conclude that glyphosate is the factor
23 likely to explain the association between
24 glyphosate-based herbicide and higher incidences of
25 micronuclei in the studies where this has been

1 observed."

2 Did I read that correctly?

3 A. Yes, you did.

4 Q. This is the Paz-y-Mino and Bolognesi studies
5 you talked about yesterday with Mr. Wisner, correct?

6 A. Correct.

7 Q. And what these scientists are concluding is
8 something different than what you told the jury on this
9 issue, correct?

10 A. Yes.

11 Q. Now, let's take a look at the Bolognesi study.

12 MR. ISMAIL: May I approach, Your Honor?

13 THE COURT: Yes.

14 BY MR. ISMAIL:

15 Q. Do you recognize Exhibit 4285 as a copy of one
16 of the Bolognesi studies you talked about yesterday?

17 A. Yes.

18 Q. This is the human in vivo study that you
19 talked about with Mr. Wisner, correct?

20 A. Correct.

21 MR. ISMAIL: Permission to publish,
22 Your Honor.

23 THE COURT: Any objection?

24 MR. WISNER: No objection, Your Honor.

25 THE COURT: Granted.

1 **BY MR. ISMAIL:**

2 **Q.** We have that up on the screen.

3 This is the paper you were talking about?

4 **A.** Yes.

5 **Q.** And this study is one of the aerial
6 application studies or exposure studies?

7 **A.** Yes, it is.

8 **Q.** Now, the authors comment about their
9 interpretation of the study that they performed,
10 correct?

11 **A.** Yes, they do.

12 **Q.** And that's typical in a scientific paper, the
13 researchers will write up the results and provide their
14 interpretation of their own data, right?

15 **A.** Correct.

16 **Q.** Did you share yesterday what these researchers
17 concluded from their data when you were testifying about
18 this study?

19 **A.** No.

20 **Q.** Okay. If you turn to page 995 of this paper.
21 Left column. Tell me when you're there.

22 Are you there, sir?

23 **A.** Yeah.

24 **Q.** Okay. In the middle of that paragraph,
25 there's a sentence that begins, "Evidence indicates."

1 If it's easier to follow on the screen, I'll
2 continue highlighting.

3 **A.** Okay. I found it.

4 **Q.** All right.

5 So these researchers write:

6 "Evidence indicates that the genotoxic risk
7 potentially associated with exposure to glyphosate
8 in the areas where the herbicide is applied for
9 eradication of coca and poppy is of low biological
10 relevance."

11 Is that what these researchers conclude from
12 their data?

13 **A.** Yes, that's what they conclude.

14 **Q.** Did you tell the jury, when talking about this
15 same study, that the researchers expressed their own
16 data of being low biological relevance?

17 **A.** That wasn't the question we were discussing.
18 We were discussing whether it was a positive study or a
19 negative study. It is a positive study.

20 They are arguing here that because it's just
21 genotoxic results, they're not sure it has any biologic
22 meaning whatsoever, their opinion.

23 **Q.** That was my question.

24 **A.** Okay.

25 **Q.** In the dialogue you had yesterday when you

1 were talking about this study, did you point out to the
2 jury that the folks -- the scientists doing the study --
3 interpreted their data as having low
4 biological relevance?

5 **A.** No. I did not.

6 **Q.** And these are scientists. They are not
7 Monsanto scientists, these are independent researchers,
8 right?

9 **A.** I don't know them, but I assume they are.

10 **Q.** Do they also, on the next page, go through the
11 application of the Bradford Hill guidelines? Or at
12 least a discussion of them?

13 **A.** Yes. They seem to discuss that, yes.

14 **Q.** And they say:

15 "Based on application of Bradford Hill
16 guidelines, it is not possible to assign causality
17 to the increases in frequency of BNMN."

18 What is that abbreviation? Something
19 micronuclei?

20 **A.** Yeah. It's bionucleated micronuclei.

21 **Q.** Okay. So this is saying, hey, using the
22 Bradford Hill criteria, you can't assign causality with
23 respect to the results we found.

24 Is that what they put in the peer-reviewed
25 literature?

1 **A.** That's what they put, yes.

2 **Q.** Now, with respect to --

3 **MR. ISMAIL:** Your Honor, did you have a plan
4 for the afternoon break? I'm happy to keep going.

5 **THE COURT:** If it's a quick break, just a
6 ten-minute break.

7 **MR. ISMAIL:** Perfect.

8 **THE COURT:** And then go until 3:25.

9 **MR. ISMAIL:** Yes. Okay.

10 **THE COURT:** We'll take our ten-minute break.

11 (Recess taken at 1:51 p.m.)

12 (Proceedings resumed at 2:05 p.m.)

13 (The following proceedings were heard in the
14 presence of the jury:)

15 **THE COURT:** You may continue, Mr. Ismail.

16 **BY MR. ISMAIL:**

17 **Q.** I would like to turn now to the discussion of
18 the animal studies that you referenced.

19 **A.** Okay.

20 **Q.** I think you agreed with me this morning that,
21 really, you need human data to be able to make the leap
22 from animals to humans for a specific disease, true?

23 **A.** Correct. "Human data" in the broader sense.

24 **Q.** And that's true for NHL and any agents alleged
25 to be associated with it?

1 **A.** Correct.

2 **Q.** Now, you would agree, sir, that:

3 "When human data of high quality and adequate
4 statistical power are available, they are
5 generally preferable over animal data and
6 should be given greater weight in hazard
7 characterization and dose response assessment,
8 although both can be used"?

9 **A.** I would agree with that statement.

10 **Q.** And you recognize that passage as coming from
11 the epidemiologist guideline document that you discussed
12 with Mr. Wisner?

13 **A.** It makes sense that it would come from there.

14 **Q.** You further agree that:

15 "In the evaluation of human health risks,
16 sound human data, wherever available, are
17 preferable to animal data"?

18 **A.** Yes.

19 **Q.** Now, in terms of the dataset to consider in
20 this case, I think you described earlier -- I think
21 yesterday -- that ordinarily, you would only expect to
22 see maybe two rodent carcinogenicity studies?

23 **A.** That would be correct.

24 **Q.** And to your understanding, most herbicides or
25 pesticides would have been approved on the basis of two

1 or three rodent studies?

2 A. Correct.

3 Q. But for glyphosate, I think you told us
4 yesterday, there's actually a great deal more testing
5 and data available?

6 A. Although to link back to your last question,
7 it was approved with just one or two, yes. But now
8 there's lots of data.

9 Q. In terms of the reassessments and evaluations
10 we went over, there's been a great deal of testing for
11 scientists and regulators to consider on this question?

12 A. It's there. It's not fully available for you
13 to see, but there's a lot there to use.

14 Q. And that's an important point that you sort of
15 touched on this morning.

16 Is that regulators will have access to more
17 information than, for example, gets published in the
18 literature, correct?

19 A. For the regulatory studies that are being
20 submitted to them, yes.

21 Q. And I think you've agreed that glyphosate has
22 one of the largest collections of rodent carcinogenicity
23 studies that you've ever seen?

24 A. That I have ever seen, that is correct.

25 Q. Now, it's not uncommon to see certain tumors

1 in rats or mice, even when they're not exposed to any
2 agent, correct?

3 A. That is correct.

4 Q. So the simple fact of seeing a tumor in a
5 rodent study doesn't answer the question for you, true?

6 I'll rephrase.

7 Just seeing tumors is not enough, as a general
8 rule. Would you agree with that?

9 A. They have to be increasing with dose. But
10 yes, if they're increasing with dose, that's enough.

11 But just seeing it -- I'm trying to get your
12 question in my head. Just seeing tumors in the animals,
13 without paying attention to whether it increased or
14 decreased, doesn't help.

15 Q. So you were discussing the concept of
16 temporality this morning; does the agent precede the
17 development of the condition?

18 Do you recall that conversation?

19 A. Yes.

20 Q. When we're talking about rodent studies, it's
21 not simply, did I administer glyphosate to a rodent, and
22 I saw a tumor in that study?

23 There's more that has to be done to analyze
24 that data?

25 A. That is correct.

1 Q. Okay. And, in fact, you saw tumors that
2 were -- not you saw, you weren't the pathologist -- but
3 you saw in the data, there were tumors in the control
4 groups that weren't exposed to any chemical agent
5 whatsoever?

6 A. Correct.

7 Q. And that's common for some of the tumors that
8 we've been talking about?

9 A. Yes, it is.

10 Q. Now, as we've seen much of the day, your
11 interpretation of the rodent data is at odds with other
12 scientists at regulatory agencies, correct?

13 A. That is correct.

14 Q. And we don't need to go back over the actual
15 documents, but you recall as we went through either the
16 letters that came back to you or the assessment
17 documents, those other scientists believe that the
18 rodent data did not evidence a carcinogenicity with
19 glyphosate.

20 Is that fair?

21 A. That's fair.

22 Q. So what I want to talk about is some of the
23 potential reasons for that difference, okay.

24 So I think, as you just mentioned, the
25 scientists at the regulatory groups for the registration

1 studies will get a great deal more data than is publicly
2 available, correct?

3 A. Correct.

4 Q. And that would include, in many instances,
5 individual animal data, correct?

6 A. That is correct.

7 Q. In your review, you did not have access to the
8 same depth of data that the regulators did on these
9 rodent studies for certain of the studies?

10 A. That would be correct.

11 Q. So you didn't have access to individual animal
12 data, correct?

13 A. For every study, I did not.

14 Q. I think you told us that Monsanto turned over
15 its information.

16 So for the Monsanto studies, you had a chance
17 to have that sort of granular view, correct?

18 A. Correct.

19 Q. But for studies that were proprietary to other
20 organizations or sponsors, you didn't have that ability,
21 correct?

22 A. That's correct.

23 Q. I'm not faulting you; that just wasn't
24 available to you, right?

25 A. Right.

1 Q. But the regulators, in many instances, did?

2 A. I presume.

3 Q. And with respect to IARC, IARC was also in the
4 same boat as you in this question; didn't have access to
5 individual animal data all the time, correct?

6 A. Correct.

7 Q. Now, another issue is the issue of dose. And
8 you touched upon that in your conversation with
9 Mr. Wisner.

10 And I think it's fair to say that you have a
11 difference of interpretation of how dose impacts how one
12 looks at the tumor results.

13 Is that fair to say?

14 A. Different interpretation than whom?

15 Q. Thank you.

16 Than some of these other scientists and
17 regulators that we've seen throughout the day.

18 A. That would be a fair statement.

19 Q. Now, you have not done a calculation to
20 determine how doses that the rodents were exposed to
21 compared to the doses that humans might see in either
22 agricultural or residential use, true?

23 A. I have not done such a calculation.

24 Q. And do you know from your review of the
25 regulatory documents that scientists at these regulatory

1 bodies have done some of that analysis?

2 A. Yes.

3 Q. And one of the things that you, I believe,
4 criticized, for example, EPA for was -- I don't know if
5 your word was discounting or what have you -- doses
6 above a certain level.

7 Do you recall that being part of your direct
8 examination?

9 A. Yes. Basically discarding them.

10 Q. So what you were saying was -- well, in terms
11 of the doses in the rodent studies, just to give a sense
12 of the magnitude of what the mice and rats are exposed
13 to, you said these are feeding studies, correct?

14 A. Correct.

15 Q. So the glyphosate is incorporated in the
16 rodent chow, I guess?

17 A. Yes.

18 Q. And it's fed to the animals day after day
19 after day after day for two years or 18 months?

20 A. Correct.

21 Q. And the amount of glyphosate that is exposed
22 to these animals is typically recorded in the study
23 documentation that you had access to, correct?

24 A. I got that information predominantly from
25 EFSA's write-up of the reports.

1 Q. Very good.

2 So, for example -- and I can give you a copy
3 of your report if you would like to cross-reference
4 this, or maybe the magnitudes will ring a bell.

5 But, for example, in the Knezevich and Hogan
6 study, in the high-dose group, those rodents were
7 exposed to 4,841 milligrams per kilogram of body weight.

8 A. Per day.

9 Q. Per day.

10 A. Yes.

11 Q. And so the unit -- when we talk about
12 milligrams per kilogram of body weight, mice and rats,
13 relatively low weight, but you can see how much
14 glyphosate am I giving them for their size, correct?

15 A. Correct.

16 Q. And you can, if one did the analysis, compare
17 that to what a human might be exposed to in residential
18 or agricultural use, correct?

19 A. Correct.

20 Q. And you haven't done the second part of that,
21 true?

22 A. No.

23 Q. But others have, right?

24 A. Yes.

25 Q. Now, but you would agree -- just to use

1 another example, Sugimoto, that was one of the studies
2 you talked about, the doses in the high-dose group were
3 4,348 milligrams.

4 Does that order of magnitude sound about
5 right?

6 A. It sounds about right.

7 Q. I'm happy to give you your report if you want
8 to cross-reference it.

9 A. It's in that ballpark.

10 Q. Sure. And there were other doses, as well.
11 Sometimes the high-dose group went 800, 900, 1,000; the
12 lower doses were just that, lower doses.

13 So these high-dose groups that you talked
14 about with the jury, and the results, you would agree
15 that they are thousands of times greater than what
16 humans are exposed to?

17 A. That is my understanding from reading the
18 literature.

19 Q. Right. And so again, you haven't done the
20 calculation, but you have some familiarity with the
21 magnitude difference between what rodents are exposed to
22 in studies versus what a human realistically could be
23 exposed to in the environment?

24 A. The results look at the highest dose, per the
25 thousands. Yeah.

1 Q. Yes. And you know from some of the regulatory
2 documents that those scientists concluded that the
3 findings from the rodent studies that you have focused
4 on have less biological relevance because they are at
5 such massively high doses, it doesn't relate to the
6 human experience.

7 Is that fair?

8 A. That's part of their driving argument to get
9 rid of those high doses, correct.

10 Q. When you say "get rid of" them, they saw them
11 and had a different interpretation than you?

12 A. If -- they have to discard them in order to
13 get rid of the positive finding in the mouse.

14 Q. Okay. Well, how about we --

15 A. It's like this: If they are argued that the
16 high dose is too high, you can remove it and still do an
17 analysis with the animals. And that's, in essence, what
18 they're doing.

19 So they're actually removing the high dose and
20 saying, the rest, there's no significant increase there.

21 Q. Got it.

22 A. That's why I'm saying remove it.

23 Q. So just to sort of finish this conversation,
24 you have a difference in approach -- or your view of
25 what would be the proper approach of how you would

1 assess those massively high doses given to the rodents
2 than do other scientists who have looked at the same
3 data.

4 Is that a fair way to wrap this conversation
5 up?

6 **A.** I would think that's fair, but I would do it
7 the way the guidelines say to do it.

8 **Q.** Well, we'll get to that in a second.

9 Another difference between you and the
10 regulators is the approach to statistical significance.

11 Yes?

12 **A.** I can't speak to that as a general rule.

13 **Q.** Okay.

14 **A.** The guidelines discuss not just using a p .05,
15 but you can use your best judgment in looking at all of
16 the p-values.

17 So I'm in agreement with the guidelines.

18 **Q.** And we'll look at the guidelines in a minute.

19 But you would agree that it's standard in
20 toxicology to use statistical significance at the
21 .05 level, true?

22 **A.** That's true.

23 **Q.** And, in fact, the guidelines that you talked
24 about refer specifically to that level of statistical
25 significance, correct?

1 **A.** Correct.

2 **Q.** We'll just show the jury where that is.

3 This is -- I gave it to you at Tab 4879. I
4 think it was in Mr. Wisner's binder, as well, his
5 Tab 940.

6 **MR. ISMAIL:** Your Honor, this has already been
7 published to the jury.

8 **THE COURT:** Okay.

9 **MR. ISMAIL:** No objection, I assume?

10 **MR. WISNER:** No objection.

11 **BY MR. ISMAIL:**

12 **Q.** Page 2-19, I'll put it on the screen, sir.

13 You're familiar with this, correct?

14 **A.** Correct.

15 **Q.** And I think throughout your direct
16 examination, you referred to this as how you believe the
17 cancer risk assessment should be undertaken, correct?

18 **A.** Correct.

19 **Q.** And so there's a discussion of trend tests and
20 pairwise comparison tests.

21 Those are the two types of tests you talked
22 about?

23 **A.** Yes.

24 **Q.** Then it talks about with some more detail
25 here, how to calculate statistical significance that we

1 don't need to get into.

2 But it says:

3 "By convention, for both tests, a
4 statistically significant comparison is one
5 for which p is less than 0.05 that the
6 increased incidence is due to chance."

7 Correct?

8 **A.** That's what it says, correct.

9 **Q.** And then it says:

10 "Significance in either kind of test is
11 sufficient to reject the hypothesis that
12 chance accounts for the result."

13 Correct?

14 **A.** Correct.

15 **Q.** So the guideline document that you agree with
16 does report that statistical significance is defined at
17 the .05 level?

18 **MR. WISNER:** Objection.

19 **THE WITNESS:** No.

20 **MR. WISNER:** Never mind.

21 **THE COURT:** Overruled.

22 You can answer.

23 **MR. ISMAIL:** He said no.

24 Let me rephrase.

25 ///

1 **BY MR. ISMAIL:**

2 **Q.** The passage that we just referred to reports
3 that, by convention, statistically -- a statistically
4 significant comparison is one for which p is less than
5 0.05, true?

6 **A.** That is true. That is true.

7 **Q.** Okay. Now, in your -- by the way, when IARC
8 was reporting its results, it used statistical
9 significance at a .05 level, correct?

10 **A.** I believe that would probably be the case.

11 **Q.** When you were --

12 **A.** For animal studies.

13 **Q.** In the animal studies.

14 When you were reporting what you found to be
15 positive findings in the animal studies, you did not
16 strictly adhere to the .05 significance level, true?

17 **A.** True.

18 **Q.** How many of the tumor findings that you told
19 the jury about yesterday would not qualify as
20 statistically significant at the .05 level?

21 **A.** I would have to look at the table. I can't
22 pull it straight out of my head.

23 But it would be maybe 30 percent.

24 **Q.** Okay. Of the findings you reported here in
25 court?

1 **A.** Yes.

2 **Q.** Now, another issue that you differed with the
3 regulating scientists about is this question about
4 multiple testing and what that -- how that complicates
5 the analysis.

6 So let me ask it this way: When people are
7 doing these types of animal carcinogenicity tests, there
8 are multiple -- many dozen kinds of tumors that can be
9 analyzed, correct?

10 **A.** Correct.

11 **Q.** I think you told us 40 or so when you were
12 describing this process yesterday, true?

13 **A.** Forty tissues with different types of cancers.
14 But in practice, only somewhere between 20 to 25
15 statistical evaluations per bioassay.

16 **Q.** So for each study, you can see -- pathologists
17 are examining up to 20 to 25 tumors?

18 **A.** No. The pathologists are examining
19 everything. The evaluation is only for, in the end, 20
20 to 25 tumors.

21 **Q.** Because some of them are obviously negative,
22 and there's no reason to report on them?

23 **A.** Correct.

24 **Q.** So for the analysis, though, you're going to
25 have 20 to 25 tumors per study, correct?

1 A. Twenty to 25 evaluations per study, correct.

2 Q. I said it exactly wrong.

3 Twenty to 25 tumors for the evaluation?

4 A. Correct.

5 Q. And that's both for the male group and the
6 female group, correct?

7 A. Correct.

8 Q. And you described that there's two different
9 types of tests, the pairwise and the trend?

10 A. Correct.

11 Q. And you incorporated something you called
12 historical controls, at times?

13 A. Yes, correct.

14 Q. So when you're doing these types of tests, you
15 can see 20 to 25 tumors for evaluation, you're looking
16 at males and females, you're looking at dose, pairwise,
17 trends, lots of different analyses?

18 A. People do that. My analysis, as stated, is
19 based upon the trend test.

20 Q. Well, that's not what you limited your --

21 A. I show pairwise comparisons.

22 Q. Okay.

23 A. And I show the pairwise comparisons that EPA
24 and others looked at. But those are not my positives.

25 Q. "Those are not my positives."

1 **A.** No. They are things that are pairwise
2 comparisons, but they're there because EPA found them.

3 **Q.** Oh.

4 **A.** I was looking at trend tests. That is my
5 focus.

6 **Q.** Well, that's an important clarification.

7 So of the various tumors you put on the board
8 yesterday for rats and mice, where there was a positive
9 finding for pairwise --

10 **A.** But no trend.

11 **Q.** -- but no trend, that's not Dr. Portier's
12 belief as to how to report that data?

13 **A.** I would not report that as a positive, because
14 of the way I'm doing a positive.

15 **Q.** But you did yesterday, right?

16 **A.** You're correcting me.

17 That should have been made clear. There are
18 only three, I think, in the whole dataset that are like
19 that.

20 **Q.** And just to wrap this up.

21 So you would, I guess, amend your comments
22 from yesterday about what significance you took from the
23 animal data to exclude any pairwise positive finding for
24 which there was not a trend positive finding?

25 **A.** Correct.

1 Q. Okay.

2 A. And I have excluded some of those that the
3 agency didn't find, that I found that I didn't put on
4 the chart.

5 Q. Okay. So you -- I think we -- I think we're
6 communicating. Okay.

7 So back to where we were, which is lots of
8 different tests can be done within any particular study?

9 A. Correct.

10 Q. You're familiar with the term false positives,
11 correct?

12 A. Correct.

13 Q. And that means when -- I guess we'll be
14 specific to glyphosate.

15 A false positive would mean you conclude that
16 glyphosate increased the risk for a tumor; when the
17 truth is, there's no impact of glyphosate on the risk of
18 getting that tumor?

19 A. Correct. You declared it positive when it
20 truly is not positive.

21 Q. And because of the large number of evaluations
22 done in an animal -- individual animal carcinogenicity
23 study, there's a concern that the false positive rates
24 can be exaggerated?

25 A. That is correct.

1 Q. So if you have enough tests, you will get some
2 positives simply by chance alone, correct?

3 A. That is correct.

4 Q. And if you assess statistical significance at
5 the .05 level, if truth means there's no effect of the
6 chemical, roughly speaking, one out of every 20 times,
7 you would get a false positive?

8 A. Correct.

9 Q. And if you relax the statistical significance
10 from .05 to something higher, you'll get even more false
11 positives?

12 A. You could. There's no guarantee.

13 Q. No guarantee, but as a matter of statistics,
14 that's what you would expect to see, on average?

15 A. Correct.

16 Q. So if you do enough tests, you are almost
17 guaranteed to get false positives at some point?

18 A. Yes.

19 Q. And generally speaking, the more studies you
20 have, the more false positives you have to deal with?

21 A. Probably.

22 Q. And so as you've told us, glyphosate has an
23 unusually large set of data on the animal two-year
24 studies or 18-month studies to consider, correct?

25 A. Correct.

1 Q. And so you have to be very -- any good
2 statistician would consider the issue of false
3 positives?

4 A. And I do.

5 Q. And so did the scientists at other regulatory
6 organizations, correct?

7 A. No.

8 Q. Do you recall having some --

9 A. EPA did. EFSA did not.

10 Q. That's fine.

11 You had some back and forth with EPA about
12 this issue, some of the biostatisticians who engaged
13 with you on this topic?

14 A. I had some back and forth with other
15 statisticians who were sending in comments.
16 Dr. Haseman, I believe, is the one I had comments with.

17 Q. Okay. Keeping this moving.

18 So this issue of false positives is something
19 that one has to be concerned about, particularly when
20 you have such a large data set, as we do here?

21 A. Correct.

22 Q. Now, some of the findings you talked about
23 with Mr. Wisner yesterday are probably false positives?

24 A. I would agree with that.

25 Q. How many false positives did you tell the jury

1 about yesterday?

2 A. I can't know which ones are false positives.

3 Q. How many, by number, did you tell the jury
4 were on your board yesterday?

5 A. Again, I can't know if there are truly any. I
6 can't know if they're all false positives. The only
7 thing I can tell you is the probability of seeing a
8 false positive when I did calculate.

9 Q. So in terms of the -- well, let's go on to
10 discussing some of the specifics, if we could.

11 Now, you told the jury yesterday that you
12 interpreted the data here as showing positive results in
13 both the rat studies and the mouse studies, correct?

14 A. That's correct.

15 Q. Now, can you confirm for the jury, though,
16 that what you testified to yesterday about the rat
17 studies is contrary to what you published in a journal?

18 A. I don't understand the question.

19 Q. Okay. Do you recognize Exhibit 5470, Doctor?

20 A. Yes, I do.

21 Q. This is an opinion piece. Sort of a pro/con
22 piece written by, in one case, you; and there was a
23 contrary view expressed by a different scientist.

24 Correct?

25 A. Correct.

1 **MR. ISMAIL:** May I publish, Your Honor?

2 **MR. WISNER:** No objection, Your Honor. No
3 objection. It's fine. It's not worth the time.

4 **MR. ISMAIL:** Okay.

5 **BY MR. ISMAIL:**

6 **Q.** So this is something that you wrote, correct?

7 **A.** That is correct.

8 **Q.** Okay. And it's -- in part, at least --
9 talking about the animal data, right?

10 **A.** Correct.

11 **Q.** And I'm in the middle column.
12 Do you see where I am, sir?

13 **A.** Yes.

14 **Q.** Okay. So the last sentence says:
15 "The conclusion is that glyphosate causes
16 various tumors in laboratory mice."
17 That's what you wrote in this piece, correct?

18 **A.** Correct.

19 **Q.** And this is your personal opinion piece,
20 correct?

21 **A.** Correct.

22 **Q.** And that's consistent with what you said
23 yesterday, right?

24 **A.** Correct.

25 **Q.** But above that, you write:

1 "With the exception of growth in a few
2 nonmalignant tumors, none of the rat studies
3 showed any effect."

4 Did I read that correctly?

5 **A.** Correct.

6 **Q.** And that's contrary to what you told the jury
7 yesterday, correct?

8 **A.** The difference is, this was before I
9 reanalyzed all the datasets.

10 **Q.** So the answer to my question is yes?

11 **A.** The answer to your question is yes.

12 **Q.** This isn't the only time that you publicly
13 stated that your view was that the rat glyphosate
14 studies did not show an effect, correct?

15 **A.** It depends on the date. Again, the analysis
16 was done early 2017. So before that, I would have said
17 the rat studies were negative because I was looking at
18 the same results as the regulatory agencies.

19 After that, I would say they were positive
20 because I reanalyzed them and found the other ones.

21 **Q.** Okay. So let me just get the timeline right.

22 So within 18 months of -- after IARC, includes
23 the time that you were working with Plaintiffs' counsel,
24 during that period of time, you were of the view that
25 glyphosate studies done on rats did not show --

1 A. Carcinomas.

2 Q. -- carcinomas, correct?

3 A. Correct. They were nonmalignant tumors.

4 Q. And that's actually a view you shared with
5 EPA, correct?

6 A. Probably.

7 Q. In terms of the additional studies that you
8 looked at, one of the things you talked about was
9 lymphomas yesterday.

10 Do you recall that?

11 A. Which study?

12 Q. Lymphomas. Malignant lymphomas as a tumor
13 type.

14 A. Yes.

15 Q. You discussed that yesterday?

16 A. Correct.

17 Q. And you referenced the study by Takahashi?

18 A. Yes, I did.

19 Q. Now, in fairness, sir, when you prepared your
20 litigation reports in this case, you make no reference
21 to that study, correct?

22 A. That is correct. I reference the place where
23 the study came from, but I didn't talk about the study
24 because I missed it.

25 Q. So the -- your opinions as written in your

1 litigation report, discuss 12 rodent studies; seven rat,
2 five mice?

3 A. Correct.

4 Q. And the study that you were talking about was
5 from 1999, correct?

6 A. I believe so.

7 Q. And it was described in a regulatory document
8 dated in 2016, correct?

9 A. Not a regulatory document.

10 Q. Right. JPMR?

11 A. JPMR.

12 Q. JPMR, yes.

13 And that's the World Health Organization?

14 A. Correct.

15 Q. And it's referenced there, but that was from
16 three years ago?

17 A. That is correct.

18 Q. And I think when Mr. Wisner was asking you
19 about the study, he asked you, EPA missed it?

20 Do you remember that question being asked
21 about the Takahashi study?

22 A. Yes.

23 Q. And in fairness, so did you?

24 A. Absolutely.

25 Q. So continuing on this discussion, we have now

1 13 studies that you are considering, correct?

2 A. Correct.

3 Call it 12.2. I don't have all the other
4 tumors for the Takahashi study, only what was written
5 about in JMPR.

6 Q. So fair to say that your interpretation of
7 that study is limited by the fairly limited amount of
8 data that you had?

9 A. For that one, yes.

10 Q. And do you recall in the high doses in that
11 study, they actually were 8-, 9,000 milligrams per
12 kilogram, something to that effect?

13 A. It was huge.

14 Q. Huge?

15 A. That was clearly the highest-dose study of all
16 of these, and it was close to what would be acceptable
17 in a mouse study.

18 Q. Continuing on in this discussion.

19 So we have -- you said 12.2, but 13 studies
20 from which you derived opinions about lymphoma, at least
21 here in court. Whether or not they're in your reports
22 or not we'll save for another day.

23 A. Correct.

24 Q. And for each of those, they are male and
25 female, correct?

1 A. Right.

2 Q. For each of those, you can do a trend test?

3 A. Correct.

4 Q. So there's 26 possible trend tests?

5 A. It varies from study to study and how the
6 tests are done. I actually evaluated that question.

7 Q. My question simply is -- if you can't answer
8 it yes or no, you can tell me.

9 With 13 rodent studies, with both male and
10 female, are there 26 possible trend tests?

11 A. So you're thinking it's 26 plus 26, all
12 times 13?

13 Q. No, I'm not.

14 A. Sorry.

15 Q. I'm simply doing it as such: Thirteen
16 studies, and in each study there are males and females?

17 A. Correct.

18 Q. So that's 26 groups between the 13 studies?
19 Twenty-six -- there's 13 studies, each have males, each
20 have females, and you can do trends by gender?

21 A. Correct.

22 Q. And that's the way you should do a trend test,
23 by gender?

24 A. Correct.

25 Q. So there are 26 trend tests possible in the 13

1 studies?

2 A. Correct.

3 Q. And you can also do a pairwise test, correct?

4 A. Yes.

5 Q. And in a pairwise test, you would take the
6 control group and compare it to the low, you can compare
7 it to the middle, and you can compare it to the high.

8 A. Correct.

9 Q. And in some of your analyses, you do just
10 that.

11 A. I always report it.

12 Q. You always report it.

13 So there's three possible pairwise tests per
14 gender, per test?

15 A. That would be correct.

16 Q. And so for each test -- if my math is
17 essentially correct -- you can do three pairwise per
18 gender, and one trend test per gender for each test?

19 A. If you wanted to.

20 Q. If you wanted to.

21 So that's eight per test?

22 A. Eight per --

23 Q. Per study?

24 A. Males and females.

25 Q. Males and females.

1 **A.** Okay.

2 **Q.** Are we aligned?

3 There's eight possible tests you can run?

4 **A.** Correct. But that's not what I would make a
5 decision on, which is what matters with false positives.

6 **Q.** So in the number of possible tests, then, from
7 all the rodent studies -- trend and pairwise -- how many
8 possible tests are there?

9 **A.** There were, all told, a little more than
10 500 evaluations when you look at everything. So all
11 told, that would be about 2,000 evaluations, give or
12 take.

13 **Q.** You found no lymphomas in the rats, correct?

14 **A.** That's correct.

15 **Q.** No lymphomas in either the pairwise or the
16 trend?

17 **A.** I don't know. I didn't report the pairwise,
18 so I don't remember. I doubt it.

19 **Q.** So for trend, you saw no lymphomas for males
20 or females in the rats, correct?

21 **A.** That is correct.

22 **Q.** Now, one of the studies you talked about
23 was -- I'm sorry, one of the tumors you talked about was
24 renal tumors.

25 Do you recall that?

1 A. Yes, I do.

2 Q. I'm trying to do this quickly and get to an
3 agreement on this issue, but if you want to look at
4 documents, let me know.

5 One of the studies that this was an issue for
6 was the Knezevich study, right?

7 A. Yes.

8 Q. And that was a study that was available to
9 IARC, right?

10 A. A summary of it was available to them.

11 Q. And one of the things that the IARC working
12 group wanted to do was see if that test was
13 statistically significant according to the standards
14 that IARC is using of .05?

15 A. Correct.

16 Q. And one of your contributions at the working
17 group meeting, as an invited specialist, was to assist
18 the animal subgroup to make that determination, correct?

19 A. I did weigh in on that determination, yes.

20 Q. And there was a question about -- I won't get
21 into the nitty-gritty details of this -- what
22 statistical test would be most appropriate to make that
23 assessment?

24 A. Correct.

25 Q. And you assisted the animal subgroup in where

1 to get such a test, and you verified the results, so to
2 speak?

3 A. They did it without me, but I did provide some
4 input on that.

5 Q. And what IARC had available to it for its
6 decision was what they thought was a statistically
7 significant finding for that renal tumor in that study,
8 correct?

9 A. Correct.

10 Q. Since IARC, you have come to the conclusion
11 that the test used at that meeting was not the best test
12 to use?

13 A. The test was the best test to use. The way in
14 which the p-value was calculated for the test was not
15 the best way to do it.

16 Q. Fine. And regulatory scientists and other
17 biostatisticians pointed that out to you, and you
18 agreed?

19 A. Correct.

20 Q. And when you did the p test by the better
21 method, it no longer was statistically significant?

22 A. That's correct.

23 Q. So we can agree here that one of the positive
24 findings reported in the IARC Monograph for animal
25 tumors as statistically significant, the better

1 interpretation is that it doesn't meet the .05 level.

2 True?

3 A. But that wasn't why the IARC -- there's more
4 to that than just that test.

5 But yes, I will tell you, it did not meet the
6 .05 value.

7 Q. Very good.

8 And you talked yesterday about a study by
9 George. And it was the initiation versus promotional
10 study?

11 A. That's correct.

12 Q. And there was a -- is that the painting study?

13 A. Yes.

14 Q. Now, that study was available for the IARC
15 working group to consider, right?

16 A. That is correct.

17 Q. And again, I'm happy to show you the document
18 if you want to be refreshed on this.

19 But do you recall how the working group -- the
20 IARC working group assessed the quality and reliability
21 of the George study?

22 A. They felt that the sample sizes of 20 animals
23 per group were too small. And they gave it less weight.

24 Q. It wasn't just the sample size that concerned
25 them, right, Doctor?

1 **A.** Now I have to look at the document again.

2 **Q.** Sure. We'll get that out, Doctor.

3 But in the final analysis, an IARC working
4 group -- are you looking for the Monograph?

5 **A.** Yeah, I'm looking for it now. But go ahead.

6 **Q.** Let me see if we can --

7 **MR. WISNER:** It's not in my binder.

8 **MR. ISMAIL:** Because it's in my box.

9 **BY MR. ISMAIL:**

10 **Q.** Dr. Portier, if you turn to page 34 of this.

11 **THE COURT:** Which exhibit are we?

12 **MR. ISMAIL:** I'm sorry, Your Honor. I put a
13 copy on the bench. Exhibit 5184.

14 **THE COURT:** Oh, okay.

15 **MR. ISMAIL:** I don't need to publish it, I
16 just want to refresh Dr. Portier's recollection about
17 what the working group said about the George study that
18 was the subject of his direct yesterday.

19 **BY MR. ISMAIL:**

20 **Q.** Are you at that page, sir?

21 **A.** Yes.

22 **Q.** There's a discussion about the working group,
23 the study, the methods, and whatnot?

24 **A.** Correct.

25 **Q.** And then the working group comments on that

1 study, right?

2 A. Correct.

3 Q. And if you're with me under the right column
4 on the top, it says:

5 "The glyphosate formulation tested appeared to
6 be a tumor promoter in the study."

7 Do you see where I am?

8 A. Yes.

9 Q. Then they go on to say:

10 "The design of the study was poor with short
11 duration of treatment, no solvent controls,
12 small number of animals and lack of
13 histopathological examination."

14 Did I read that correctly?

15 A. That is correct.

16 Q. So the working group had more criticisms of
17 this George study than just, there weren't enough
18 animals, correct?

19 A. That's correct.

20 Q. And they go on to say:

21 "The working group concluded that this was an
22 inadequate study for the evaluation of glyphosate."

23 Correct?

24 A. Correct.

25 Q. Do you agree or disagree with the conclusions

1 of the IARC working group about the George study we just
2 read?

3 A. At the time I was there, I disagreed with
4 them. They don't always take their advisor's advice.
5 Yes, I disagreed with them on this study.

6 Q. Okay. Last topic.

7 Discussion of the epidemiology that you went
8 over with Mr. Wisner, okay?

9 A. Okay.

10 Q. Now, I think you agree that the human
11 epidemiology studies deal with the actual exposure
12 humans have to the product, correct?

13 A. Correct.

14 Q. And you recall that the IARC working group
15 found that there -- I think you wrote it up, or somebody
16 did, on one of the documents that there was limited
17 evidence in humans for the carcinogenicity of
18 glyphosate, correct?

19 A. Correct.

20 Q. And what "limited evidence" means, that's a
21 term of art at IARC; it means specific things when
22 talking about the epidemiology, correct?

23 A. Absolutely, yes.

24 Q. And what it means is that there's a positive
25 association that appears to be credible, but chance,

1 bias, or confounding could not be ruled out with
2 reasonable confidence, correct?

3 A. That's the definition.

4 Q. And you agree with that assessment of the
5 epidemiology, right?

6 A. Yes, I do.

7 Q. So let's talk a little bit about those factors
8 that led IARC and you to conclude the epidemiology is
9 limited, okay?

10 Chance, I think we talked about. You can get
11 a positive finding just by rolling the dice, right?

12 A. Right.

13 Q. And bias -- well, let's do confounding first.

14 Confounding occurs when there's an exposure or
15 some other factor that's associated with both the
16 glyphosate exposure and the NHL diagnosis, that if you
17 controlled for it, it would explain the results?

18 A. It wouldn't necessarily explain the results.
19 It would explain some of the results.

20 Q. Sure. So you referred yesterday to sort of a
21 classic biostatistician's analysis. That was the storks
22 and birthrate.

23 A. Correct.

24 Q. That's often used as a teaching example.

25 And included in this, you have confounding,

1 right?

2 **A.** If I do an analysis of something else -- like
3 what we eat and birth of children -- and I don't include
4 the storks, it's confounding.

5 **Q.** So in the stork study, there were other
6 factors that explain this apparent positive finding that
7 birth rate is related to storks?

8 **A.** I would guess it would be the case.

9 **Q.** There's lots of factors that go into that.

10 But if you just looked at the stork finding,
11 you would say there's a 1 in 150 chance that storks
12 don't deliver babies, if you don't actually look at the
13 confounding factors, right?

14 **A.** Correct.

15 **Q.** So when we're talking about the human
16 epidemiology with glyphosate, there are important
17 confounders that you agree should be adjusted for,
18 right?

19 **A.** Correct.

20 **Q.** And an important source of the confounding
21 here is whether the individuals in the study were
22 exposed to other chemicals or pesticides, correct?

23 **A.** Correct.

24 **Q.** Since there are some -- particularly in
25 agricultural occupational use, there are lots of

1 herbicides, pesticides, insecticides, that various
2 individuals can be exposed to, that might confound the
3 analysis of glyphosate exposure in those studies, right?

4 A. It might.

5 Q. You agree that the proper way to analyze the
6 epidemiology is to use the most fully-adjusted risk
7 estimates from the epidemiology, true?

8 A. From each study, what the working group did
9 was exactly that.

10 I guess that's probably generally true. You
11 can overanalyze the confounders, and it can mess up your
12 analysis. I would argue that one of these studies
13 actually did that.

14 But as a general rule, that's okay.

15 Q. Just to make sure we have an understanding
16 here, IARC used the most fully-adjusted risk estimates,
17 correct, which you just testified to, when available?

18 A. When available. When making their overall
19 decision, that's what they said.

20 Q. And in your report in this case, you wrote
21 that:

22 "When discussing the epidemiology data, the
23 most reasonable comparison is to use the most
24 fully-adjusted risk estimates."

25 And you stand behind what you wrote, here in

1 court?

2 A. Me? In my report, I wrote that? Or in the
3 IARC Monograph?

4 Q. No, you wrote it here. I'm happy to show you.

5 Well, do you agree, sitting here today, the
6 reasonable comparison is to use the most fully-adjusted
7 risk estimates?

8 A. From these particular studies?

9 Q. Yes.

10 A. Yes.

11 Q. Terrific.

12 A. I'll agree with that.

13 Q. Now, the IARC working group looked at six
14 epidemiology studies, correct?

15 A. Correct. Well, they looked at a bunch of
16 them, but six for NHL.

17 Q. And none of the most fully-adjusted risk
18 estimates in the six glyphosate epidemiology studies
19 that were the core of that IARC working group assessment
20 showed a statistically significant increased risk of
21 non-Hodgkin's lymphoma, true?

22 A. I believe that is true.

23 Q. Even when you adjust for pesticide use, you
24 can't rule out other potential confounders, right?

25 A. Correct.

1 Q. And, in fact, you wrote comments to EPA.

2 When EPA said words to that effect, you wrote
3 back and said you agree with that statement,
4 scientifically, right?

5 A. Say it again.

6 Q. Well, let me ask it more simply.

7 You agree that just adjusting for pesticide
8 use doesn't solve all the potential confounders when
9 doing a study on NHL and glyphosate exposure, correct?

10 A. There are other potential -- well, no.

11 I can't know that. The bottom line,
12 scientifically, I can't know that.

13 Q. Sure. But let me -- I'm sorry?

14 A. But I can say it in the abstract.

15 Q. Okay. In the abstract, you agree?

16 A. Yes.

17 Q. And let me ask it specifically.

18 In terms of this dataset, there's other
19 confounders for, for example, agricultural workers,
20 unrelated to pesticides, like diesel exhaust and
21 solvents and livestock, farm animals, that may confound
22 an analysis of NHL, true?

23 A. That is true.

24 Q. And none of the case-controlled studies that
25 you showed yesterday with Mr. Wisner controlled for

1 those other confounders that you and I just discussed,
2 correct?

3 **A.** That is true.

4 **Q.** Okay. If you turn to 4727, this is the EFSA
5 review that we talked about. And if you turn to
6 page 11.

7 Are you there, sir?

8 **A.** Yes, I am.

9 **Q.** With respect to this topic of epidemiological
10 studies, these scientists write:

11 "For the wealth of epidemiological studies, the
12 majority of experts concluded that there is very
13 limited evidence of an association between
14 glyphosate-based formulations."

15 Let me stop right there.

16 They're saying "glyphosate-based formulations"
17 because these are the final products like Roundup,
18 correct?

19 **A.** Correct.

20 **Q.** There's very limited evidence between products
21 like Roundup and non-Hodgkin's lymphoma.

22 And then they go on to say:

23 "Overall, inconclusive for a causal or clear
24 associative relationship between glyphosate and
25 cancer in human studies," correct?

1 **A.** That's what it says, correct.

2 **Q.** And then they describe:

3 "Minority views, nevertheless, were expressed
4 that there was either inadequate or limited evidence
5 of an association."

6 Correct?

7 **A.** Correct.

8 **Q.** So as described here, the majority of
9 scientists that are referred to here would find that the
10 body of epidemiological evidence shows very little
11 evidence of an association, correct?

12 **A.** They used the term "very limited."

13 And "limited" is a term of art at IARC.

14 "Limited" is a term of art at EFSA.

15 They have three categories: Sufficient,
16 inadequate, and limited evidence for human data. They
17 don't have a very limited evidence category. I don't
18 know what it means.

19 So I can't tell you, in terms of art, what
20 this means here.

21 **Q.** Okay. Those are the words on the page,
22 though?

23 **A.** Correct. Those are the words on the page.

24 **Q.** Now, one of the studies you referred to
25 yesterday was the Agricultural Health Study, right?

1 **A.** Correct.

2 **Q.** And there were actually two publications that
3 came out thus far that are on this issue of whether
4 glyphosate formulations increase the risk of NHL,
5 correct?

6 **A.** Correct.

7 **Q.** Do you recognize Exhibit 4603 as the first of
8 those publications?

9 **A.** Yes.

10 **Q.** Now, just in terms of orienting the jury about
11 what this study is, and its initiation, this study had
12 approximately 55,000 people who used pesticides
13 occupationally, correct?

14 **A.** Correct.

15 **Q.** And the researchers have been collecting data
16 from this survey since the early to mid-'90s?

17 **A.** That's correct.

18 **Q.** And even at initiation, the participants in
19 the studies had, on average, pesticide exposures of 10
20 or 15 years?

21 **A.** I can't say. But probably.

22 **Q.** It's described in the paper. That's in the
23 ballpark of what you recall.

24 Is that fair?

25 **A.** Yes.

1 Q. So this study has been collecting data for
2 almost 25 years, or more than 25 years at this point?

3 A. They don't collect data all the time. It's a
4 little tough. But the study has been going for almost
5 25 years.

6 Q. And this study is actually funded through a
7 grant of the National Institute of Environmental Health
8 Sciences, correct?

9 A. Partially.

10 Q. Partially?

11 A. Predominantly.

12 Q. And that's the agency with which you formerly
13 worked?

14 A. That's correct.

15 Q. And it's sponsored and funded by the National
16 Cancer Institute, as well, correct?

17 A. Correct.

18 Q. It includes on it, university researchers at
19 the University of Iowa, correct?

20 A. Yes. Correct.

21 Q. It has no funding from Monsanto, true?

22 A. Not that I am aware of.

23 Q. Or any other industry company?

24 A. It would be very, very unlikely.

25 Q. Very unlikely, all right.

1 So this is a National Cancer Institute and
2 NIEHS-funded cancer study, right?

3 **A.** Correct.

4 **Q.** What I'm showing you here is the first
5 publication from 2005.

6 **MR. ISMAIL:** May I publish, Your Honor?

7 **MR. WISNER:** No objection.

8 **THE COURT:** Yes.

9 **BY MR. ISMAIL:**

10 **Q.** Just in terms of -- these are the authors who
11 are reported here, and their affiliations.

12 You have the University of Washington, the
13 Fred Hutchinson Cancer Research Center, the National
14 Cancer Institute, National Institutes of Health, NIEHS,
15 among others, correct?

16 **A.** Correct.

17 **Q.** Now, you agree that the analysis done in this
18 study was done extremely carefully?

19 **A.** I will agree to that.

20 I want to correct something. This is not the
21 first publication from the Agricultural Health Study.
22 This is the first one on glyphosate in human health.

23 **Q.** Excellent point. This dataset, the AHS
24 dataset, has produced hundreds of publications.

25 This is one on the topic of concern here in

1 this trial?

2 A. Yes.

3 Q. Back to my question: You recall that the
4 analysis was done extremely carefully in 2005, right?

5 A. Yes, I do.

6 Q. And you would agree that it's a very reliable
7 study, right?

8 A. It's a useful piece of information. Very
9 reliable within its limitations, yes.

10 Q. Now, if you turn to the discussion. And we
11 can look at the data down below, as well:

12 "There was no association between glyphosate
13 exposure and all cancer incidence or most of
14 the specific cancer subtypes we evaluated,
15 including NHL."

16 Did I read it correctly so far?

17 A. Yes.

18 Q. Okay.

19 "Whether the exposure metric was ever used,
20 cumulative exposure days, or
21 intensity-weighted cumulative exposure days."

22 Did I read it correctly?

23 A. Yes.

24 Q. Now, what those latter terms mean is that the
25 researchers look to see how many days the individuals

1 were exposed to for glyphosate, and also the intensity
2 of their exposure, correct?

3 A. Correct.

4 Q. And those results are reported down below,
5 here in Table 3.

6 And for NHL, the different days of exposure --
7 I'm doing this quickly, trying to beat the clock, sir,
8 so if you need me to slow down, let me know -- as
9 there's increasing exposure, the relative risk does not
10 go up, correct?

11 A. Correct.

12 Q. And stays around 1, correct?

13 A. Correct.

14 Q. And if you use intensity of exposure, same
15 deal, you do not see an increase in dose relationship,
16 and you don't see an increased risk, correct?

17 A. Correct.

18 Q. So this -- you agreed with -- well-done study
19 and carefully-done study shows no increased risk of NHL
20 from glyphosate exposure, true?

21 A. No apparent. No apparent in this exposure
22 response here.

23 Q. Right.

24 And no matter what set of the data you look
25 at, there's no increased risk, correct?

1 **A.** No statistically significant increase in
2 relative risk. There is an increased relative risk.
3 It's 1.2 for the yes exposed, no exposed. But it does
4 encompass 1.

5 **Q.** Not only is it not statistically significant,
6 when you look at the effect of increasing dose, there's
7 no increase in risk at all by these data?

8 **A.** As measured by them in this study, yes.

9 **Q.** There was a more recent publication by this
10 dataset from Andreotti.

11 Do you remember that?

12 **A.** Yes, I do.

13 **Q.** I think that was the paper that you offered
14 some criticism of yesterday; not in detail, but you said
15 there was some controversy with respect to that second
16 paper.

17 I think that was the word that you used?

18 **A.** Yes.

19 **Q.** And those authors, again, were from the
20 National Cancer Institute and NIEHS, as well, correct?

21 **A.** Correct.

22 **Q.** And what those researchers did, is they
23 updated the analysis from the Agricultural Health Study.

24 And concluded, yet again, in the peer-reviewed
25 literature, there's no statistically significant

1 increased risk with glyphosate, correct?

2 A. For NHL.

3 Q. For NHL.

4 Now, you put up, yesterday, what was described
5 as a forest plot.

6 A. Yes.

7 Q. And I think it was Exhibit 105.

8 And I've recreated it here because I want to
9 make sure the jury understands what some of these
10 numbers are.

11 First, you can agree these are not all the
12 epidemiology data on this question, true?

13 A. True.

14 Q. And one of the things that's evident here is
15 that several of the data points reflect risk estimates
16 that do not adjust for other pesticide use, correct?

17 A. That is correct. Those papers didn't provide
18 that information.

19 Q. But even those papers that did provide that
20 information, you still report on this forest plot,
21 unadjusted data, right?

22 A. The forest plot derives from the Zhang study.
23 I was just using what Zhang had done. Or what everyone
24 did.

25 Because here, I've got every single analysis

1 up here that appeared in anybody's meta-analysis.
2 That's the purpose of this table.

3 Q. So let me make sure we're clear.

4 This -- the selection of these studies and the
5 relative risks that are reported here, that's what I'm
6 going to focus on, so we understand what these numbers
7 reflect.

8 Several of these numbers are numbers that
9 don't meet your own criteria of using the most
10 fully-adjusted risk estimates when available, true?

11 A. True.

12 Q. And so -- I don't know if you can see it. I
13 think it's coming through a little bit.

14 I've highlighted here, and you can confirm for
15 the jury: Each of the risk estimates and studies I've
16 highlighted are those that are reporting data that are
17 not the most fully-adjusted risk estimates, true?

18 A. I think the McDuffie is the most adjusted.
19 And so is Orsi, from what they gave us. I think those
20 are the most adjusted.

21 They're not adjusted for things we wish they
22 had been adjusted for, but that's the most adjusted one
23 they gave us.

24 Q. Then let me rephrase.

25 Adjusted for pesticide use?

1 A. Some of the authors did not adjust for other
2 pesticide use.

3 Q. For example, the Eriksson study reflected
4 here, line F, that is not adjusted for other pesticide
5 use, correct?

6 A. Well, it was in line G.

7 Q. Well, that was going to be my next question.

8 A. The problem was that Schinasi and Leon used F,
9 so I had to put F in there.

10 Q. I'm going to get there, sir.

11 A. Okay.

12 Q. So Eriksson reported both adjusted and
13 unadjusted numbers, correct?

14 A. Correct.

15 Q. And using the Dr. Portier standard, the
16 relative data point to look at is the most
17 fully-adjusted number, correct?

18 A. For these data, yes.

19 Q. And we'll get to what Schinasi did in a
20 minute.

21 But in fairness, if you want to know what the
22 data are, you would look at line G, not line F?

23 A. Correct.

24 Q. And when you do look at the most adjusted, the
25 statistically significant finding goes away?

1 **A.** That's true. Correct.

2 **Q.** And that's true for other cuts of this data,
3 correct?

4 **A.** That is correct.

5 **Q.** So if we wanted to look at only data that had
6 been adjusted, what I've done here is I've grayed out
7 those that do not adjust for other pesticide use, okay?

8 **A.** That's one way to cut the data.

9 **Q.** Well, you've agreed that you should look at
10 the most adjusted data, right?

11 **A.** From each study. You don't discard the study
12 just because they didn't adjust the data.

13 **Q.** And as you see here, I left the most adjusted
14 results; for example, Eriksson and Hardell.

15 **A.** But you threw out McDuffie.

16 **Q.** I'm not throwing it out, sir.

17 It just didn't adjust for other pesticide use,
18 correct?

19 **A.** Correct. But I wouldn't remove it from my
20 thoughts just because they didn't adjust.

21 **Q.** Bear with me while we finish this conversation
22 about your forest plot.

23 At a minimum, where you say "Eriksson
24 unadjusted" and "Eriksson adjusted," we can agree right
25 now that the right way to look at it is the most

1 adjusted?

2 **A.** You still look at both. I'm sorry, I'm
3 slowing my own -- I'm selling my ownself.

4 You still look at both. But the better number
5 is the most adjusted.

6 **Q.** Fair enough.

7 And what you're saying is that some of these
8 studies didn't do any adjustments for other pesticide at
9 all, correct?

10 **A.** That is correct.

11 **Q.** So, for example, McDuffie.

12 I think that's one you said didn't do any
13 adjustment?

14 **A.** I believe that's the case, yes.

15 **Q.** So you don't know if they did the analysis in
16 the way that you would prefer on what the actual
17 relative risk would be on this study, true?

18 **A.** What the adjusted relative risk would be? I
19 don't know what the actual relative risk is anyway.

20 **Q.** Exactly. So understanding that, for some of
21 these, you wouldn't gray them out.

22 But I have to move on to a different topic.

23 **A.** Okay.

24 **Q.** And that is the question about duration and
25 intensity of exposure.

1 You reported here one number from the
2 Andreotti study, correct?

3 A. Correct.

4 Q. And that is a very specific look at that
5 dataset, correct?

6 A. Correct.

7 Q. That's high exposure with a 20-year lag?

8 A. Because that's what Zhang used, yeah.

9 Q. But there's other data reported in that study,
10 as well, correct?

11 A. Correct.

12 Q. And they looked at different -- it looks at
13 the data looking at the exposure -- essentially, a dose
14 response, right?

15 A. Correct.

16 Q. And what I'm reflecting here are the -- when
17 they say Q1, that's the quartile.

18 That's, sort of, the every 25 percent cut of
19 the data?

20 A. Correct.

21 Q. And what they show is relative risk all to the
22 left of 1, correct?

23 A. That's what they show.

24 Q. And the De Roos study -- the one we just had
25 up on the screen that you said was carefully done --

1 also looked at rate of exposure, correct?

2 A. That's correct.

3 Q. And they looked at it a couple of different
4 ways, like we saw on the table a moment ago?

5 A. Yes, correct.

6 Q. Remember when you were talking with Mr. Wisner
7 about the Bradford Hill criteria called gradient?

8 A. Yes.

9 Q. And one of the things you wanted to see is
10 whether the epidemiology data showed increase with
11 increase in use?

12 A. Yes.

13 Q. The data that we're talking about here would
14 be contrary to a finding of gradient, correct?

15 A. If I believe the Andreotti study was perfect
16 and did its job right, this would be contrary to that.
17 Clearly, De Roos is contrary to that belief.

18 Q. Fair enough.

19 But there's, as you confirmed, other data than
20 what is shown on this chart, right?

21 A. Other analyses in these same datasets.

22 Q. So, for example, you're familiar with a study
23 called NAPP, North American Pool Project?

24 **MR. WISNER:** At this time, Your Honor, I have
25 to object. I've not seen this demonstrative, and it's

1 not something he's reviewed.

2 **THE COURT:** I'm sorry. You mean the adjusted
3 demonstrative?

4 **MR. WISNER:** Yeah. He's added stuff to it.
5 The last study he showed was not part of his opinion.

6 **THE COURT:** So to the extent that it's
7 augmented from the original, no. But you can continue
8 what was shown yesterday, even if you made adjustments
9 to it.

10 **MR. ISMAIL:** That's what I was doing, but I'll
11 just finish here. I don't want to argue with
12 Mr. Wisner.

13 I want to get Dr. Portier out of here,
14 respectfully.

15 **THE WITNESS:** Thank you.

16 **BY MR. ISMAIL:**

17 **Q.** You're welcome.

18 So there are other epidemiological studies
19 available to consider on this question, right?

20 **A.** Other than the ones presented here in that
21 picture? Yes, there are.

22 **Q.** Okay.

23 **A.** But the NAPP is not a new study, it's an
24 evaluation of existing studies. But there is a new
25 study.

1 Q. Okay. So let me see if we can get agreement
2 on this.

3 The forest plot you showed yesterday did not
4 include all the epidemiological data that speaks to this
5 issue.

6 Is that fair?

7 A. That is fair.

8 Q. And there are -- for example, the NAPP study,
9 which I understand you're not going to comment on,
10 you're not as familiar with.

11 There's some data in that study that speaks to
12 whether there is any increased risk, true?

13 A. From the posters I've seen, possibly true,
14 yes.

15 Q. And there are, in terms of -- I'll just go
16 back to your version of this forest plot so we don't
17 have any disagreement.

18 In terms of the analysis and the relative
19 risks here, can you confirm, Doctor, that there is no
20 study showing a relative risk greater than 2 in its most
21 adjusted analysis?

22 A. For NHL as a group.

23 Q. The answer is yes?

24 A. I'm correcting your question.

25 Q. Yes.

1 **A.** For NHL as a group, are there any studies that
2 showed a relative risk of greater than 2 in the most
3 fully-adjusted analysis?

4 **Q.** That's my question.

5 **A.** And the answer to that question is: There are
6 none.

7 **Q.** And you would agree, sir, that when we have
8 relative risks less than 2, it's true that many
9 toxicologists would consider that an effect -- a small
10 effect?

11 **A.** Some would, yes.

12 **Q.** Now, in terms of the data here -- just so
13 we're all clear on what you looked at -- this De Roos
14 study, letter D; and then you have Bayesian regression
15 underneath it?

16 **A.** Yes.

17 **Q.** That row E is a more fully-adjusted of D,
18 correct?

19 **A.** Oh, you know, my answer to your question is
20 wrong. I'm sorry. You've just corrected me.

21 The De Roos study is, indeed, above 2. And it
22 is the most fully-adjusted. The Bayesian regression is
23 as adjusted as the other one, but it's a completely
24 different method of analysis.

25 **Q.** Exactly. So in terms of the De Roos study,

1 the column -- the row D, the researchers did a further
2 analysis using the Bayesian regression, correct?

3 A. Correct.

4 Q. And when they did that further analysis, they
5 found a relative risk that went below 2 and was not
6 statistically significant, correct?

7 A. That is correct.

8 Q. And these data from De Roos are included more
9 fully in the NAPP study, correct?

10 A. I'm not commenting on that.

11 Q. Okay. So you don't know whether these data
12 have been analyzed further by other researchers,
13 correct?

14 A. I know they've included it in NAPP. I don't
15 know if it's fully included. Again, it's just posters,
16 I don't know.

17 Q. Okay. So whether there's more data on the
18 De Roos study that informs further what the true
19 relative risk is from that study and whether it's
20 statistically significant, you're going to defer?

21 A. Correct.

22 Q. Now, the meta-analyses, as you've already
23 pointed out, each and every one of those includes
24 studies that did not have fully-adjusted -- did not
25 fully adjust for other pesticide use, correct?

1 **A.** That is correct.

2 Now, let me just take a look here.

3 **MR. ISMAIL:** Do you mind if I just read the
4 question?

5 **MR. WISNER:** I thought we agreed that if any
6 questions get read, they're from the judge.

7 **MR. ISMAIL:** That's right.

8 **THE COURT:** Actually, you guys can figure it
9 out.

10 **BY MR. ISMAIL:**

11 **Q.** Doctor, in terms of this last couple, three
12 questions, in terms of the -- pardon me.

13 You went through these five questions with
14 Mr. Wisner at the end of your examination, correct?

15 **A.** Yes, I did.

16 **Q.** And I think, as we've established throughout
17 the course of the day, the answers to these five
18 questions is that you gave "yes" or "probable yes" to
19 each of them.

20 Is that right?

21 **A.** Yes, I believe so.

22 **Q.** And you would agree, sir, that in terms of
23 what other scientists at other organizations answered to
24 these same questions, they answered each of these "no,"
25 true?

1 A. True.

2 **MR. ISMAIL:** Dr. Portier, thank you for your
3 time.

4 **THE COURT:** Redirect until 3:28.

5 **REDIRECT EXAMINATION**

6 **BY MR. WISNER:**

7 Q. Doctor, I understand your wife is waiting for
8 you to come home tonight. In an effort to spare her
9 wrath, I'm going to go as fast as I can.

10 Now, let's -- there's been a lot of questions
11 asked of you.

12 **MR. WISNER:** Permission to grab my boards?

13 **THE COURT:** Sure.

14 **BY MR. WISNER:**

15 Q. Let's rock and roll.

16 Just now, Mr. Ismail asked you a lot of
17 questions about what other regulatory agencies thought,
18 right?

19 A. Correct.

20 Q. Now, during our examination, we spent the vast
21 majority talking about the hard science, right?

22 A. Correct.

23 Q. What the actual studies talked about.

24 And did he ever actually directly challenge
25 any of these findings that you found?

1 A. No.

2 Q. Okay. Did he challenge any of these studies
3 in the mice?

4 A. No.

5 Q. There were some questions about false
6 positives.

7 Do you recall that?

8 A. Yes.

9 Q. That's when something is showing something,
10 but it's actually false, right?

11 A. Correct.

12 Q. Okay. We see here, in every single mouse
13 study, lymphoma. Right?

14 A. Correct.

15 Q. Is that a possible false positive finding?

16 A. No.

17 Q. How do you know?

18 A. Because I calculated what the probability was
19 of seeing the tumors I saw in male mice. And that
20 probability was below 1 in 10,000.

21 I think it's infeasible that all of the
22 results we see here are false positives.

23 Q. And the fact that study after study after
24 study shows lymphoma -- even a study, as he pointed out,
25 that you missed originally -- the fact that they all

1 have lymphoma, is there any reasonable reason to assume
2 this is a false-positive finding?

3 A. Not in my mind, no.

4 Q. So then he went through a bunch of
5 genotoxicity data.

6 Do you recall that?

7 A. Yes.

8 Q. And let's be clear: Did Mr. Ismail challenge
9 you about any one of these studies?

10 A. A little bit about the Bolognesi study.

11 Q. Fair enough.

12 And in the Bolognesi study, he showed you some
13 language where the author said, well, it's transient, so
14 it must not be a problem.

15 Do you recall that?

16 A. Yes.

17 Q. But what did the data actually show in their
18 study?

19 A. It showed genotoxic effect of the strain.

20 Q. And when you take that study and combine it
21 with all this human cell data, what does it tell you?

22 A. Well, with all the other data, it tells me
23 it's genotoxic.

24 Q. Now, one of the things they talked about was
25 this idea that IARC didn't have all the data, right?

1 A. Correct.

2 Q. This chart actually starts in 2017, right?

3 A. Correct.

4 Q. So IARC didn't have any of this positive data?

5 A. No.

6 Q. All right. Oxidative stress, there was some
7 discussion about that.

8 Do you recall?

9 A. Yes.

10 Q. And they showed you some conclusions by
11 regulatory agencies.

12 Do you recall that?

13 A. We only saw the conclusion from one regulatory
14 agency. EFSA and ECHA both concluded there was data on
15 oxidative stress, but they didn't think it was that
16 important.

17 Q. So the one they showed you, they disagreed.

18 But did Mr. Ismail actually challenge you
19 about any of the actual data?

20 A. No.

21 Q. Okay. They showed you this letter that was
22 sent to you by EFSA.

23 Do you recall that?

24 A. Correct, yes.

25 Q. And in it, they specifically pointed to a

1 passage where they supposedly accused you of being
2 misleading.

3 Do you recall that?

4 **A.** Yes, I do.

5 **Q.** If we actually read the passage, it is
6 specifically referring to two studies by -- there it is.

7 It says right here: De Roos 2005 and
8 De Roos 2003, right?

9 **A.** Correct.

10 **Q.** And they said your characterization of
11 De Roos' studies was misleading in the letter you wrote,
12 right?

13 **A.** That's what it says.

14 **Q.** Let's look at that letter that you wrote.

15 This is it; it's Exhibit 5403.

16 This is the letter, right?

17 **A.** Right.

18 **Q.** All right. And if you actually go to the
19 actual language of the letter, we have a discussion here
20 where you mention the De Roos study, right?

21 **A.** Right.

22 **Q.** And below that, you have a pretty strong
23 statement. You say -- at the very last sentence here,
24 you say:

25 "Legitimate public health concerns arise and

1 causality is credible, i.e., when there is limited
2 evidence. BfR's language is misleading and not
3 internationally acceptable, and thus fails to meet
4 EC guidelines."

5 Do you see that?

6 A. Yes.

7 Q. They keep saying that you wrote this letter,
8 but isn't it true that there were hundreds of scientists
9 that signed on with you?

10 A. Almost a hundred.

11 Q. Let's point out one of them. Right here.

12 A. It's not in focus.

13 Q. Killed my punch line.

14 There it is. Dr. De Roos.

15 A. Who wrote this article, yes.

16 Q. So the very author who they're saying you're
17 being misleading about joined you in accusing them of
18 not following their guidelines?

19 A. She wrote that section.

20 Q. Thanks. All right.

21 A. Or rewrote it. I drafted something and she
22 rewrote it, to be perfectly honest.

23 Q. Well, that's even better. I lost my outline.
24 It probably fell over somewhere.

25 A. I think it's on the light thing.

1 Q. There it is. All right.

2 Last point, Doctor, and then I'll let you go.

3 I even have time for potential redirect; I'm
4 doing this lightning speed.

5 They showed you a timeline about how IARC --
6 about how the European authorities responded to you and
7 responded back.

8 Remember that?

9 A. Yes.

10 Q. Let's do a more basic outline, okay?

11 1974, Roundup comes on the market, right?

12 A. Correct.

13 Q. Today, 2019, in that 45-year history, has
14 Monsanto, the inventor of glyphosate, the inventor of
15 Roundup, ever told a soul that it could cause cancer?

16 MR. ISMAIL: Objection, beyond the scope.

17 THE COURT: Sustained.

18 BY MR. WISNER:

19 Q. They talked about the National Toxicology
20 Program, right?

21 A. Right.

22 Q. And they talked about the Report on
23 Carcinogens, right?

24 A. Yes.

25 Q. When you were running that program, if

1 Monsanto told the world, hey, this stuff causes cancer,
2 would that have been something you considered in whether
3 or not you added it to the report?

4 **A.** That's the whole review process. I have to
5 get a lot of scientists to give me some advice. But if
6 their advice was to add it to the report, I would have
7 added it to the report.

8 **Q.** And during your 35 years at NTP, did any
9 scientist from Monsanto ever come to you, you know,
10 Doctor, NTP, we have a concern about our product. Will
11 you please test it for us or tell us if it does cause
12 cancer?

13 **A.** I can answer this slightly differently.
14 Again, to the best of my knowledge, no one has ever
15 nominated glyphosate to the National Toxicology Program
16 to be reviewed for the Report on Carcinogens up until
17 2006, when I was still there.

18 **MR. WISNER:** Thank you.

19 No further questions.

20 **THE COURT:** All right. Ladies and gentlemen.
21 We're done for the day. We are going to reconvene
22 tomorrow morning at 9:00. We will go all day. But
23 remember that tomorrow is the last day of the week you
24 will be hearing evidence.

25 Please don't talk about anything that you've

1 heard. Please don't talk about the evidence you've
2 heard throughout the trial. I'm going to remind you
3 every day.

4 Have a good evening. Don't think about this
5 trial. Don't think about the fact that you're a juror.
6 Have a good evening. I will see you tomorrow morning at
7 9:00. Thank you for your time.

8 (Proceedings adjourned at 3:26 p.m.)

9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 State of California)
2 County of Alameda)

3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I, Lori Stokes, Court Reporter at the Superior Court of California, County of Alameda, do hereby certify:

That I was present at the time of the above proceedings;

That I took down in machine shorthand notes all proceedings had and testimony given;

That I thereafter transcribed said shorthand notes with the aid of a computer;

That the above and foregoing is a full, true, and correct transcription of said shorthand notes, and a full, true and correct transcript of all proceedings had and testimony taken;

That I am not a party to the action or related to a party or counsel;

That I have no financial or other interest in the outcome of the action.

Dated: April 3, 2019

Lori Stokes

Lori Stokes, CSR No. 12732