

1 UNITED STATES DISTRICT COURT
2 NORTHERN DISTRICT OF CALIFORNIA

3 IN RE: ROUNDUP PRODUCTS MDL NO. 2741
4 LIABILITY LITIGATION CASE NO. 16-MD-02741-VC

5 MONSANTO COMPANY'S NOTICE TO TAKE
6 ORAL AND VIDEOTAPED DEPOSITION OF
7 DR. MATTHEW ROSS

8 THIS DOCUMENT RELATES TO:

9 ALL ACTIONS

10 VIDEOTAPED DEPOSITION OF
11 DR. MATTHEW ROSS

12 APPEARANCES NOTED HEREIN

13
14 DATE: MAY 3, 2017

15 PLACE: MISSISSIPPI STATE UNIVERSITY
16 ALLEN HALL, 175 PRESIDENT'S CIRCLE
17 MISSISSIPPI STATE, MISSISSIPPI

18 TIME 9:33 A.M.

19 REPORTED BY: TODD J. DAVIS
20 BCR, CSR #1406, RPR

21
22
23
24
25 JOB NO. 123225

1 APPEARANCES:

2
3 Jeffrey Travers, Esq.
The Miller Firm
4 108 Railroad Avenue
Orange, Virginia 22960

5
6
7
8 Aimee Wagstaff, Esq.
Andrus Wagstaff
7171 West Alaska Drive
9 Lakewood, Colorado 80226

10
11
12 COUNSEL FOR PLAINTIFFS

13
14
15 Elyse Shimada, Esq.
Kirby Griffis, Esq.
Hollingsworth
16 1350 I Street, N.W.
Washington, DC 20005

17
18
19
20 COUNSEL FOR MONSANTO COMPANY

21
22
23 Also Present: Eddie Nabors, Videographer
Dylan White, Esq. - MSU

INDEX

1		
2	Style and Appearances	1
3	Index	3
4	Examination by Mr. Griffis	8
5	Examination by Ms. Wagstaff	247
6	Examination by Mr. Griffis	300
7	Certificate of Court Reporter	314
8		
9	EXHIBITS:	
10	Exhibit 13-1 Subpoena	5
11	Exhibit 13-2 Notice	5
12	Exhibit 13-3 Subpoena	5
13	Exhibit 13-4 Curriculum Vitae	11
14	Exhibit 13-5 E-mail.....	20
15	Exhibit 13-6 Declaration of Interests.	24
16	Exhibit 13-7 Subgroup 4 Working Group	
17	Members.....	26
18	Exhibit 13-8 Vol 112 - Overview of	
19	Assignments	28
20	Exhibit 13-9 Meeting Timetable	40
21	Exhibit 13-10 Preamble	48
22	Exhibit 13-11 Remarks	65
23	Exhibit 13-12 E-mail	92
24	Exhibit 13-13 E-mail	107
25	Exhibit 13-14 Thoughts on EFSA	

1	Response.....	115
2	Exhibit 13-15 Open Letter	115
3	Exhibit 13-16 E-mail	132
4	Exhibit 13-17 E-mail	148
5	Exhibit 13-18 Environmental Health	
6	Perspectives	159
7	Exhibit 13-19 Glyphosate Exposure	
8	Data	185
9	Exhibit 13-20 Mono 4 Glyphosate	
10	Mechanistic Evidence	
11	Summary	187
12	Exhibit 13-21 Article	219
13	Exhibit 13-22 E-mail	219
14	Exhibit 13-23 E-mail	224
15	Exhibit 13-24 E-mail	241
16	Exhibit 13-25 Letter.....	241
17	Exhibit 13-26 List of Participants ...	272
18	Exhibit 13-27 E-mail	286
19	Exhibit 13-28 Review Micronuclei and	
20	pesticide exposure	297
21	Exhibit 13-29 Article	297
22		
23		
24		
25		

1 (Exhibit No. 13-1 marked for
2 identification.)

3 (Exhibit No. 13-2 marked for
4 identification.)

5 (Exhibit No. 13-3 marked for
6 identification.)

7 VIDEOGRAPHER: This is the deposition of
8 Dr. Matthew K. Ross. This is the start of
9 tape of DVD label number one of the
10 videotaped deposition of Dr. Matthew K. Ross
11 in Re Roundup Product Litigation. It is in
12 United States District Court for the Northern
13 District of California, Civil Action
14 16-MD-2741-VC.

15 The deposition is being held at Allen
16 Hall, Mississippi State University, on May
17 the 3rd of 2017, commencing at approximately
18 9:33 a.m.

19 My name is Eddie Nabors. I am the legal
20 video specialist from TSG Reporting,
21 headquartered at 747 Third Avenue, New York,
22 New York. The court reporter is Todd Davis,
23 also in association with TSG reporting.

24 Ask for counsel introductions on the
25 audio portion, please.

1 MR. GRIFFIS: Kirby Griffis of
2 Hollingsworth representing Monsanto.

3 MS. SHIMADA: Elyse Shimada of
4 Hollingsworth representing Monsanto.

5 MR. TRAVERS: My name is Jeffrey Travers
6 with the Miller Firm representing plaintiffs.

7 MS. WAGSTAFF: Aimee Wagstaff from
8 Andrus Wagstaff in Denver, Colorado,
9 representing the plaintiffs.

10 MR. WHITE: Dylan White representing
11 Dr. Matthew Ross.

12 VIDEOGRAPHER: Will the reporter
13 administer the oath, please.

14

15

16

17

18

19

20

21

22

23

24

25

1 MATTHEW K. ROSS, PH.D,
2 having been first duly sworn, was examined and
3 testified under oath as follows:

4 MS. WAGSTAFF: So before we start, I
5 would like to read something on to the
6 record.

7 MR. GRIFFIS: Sure.

8 MS. WAGSTAFF: If you may. Just as an
9 administrative matter, Mr. White and I are
10 splitting a microphone which is clipped to a
11 coaster between us, so we are proceeding
12 hopefully that everything will be picked up
13 by that microphone.

14 VIDEOGRAPHER: I am hearing you
15 perfectly fine.

16 MS. WAGSTAFF: Excellent. Excellent.
17 Secondly, Monsanto has requested that
18 Dr. Ross's deposition to "explore the
19 mechanism subgroups conclusion about
20 glyphosate." They have requested this
21 limited additional discovery, which the Court
22 has allowed.

23 On April 18th, 2017, the MDL Court
24 entered PTO 16, which said that, "Monsanto
25 may subpoena Dr. Ross for 'fact deposition.'"

1 As such, plaintiffs will object to any
2 expert testimony elicited by Monsanto or
3 given to -- or given by Dr. Ross and will try
4 to object as the questions are requested but
5 present this general objection on the record
6 before we begin.

7 MR. GRIFFIS: Anything else?

8 MS. WAGSTAFF: Nothing else. You may
9 proceed.

10 MR. GRIFFIS: Yeah.

11 EXAMINATION BY MR. GRIFFIS:

12 Q. Yeah. I will address that.

13 Dr. Ross, have you been deposed
14 before?

15 A. No. This is the first time.

16 Q. Okay. I am going to start by asking you
17 to state your full name.

18 A. My name is Matthew K. Ross.

19 Q. And you are -- you have a Ph.D.?

20 A. I have a Ph.D.

21 Q. And in what, please?

22 A. It is in environmental toxicology,
23 molecular toxicology.

24 Q. I'm going to go on and ask some more
25 questions about your qualifications and do a

1 little housekeeping stuff like mark the legal
2 documents that are going to be involved in this
3 deposition.

4 We are going to be doing a number
5 of things like marking documents, putting exhibit
6 stickers on them, and then handing them to you.
7 And the general format is that I'll be asking
8 questions, and you'll be answering the questions.

9 I'm going to assume, if I ask you a
10 question and you don't tell me that you haven't
11 understood it, that you do understand it. And at
12 times, your attorney may make an objection, or
13 Ms. Wagstaff may make an objection.

14 If your attorney instructs you not
15 to answer a question, then you're entitled to
16 listen to him and not answer that question.
17 Otherwise, it's your obligation to answer the
18 questions that I've asked whether there's an
19 objection or not.

20 Do you understand that, sir?

21 A. Yes.

22 Q. Okay.

23 MS. WAGSTAFF: I would object to the
24 fact that he doesn't know when he doesn't
25 understand you, but I understand your point.

1 MR. GRIFFIS: Sure.

2 The videographer has asked me to put on
3 the record that his -- that although his
4 instructions were to create a split screen
5 video between me and you as a final
6 production copy -- as going forward I have
7 instructed him not to do that, but instead to
8 make two videos. And we will clarify in post
9 what we want done with those.

10 Presumably, we'll just take delivery of
11 two videos, but in any event, his
12 instructions were incorrect to that extent.

13 BY MR. GRIFFIS:

14 Q. I have marked as Exhibit 13-1 a subpoena
15 to testify at a deposition in a civil action.
16 It's called a notice of deposition. This was
17 issued by Monsanto for your deposition here today,
18 sir.

19 13-2 is a cross notice by the
20 plaintiffs for the same deposition.

21 And 13-3 is a subpoena to produce
22 documents, which I presume that you have seen
23 before, sir. And I'm putting that into evidence
24 because I will be asking some questions about it
25 later and because the notice of the deposition

1 refers to it.

2 Have you seen any of those
3 documents before, sir?

4 A. Yes.

5 Q. All three?

6 A. I have not seen this. No.

7 Q. Haven't seen the cross notice. But you
8 have seen Monsanto's notice of deposition, and you
9 have seen the original subpoena for documents to
10 which you responded by producing some documents,
11 correct?

12 A. Yes.

13 Q. Okay. And have you brought any -- other
14 than your CV, which I'm about to mark as Exhibit 4
15 to this deposition, have you made any effort to
16 gather documents for this deposition you didn't
17 previously provide?

18 A. No.

19 Q. All right. Exhibit 13-4 is your CV.

20

21 (Exhibit 13-4 marked for
22 identification.)

23 BY MR. GRIFFIS:

24 Q. Okay. That is a current copy of your
25 CV, sir?

1 A. Yes.

2 Q. Would you please tell the jury your
3 educational background?

4 MS. WAGSTAFF: Can I have a copy?

5 MR. WHITE: If you have another one, I'd
6 also like to see.

7 Thank you very much.

8 A. So I received a bachelor of science
9 degree in chemistry from UC Berkley in 1989. And
10 then I received a Ph.D. in molecular toxicology
11 from UC Irvine -- University of California at
12 Irvine -- in 1998.

13 Q. Do you do bench research primarily, sir?

14 A. Yes.

15 Q. Would tell the jury what bench research
16 is?

17 A. So the research I do is focused on
18 analytical chemistry, bioanalytical chemistry, the
19 study of how both environmental agents get
20 metabolized in the body. In addition to how
21 endogenous lipids get metabolized in the body.

22 Q. And what does bench mean in the terms of
23 bench research?

24 A. Yes. Sorry. So bench research refers
25 to work done in a laboratory under controlled

1 conditions. So we don't necessarily work with
2 surveys or population surveys.

3 It is not epidemiological research.
4 It's basic science done in a laboratory at the
5 bench.

6 Q. And do you do work on experimental
7 animals?

8 A. Yes.

9 Q. How much of your work is on experimental
10 animals as opposed to in vitro?

11 A. I do mainly in vitro work. Mainly in
12 cultured cells. Human cells, animal cells, and
13 also in vivo studies in collaboration with other
14 scientists at Mississippi State.

15 Q. And would you please explain to the jury
16 in simple terms the difference between in vitro
17 and in vivo. We just used both of those terms.

18 A. Sure. In vivo studies are studies that
19 look at how a particular chemical may be
20 metabolized within the body, within the human
21 person, or in -- within an intact animal.

22 Those are studies that are
23 performed so that you're looking at the whole
24 system, the whole organism. In vitro studies are
25 done in which cultured cells are used to study

1 various processes. It could be metabolism of a
2 chemical. So in vitro is done in isolated
3 cultured cells or what we call the subcellular
4 fraction in which we obtain various parts of a
5 tissue, but it is not the whole organism.

6 Q. And you mentioned both humans and
7 animals when you described in vivo studies.

8 Do you perform studies in humans?

9 A. We use human cells. We use -- we use a
10 cultured cell line that's derived from a -- from
11 humans. We use tissues from humans. Primary
12 cells that -- from actual human donors. So we use
13 those types of materials from humans, yes.

14 Q. So those are all in vitro studies,
15 though, not whole, intact human beings? They're
16 done in --

17 A. Correct.

18 Q. -- essentially in a Petri dish?

19 A. Yes. In test tubes, Petri dishes.

20 Q. "In vitro" means in glass?

21 A. That's the Latin word.

22 MS. WAGSTAFF: I'm going to object to
23 this, as it has nothing to do with the
24 mechanisms, subverts, conclusions about
25 glyphosate.

1 BY MR. GRIFFIS:

2 Q. With regard to in vivo studies done,
3 have you done any in vivo studies in humans?

4 A. We -- let me see. As a bioanalytical
5 chemist, I have looked at urine samples to measure
6 pesticide metabolites.

7 Q. You have been involved as part of a team
8 that was doing epidemiology work?

9 A. Correct.

10 Q. And what study or studies was that in
11 connection with?

12 A. It was related to a study with
13 permethrin.

14 Q. And what was the research group who was
15 doing that study?

16 MS. WAGSTAFF: Same objection.

17 A. It was a research group here at
18 Mississippi State.

19 BY MR. GRIFFIS:

20 Q. Have you been involved with the
21 Agricultural Health Study?

22 A. I have been a member of their -- what do
23 you call it? What is the right word? Their board
24 that helps external advisory panel that -- that
25 listens to some of their presentations.

1 Q. So you give scientific advice?

2 A. Correct.

3 Q. Have you performed any scientific work
4 in connection with any of those studies?

5 A. No.

6 Q. Okay.

7 MS. WAGSTAFF: Same objection.

8 BY MR. GRIFFIS:

9 Q. Again, talking about in vivo studies
10 only, sir, you told us that you don't do in vivo
11 studies in humans. You don't run those yourself,
12 at least, except to the extent that you may be
13 involved in analyzing urine samples for pesticide
14 residues, for example, as a part of someone else's
15 epidemiology study.

16 Do you run in vivo studies in any
17 species of intact animals?

18 A. In mice.

19 Q. Are you the primary researcher in those
20 studies?

21 A. In collaboration with my colleague at
22 Mississippi State.

23 Q. Okay. And you said that the majority of
24 your work is in vivo work; is that right -- I'm
25 sorry -- in vitro work?

1 A. The majority of my work, I would say, is
2 done in vitro and in terms of bioanalytical
3 chemistry of samples obtained from an intact
4 animal like tissues or excreta from those animals.

5 Q. Have you done research on glyphosate?

6 A. No.

7 Q. That is true both before and after your
8 involvement with working group 112, correct?

9 A. Yes.

10 Q. Okay. Working group 112 is the IARC
11 group that looked into carcinogenicity of
12 glyphosate and four other pesticides, correct?

13 A. Yes.

14 Q. Okay. I'm going to have a number of
15 questions, obviously, today about your
16 participation in IARC and how that came to pass,
17 sir, and we'll turn to that in a moment.

18 First, I'd like to know, before you
19 went to working group 112, before you went to
20 Lyon, France, for that, did you know or had you
21 met Christopher Portier?

22 A. I have never met him before volume 112.

23 Q. Didn't know who he was before?

24 MS. WAGSTAFF: Objection. This has
25 nothing to do with the mechanisms, subgroups,

1 conclusions about glyphosate. Chris Portier
2 is not even a monograph 112 member.

3 BY MR. GRIFFIS:

4 Q. Go ahead.

5 A. Did I know him? I knew -- I knew his
6 brother. I did not know Christopher Portier. I
7 had met his brother one other time.

8 Q. Okay. Before coming involved with
9 working group 112, did you know Kurt Straif?

10 A. No.

11 Q. Before becoming involved with working
12 group 112, did you know Phillip Landrican?

13 A. No.

14 Q. Did you know -- before becoming involved
15 with working group 112, did you know Lauren Zeise?

16 A. No.

17 Q. Before becoming involved with working
18 group 112, did you know Ivan Rusyn?

19 A. I knew of him. I knew of him, but I did
20 not know him personally.

21 Q. You never met him?

22 A. I had never met him.

23 Q. Do you know how it was -- how it came to
24 be that you were invited to participate in working
25 group 112?

1 MS. WAGSTAFF: Objection. Calls for
2 speculation.

3 A. I -- I think I became involved because
4 of my experience in bioanalytical chemistry, in
5 the area of toxicokinetics and metabolism, and
6 extensive publications in organophosphate poisons.

7 BY MR. GRIFFIS:

8 Q. Do you know who whose -- who suggested
9 your name to participate in working group 112?

10 MS. WAGSTAFF: Calls for speculation.

11 MR. WHITE: You can answer to the extent
12 that you know.

13 A. I don't know.

14 BY MR. GRIFFIS:

15 Q. Were you ever told anything about why
16 you were invited by anyone?

17 A. I don't recall.

18 Q. How did you learn that you were being
19 invited to participate in working group 112?

20 A. I received an e-mail invitation from
21 IARC.

22 Q. And about how long before the actual
23 working group 112 convened in March of 2015 was
24 that?

25 A. If I recall, I had an e-mail invitation

1 June 2014.

2 Q. And were there any rules imposed by the
3 university on your consultation? Was there
4 anything that you had to have cleared or approved
5 before you could do that?

6 MS. WAGSTAFF: Objection. This is
7 outside the scope of what Monsanto requested
8 and what the judge allowed.

9 MR. WHITE: Again, only answer to the
10 extent that you know.

11 A. The -- there was no stipulations. The
12 only -- I only needed to get approval for
13 international travel.

14 BY MR. GRIFFIS:

15 Q. Okay. So you got that approval, and
16 you -- as far as you knew, there weren't any other
17 requirements imposed by the university or
18 clearances that you needed to get to participate
19 in IARC working group 112?

20 MS. WAGSTAFF: Same objection.

21 A. There was -- no.

22 BY MR. GRIFFIS:

23 Q. All right.

24 (Exhibit No. 13-5 marked for
25 identification.)

1 BY MR. GRIFFIS:

2 Q. Marked as Exhibit 5 an e-mail. And this
3 is an e-mail that you produced to us during
4 response to our deposition notice -- or our
5 request for production of documents which is
6 Exhibit 3.

7 This is from a Kathryn Forgie -- is
8 that pronounced correctly -- who is a lawyer at
9 Andrus Wagstaff, Ms. Wagstaff's firm, asking to
10 meet with you.

11 And did you respond to this e-mail?

12 A. I don't -- I don't recall.

13 Q. You don't recall receiving the e-mail?

14 A. I do remember receiving this e-mail. I
15 don't recall responding.

16 Q. Okay. Have you ever spoken to any
17 lawyers other than Mr. White about your work on
18 working group 112?

19 A. No.

20 MS. WAGSTAFF: Objection. Extremely
21 vague. Any lawyers anywhere? What if he has
22 friends that are lawyers.

23 MR. GRIFFIS: He has answered the
24 question.

25

1 BY MR. GRIFFIS:

2 Q. Now, when did you first meet Christopher
3 Portier, sir?

4 MS. WAGSTAFF: Objection. Again,
5 outside the scope of the allowed deposition.
6 Monsanto asked to explore the mechanisms,
7 subgroups, conclusions about glyphosates.
8 And Dr. Portier was not even on the monograph
9 team.

10 MR. WHITE: Answer only to the extent
11 that you know.

12 A. I met him the first time at Lyon, at the
13 IARC meeting volume 112.

14 BY MR. GRIFFIS:

15 Q. At the introductory meeting?

16 A. At the first day of the meeting.

17 Q. And on the first day, there was an
18 introductory welcome meeting where everybody got
19 together, and there were some speeches; is that
20 right?

21 A. I wouldn't call it speeches.
22 Introductions of each member of -- and the panel.

23 Q. Did everyone sit down together, and
24 people stood up and spoke a little bit about
25 themselves or about one another by way of

1 introduction?

2 A. Yes.

3 Q. Did Mr. Portier introduce himself when
4 he was talking about himself, or did anyone
5 identify him as a current or former member of the
6 Environmental Defense Fund?

7 MS. WAGSTAFF: Again, I am going to
8 object -- have a standing objection to
9 questions about Chris Portier. As I have
10 said, before he was not even a member of the
11 group, and he was not in the mechanism
12 subgroup.

13 MR. WHITE: You're fine.

14 A. So he -- in the IARC list of
15 participants, he had disclosed consulting for the
16 Environmental Defense Fund. That was presented
17 even before the meeting.

18 BY MR. GRIFFIS:

19 Q. You were given everybody's declaration
20 of interests before the meeting?

21 A. Yes. There was a list of declaration of
22 interests, and on that day, we had to sign if
23 there had been any other conflicts of interest,
24 potential conflicts of interest that needed to be
25 disclosed on that very first day. There was a

1 form we had to sign.

2 Q. There was a supplemental declaration you
3 filled out on the first day? How far before --
4 how long before the first meeting in Lyon did you
5 receive other people's declaration of interests?

6 A. I believe -- if I recall, it was on the
7 website of the IARC volume 112 meeting. When the
8 participants are listed, their conflicts of
9 interest were listed on that particular form that
10 was on the website. I don't remember the time
11 that showed up on the web, though.

12 MR. GRIFFIS: All right. Let's take
13 five minutes so I can organize the next few
14 exhibits.

15 VIDEOGRAPHER: Off the record at 9:55.

16 (A short recess was taken.)

17 (Exhibit No. 13-6 marked for
18 identification.)

19 VIDEOGRAPHER: Back on the record at
20 10:07.

21 BY MR. GRIFFIS:

22 Q. Okay. Dr. Ross, I have marked as --
23 during the break, I marked as Exhibit 6 this
24 deposition and handed you a copy of your
25 declaration of interest for IARC working group

1 112, correct?

2 A. Yes.

3 Q. That's what that is?

4 A. Yes.

5 Q. Okay. On the third page of that
6 document, in the box that says Nos. 5 through 6,
7 you disclosed as one of your interests being on
8 the advisory panel for the Agricultural Health
9 Study; is that right?

10 A. Yes.

11 Q. And you wrote that you provided
12 expertise on study design, data interpretation,
13 and advice, correct?

14 A. Yes.

15 Q. When you were given information about
16 other people's declaration of interests, including
17 Mr. Portier's, did you see them in this form, or
18 were you just given copies of other people's forms
19 that they filled out?

20 A. I don't recall receiving their conflict
21 of interests or declaration of interest in this
22 form.

23 Q. In what form do you recall receiving it?

24 A. What is on the -- was on the website --
25 the IARC website for the meeting and the list

1 of -- the list of participants form that was at
2 the meeting. Conflicts of interest were shown on
3 that form.

4 Q. Okay. I want to mark this as Exhibit 7.
5 (Exhibit No. 13-7 marked for
6 identification.)

7 BY MR. GRIFFIS:

8 Q. It is another document that you
9 produced, sir, entitled -- headed "IARC
10 International Agency for Research on Cancer,"
11 entitled, "Subgroup 4, working group members."

12 MS. WAGSTAFF: I'm just going to object
13 that there's no Bates number on this or
14 there's no production number or any sort of
15 identifying number. But I assume it's
16 authentic.

17 MR. GRIFFIS: It is.

18 BY MR. GRIFFIS:

19 Q. And this is a document that you received
20 from IARC listing subgroup 4, working group
21 members, sir?

22 A. It appears that way, yes.

23 Q. And you were on -- in working group 4
24 along with Dr. Rusyn as subgroup chair, correct?

25 A. Yes.

1 Q. Frank LeCurieux? Did I pronounce that
2 right?

3 A. Uh-huh (affirmative response).

4 Q. Matthew Martin, William -- and Lauren
5 Zeise. And invited specialist for subgroup 4 was
6 Christopher Portier, correct?

7 A. Yes.

8 Q. And he's -- his affiliations here are
9 listed only as retired; is that right?

10 A. Yes.

11 Q. Now, I've asked you about some of these
12 people.

13 Did you know Mr. LeCurieux before
14 joining working group 4?

15 A. No.

16 Q. Did you know Mr. Martin?

17 A. No.

18 Q. You met all of these people for the
19 first time in Lyon; is that correct?

20 MS. WAGSTAFF: Objection to the form.

21 MR. WHITE: You can answer.

22 A. Yes.

23 MS. WAGSTAFF: You talking about in
24 person that he met them before the meeting?

25 MR. GRIFFIS: Before being in Lyon is

1 what I'm asking.

2 MS. WAGSTAFF: Uh-huh (affirmative
3 response).

4 A. I had not met them before Lyon.

5 MR. GRIFFIS: Okay.

6 (Exhibit No. 13-8 marked for
7 identification.)

8 BY MR. GRIFFIS:

9 Q. Exhibit 13-8. I'm sorry. I shouldn't
10 have said putting 13. We are putting "13-" in
11 front of everything. But it's Exhibit 8 to this
12 deposition. Sorry. Is a -- an overview of
13 assignments for -- for group 4 for all of the
14 substances being investigated; is that right?

15 A. Not only group 4. There --

16 Q. Yes, sir. All of the groups.

17 A. For -- for it appears to be all of
18 the -- all of the four -- four groups.

19 Q. And would you quickly review for the
20 jury what pesticides were being examined by
21 working group 112?

22 MS. WAGSTAFF: Objection to scope.

23 A. First we worked on malathion, parathion,
24 diazinon, tetrachlorvinphos and glyphosate.

25

1 BY MR. GRIFFIS:

2 Q. Now, do you know, sir, how those
3 substances were selected to be reviewed by working
4 group 112?

5 MS. WAGSTAFF: Speculation.

6 A. I don't.

7 BY MR. GRIFFIS:

8 Q. Did you learn at any time that
9 glyphosate wasn't originally on the list?

10 MS. WAGSTAFF: Objection to foundation.

11 A. I had no knowledge of that.

12 BY MR. GRIFFIS:

13 Q. Okay. Did you learn at any time that
14 Mr. Portier was involved in getting glyphosate
15 added to the list?

16 MS. WAGSTAFF: Objection. Foundation.

17 A. I have no knowledge of that.

18 BY MR. GRIFFIS:

19 Q. Let's look at Exhibit 8, the assignments
20 list, sir, and focus on glyphosate.

21 And this overview of assignments,
22 what work -- what does it mean to be assigned a
23 subsection?

24 A. So in my -- in my case, my
25 responsibility was to review the toxicokinetic

1 data on glyphosate.

2 Q. And --

3 A. I was responsible for drafting the
4 documents on the toxicokinetic data.

5 Q. And how far in advance did you receive
6 your assignment with regard to glyphosate?

7 MS. WAGSTAFF: Objection to the form.

8 A. At approximately six months before the
9 meeting, I received assignments.

10 BY MR. GRIFFIS:

11 Q. And what were you supposed to do in
12 response to this those assignments?

13 A. We were charged with evaluating the
14 published literature -- in my particular case, the
15 toxicokinetic data on glyphosate in the published
16 literature in publicly available literature and to
17 synthesize a review of what is known regarding the
18 toxicokinetics of glyphosate.

19 Q. And you prepared a written product from
20 that, sir?

21 A. Yes.

22 Q. What was that written product?

23 A. It was the review of the toxicokinetic
24 data regarding glyphosate.

25 Q. Was a draft of what ultimately became

1 the toxicokinetic data section of the IARC working
2 group 112 monograph?

3 A. Yes.

4 Q. And did you have responsibility for
5 writing sections for other substances, as well?

6 A. No.

7 Q. I see you listed under toxicokinetic
8 data for tetrachlorvinphos?

9 A. Correct. So my charge was to write --
10 to review the toxicokinetic data for each of the
11 five compounds that were being evaluated under
12 volume 112.

13 Q. Okay. Before arriving in Lyon, in March
14 of 2015, you were to prepare drafts of
15 toxicokinetic data sections for malathion,
16 parathion, diazinon, glyphosate, and
17 tetrachlorvinphos; is that right?

18 A. Yes.

19 Q. And other people were doing the same for
20 other sections, right?

21 A. Whatever was listed in this overview of
22 assignments, that's -- that was their charge.

23 Q. When did you see other people's drafts
24 in your subsection, in group 4?

25 MS. WAGSTAFF: Object to form.

1 A. We were asked to do peer review of
2 certain sections. I did not do peer review of all
3 the sections. We were assigned certain drafts to
4 peer review before traveling to Lyon.

5 BY MR. GRIFFIS:

6 Q. How far in advance was that?

7 A. Approximately two to three months.

8 Q. With regard to glyphosate, which
9 sections were you involved in reviewing?

10 A. Let me see here. I believe the one
11 section that I peer reviewed for the meeting was
12 4.2.3 oxidative stress inflammation and the immune
13 supression.

14 Q. Which was drafted by who?

15 A. Dr. Ivan Rusyn.

16 Q. Did you provide comments to that
17 section?

18 A. Yes.

19 Q. During this process of preparing drafts
20 and sending drafts, how were you sending and
21 receiving drafts?

22 A. We used a server -- IARC server, IOPS
23 system where we would upload drafts of the
24 documents or peer reviews of a document that we
25 needed to upload on to the server.

1 Q. And were you -- were you given a user
2 name and password for IOPS?

3 A. Yes.

4 Q. And when you logged on to IOPS, what did
5 you have access to from working group 112?

6 MS. WAGSTAFF: I'm going to object to
7 the questions about drafts of IARC based on
8 Judge Charbriio's (phonetic) order saying that
9 IARC drafts are IARC property, immune from
10 subpoena, pursuant to 22-USC-288-A,
11 subsection B, and 919-F, sub 2B-43.

12 BY MR. GRIFFIS:

13 Q. Go ahead, sir.

14 A. Can you repeat the question?

15 Q. Sure. What did you have access to
16 regarding working group 112 on IOPS?

17 A. So we could -- certainly, we would have
18 access to our subgroup. We could access any of
19 the documents that were being produced by the
20 other subgroups if we wanted to read through them.
21 So you could start looking at drafts before
22 arriving in Lyon.

23 Q. Could you look at what studies had been
24 tagged by your group and by other groups?

25 MS. WAGSTAFF: Same objection.

1 A. I don't recall.

2 BY MR. GRIFFIS:

3 Q. Did you participate in tagging studies
4 for review?

5 A. For the toxicokinetic data, yes. I was
6 charged with tagging some of the documents, yes.

7 Q. When you were given your assignment, had
8 other people already tagged toxicokinetic
9 documents for you?

10 A. No.

11 Q. So did you pretty much do all of the
12 work of tagging toxicokinetic documents?

13 A. I believe I did.

14 Q. Was there a way for you to tag documents
15 in other categories, or do you know?

16 A. I don't recall that. Whether I could
17 tag documents in oxidative stress, I don't recall
18 that.

19 Q. Okay. How -- if you wanted to tag a --
20 and when we say tag a document, we're talking
21 about a study?

22 A. Yes. A published study in the public --
23 in the publicly available literature.

24 Q. What was the process for tagging
25 studies?

1 A. In my case, it was directly related to
2 toxicokinetic data, whether it described the
3 absorption, distribution, metabolism, and
4 excretion of glyphosate.

5 Q. Yes, sir. I'm asking something a little
6 bit different.

7 Let's say if you had a study in
8 mind that you wanted to tag. What would you
9 actually do on the computer to tag it?

10 A. We would evaluate the abstracts. And if
11 it clearly looked relevant, we would tag them
12 right then and there. If we were uncertain about
13 the relevance, I would try to get access to the
14 copy of the full article to -- if the abstract
15 wasn't revealing to me enough about the relevance
16 of the article, I would try to get a copy of the
17 actual -- the full article to include it or not
18 include it.

19 Q. Was there a box to check to tag or not
20 tag documents?

21 A. We had some mechanism of including or
22 excluding the study in our evaluation.

23 Q. Now, there was also an online system
24 called the HAWC, H-A-W-C; is that right?

25 A. Yes.

1 Q. Okay. And were you given a user name
2 and password for HAWC?

3 A. Yes.

4 MS. WAGSTAFF: Same objection. IARC
5 drafts and work product.

6 BY MR. GRIFFIS:

7 Q. What was the difference between what you
8 were doing on IARC and what you were doing on
9 HAWC?

10 A. I don't recall. I don't recall the
11 difference. I think the IOPS system was simply a
12 way to upload documents, and HAWC was the software
13 that allowed us to tag documents to include or
14 exclude an evaluation.

15 Q. So the tagging would have actually been
16 taking place on HAWC, and if you wanted to share a
17 document with the group, it would go through IOPS;
18 is that right?

19 A. I don't recall the specifics of sharing
20 PDFs of the actual studies. I don't recall.

21 Q. Okay. Did HAWC also have tools for
22 doing data analysis?

23 A. Not for the toxicokinetics.

24 Q. You didn't see any data analysis modules
25 on HAWC for working group 112?

1 A. I don't recall ever seeing those.

2 Q. Did you see any modules that were --
3 could be used to manipulate or generate
4 statistical analyses of data?

5 A. No.

6 Q. Okay. Did HAWC have capacities that you
7 were aware of to process or store or display data
8 from studies in any way?

9 A. Not that I am aware of.

10 Q. Okay. So if I want to summarize the
11 IOPS and HAWC so perhaps we can move on from it,
12 from what you used those two systems for, then,
13 would have been, one, to tag literature in your
14 assigned areas for these various documents, i.e.,
15 toxicokinetic data; and, two, with regard to the
16 IOPS system to upload your draft sections on
17 toxicokinetics and to download any drafts that you
18 wanted to read that other people had done.

19 Is that right?

20 MS. WAGSTAFF: Objection. You're
21 testifying. That record speaks for itself.

22 A. The HAWC system was used for tagging
23 studies for inclusion or exclusion. And IOPS was
24 used for uploading documents, and we could access
25 other -- other documents in the -- in the IOPS

1 system, other drafts.

2 BY MR. GRIFFIS:

3 Q. And was there anything else that you
4 used either of those systems for other than what
5 we just talked about?

6 A. No.

7 Q. Okay. Explain to the jury what
8 toxicokinetics is, please.

9 A. Toxicokinetics relates to the
10 absorption, distribution, metabolism, and
11 excretion of a particular chemical in the body.

12 Q. So it's -- is it a fair summary to say
13 how a chemical moves through the body from start
14 to finish?

15 A. Yes.

16 Q. Okay. And toxicokinetics were the only
17 sections you were responsible for before showing
18 up in Lyon; is that right?

19 A. Yes.

20 MS. WAGSTAFF: Object to the form.

21 BY MR. GRIFFIS:

22 Q. Would you have reviewed studies in the
23 other working group 4 subareas like receptor
24 mediated effects, altered self proliferation,
25 cancer suseptibility data, et cetera, other than

1 toxicokinetics, of course, before showing up in
2 Lyon?

3 A. I was charged with peer reviewing the
4 oxidative stress drafts before showing up in Lyon.

5 Q. Did you review the oxidative stress
6 drafts for all of the substances?

7 A. I don't recall.

8 Q. Did you have different assignments than
9 oxidative stress from some of the other
10 substances?

11 A. I did. I -- yes.

12 Q. Do you recall if you had one assignment
13 for each substance -- one peer review assignment
14 for each substance?

15 A. I don't recall.

16 Q. Okay. Do you recall about how many peer
17 review assignments you had total?

18 A. I can't remember exactly. Maybe three,
19 maybe four.

20 Q. How many hours of work do you think you
21 put into the peer review of glyphosate oxidative
22 stress section?

23 A. Two to three hours.

24 Q. And what did that -- those two to three
25 hours of work entail?

1 A. Reading the draft and providing comments
2 on the draft document.

3 Q. Did you review any of the studies?

4 A. That were in the draft?

5 Q. Yes, sir. In those two to three hours,
6 did you actually read any of those studies that
7 were cited therein?

8 A. I don't recall.

9 (Exhibit No. 13-9 marked for
10 identification.)

11 BY MR. GRIFFIS:

12 Q. Dr. Ross, I marked as Exhibit 9 a
13 working group 112 meeting timetable that you
14 produced, and that is what's in front of you; is
15 that right?

16 A. I didn't produce this. You mean -- what
17 do you mean produced?

18 Q. I'm sorry. I'm being a lawyer when I
19 say "produced." We asked you to provide us with
20 documents that IARC -- and you turned those
21 documents over, and I'll ask you a little bit more
22 about how you did that exactly. But we ultimately
23 received documents from you, and this is one of
24 the documents that we received.

25 So this is one of the documents

1 that you provided to us in response to our
2 document request which is Exhibit 3; is that
3 right?

4 A. Yes.

5 Q. Okay. And this is a timetable that I
6 take it you received from IARC for working group
7 112, right?

8 A. Yes.

9 Q. Okay. And it shows activities from the
10 evening of March 2nd through the afternoon of
11 March 10th of 2015, right?

12 A. Yes.

13 Q. Okay. And on March 2nd, the only
14 activity is an evening meeting -- an evening
15 planning meeting between meeting chairs and
16 subgroup chairs only, correct?

17 A. That's correct.

18 Q. Were you involved in that?

19 A. No.

20 Q. Okay. Would you have first started
21 meeting people on the 3rd?

22 MS. WAGSTAFF: Object to the form.

23 A. Yes.

24 BY MR. GRIFFIS:

25 Q. Do you remember when you got into Lyon?

1 A. March 2nd.

2 Q. Okay. And did you not head over to IARC
3 until March 3rd?

4 A. Correct.

5 Q. All right. And when did you leave Lyon?

6 MS. WAGSTAFF: I am going to object to
7 these questions. This has nothing to do with
8 the requested discovery of the mechanisms,
9 subgroup conclusions about glyphosate -- when
10 he arrived and when he left Lyon. You're
11 just badgering the witness.

12 BY MR. GRIFFIS:

13 Q. Go ahead, sir.

14 A. Wednesday, March 11th.

15 Q. Okay. And when you talked earlier about
16 introductions, meeting people, was that during the
17 opening session of March 3rd, sir?

18 A. Correct.

19 Q. Now, there were -- there were a number
20 of subgroup sessions listed on the 3rd, 4th, 5th,
21 6th, and 7th of March.

22 What is a subgroup sessions?

23 A. These are the times where each subgroup
24 meets together to evaluate the drafts.

25 Q. And there's also evenings of the 3rd,

1 4th, 5th, and 6th, something called a coronating
2 meeting for the co-chairs and subgroup chairs,
3 correct?

4 A. Yes.

5 Q. Were you involved in that?

6 A. No.

7 Q. Okay. And so the subgroup sessions --
8 there were 11 of them that you attended; is that
9 right?

10 MS. WAGSTAFF: Objection. Foundation.

11 Doesn't even show how it was followed.

12 A. There are 11 subgroup sessions listed on
13 this.

14 BY MR. GRIFFIS:

15 Q. Did you go to all of them?

16 A. Yes.

17 Q. Were there subgroup sessions that were
18 held that weren't listed on this on the itinerary?

19 A. We would meet to -- if there was an
20 important topic that needed to be raised within
21 the subgroup outside of this 11.

22 Q. What percentage of the working group 4's
23 time was spent on glyphosate as opposed to one of
24 the other four pesticides under review?

25 A. So we had five compounds. I would

1 estimate we spent 20 percent of them the time.

2 Q. About evenly divided?

3 A. Yes.

4 Q. And what percentage of that time would
5 you have spent talking about the issues of
6 genotoxicity and oxidative stress?

7 A. In the subgroup sessions a lot of the
8 time was spent on those issues.

9 Q. Lot of the glyphosate time would been
10 spent on those two issues?

11 A. Correct.

12 Q. Okay. All right. And who was involved
13 on behalf of group 4 in coordination meetings?

14 A. You are referring to the meeting at the
15 end the coordination meeting for cochairs?

16 Q. Meeting at the end of early of days the
17 3rd, 4th, 5th, 6th. That says coordination
18 meeting for the cochairs and subgroup chairs?

19 A. That would have been our subgroup chair
20 of group 4.

21 Q. Dr. Rusyn?

22 A. Dr. Rusyn would have been participating
23 in those.

24 Q. Do you know if Chris Portier was at
25 those?

1 A. I don't believe so. He -- no. I don't
2 think he was.

3 Q. Did you witness people going off into
4 those meetings, or were you off doing your own
5 thing by then?

6 A. No. I didn't witness.

7 Q. All right. Mr. Portier is listed as an
8 invited specialist for group 4. That's in the
9 Exhibit 7, I believe, sir.

10 What was your understanding of what
11 he was an invited specialist for, for group 4?

12 A. So Dr. Portier is a biostatistician, and
13 he was invited as a specialist to help peer review
14 the tox cast data that was being presented.

15 Q. For any other purpose?

16 A. Not that I am aware of.

17 Q. Did he speak to your group, address your
18 group about issues other than tox cast data?

19 A. He acted as a peer reviewer.

20 Q. If he were to give an opinion to the
21 group on the subject of biostatistics and a
22 analysis -- a reanalysis of biostatistics, would
23 you be qualified to evaluate the scientific merit
24 of that opinion?

25 MS. WAGSTAFF: Objection. Calls for

1 speculation and hypothetical. You can't just
2 say any opinion Chris Portier gives.

3 A. I'm not a biostatistician. It's not my
4 area of expertise.

5 BY MR. GRIFFIS:

6 Q. Okay. So if Chris Portier or another
7 biostatistician gives a biostatistics opinion, you
8 wouldn't be qualified as a peer to second guess
9 that opinion.

10 Is that fair?

11 MS. WAGSTAFF: Objection. Hypothetical.
12 Calls for speculation. You don't know what
13 opinion you're talking about.

14 A. Yeah. It would depend on the
15 conversation. Clearly, I can understand the
16 importance of statistical significance and whether
17 an effect is statistically significant, but my
18 area of expertise was on toxicokinetics.

19 BY MR. GRIFFIS:

20 Q. You were focused on the toxicokinetics
21 during these conversations and not on
22 biostatistics or the other areas listed.

23 Is that fair?

24 MS. WAGSTAFF: Objection. Misstates the
25 record. That's not what the deponent said.

1 A. My main responsibility was the
2 toxicokinetic sections.

3 BY MR. GRIFFIS:

4 Q. Were you asked by IARC to read their
5 preamble.

6 Do you know what I'm talking about
7 when I say the preamble?

8 A. Yes. And I did read it.

9 Q. Okay. You were asked by IARC to read
10 that?

11 A. Yes.

12 Q. Okay. As part of your preparation for
13 to participate in working group 112?

14 A. Correct.

15 Q. What was your understanding of the
16 purpose for your review of the preamble and how it
17 was to guide you if it was?

18 A. Repeat the question.

19 Q. Yes, sir. What was your understanding
20 of -- I will make it a little simpler.

21 What was your understanding of why
22 you were being asked to review the preamble?

23 A. It is a guiding document for how the
24 meeting is run, how we evaluate the information,
25 the data that we asked to review. And it provides

1 a rubric for how the classifications are made.

2 (Exhibit No. 13-10 marked for
3 identification.)

4 BY MR. GRIFFIS:

5 Q. Marked as exhibit 10 is a copy of the
6 IARC preamble.

7 That is what you reviewed, sir?

8 A. This says 2006. I don't know if there
9 was a -- what -- if this was the actual document.
10 But the preamble -- whatever they have on their
11 website -- they have it on their website -- is
12 what we read. And they had this a hard
13 document -- a hard copy on the first day of the
14 meeting.

15 Q. Okay. So everybody would have to read
16 it in advance, and everyone was also given a hard
17 copy on the first day; is that right?

18 A. Correct.

19 Q. Okay. And one thing you just told me
20 earlier is that this provided a rubric for your
21 evaluation.

22 Would you explain what you mean by
23 a rubric for your evaluation?

24 A. In terms of mechanistics subsection,
25 there were key characteristics of carcinogens that

1 were evaluated. There's ten key characteristics.
2 And we were asked to provide -- as a subgroup to
3 provide qualitative descriptors of strong,
4 moderate, or weak in terms of the evidence for
5 each particular character -- key characteristic.

6 Q. Okay.

7 A. It...

8 Q. Sorry. Were you done?

9 A. Yes.

10 Q. Okay. So there were ten key
11 characteristics.

12 And these are different categories
13 of mechanism; is that right?

14 A. These are -- yes. Different categories,
15 different mechanisms by which a carcinogen may act
16 to cause human cancer.

17 Q. Do you know the source of those ten
18 characteristics?

19 A. There is an environmental health
20 perspectives study or paper that lays out the ten
21 key characteristics. It is in the published
22 literature.

23 Q. Okay. Do you know when that was
24 published?

25 A. I believe it was in 2016.

1 Q. Okay. Do you know if it was published
2 before or after your working group met?

3 A. It -- this is -- the formal document
4 came out in 2016, but the characteristics were
5 listed on the IARC website where somewhere IARC
6 had a listing of these key characteristics that
7 the subgroup was charged with evaluating.

8 Q. Do you know if those had been submitted
9 to the publication in peer review process before
10 working group 112 met?

11 A. I don't recall that.

12 Q. It was published in 2016.

13 You don't know when might been peer
14 reviewed; is that right?

15 A. I don't --

16 MS. WAGSTAFF: Objection. He said that
17 the ten key characteristics were listed on
18 the IARC website. That has nothing to do
19 with whether or not it was published.

20 Because some author decided to turn it into a
21 publication is irrelevant.

22 BY MR. GRIFFIS:

23 Q. And the classifications that you could
24 give for each of the ten characteristics were --
25 repeat them, please.

1 Weak?

2 A. The qualitative descriptors?

3 Q. Yes. The qualitative descriptors.

4 A. Those were weak, moderate, or strong.

5 And those come from the preamble.

6 Q. Okay. And so for each of the ten -- so
7 any study would be divided into one or more of the
8 key characteristics and used to evaluate mechanism
9 under the rubric of that characteristic; is that
10 fair?

11 MS. WAGSTAFF: Objection. Misstates the
12 testimony.

13 A. There -- the papers that were related to
14 genotoxicity -- the evidence based on genotoxicity
15 or oxidative stress were bin -- so papers within
16 those -- since those are the two characteristics
17 that were deemed strong, those papers were within
18 each of those bins.

19 BY MR. GRIFFIS:

20 Q. Okay. And so it would be sorted into
21 the ten bins. And then as to each bin, the group
22 was asked to conclude one of three things: Weak,
23 moderate, or strong; is that right?

24 MS. WAGSTAFF: Objection. Misstates the
25 testimony.

1 A. We didn't -- if the evidence was weak,
2 we didn't -- we didn't have to spend a lot of time
3 on that evidence. If it was strong, there was a
4 clearly -- in the monograph, there was a statement
5 to that effect, that the evidence was strong based
6 on the evidence -- the papers were deemed
7 important.

8 BY MR. GRIFFIS:

9 Q. Well, all I'm asking you right now,
10 though, is your three choices were weak, moderate,
11 and strong, right?

12 A. Those were our descriptors.

13 MR. GRIFFIS: Okay. Take a break at
14 this point.

15 VIDEOGRAPHER: All right. Off record at
16 10:44 a.m.

17 (A short recess was taken.)

18 VIDEOGRAPHER: Back on record, 10:56.

19 BY MR. GRIFFIS:

20 Q. Dr. Ross, you told us earlier that your
21 group divided its time pretty evenly among the
22 five substances that were being reviewed,
23 including glyphosate.

24 So you estimated about 20 percent
25 of your time was spent on glyphosate, right?

1 A. We spent approximately equal time on all
2 compounds.

3 Q. So is it fair to say that your working
4 group, when it was working together, did the
5 equivalent of about a day's work on glyphosate
6 during work group 112?

7 MS. WAGSTAFF: Objection. Misstates the
8 record. Who knows what a day's work means.

9 A. We had several days on glyphosate.

10 BY MR. GRIFFIS:

11 Q. And those same days were also spent on
12 other substances, right?

13 A. There were other substances discussed in
14 a given day.

15 Q. When I say one day's work, I didn't mean
16 to suggest to you set aside one particular day to
17 focus on that and moved on. I was trying to get a
18 sense of, over this week, how much total work went
19 into it? Was it about a day's work --

20 MS. WAGSTAFF: Object to the form.

21 BY MR. GRIFFIS:

22 Q. -- divided over multiple days?

23 MS. WAGSTAFF: Same.

24 A. It was more than one day's work.

25

1 BY MR. GRIFFIS:

2 Q. Okay. There were --

3 A. Several days work.

4 Q. How many days -- during how many of
5 these days was work done on? I am looking at
6 Exhibit 9, the timetable.

7 A. It doesn't say which -- for each
8 subgroup sessions, it doesn't say which compounds
9 we were working on at the time.

10 MS. WAGSTAFF: I'm going to object
11 also -- Dr. Ross said they met at night when
12 needed.

13 BY MR. GRIFFIS:

14 Q. So there was actual work done on March
15 3rd, on March 4th, on March 5th, on March 6th,
16 correct?

17 A. Subgroups, 3rd, 4th, 5th, and 6th, 7th,
18 we met in subgroup. Those were the times we were
19 meeting in subgroup. There was work being done on
20 Sunday. There was reading over drafts. There was
21 work being done in the evening.

22 Q. How many total -- on how many total days
23 during your time in Lyon was work being done on
24 glyphosate?

25 MS. WAGSTAFF: Object to the form.

1 A. I don't recall how many days. There
2 were several days we were meeting to -- with each
3 of the compounds. And I don't recall the exact
4 number of days that we've -- that we were on
5 glyphosate.

6 BY MR. GRIFFIS:

7 Q. Well, the 3rd through the 10th is seven
8 days. Fair?

9 A. Yeah. Yeah. Eight days if you count
10 Tuesday.

11 Q. Okay. Do we count Tuesday? Was
12 substantive work done on Tuesday?

13 A. Yes.

14 Q. Okay. Eight days total were spent in
15 Lyon doing this work, right? Five substances were
16 involved. And you told us your work was divided
17 evenly?

18 MS. WAGSTAFF: Going --

19 BY MR. GRIFFIS:

20 Q. Can we conclude that the amount of work
21 done on glyphosate was eight divided by five?

22 MS. WAGSTAFF: I'm going to object to
23 this question on the suggestion that all the
24 work was done in Lyon. He has testified
25 numerous times that months of work were put

1 into this prior to the meeting.

2 A. We had our assignments six months before
3 the meeting. So there was six months of work
4 being done before we met in Lyon.

5 BY MR. GRIFFIS:

6 Q. Yes, sir.

7 You testified you worked on the
8 toxicokinetic data and that you did a peer review
9 that took two to three hours of work. Let me --
10 let me clarify something. It's a point I made a
11 little earlier, but I didn't ask you in that last
12 question.

13 When the group was working
14 together, in whole group work together, the total
15 amount of time you could spent on glyphosate,
16 given your testimony, working together, would have
17 been eight days divided by five substances; is
18 that right?

19 MS. WAGSTAFF: Objection. Misstates the
20 testimony.

21 A. Repeat the question now.

22 BY MR. GRIFFIS:

23 Q. Okay. And let's first address the work
24 before you showed up.

25 It would not have been the case

1 that the entire group was focusing on oxidative
2 stress or the entire group was focusing on
3 genotoxicity or the entire group was focusing on
4 any other of the ten characteristics that were
5 binned with regard to glyphosate prior to meeting
6 in Lyon; is that right?

7 MS. WAGSTAFF: Objection. Dr. Ross
8 can't testify to what other panelists were
9 focusing on.

10 A. My focus was on the toxicokinetics.
11 That is what I was responsible for. And I was
12 responsible for peer reviewing the draft on
13 oxidative stress prior to the meeting.

14 BY MR. GRIFFIS:

15 Q. So prior to the meeting, you spent about
16 two to three hours peer reviewing the oxidative
17 stress draft.

18 And other than that, you were
19 focusing on solely toxicokinetic data prior to
20 showing up at IARC, right?

21 MS. WAGSTAFF: Objection. Misstates
22 testimony.

23 A. I was working on peer reviews of other
24 compounds -- others than were not related to
25 glyphosate.

1 BY MR. GRIFFIS:

2 Q. Okay. I do mean to limit myself to
3 glyphosate in that question.

4 A. So the peer -- when I say the peer
5 review takes two to three hours, that's just the
6 reading of the document. That does not include
7 the amount of time in responding point by point to
8 the author.

9 Q. How much time did you take doing that?

10 A. Must have -- oh, at least a day. And I
11 did -- I did look up some methodology papers and
12 some of the -- some of the citations I did look up
13 what type of method they were using for their
14 oxidative stress measurements. So that would take
15 some time, as well.

16 Q. How much additional time?

17 A. That probably would take about an hour
18 to two hours look at that information.

19 Q. So about a day and half total work for
20 the peer-review process work for oxidative stress?

21 A. Roughly, yes.

22 Q. Okay. And you've -- you were not
23 focused on the genotox prior showing up in Lyon;
24 is that correct?

25 MS. WAGSTAFF: Objection to the form.

1 A. I did not review the genotox --

2 BY MR. GRIFFIS:

3 Q. You weren't included -- sorry.

4 A. No.

5 Q. You weren't included in any discussions
6 by the rest of the working group on genotox or
7 oxidative stress or anything else that took place
8 before showing up in Lyon; is that right?

9 MS. WAGSTAFF: Object to the form.

10 A. The oxidative stress I had a -- I had
11 peer reviewed the draft before attending Lyon.

12 BY MR. GRIFFIS:

13 Q. Yes, sir. But the entire working group
14 was not exchanging communications about the
15 oxidated stress or genotox or anything else as a
16 group prior to showing up in Lyon; is that right?

17 A. In terms of myself, I wasn't sharing
18 except for the peer review of the oxidative
19 stress. There may be others who had
20 interactions before the meeting, but I am not
21 aware of that.

22 Q. Can't have been the whole group because
23 you were part of the whole group, and you didn't
24 see it?

25 A. As a group, we met in Lyon to go through

1 the drafts. That was the first time we were all
2 together.

3 Q. Okay. And as a group, the total amount
4 of time you could have spent was about eight days
5 divided by five substances on glyphosate; is that
6 fair?

7 MS. WAGSTAFF: Object to form. He
8 stated that they spent 20 percent of the
9 subgroup session. He also stated they worked
10 at night and evening. He never said that was
11 20 percent.

12 A. We -- there were some nights we would
13 work on -- I would work on one compound through
14 the night, glyphosate. So I can't -- I don't know
15 the exact number of hours on glyphosate --

16 BY MR. GRIFFIS:

17 Q. Okay.

18 A. -- during the eight days.

19 Q. There were plenary sessions in addition
20 to the subgroup sessions, correct?

21 A. Yes.

22 Q. What is a plenary session?

23 A. Where all of the four subgroups come
24 together.

25 Q. And the first plenary session was on the

1 morning of Wednesday, March 4th, and it was called
2 evaluation criteria, right?

3 MS. WAGSTAFF: I'm going to go ahead and
4 object to questions about plenary sessions,
5 as Monsanto had an employee there. And,
6 also, the request for this deposition was to
7 "explore the mechanism subgroup's conclusions
8 about glyphosate."

9 A. The question -- repeat your question.

10 BY MR. GRIFFIS:

11 Q. Yes, sir.

12 The first plenary session on the
13 morning of Wednesday, March 4th -- which is held
14 on the morning of Wednesday, March 4th, was on the
15 subject of evaluation criteria, correct?

16 A. Yes.

17 Q. Was the preamble presented and discussed
18 at that session?

19 A. Yes.

20 Q. Who --

21 A. And it was presented on March 3rd, as
22 well.

23 Q. All right. Who was the speaker or
24 speakers at that session?

25 MS. WAGSTAFF: Same objection.

1 A. Dr. Straif.

2 BY MR. GRIFFIS:

3 Q. Dr. Kurt Straif?

4 A. Yes.

5 Q. And was he the only speaker?

6 A. As I recall, yes.

7 Q. What did Dr. Straif tell you about the
8 criteria that you were to employ in evaluating the
9 substances?

10 A. If it is in the preamble.

11 Q. So he told you that the methodology that
12 should be applied during your review was what was
13 set forth in the preamble, sir?

14 A. Yes.

15 Q. The next two plenary sessions, the
16 mornings of the 5th and 6th were called progress
17 report.

18 What happened at the progress
19 report plenary sessions? I don't mean tell me
20 everything anyone said. But, in general, what was
21 the point of the progress report meeting?

22 A. A brief report on the previous day's
23 meetings amongst subgroups.

24 Q. Did the subgroup chairs present at those
25 meetings?

1 A. In general, yes.

2 Q. Okay.

3 A. It was the subgroup chair --

4 Q. Did anyone else --

5 A. -- present --

6 Q. Sorry.

7 A. I don't recall anyone else presenting.

8 Q. And what would the subgroup chairs --
9 what sort of thing would they report on? Let's
10 just confine ourselves to mechanism.

11 What would Dr. Rusyn report on to
12 the other groups?

13 A. So if --

14 MS. WAGSTAFF: Objection. Calls for
15 speculation.

16 A. He would report on, in terms of the ten
17 key characteristics, which of those ten might have
18 evidence that would be considered strong,
19 moderate, or weak.

20 BY MR. GRIFFIS:

21 Q. You were at all of these sessions,
22 right?

23 A. Yes.

24 Q. Okay. The evening of Friday, March 6th,
25 there was a plenary session called overview

1 discussion.

2 What was that about?

3 A. Plenary session overview was before the
4 group as a -- as the plenary session, it was
5 the -- it was the general overview of the
6 evaluations of each compound. We had not met to
7 go through the document line by line at that
8 point.

9 Q. The two progress reports that we just
10 talked about on the morning of the 5th and 6th
11 were scheduled to be ten minutes long.

12 Were those, in fact, short
13 meetings?

14 A. Yes.

15 Q. And then the evening session, the
16 overview discussion was an hour and 45 minutes,
17 right?

18 A. Yes, roughly. I don't remember the
19 exact time.

20 Q. Okay. Now, while you were in Lyon, you
21 were taking notes about the proceedings on the
22 spiral bound notebook, and you produced some of
23 those. Produced, again, meaning you turned them
24 over to your lawyers, and they did what they did
25 with them in response to request No. 3, right --

1 or Exhibit No. 3?

2 A. Yes.

3 Q. Okay. You had a spiral notebook, and
4 you would take notes by hand as to what was
5 happening that struck your interest.

6 Is that fair?

7 A. I don't -- the term "strike my
8 interest," I -- that's not relevant.

9 Q. Okay. Well, you would choose what to
10 write down and what not to write down, like anyone
11 does who's taking notes is all I meant.

12 A. Yes.

13 Q. Okay. Exhibit 11.

14 (Exhibit No. 13-11 marked for
15 identification.)

16 BY MR. GRIFFIS:

17 Q. What I've marked as Exhibit 11 is from
18 your spiral notebook, and these are notes from the
19 evening session on March 6th; is that right?
20 Titled "plenary general remarks"?

21 A. Yes.

22 Q. Okay. Now, this notebook --

23 MS. WAGSTAFF: Objection. Those are
24 from the evening session. There was two
25 plenary sessions on March 6th.

1 BY MR. GRIFFIS:

2 Q. The morning session was ten minutes
3 long, and the evening session was much longer.

4 Which one was this?

5 MS. WAGSTAFF: If you know.

6 A. I don't recall if it was from the
7 morning or the evening.

8 BY MR. GRIFFIS:

9 Q. Okay. We have four pages of notes,
10 right?

11 A. I don't recall which one it was from.

12 Q. Okay. This is from one of the plenary
13 meetings of March 6th?

14 A. It's from March 6th. That's my...

15 Q. I'd like to talk about the notebook for
16 a minute. Was this notebook only -- and these
17 questions are about the process that you went
18 through to respond to our request in document
19 No. 3, the subpoena for production of documents.

20 Was this notebook devoted only to
21 working group 112, or is it also a notebook that
22 you used for other purposes?

23 A. It -- it was my -- it was a general
24 notebook.

25 Q. So if we look back in February you might

1 have been writing about something you were doing
2 in your lab or some other meeting that you went
3 to; is that right?

4 A. Yes. You might have seen lab -- lab
5 data that I had been working on.

6 Q. You --

7 A. Unrelated to volume 112.

8 Q. Sure. As one way of organizing your
9 life, you keep a notebook keeping track of what
10 you did and observed on various days?

11 A. Yes.

12 Q. Okay. So you pulled out the relevant
13 notebook for when we provided you with that
14 document request, Exhibit 3. You pulled out the
15 relevant notebook and had copied the pages that
16 pertained to working group 112; is that right?

17 A. Yes.

18 Q. Were there any notes from working group
19 112 that you didn't have copied?

20 A. I provided everything that I had
21 regarding volume 112.

22 Q. You provided those to your lawyers?

23 A. Yes.

24 Q. Okay. And do you know whether they
25 applied any selection process in deciding what to

1 send or not?

2 MR. WHITE: Only to your knowledge.

3 BY MR. GRIFFIS:

4 Q. Yeah. I am just asking if you know.

5 A. No. I don't know.

6 Q. Okay. And now let's go through your
7 notes here, sir. Group 1, exposure.

8 Group 1 was the exposure group,
9 right?

10 A. Yes.

11 Q. Who was presenting as the head of group
12 1?

13 A. In this regard, these progress reports
14 are general remarks that would have been the
15 subgroup chair.

16 Q. Do you remember who that was?

17 A. For exposure, I'd have to look at the
18 participant list.

19 Q. Okay. We have it. It's Exhibit 8.

20 MS. WAGSTAFF: Exhibit 8 is the
21 assignment list.

22 MR. GRIFFIS: Yeah. The assignments is
23 the closest we have to one with group 1 on
24 it.

25

1 BY MR. GRIFFIS:

2 Q. Does the assignment list help you with
3 that?

4 A. I think the list of participants says
5 who the subgroup chairs are.

6 Q. Okay. The list of participants that we
7 had from you was just for working group 4.

8 A. Let me just find -- which exhibit?

9 Q. Exhibit 8 is the one I was talking
10 about, the one with the blue and white -- I see it
11 here.

12 A. Oh, this one.

13 Q. No. There.

14 A. Oh, this one. Okay.

15 Q. Just see if that helps you remember who
16 the chair was.

17 A. Trying to remember. I don't recall the
18 group 1 subchair.

19 Q. Okay. That's fine, sir. The group 1
20 chair, whoever that was, was reporting on exposure
21 assessment as a yes/no process, correct?

22 MS. WAGSTAFF: Object to the form.

23 A. They -- yes or no? I don't know what
24 you -- can you rephrase that?

25

1 BY MR. GRIFFIS:

2 Q. Well, you wrote yes/no.

3 What did you mean?

4 A. I don't recall what I meant there.

5 Q. Okay. And you mentioned the
6 Agricultural Health Study.

7 What point was made at this plenary
8 session about the Agricultural Health Study with
9 prior exposure assessment?

10 A. I don't recall. I don't know what
11 compound this is -- this is relates to, which of
12 the compounds.

13 Q. If you'll see, sir, on the first two
14 pages were devoted to what looked like general
15 comments. And then the next two pages were
16 talking about specifics of various compounds. You
17 have compounds listed over and over again on the
18 last two pages and compounds generally not broken
19 out at the bottom of Page 1 early on.

20 So do you recall from this session
21 being given, first, an overview of the processes
22 that each group was going through and assessing
23 the data and then some specific findings?

24 A. They were giving overviews at their
25 evaluations of their drafts. I don't remember

1 specifics.

2 Q. The undergroup 2, which is epidemiology,
3 do you recall that being headed by Aaron Blair?

4 A. Dr. Blair was the chair of the whole
5 committee.

6 Q. Okay.

7 A. Of the whole group.

8 Q. Do you know Dr. Blair?

9 A. I had met him one other time as a -- as
10 a member of the Ag Health Study. He was an
11 emeritus faculty at NCI. I had met him one time
12 before the Lyon meeting.

13 Q. Okay. And CI.

14 What is CI?

15 A. National Cancer Institute.

16 Q. NCI. Okay. Thank you.

17 So I saw on Page 1 of your notes
18 from the March 6th plenary session, sir. And it
19 mentions -- says group 2, epidemiology, and then
20 Agricultural Health Study. And then there's a
21 list of exposure assessments below for TCPBP.
22 There's parathion, malathion, and glyphosate.

23 Are those the exposure assessments
24 from the Agricultural Health Study?

25 A. No.

1 Q. What are they from?

2 A. Those -- those -- these five compounds.
3 Those -- that doesn't relate to the Agricultural
4 Health Study.

5 Q. What does it relate to?

6 A. I believe these were the preliminary
7 evaluations of the epidemiology group.

8 Q. As to glyphosate, it says, "Limited for
9 NHL and inadequate for multiple myeloma;" is that
10 right?

11 A. That's right.

12 Q. Okay. Now, if you turn over to the
13 section on group 3, animal studies, do you recall
14 who was presenting for that?

15 A. The group -- the animal subgroup was
16 led -- the subgroup chair was Dr. Jameson.

17 Q. Did you have interactions with the other
18 subgroups other than sitting in on the plenary
19 sessions?

20 A. We interacted at coffee breaks, yes.

21 Q. Okay. And I mean, other than rubbing
22 shoulders socially, did you have substantive
23 scientific interactions with the other subgroups?

24 MS. WAGSTAFF: Object to the form.

25 A. I was not involved in subgroup 3 or

1 subgroup 2 or subgroup 1 to any significant
2 extent.

3 BY MR. GRIFFIS:

4 Q. Okay. So you didn't have any
5 substantive scientific interactions with members
6 of those other subgroups as part of working group
7 112.

8 Is that fair?

9 MS. WAGSTAFF: Object to the form.

10 A. My main responsibility was to evaluate
11 the toxicokinetic data for the five compounds that
12 were charged.

13 BY MR. GRIFFIS:

14 Q. Okay. So is the answer, no, you didn't
15 have substantive scientific interaction with the
16 other three groups?

17 MS. WAGSTAFF: Same objection.

18 A. I wouldn't call it -- we didn't have
19 substantive talks. We had discussions. I
20 would -- substantive. I don't know. I can't
21 characterize. That's hard for me to characterize.

22 BY MR. GRIFFIS:

23 Q. And I don't know if this is the thing
24 that's getting you tangled up, but I'm talking
25 about as part of an analysis of carcinogenicity of

1 these five substances, what you were all there
2 for.

3 Rather than talking scientist to
4 scientist about something of mutual interest; that
5 wasn't what you were there for, right?

6 MS. WAGSTAFF: Object to the form.

7 A. So I did not have substantive discussion
8 with the group 3 scientists regarding the cancer
9 bioassay data on glyphosate. My charge was
10 toxicokinetics.

11 BY MR. GRIFFIS:

12 Q. And did you have substantive
13 interactions with group 1 or group 2 with regard
14 to the carcinogenicity of glyphosate or the issues
15 they were evaluating with regard to glyphosate?

16 A. Not that it impacted any of the
17 evaluations.

18 Q. Okay. Do you know if Dr. Rusyn had
19 substantive interactions with other groups,
20 particularly with group 3?

21 MS. WAGSTAFF: Objection. Speculation.

22 How would he know what Dr. Rusyn did?

23 A. I can't recall.

24 BY MR. GRIFFIS:

25 Q. Did Dr. Rusyn talk about having such

1 interactions?

2 MS. WAGSTAFF: Same objection.

3 A. I can't recall him...

4 BY MR. GRIFFIS:

5 Q. When your group met each day, did
6 Dr. Rusyn report on what had happened the evening
7 before during the closed coordination meetings for
8 the co-chairs and subgroup chairs?

9 A. Perhaps in general terms, but I -- I
10 can't remember specifics.

11 Q. Okay. Do you know if Kurt Straif was
12 present at those coordination meetings?

13 A. I can't speak for these coordination
14 meetings. These are the evening coordination
15 meetings between the subgroup chairs --

16 Q. Yes.

17 A. -- and the overall chair of the meeting?

18 I can't speak because I wasn't
19 present at those -- at those meetings.

20 Q. You didn't hear from Dr. Rusyn or anyone
21 else about who was present or who was leading
22 those meetings?

23 A. I presume Dr. Straif was there. But
24 I -- again, I assume he was --

25 MS. WAGSTAFF: Objection.

1 A. Yeah.

2 BY MR. GRIFFIS:

3 Q. Okay. You would presume so, but you
4 don't know?

5 A. I wasn't at the meeting.

6 Q. Yes, sir.

7 Under group 4, on the second page
8 of your notes, sir, Exhibit 11, it says, "group
9 4," and then you wrote, "ten key characteristics
10 of agents that cause cancer," correct?

11 A. Sorry. You're on page -- which page?

12 Q. Second page.

13 A. The second page. Okay. Ten key
14 characteristics of agents -- yes.

15 Q. So this would have been a -- part of a
16 presentation by Dr. Rusyn?

17 MS. WAGSTAFF: Objection. Foundation.

18 A. Yes.

19 BY MR. GRIFFIS:

20 Q. Okay. And the ten key characteristics
21 of agents that cause cancer this is what you
22 alluded to earlier as the ten bins into which you
23 were to sort and analyze the mechanism of the
24 evidence part of your methodology, right?

25 A. Correct.

1 Q. Okay. And now on the top of the third
2 page, you again start listing group 1, group 2,
3 group 3, group 4. And it appears that you've --
4 you're talking about the evidence that was
5 presented as to parathion from 1, 2, 3, and 4,
6 correct?

7 A. Yes.

8 Q. And then malathion?

9 A. Correct.

10 Q. And then diazinon?

11 A. Diazinon. Where is dizainon?

12 Q. The top of the next page.

13 A. Top of Page 4? Okay. Diazinon, yeah.
14 Okay.

15 Q. Okay. And then towards the bottom of
16 that page, you started talking about glyphosate,
17 right?

18 A. Yes.

19 Q. Okay. Now, tetrachlorvinphos, was --
20 did you take notes on that and just not provide
21 them to us, or not -- or what do you know?

22 A. There's something on TCBP. There's --
23 on Page 2, there's some -- I have some notes on
24 TCBP.

25 Q. But not broken down by the four groups

1 like for the other substances, right?

2 A. No.

3 Q. Okay. Let's talk about the glyphosate
4 notes on Page 4. Group 1. The report from group
5 1 share on glyphosate was -- that you wrote down
6 was "detectable in water and food," correct?

7 A. Yes.

8 Q. Okay. For group 2, the report was
9 glyphosate negative non-Hodgkin's lymphoma. Case
10 control, glyphosate, arrow, non-Hodgkin's
11 lymphoma, right?

12 MS. WAGSTAFF: Object to the form.

13 A. This -- this is what I wrote.

14 BY MR. GRIFFIS:

15 Q. And what's your recollection of what
16 that meant?

17 A. I don't recall.

18 Q. Okay. And you also wrote AHS negative
19 data, correct?

20 A. I did.

21 Q. And it is your understanding that AHS
22 data was negative with regard to association with
23 glyphosate?

24 MS. WAGSTAFF: Object to the form.

25 A. That is correct.

1 BY MR. GRIFFIS:

2 Q. And that is your understanding?

3 A. The AHS study. The AHS study, that was
4 a negative result.

5 Q. Talking -- when you say the AHS study a
6 negative result regarding glyphosate, are you
7 talking about the DeRoos 2005 publication?

8 A. No. No. No. No.

9 Q. Tell me what you --

10 A. At AHS, there was a negative
11 association, but there was a case control study
12 that showed a positive association.

13 Q. Which study is that, if you recall?

14 A. I don't recall the citation.

15 Q. Okay.

16 A. But it's in the monograph.

17 Q. Yes, sir. Group 3. You wrote as your
18 report from -- you wrote down from the group 3
19 report, "glyphosate limited to inadequate,"
20 correct?

21 A. Yes.

22 Q. Okay. So was it the finding of the
23 group 3 group at that time that the evidence of
24 carcinogenicity of glyphosate was limited to
25 inadequate in animal studies?

1 MS. WAGSTAFF: Object to the form.

2 A. So I don't recall the specific
3 discussion at this stage. This was early
4 preliminary discussions. The meeting was only
5 halfway through. So this was just a preliminary
6 note in a plenary session.

7 BY MR. GRIFFIS:

8 Q. Yes, sir. Halfway through the group
9 3 -- group 3 had found limited to inadequate
10 evidence of carcinogenicity of glyphosate,
11 correct?

12 MS. WAGSTAFF: Object to form. There's
13 no foundation that that's what group 3
14 actually found at that point.

15 A. I wasn't on group 3, so I wasn't privy
16 to their discussions.

17 BY MR. GRIFFIS:

18 Q. That was reported to everybody at the
19 plenary session; is that right?

20 A. I don't remember --

21 MS. WAGSTAFF: Objection.

22 A. -- the context, but this is what I
23 wrote.

24 BY MR. GRIFFIS:

25 Q. Well, you participated in this, and you

1 attended multiple plenary sessions where you got
2 progress reports.

3 Your understanding, halfway
4 through, was that group 3 was trending towards
5 limited to inadequate, as far as the animal
6 studies point; is that correct?

7 MS. WAGSTAFF: Object to form and
8 foundation.

9 A. They were only halfway through. They
10 had not completed their evaluation. We hadn't
11 even gone through the monograph as a whole -- as
12 a -- in plenary session line by line. So I don't
13 I -- I don't know which way they were trending at
14 this point.

15 BY MR. GRIFFIS:

16 Q. What you wrote down from their report
17 was "limited to inadequate," right?

18 A. That's what I have written down.

19 Q. And that would have been them, not you,
20 because were not involved with group 3, as you
21 just said?

22 A. My main focus was on the toxicokinetics
23 in group 4.

24 Q. You didn't get involved with any
25 evaluation of the animal studies.

1 Is that fair or not?

2 MS. WAGSTAFF: Objection to the word
3 "involved."

4 A. I was not in subgroup 3 -- in their
5 subgroup 3 discussions regarding the
6 carcinogenicity of glyphosate in animals.

7 BY MR. GRIFFIS:

8 Q. Well, was the carcinogenicity of
9 glyphosate in whole animals discussed in group 4?

10 A. I don't recall specifically. I don't
11 recall whether the animal cancer bioassay data was
12 discussed explicitly in our subgroup.

13 Q. Was human evidence -- by humans, I mean
14 whole humans -- discussed in your group?

15 A. It wasn't in our subgroup.

16 MS. WAGSTAFF: Object to the form.

17 BY MR. GRIFFIS:

18 Q. I'm sorry. I didn't hear your answer.

19 A. We were focused on mechanisms. I was --
20 as a subgroup, we were focused on mechanisms. I
21 was focused on toxicokinetics.

22 Q. For group 4 -- I'm going back to Exhibit
23 11 here, sir. For group 4, you just wrote
24 glyphosate.

25 Do you recall what was being

1 reported as to group 4's findings at that point?

2 A. I don't recall.

3 Q. Okay. And can you tell the jury, since
4 you were involved in all of these subgroup
5 sessions for group 4, how group 4's thinking
6 evolved over the course of work group 112?

7 MS. WAGSTAFF: Object to the form.

8 A. On which compound? On --

9 BY MR. GRIFFIS:

10 Q. Glyphosate.

11 A. Glyphosate?

12 Q. Yes, sir.

13 A. Okay. So the group was leaning towards
14 looking at the data on the genotoxicity and
15 oxidative stress of glyphosate and in evaluating
16 that particular data. Because we concluded at the
17 end -- by the end, we had concluded that the
18 evidence was strong for those two key
19 characteristics.

20 Q. Yes, sir. Over the -- over time, how
21 did you evolve to the point of concluding there
22 was strong as to those two characteristics?

23 A. I wouldn't use the word "evolve." I
24 think the evidence was presented early on in the
25 meeting that it was strong. I don't think there

1 was an evolution in that thinking.

2 Q. Okay. Were you always -- was your group
3 always leaning towards the 2-A finding?

4 MS. WAGSTAFF: Object to the form.

5 A. Say that again one more time.

6 BY MR. GRIFFIS:

7 Q. Yes. The ultimate evaluation of IARC
8 was to classify glyphosate as 2-A, correct?

9 A. That was the ultimate finding, yeah.

10 Q. And was that always group 4's view, or
11 did that change over time?

12 MS. WAGSTAFF: Object to the form.

13 A. That was not always group 4's view, no.

14 BY MR. GRIFFIS:

15 Q. Tell me how --

16 A. Because we --

17 Q. -- group 4 changed over time.

18 A. Well, we don't make those evaluations in
19 subgroup, like group 2-A or 2-B. Those are not
20 made within the subgroup. Those are made as a
21 whole, as a -- within plenary. Taking into
22 account the human data -- the human epi data, the
23 animal cancer bioassay data, and the mechanistic
24 data. So evaluations are not made within
25 individual subgroups.

1 Q. So your -- please correct me if I'm
2 wrong.

3 But your task, as part of subgroup
4 4, the subgroup 4 task was to make an evaluation
5 within the ten key cancer characteristics -- the
6 ten bins that we talked about earlier as to weak,
7 limited, or strong?

8 A. Correct.

9 Q. Okay. And then that would go to the
10 group as a whole to see what to do with that
11 information.

12 Is that fair?

13 A. We would give descriptors to the
14 evidence regarding these to ten key
15 characteristics and summarize that, and it would
16 be presented to the preliminary group.

17 Q. And your conclusion -- I mean the
18 conclusion you would present would be weak,
19 limited, or strong as to each of those bins with
20 rationale, of course, correct?

21 A. Which is in the monograph.

22 Q. Yes, sir. But am I correct that would
23 be the evaluation?

24 A. Right. And that was -- that would be in
25 the -- very clearly stated in the monograph, as it

1 was.

2 Q. And where is it written, if anywhere,
3 how IARC evaluates the significance of a finding
4 of strong for genotox and strong for oxidative
5 stress?

6 A. Where is it -- explain what you mean.

7 Q. Yes, sir. Do you have some guidance for
8 whether different substances are going to -- if
9 evaluated in terms of the ten key characteristics
10 of cancer, are different profiles, when divided
11 among the key characteristics of cancer, right?

12 A. Yes.

13 Q. There are certainly substances for,
14 example, for oxidated stress that show oxidative
15 stress that aren't in fact carcinogens, right?

16 A. There are examples.

17 Q. And there are substances that are
18 carcinogens that don't show oxidative stress?

19 A. But we're not talking about glyphosate
20 here?

21 Q. No. No.

22 A. You are -- maybe this is hypotheticals
23 now.

24 Q. It's true, though, correct?

25 MS. WAGSTAFF: Object as a hypothetical

1 and agree with the witness.

2 MR. WHITE: That's true. I've
3 instructed my client not to answer any
4 hypotheticals.

5 BY MR. GRIFFIS:

6 Q. Sir, when you were working with group
7 112, did you have any set of criteria by which you
8 were to evaluate whether a substance was capable
9 of causing human cancers based on the finding of
10 strong or oxidated stress and strong for genotox?

11 A. We were instructed to evaluate the
12 publicly available literature as a whole to
13 determine whether there was strong evidence,
14 moderate evidence, or weak evidence that
15 glyphosate may cause oxidated stress or glyphosate
16 may induce genotoxicity.

17 So we were instructed to look at
18 the whole -- to the whole database and to draw
19 conclusions whether the database was strong,
20 moderate, or weak.

21 Q. When you say the whole database, you are
22 referring to published literature and not to any
23 industry studies that were conducted in GLP labs,
24 correct?

25 MS. WAGSTAFF: Object to the form.

1 Suggestion that no industry studies that were
2 conducted in GLP labs were part of the
3 published literature?

4 A. We had access to the publicly available
5 literature. It is my understanding that there
6 were some industry studies that EPA had that we
7 could get access to.

8 BY MR. GRIFFIS:

9 Q. Did you get access to them?

10 A. This for -- talking about the cancer
11 bioassay data, they had access to EPA data.

12 Q. Do you know of any -- I'm going to use
13 the term "registration study."

14 Do you know what that means?

15 A. For EPA. For data provided by the
16 company to EPA for registration purposes.

17 Q. Did you look at any registration studies
18 in reaching your evaluation about the mechanism?

19 A. I don't recall.

20 MS. WAGSTAFF: Object to the form.

21 A. There's -- I don't recall. The person
22 who was looking at the genotox data may have, but
23 there was data that was unavailable to the working
24 group that Monsanto had access to.

25

1 BY MR. GRIFFIS:

2 Q. Do you know that there were publications
3 presenting a great deal of that data, that Hyer &
4 Kirkland published an article that was not
5 reviewed by IARC?

6 A. And the reason was the committee
7 couldn't evaluate the methodology that those
8 studies used. They just presented a summary of
9 findings without publishing the methodology
10 involved. So independent scientists would have a
11 very difficult time of determining the veracity of
12 that data.

13 Q. And do you know what the methodological
14 gaps that were listed in -- I mean in the IARC
15 monograph, it says, we didn't look at the Hyer &
16 Kirkland data because we couldn't evaluate A, B,
17 C, D about the methodology.

18 Could you evaluate A, B, C, and D
19 from all of the studies you did review from the
20 published literature methodology fully set forth
21 in those study?

22 A. For the -- I can only speak for the
23 toxicokinetic data because that is what I was
24 responsible for.

25 Q. Okay. You can't say as the genotox or

1 oxidated stress?

2 MS. WAGSTAFF: Objection asked and
3 answered. He has given his response.

4 A. For the genotox and oxidated stress
5 because I did not write those drafts. So I didn't
6 look at every single one of those papers.

7 Q. Yes, sir.

8 A. I don't know -- I assume the -- for a
9 paper to be brought forward and, especially if it
10 was deemed to be a strong paper in terms of
11 providing evidence for a mechanism, the -- you
12 would need to see the methodology that was
13 utilized in the statistical analysis and so forth.

14 So I'm -- I can't speak to that. I
15 can't speak directly to that because I was not
16 involved in the draft of that document, but this
17 is publicly available literature. And it would be
18 important for the reviewers for the -- for the
19 committee to have that methodological information
20 to evaluate the paper.

21 Q. Do you know who made the decision not to
22 use the Hyer & Kirkland information?

23 A. I don't know who specifically was
24 responsible for doing that.

25 Q. Who did you learn -- from whom did you

1 learn that that decision had been made?

2 A. I believe that it was -- it came up in
3 plenary. And I don't remember if it was
4 Dr. Straif or Dr. Guyton who determined that.

5 Q. Your belief is that it was either
6 Dr. Straif or Dr. Guyton who rejected the Hyer &
7 Kirkland data?

8 MS. WAGSTAFF: Object to the form.

9 A. Yeah. The specialist in the subgroup
10 who worked on the genotoxicity would have been
11 involved in that decision, as well.

12 BY MR. GRIFFIS:

13 Q. Okay. And do you know that, or is that
14 just speculation?

15 A. I don't know for sure, but that's -- I
16 assume the person who had -- who was in charge of
17 that area would have been involved in discussions
18 regarding that review paper, the cure paper.

19 Q. Who was that?

20 A. Who was the genotox specialist?

21 Q. Yes, sir.

22 A. On our subgroup?

23 Q. Yes, sir?

24 A. Dr. LeCurieux.

25 MS. WAGSTAFF: I am going to object to

1 this line of questioning. He's -- the
2 deponent has said he doesn't know the answer.
3 And he's also used the word that he's
4 assuming. So I'm going to object for
5 speculation.

6 MR. WHITE: And I'd like to add that you
7 don't have to make any assumptions.

8 MR. GRIFFIS: What time is it?

9 MR. WHITE: 11:41.

10 MR. GRIFFIS: So we've been going an
11 hour.

12 VIDEOGRAPHER: 44 minutes.

13 (Exhibit No. 13-12 marked for
14 identification.)

15 BY MR. GRIFFIS:

16 Q. Okay. Dr. Ross, I handed you a document
17 that you provided to us. It is an e-mail exchange
18 between you and Dr. Michael Alavanja.

19 Is that pronounced correctly?

20 A. Yes.

21 Q. Okay. And would you please tell us who
22 Dr. Alavanja is?

23 A. He was the principal investigator of the
24 Agricultural Health Study at the National Cancer
25 Institute.

1 Q. In this thread, he announced that he was
2 retiring from NCI, correct?

3 A. Yes.

4 Q. Okay. You sent him your best wishes and
5 then talked a little bit about AHS and the IARC
6 meeting, correct?

7 A. Right.

8 Q. Okay. And do you know him through your
9 role on the AHS, the advisory committee?

10 A. Correct.

11 Q. Is that the only way you know him, or
12 did you have a prior relationship, as well?

13 A. Not before that.

14 Q. Okay. And you told him indeed the AHS
15 worked out a prominent role at the IARC meeting I
16 attended, right?

17 A. Yes.

18 Q. What did you mean by that?

19 A. Many of their studies were being
20 evaluated at the meeting.

21 Q. And was it your understanding, from
22 attending the plenary sessions and hearing the
23 epidemiology group and exposure group talk about
24 the Agricultural Health Study data, that it was
25 important to their evaluation?

1 MS. WAGSTAFF: Objection. Dr. Ross
2 stated he didn't -- wasn't involved in those
3 subgroups. And, also, the Agricultural
4 Health study involves other chemical besides
5 glyphosate, which is outside the scope.

6 BY MR. GRIFFIS:

7 Q. Go ahead, sir.

8 A. The AHS studies was not just on
9 glyphosate. There were other chemicals being
10 evaluated, some of which were the organophosphates
11 at the volume 112 meeting. So there was -- this
12 is what I mean by AHS had a prominent role at the
13 meeting.

14 Q. When you said a prominent role, you
15 weren't talking about glyphosate? You were
16 talking about the other substances?

17 MS. WAGSTAFF: Objection. Misstates the
18 testimony.

19 A. I was talking about in general.

20 BY MR. GRIFFIS:

21 Q. Okay.

22 A. The AHS work in general.

23 Q. Did it have a prominent role with regard
24 to glyphosate?

25 A. Well, it -- its data was evaluated in

1 the glyphosate -- in the evaluation of glyphosate.
2 That study was evaluated.

3 Q. The whole group met to put all of this
4 together, put the whole evaluation together to
5 talk about all of the data, right?

6 A. The whole -- the whole group, yes.
7 Sure.

8 Q. Yes. And was it your understanding from
9 those meetings the AHS data was important to the
10 evaluations of the glyphosate by the other groups?

11 MS. WAGSTAFF: Objection.

12 A. I wasn't in group 2.

13 BY MR. GRIFFIS:

14 Q. Talking about the meetings.

15 Everybody had to go together?

16 A. I can't recall that.

17 Q. You were at glyphosate issue -- back to
18 Exhibit 12 and your e-mail to Dr. Alavanja.

19 "The glyphosate issue kind of blew
20 up after we had finished and left," correct? What
21 did you mean by it kind of blew up?

22 A. There was a lot of press.

23 Q. Then you said, "Although, it was the
24 rodent cancer bioassays, in the case of glyphosate
25 that was really the most controversial issue for

1 glyphosate," right?

2 A. That's what I've written.

3 Q. What did you mean?

4 A. There was debate going on within the
5 cancer bioassay subgroup regarding whether it was
6 deemed to be sufficient or limited. So there was
7 debate -- scientific debate at the meeting --

8 Q. You --

9 A. -- regarding those -- that issue.

10 Q. You considered that to be the most
11 controversial debate that was going on that you
12 were aware of with regard to glyphosate at
13 IARC 112?

14 A. Yes.

15 Q. Okay. And it was between limited or
16 sufficient with regard to cancer bioassays for
17 animals?

18 A. Yeah. I -- yes. It was -- it is that
19 issue.

20 Q. And did you know who was advocating for
21 limited and who was advocating for sufficient?

22 A. I don't remember. I can't recall.

23 Q. Okay. Do you recall anyone who was
24 advocating for limited or sufficient?

25 A. No.

1 Q. Okay.

2 A. I wasn't privy to their conversations.

3 Q. Okay. Now, as a member of the AHS
4 advisory group, are you made aware of the content
5 of the data that hasn't been published?

6 MS. WAGSTAFF: Objection.

7 BY MR. GRIFFIS:

8 Q. That data they continue to collect
9 hasn't been published?

10 MS. WAGSTAFF: His role as an AHS
11 advisory member is outside of the requested
12 discovery of the exploration of the mechanism
13 subgroup's conclusion about glyphosate.

14 A. I don't receive any unpublished data
15 from AHS.

16 BY MR. GRIFFIS:

17 Q. Do you receive -- you were giving them
18 advice about things, right? Did they ever ask you
19 whether you think something should be published?

20 A. No.

21 Q. What sorts of things did they ask for
22 advice about?

23 A. We -- I have only met with them one
24 time. They would ask studies -- they would ask
25 opinion -- you know, ask us our opinion. And in

1 my case, they would ask my opinion about issues of
2 measuring pesticide, residues, and issues of
3 mechanistic mechanisms by which chemicals might
4 cause cancer, mutations in cancer.

5 Q. Did you have an understanding, from your
6 review of the preamble, your attendance at the
7 evaluation criteria meeting, all the training you
8 got on IARC methodology, that if the epidemiology
9 evidence, evidence of group 2 is below limited,
10 then the substance in question gets a group 3
11 classification?

12 MS. WAGSTAFF: Objection. Calls for
13 speculation. Foundation.

14 BY MR. GRIFFIS:

15 Q. Do you recall that?

16 A. So if -- yeah -- wait a minute. The
17 human epi, if it was deemed to be inadequate, and
18 the animal cancer bioassay data -- well, it's --
19 we are speculating now because that is not what
20 happened.

21 Q. Well, let's take a look at the preamble,
22 Page 23.

23 You reviewed and understood the
24 preamble, correct?

25 MS. WAGSTAFF: I'm actually going to

1 object also, this is causing for a
2 hypothetical that is completely unrelated to
3 the mechanism subgroup conclusion about
4 glyphosate. You're actually proposing a
5 hypothetical on what happens if the
6 epidemiology has a different classifications
7 as to what it ultimately determined.

8 MR. GRIFFIS: Well, I will link it up.
9 Don't worry.

10 BY MR. GRIFFIS:

11 Q. Page 23.

12 A. Uh-huh (affirmative response).

13 Q. You see, the criteria for an evaluation
14 of group 3, "This category is used most commonly
15 for agents for which the evidence of
16 carcinogenicity is inadequate in humans and
17 inadequate or limited in experimental animals,"
18 right?

19 A. Correct.

20 Q. Okay.

21 MS. WAGSTAFF: I'm going to object to
22 you're saying that that is a "shall make"
23 determination.

24 MR. GRIFFIS: Let me finish, please.
25

1 BY MR. GRIFFIS:

2 Q. "And, exceptionally, agents for which
3 the evidence of carcinogenicity is inadequate in
4 humans but sufficient in experimental animals may
5 be placed in this category when there's strong
6 evidence that the mechanism of carcinogenicity in
7 experimental animals does not operate in humans,"
8 right?

9 A. That's what the preamble says.

10 Q. In group 4, "This category is used for
11 agents for which there is evidence suggesting lack
12 of carcinogenicity in humans and in experimental
13 animals," right?

14 A. Yes.

15 MS. WAGSTAFF: Continue to object on the
16 scope, as it seems as you're trying to elicit
17 expert testimony.

18 BY MR. GRIFFIS:

19 Q. Sir, did you know that Dr. Aaron Blair
20 was deposed in this litigation?

21 A. Yes.

22 Q. Did you talk to Dr. Blair about being
23 deposed?

24 A. No.

25 Q. Do you know about that fact that he was

1 deposed?

2 A. I found it in the court records.

3 Q. Did a little research when you heard you
4 were going to be deposed?

5 A. We are scientists. It is publicly
6 available.

7 Q. Did you know Dr. Blair disclosed that
8 the AHS has seven more years of follow-up data
9 than that that was presented to IARC and that that
10 data, which involves many more cases than has been
11 previously published in DeRoos in 2005, the
12 article that was considered by IARC, is strongly
13 negative for non-Hodgkin's lymphoma and that if
14 that data had been put into the meta analysis and
15 was done by the epidemiology group, the relative
16 risk would have been below 1.0. About 0.9.

17 Did you know that?

18 MS. WAGSTAFF: Objection. Misstates
19 the -- Dr. Blair's testimony and is
20 completely irrelevant. And you're doing a
21 hypothetical upon hypothetical.

22 MR. WHITE: You can answer as to whether
23 or not you were aware that that was...

24 A. No. I wasn't aware of that.

25

1 BY MR. GRIFFIS:

2 Q. Okay. Do you know what relevance the
3 findings of the mechanism group would have in the
4 presence of negative human epidemiology in the
5 absence of a limited association?

6 MS. WAGSTAFF: Objection. Calls for a
7 hypothetical. If it was presented in this
8 particular monograph 112, then that is
9 appropriate, but I think you're exploring
10 hypotheticals that are inappropriate to the
11 scope.

12 BY MR. GRIFFIS:

13 Q. Go ahead, sir.

14 MR. WHITE: You can answer as far as you
15 have factual knowledge of a yes or no, but
16 you do not need to go into any details of a
17 hypothetical.

18 A. The mechanistic subgroup can upgrade or
19 downgrade if -- if it needs to. So I -- since
20 that wasn't the issue in this case, then, I don't
21 know what else I can add.

22 BY MR. GRIFFIS:

23 Q. Well, this is a question about the --
24 your understanding of the methodology applied by
25 IARC in doing its classifications and how

1 mechanism fits into that. What --

2 A. But then I have to go into a
3 hypothetical.

4 Q. What is the role of mechanism in the
5 absence -- in the presence of negative human
6 epidemiology? Negative, not limited.

7 MS. WAGSTAFF: Objection. Hypothetical.

8 THE WITNESS: So should I answer this
9 hypothetical?

10 MR. WHITE: You can answer it to the
11 extent that you -- that you know under this
12 evaluation, under the way that you were
13 instructed.

14 A. Right. So if it was inadequate in
15 humans, sufficient in animal, and we had strong
16 evidence in mechanism -- mechanistic evidence,
17 then we could call for an upgrade to upgrade the
18 classification.

19 BY MR. GRIFFIS:

20 Q. To 2-A?

21 A. If it was inadequate -- yes. Look at --
22 you can look in the preamble. Okay.

23 Q. Show where it shows the inadequate
24 evidence in human --

25 A. Page 22, line 35. "In some cases, an

1 agent may be classified in this category, being
2 2-A, when there is inadequate evidence of
3 carcinogenicity in humans and sufficient evidence
4 of carcinogenicity in experimental animals and
5 strong evidence that carcinogenesis was mediated
6 by a mechanism that also operates in humans."

7 Q. What strong evidence was presented in
8 the IARC monograph working group 112 that
9 carcinogenesis observed in experimental animals is
10 mediated by a mechanism that also operates in
11 humans?

12 MS. WAGSTAFF: Objection to the
13 monograph. It speaks for itself.

14 A. The mechanistic evidence that was deemed
15 strong was the genotoxicity and the oxidative
16 stress classification. You know, just those
17 characteristics.

18 BY MR. GRIFFIS:

19 Q. So just the fact of finding genotoxicity
20 and oxidative stress suffices to show this is a
21 mechanism that operates in humans.

22 Do you have to be more specific
23 than that?

24 A. Because the findings, the data, were
25 obtained in exposed humans in cultured cells -- in

1 vitro human cells -- cultured in vitro, exposed to
2 glyphosate. And in some animal models, in vivo
3 there was evidence of carcinogenicity -- or excuse
4 me. Take that back -- of genotoxicity.

5 The important thing, in terms of
6 operable in humans, is the fact that exposed
7 humans showed evidence of genotoxicity, and
8 cultured cells of human origin showed evidence of
9 genotoxicity. Those were -- those then showed
10 that this mechanism may operate in humans.

11 Q. You would agree with me that
12 genotoxicity does not mean carcinogenicity, right?

13 MS. WAGSTAFF: Object to the form.

14 A. As -- not all genotoxins lead to cancer.

15 BY MR. GRIFFIS:

16 Q. And that is because there are multiple
17 additional steps that have to take place before
18 cancer is produced, right?

19 A. Yes.

20 Q. Geno toxicity would have to lead to a
21 permanent mutation in order to cause cancer,
22 correct?

23 MR. WHITE: I'm going to object. At
24 this point, we're moving beyond the scope of
25 IARC, and we're asking for expert testimony.

1 You don't have to answer that.

2 BY MR. GRIFFIS:

3 Q. Sir, in order to reach a conclusion that
4 the genotoxic mechanisms that you identified as
5 part of working group 112 can operate in humans,
6 there would need to also be evidence that those
7 genotoxic mechanisms would lead to permanent
8 mutations, not just temporary, transient ones,
9 correct?

10 A. The evidence would be stronger if it was
11 permanent mutations.

12 Q. If there was evidence -- if, in fact,
13 the evidence was not consistent with permanent
14 mutations, than the genotoxic mechanism that you
15 observed couldn't produce cancer in that way,
16 correct?

17 MS. WAGSTAFF: Objection. Calls for a
18 hypothetical.

19 A. I don't know. I can't say anything to
20 that. I don't know.

21 BY MR. GRIFFIS:

22 Q. That wasn't part of your evaluation?

23 A. Well, if it leads to DNA damage, this
24 could lead to genomic instability and cancer. So
25 just to rule out DNA damage is not causing -- DNA

1 damage can lead to mutations.

2 Q. And DNA damage might not lead to
3 mutations, as well?

4 A. It depends on the context.

5 Q. There are all sorts of analyses and
6 assays that are done to look for actual mutations
7 such as AIMS test, right?

8 A. There are.

9 Q. Okay. And that evidence is negative for
10 glyphosate?

11 A. It is in the monograph. Whatever the
12 AIMS assay showed, it's in the monograph, whether
13 it was positive or negative.

14 Q. You don't know?

15 A. I think for the AIMS assay, the data for
16 glyphosate is negative.

17 Q. Yes, sir.

18 MR. GRIFFIS: We'll break now then for
19 lunch?

20 VIDEOGRAPHER: Off record at 11:59.

21 (A lunch recess was taken.)

22 VIDEOGRAPHER: Back on record. This is
23 DVD three at 1:05.

24 (Exhibit No. 13-13 marked for
25 identification.)

1 MS. WAGSTAFF: Just for completeness of
2 record, we had the phone line open all day,
3 and we don't believe anyone has called in;
4 and no one has made a peep.

5 BY MR. GRIFFIS:

6 Q. Dr. Ross, I hand you Exhibit 13. And
7 that is an e-mail from Dr. Rusyn to you at Martin
8 and Frank LeCurieux -- did I pronounce that right?

9 A. Correct.

10 Q. Dated February 27th of 2015, correct?

11 A. I am just looking for the actual e-mail
12 here. Let's see. Which page is it? Is it --
13 from -- that's from Kate Guyton and Ivan.

14 MS. WAGSTAFF: I'm just going to put an
15 objection on the record that there is a
16 document that was produced or provided by
17 Dr. Ross. It is a more complete cascade of
18 this conversation. And the fact that it's
19 not to all of those folks. It's just to
20 Dr. Guyton.

21 BY MR. GRIFFIS:

22 Q. You see the top of this document?

23 A. I got cc'd on it.

24 Q. Okay. And Dr. Rusyn responded to
25 Kathryn Guyton and cc'd you and suggested that you

1 take a look at some of the subsections that were
2 attached to that document, right?

3 A. Yes.

4 Q. And the document in question was the
5 Greim published article; is that correct? Greim
6 2015?

7 A. I am not familiar with that article. I
8 think -- is this the article with the -- there
9 were several studies summarized?

10 Q. Yes, sir. A summary of multiple animal
11 studies. Greim, et al., 2015.

12 A. Okay.

13 Q. And Dr. Rusyn forwarded that to you with
14 the suggestion that you take a look at the small
15 vignettes that are relevant to your subsection on
16 mechanistic data; is that correct?

17 A. Yes.

18 Q. Dr. Rusyn said, "With regard to the
19 Greim article, this is an interesting preliminal
20 piece," correct?

21 A. Yes.

22 Q. And did you view the Greim article as a
23 preliminal piece?

24 A. I didn't have an opinion on it.

25 Q. He said -- Dr. Rusyn said, "It does not

1 surprise me that, when under pressure, the
2 industry can muster a relevant publication." He
3 put relevant in quotes. "It goes from submission
4 to acceptance in as little as seven weeks,"
5 correct?

6 A. That's what is written there.

7 Q. Okay. And what did you understand him
8 to mean by the industry being under pressure?

9 MS. WAGSTAFF: Objection. Calls for
10 speculation.

11 A. I didn't know what he -- I didn't know
12 what he meant by that.

13 BY MR. GRIFFIS:

14 Q. Now, you worked with Dr. Rusyn closely
15 during working group 112 and got to know him and
16 his style of working, right?

17 A. I got to know Dr. Rusyn.

18 Q. Okay. And is his sarcastic tone towards
19 industry consistent with your experience working
20 with him on working group 112?

21 MS. WAGSTAFF: Object to the form.

22 There's nowhere on here that it says it's
23 sarcastic.

24 A. I didn't find him sarcastic. I found
25 him objective.

1 BY MR. GRIFFIS:

2 Q. Did you find this paragraph -- "This is
3 an interesting preliminal piece. It does not
4 surprise me that, when under pressure, the
5 industry can muster a 'relevant' publication. It
6 goes from submission to acceptance in as little as
7 seven weeks. Kudos to CR-2, a known helper to
8 'informative' publications from the industry
9 stakeholders for such expediency and relevancy."

10 You don't find that to be
11 sarcastic?

12 MS. WAGSTAFF: Objection. If you want
13 to know if it's sarcastic, you need to ask
14 the person who wrote it and not someone who
15 is merely cc'd on the document. This is
16 beyond the scope of -- of the subgroup's
17 determination on glyphosate.

18 A. I don't have an opinion.

19 BY MR. GRIFFIS:

20 Q. Did Dr. Rusyn express any views about
21 industry to you during working group 112?

22 A. No.

23 Q. Did he express any views to you about
24 whether he felt that the chemicals that you were
25 investigating should be more strongly regulated

1 than they were during working group 112?

2 A. No.

3 Q. Okay. He said at the end of his e-mail,
4 "I am confident that the IARC monograph will be
5 much more comprehensive and balanced," correct?

6 A. Yes. That's written here.

7 Q. And the IARC monograph did not include
8 the Greim article or the studies discussed
9 therein, correct?

10 A. Right.

11 Q. Did not discuss the Hyer & Kirkland
12 article or the studies discussed therein, correct?

13 A. Correct.

14 Q. Okay. Now, you're aware, because of the
15 correspondence that you were a signatory to
16 following IARC, that there are a number of
17 regulatory agencies that have also done reviews of
18 glyphosate both before and after the IARC review;
19 is that right?

20 MS. WAGSTAFF: Objection. This is
21 completely beyond the scope. Anything that
22 happened after IARC is not allowed by the
23 scope of the order allowed by Judge Charbriio
24 and MDL.

25 A. So -- okay. Is your question did I know

1 of anything before the meeting?

2 BY MR. GRIFFIS:

3 Q. No, sir. Question is, because you were
4 a signatory to some letters, following IARC, you
5 are aware that regulatory agencies have also done
6 reviews of glyphosate, both before and after
7 working group 112 met?

8 MS. WAGSTAFF: Objection. Again, this
9 is completely beyond the scope of what is
10 allowed by this deposition. The
11 regulatories -- decisions have nothing to do
12 with the mechanism subgroup's conclusion of
13 glyphosate, especially when you're talking
14 about after monograph 112.

15 A. So I was not aware of EFSA doing their
16 regulatory review until after it came to light --

17 BY MR. GRIFFIS:

18 Q. Yes, sir.

19 A. -- that I understood what was going on
20 there. So I am aware that regulatory agencies
21 have been reviewing glyphosate, yes.

22 Q. And are you -- and you're aware, because
23 it's part of the substance of the letters that you
24 signed, that those reviews involved a review both
25 of the published literature and the unpublished,

1 right?

2 MS. WAGSTAFF: Again, this is completely
3 beyond the scope of what's allowed, and this
4 is an abuse of the order that Judge Charbriio
5 entered allowing exploration of the mechanism
6 subgroup's conclusion about glyphosate.
7 You're asking about letters that happened
8 after monograph 112, and you're asking about
9 regulatory agencies which haven't even been
10 allowed in this litigation.

11 MR. WHITE: Yeah. At this point, I'm
12 going to instruct my client that he does not
13 have to answer these. It's not -- if it's
14 not brought back to the actual monogram.

15 MR. GRIFFIS: I'm bringing it back.

16 MS. WAGSTAFF: I think he was instructed
17 that he didn't have to answer it.

18 BY MR. GRIFFIS:

19 Q. Do you know that Dr. Jameson testified
20 today that he wasn't shown the Greim article --
21 Dr. Jameson?

22 MS. WAGSTAFF: Objection. We don't have
23 any authority or any foundation that that's
24 true. And we have no idea what the testimony
25 question was asked or what was said. That's

1 pure speculation. How would he know that?

2 MR. WHITE: You don't have to answer
3 that.

4 BY MR. GRIFFIS:

5 Q. Do you know if Dr. Jameson was shown
6 Greim?

7 MS. WAGSTAFF: Objection. Speculation.

8 MR. GRIFFIS: Okay. I'm going to mark
9 another document.

10 (Exhibit No. 13-14 marked for
11 identification.)

12 (Exhibit No. 13-15 marked for
13 identification.)

14 MS. WAGSTAFF: Did you highlight these,
15 Kirby, or is it --

16 MR. GRIFFIS: This is how we have it.

17 MS. WAGSTAFF: Okay. Wait.

18 MR. WHITE: We have two -- 14 and 15?

19 MR. GRIFFIS: Yes, sir.

20 MS. WAGSTAFF: Which one do you want as
21 14?

22 MR. GRIFFIS: 14 is that one.

23 BY MR. GRIFFIS:

24 Q. This is from the documents that you
25 provided to us, sir. Okay. Marked as Exhibit 14

1 is some comments by Chris Portier on a response by
2 EFSA to a letter sent by Portier and others.

3 And 15 I marked because it's the --
4 it has numbered paragraphs also supplied by you.
5 Numbered paragraphs that link up to the numbered
6 paragraphs in Mr. Portier's --

7 MS. WAGSTAFF: I'm again going to
8 object. The request for this deposition was
9 to explore the mechanism subgroup's
10 conclusions about glyphosate. And that is
11 what the Court allowed as a fact deposition.
12 And now you are asking about something that
13 happened in January 13th, 2016, which is a
14 year and a half after the conclusion came
15 out. And I think it's a completely
16 inappropriate line of questioning.

17 MR. GRIFFIS: It links directly to the
18 procedures used by IARC at the group.

19 BY MR. GRIFFIS:

20 Q. I just want to ask you about one comment
21 by Chris Portier, sir.

22 This is a document that you
23 recognize that came from your production, right?

24 MS. WAGSTAFF: You're talking about
25 Exhibit 14?

1 MR. GRIFFIS: Yes.

2 MS. WAGSTAFF: Okay. I object as to
3 foundation. This is from Chris Portier.
4 Nothing on here that shows him as the author.

5 BY MR. GRIFFIS:

6 Q. Sir, first of all, do you recognize this
7 as a document that you were sent?

8 A. I mean, I can't recall, but if -- you
9 know, if this was under the subpoena...

10 Q. It's a document that you provided to us.
11 I will tell you that.

12 A. If that's the case then, yes, then I --
13 then I would say, yeah, it was swept up. But I
14 don't recall this specifically.

15 Q. Okay.

16 MS. WAGSTAFF: I object to any questions
17 on this document as the deponent said he
18 doesn't recall it.

19 BY MR. GRIFFIS:

20 Q. Do you recall Mr. Portier communicating
21 with you about the responses that he was putting
22 together in asking you to be part of it and sign
23 responding to EFSA?

24 A. Yeah. We -- I was one of a
25 approximately 93 people.

1 Q. Yes, sir. And it says, "Thoughts on
2 EFSA response. See EFSA response."

3 Are these Chris Portier's thoughts
4 or your thoughts?

5 MS. WAGSTAFF: Object to any questions
6 on this document as the deponent has stated
7 he doesn't remember this document.

8 A. These are not my comments.

9 BY MR. GRIFFIS:

10 Q. Okay. Comment on paragraph 19, "After
11 carefully reading the current RAR, they may be
12 correct" -- that's R-A-R -- "they may be correct
13 in saying that IARC could have used these data.
14 However, second guessing this at this time is
15 wasted effort."

16 See that, sir?

17 MS. WAGSTAFF: Objection to asking
18 questions on this document, as the deponent
19 has said he does not recall it. He also
20 stated these are not his comments.

21 BY MR. GRIFFIS:

22 Q. You see that, sir?

23 A. I see it. These are not my comments.

24 Q. No, sir. I'm not saying that they are.
25 Chris Portier's comments.

1 Would you go to paragraph 19 in
2 Exhibit 15 so that we can see what he's talking
3 about?

4 MS. WAGSTAFF: Objection. No
5 foundation. Chris Portier's comments.

6 A. Exhibit 15.

7 BY MR. GRIFFIS:

8 Q. Yes, sir. See these paragraphs are hand
9 numbered, and they match up with the comments on
10 the other. That's why I produced this one to you.

11 A. Okay. Paragraph 19?

12 Q. Right. And paragraph 19 reads, "I wish
13 to make a final but important point regarding
14 transparency. The background documents display
15 detailed information on how EFSA and Member States
16 appraised each study, including industry sponsored
17 studies and how all those which participated,
18 except Sweden, concluded that glyphosate is
19 unlikely to pose a carcinogenic hazard to humans."

20 Did I read that correctly?

21 A. Yes.

22 Q. Okay. So my question to you now, sir,
23 is, do you agree that IARC could have used those
24 data that were reviewed by EFSA and not reviewed
25 by IARC?

1 A. IARC -- the preamble -- sorry.

2 MS. WAGSTAFF: I was going to say an
3 objection to using this document, as the
4 deponent has said he does not recall this
5 document, and this is calling for an
6 expert -- calling for expert testimony and
7 hypotheticals when he has stated all along
8 that they followed the procedures as set
9 forth in the preamble.

10 BY MR. GRIFFIS:

11 Q. So your answer?

12 A. The preamble asked us to look at the
13 publicly available literature.

14 Q. Okay. Could IARC -- I don't mean -- was
15 it a -- was it consistent with IARC's rules or
16 would it have been against the rules or not -- as
17 a scientist, doing a review of the science on the
18 mechanism, could you have used the additional data
19 found in the industry studies that were reviewed
20 by EFSA and other regulators?

21 MS. WAGSTAFF: Objection. You're asking
22 him whether or not he should have broke from
23 IARC procedure, and I think that puts the
24 deponent in a very uncomfortable position;
25 and it's an inappropriate question.

1 BY MR. GRIFFIS:

2 Q. Let me be clear. I'm not asking you if
3 it would have been good for you to go ahead and
4 break with IARC procedures. I'm asking you, as a
5 scientist, doing what's supposed to be an
6 objective evaluation of the available evidence on
7 glyphosate, would it have been useful to you to
8 have even more evidence to look at, i.e., the
9 evidence looked at by EFSA and not by IARC?

10 MS. WAGSTAFF: Object.

11 BY MR. GRIFFIS:

12 Q. Would that have improved or made worse
13 your evaluation of mechanism?

14 MS. WAGSTAFF: Objection. Foundation.
15 We don't even know what the data is you're
16 talking about -- the strength, weaknesses the
17 biases, anything with respect to that data.

18 MR. WHITE: When answering this, just
19 answer to the best of your ability with --
20 from your own knowledge. All right? You
21 don't need to speculate on whether or not you
22 should or should not have been using data
23 that was not provided to you.

24 A. I don't know the answer to your
25 question. I don't know without -- I can't

1 speculate. I feel like I would be speculating.

2 BY MR. GRIFFIS:

3 Q. Because you don't know what that data
4 shows?

5 A. The form of the data, where it's
6 published, I would -- I think it's speculative for
7 me to say.

8 Q. Based on your understanding of the
9 methodology that you were to follow as part of
10 working group 112, would more information that is
11 negative weaken your conclusion of a strong
12 association, or is that not the way the
13 methodology works?

14 MS. WAGSTAFF: Objection. Calls for a
15 hypothetical and speculation on what would
16 have happened had some fictitious data been
17 available pursuant to the preamble.

18 BY MR. GRIFFIS:

19 Q. Do you understand the question, sir?

20 A. I do.

21 Q. Okay. So now -- and what it is, is
22 given the procedure that you're following, given
23 the methodology that IARC asked you to follow, you
24 had evidence of genotoxicity that you considered
25 to be strong. You had evidence of oxidative

1 stress that you considered to be strong.

2 What does the methodology say you
3 are to do with additional negative information
4 about genotoxicity and additional negative
5 information about oxidative stress? Would that
6 weaken or have no effect on a conclusion of
7 strong?

8 MS. WAGSTAFF: Objection. Calls for a
9 hypothetical. Again, talking about data that
10 is not allowed under the preamble.

11 MR. WHITE: I advise you to only answer
12 to the extent that you know under the
13 preamble. All right?

14 A. Preamble says we were to evaluate the
15 publicly available literature, and that's what we
16 did.

17 BY MR. GRIFFIS:

18 Q. Do you know, in working group 118 and
19 working group 119, they looked at non-published
20 literature?

21 MS. WAGSTAFF: Objection. This is
22 completely outside the scope when we're
23 talking about other monographs. We're here
24 to talk about monograph 112 and specifically
25 the mechanism subgroup. And now you're

1 bringing up monographs 117 and 120 that we
2 know absolutely nothing about.

3 BY MR. GRIFFIS:

4 Q. 118 and 119. Did you know that, sir?

5 MR. WHITE: If we -- if this isn't going
6 to be brought back to the monograph that's
7 actually at issue, I'm going to instruct him
8 not --

9 MR. GRIFFIS: It is, sir. It is.

10 BY MR. GRIFFIS:

11 Q. Do you know that IARC doesn't always
12 follow what you're saying is the rule of only
13 looking at published literature? Do you know
14 that?

15 MS. WAGSTAFF: Completely beyond the
16 scope of this deposition. I object for that.

17 MR. WHITE: You don't have to answer
18 that.

19 BY MR. GRIFFIS:

20 Q. Sir, do you know why the leaders of IARC
21 chose not to look at unpublished data in working
22 group 112?

23 MR. WHITE: To the extent of your
24 knowledge.

25 A. Because it wasn't in the publicly

1 available database.

2 BY MR. GRIFFIS:

3 Q. And do you know why they chose to look
4 at unpublished literature in other monographs?

5 MS. WAGSTAFF: Objection. Foundation.
6 And beyond the scope allowed by this
7 deposition.

8 MR. WHITE: To the extent of your
9 knowledge.

10 MS. WAGSTAFF: And calls for
11 speculation. How is he supposed to know what
12 other people did or didn't do?

13 A. I didn't know.

14 BY MR. GRIFFIS:

15 Q. Were you aware before today that IARC
16 doesn't necessarily follow a rule of not looking
17 at unpublished data?

18 MS. WAGSTAFF: Objection. Foundation.
19 Timing and the scope of this deposition. And
20 his attorney has already instructed him not
21 to answer on that.

22 MR. WHITE: That's true. You don't have
23 to answer that.

24 BY MR. GRIFFIS:

25 Q. Sir, you came to working group 112. You

1 followed the rules. The rules, as you understood
2 them, didn't permit you to consider registration
3 studies, didn't permit you to consider data
4 generated by industry, and didn't permit to
5 consider -- although you weren't part of the
6 decision -- the Greim data or the Hyer & Kirkland
7 data.

8 Is that all correct?

9 MS. WAGSTAFF: Objection to the phrasing
10 of that whereas it was the rules as he
11 considered it. Later monographs looked at
12 unpublished data for one reason or another as
13 you're apparently representing. We have no
14 idea if the rules change. We have no idea
15 under what circumstances that happened. And
16 we have no idea of any facts surrounding that
17 method. It's beyond the scope of the
18 deposition.

19 MR. GRIFFIS: I object to the continued
20 speaking deposition [sic] which are taking
21 more transcript than my questions.

22 BY MR. GRIFFIS:

23 Q. Everything I just said is true, right?

24 A. We were instructed to evaluate the
25 publicly available literature.

1 Q. Right. And you know that there was a
2 body of registration studies, a body of industry
3 studies. There were studies mentioned in the
4 Greim article study. There were studies mentioned
5 in Hyer & Kirkland. And you were not to consider
6 any of those.

7 You did know that, right?

8 A. I didn't know the specifics of the
9 industry studies.

10 Q. Okay. And you didn't look at those
11 studies, I know, but you know that such studies
12 existed and that you weren't going to be looking
13 at them?

14 A. I didn't know the scope of the industry
15 studies.

16 Q. Okay. Do you know today that there are
17 such studies?

18 A. Based on the Greim article?

19 MS. WAGSTAFF: Scope.

20 BY MR. GRIFFIS:

21 Q. Based on the Greim article.

22 You were copied on that e-mail
23 before you went to working group 112 attaching the
24 Greim article, right?

25 A. Yes.

1 Q. Okay, sir. And is it fair to say that
2 you don't know what your conclusions would have
3 been with regard to mechanism had you seen those
4 studies.

5 Is that fair?

6 A. I can't speculate on that because we
7 didn't see it.

8 Q. Right. So you're agreeing with me.

9 You don't even know what -- you
10 didn't know how that would have affected your
11 analysis?

12 A. I can't speculate on that because we
13 were instructed to look at the publicly available
14 literature.

15 Q. Okay. Now, I am going to ask you a
16 question about the methodology that you were asked
17 to follow.

18 And this isn't about whether you
19 look at publicly available literature or not.
20 This isn't about that facet of the methodology
21 prescribed to you by IARC. It's about a different
22 facet.

23 My question is this, sir. Were you
24 instructed, if you find multiple articles that
25 show, in your view, a strong genotox signal and

1 multiple articles that show a strong oxidative
2 stress signal, plus there are a whole bunch of
3 other articles in those same categories that are
4 negative, what are you to do with the negative
5 articles? Do they tend to weaken your conclusion,
6 as to strong association, or they have no impact
7 on it because you already have a number of
8 articles showing this association?

9 Do you understand my question?

10 A. So we look at the overall database, and
11 we try to balance it with positive articles --
12 articles that suggest strong evidence versus
13 negative evidence. So we are trying to look at
14 the entire database as a whole and weigh that.

15 Q. So you were weighing the evidence. And
16 if there was negative evidence that would tend to
17 count against a conclusion -- a strong conclusion
18 with regard to genotox or oxidative stress or any
19 of the other ten cancer characteristics, right?

20 A. I believe the -- in the monograph that
21 the tables lay out in a balanced way several of
22 the positive studies and some of the negative
23 studies, but on balance, there were more positives
24 than negatives that helped us draw a conclusion.

25 Q. Right. And right now I'm not asking

1 about how those studies came out in your -- in
2 your weighing. I'm asking you about what you
3 understood to be the rules that you were following
4 in doing the weighing. And I believe you're
5 telling me your understanding was that, to the
6 extent that there are negative studies in a
7 particular category, those tend to count against a
8 finding of strong.

9 And to the extent that there are
10 positive studies, they tend to count for a finding
11 of strong, and you -- you weigh them; is that
12 correct?

13 A. Within the publicly available
14 literature, we try to weigh both sets of data.

15 Q. Okay. And so you try to weigh both sets
16 of data within the literature that you were
17 provided as part of working group 112 and the
18 publicly available literature that you found. And
19 you -- and to the extent that there was negative
20 data in that data set, it counted against your
21 conclusion of strong.

22 That's fair?

23 A. We would weigh all the studies together,
24 positive and negative.

25 Q. All right. Is your lab here at MSU a

1 GLP lab?

2 A. No.

3 Q. Are there any GLP labs at MSU?

4 MS. WAGSTAFF: Object to scope. Whether
5 or not Mississippi State University has a GLP
6 lab has nothing to do with the mechanisms of
7 that group's conclusions about glyphosate,
8 completely irrelevant.

9 MR. WHITE: You can answer to your
10 knowledge?

11 A. I'm not aware. I don't know if there
12 are or not.

13 BY MR. GRIFFIS:

14 Q. Okay. Do you know generally how GLP
15 certification is achieved?

16 MS. WAGSTAFF: Objection. This is not
17 relevant to the scope of this deposition.

18 MR. WHITE: Only to your knowledge.

19 A. My only knowledge is from work I did in
20 a contract lab back in the early '90s that was GLP
21 certified. So that is my knowledge of GLP.

22 BY MR. GRIFFIS:

23 Q. Okay.

24 A. When I worked in a contract lab.

25 Q. Okay. You worked in a GLP lab?

1 A. Yes.

2 Q. And your -- there were independent
3 auditors in that lab, correct?

4 A. We would have auditors that came in
5 either from the company or from government, in
6 EPA, for example.

7 Q. The company auditors -- I don't know if
8 you knew this or not -- but did you know that they
9 were required to have a different management than
10 the management of the lab so that they're
11 reporting to different people?

12 MS. WAGSTAFF: Objection. This is
13 getting way beyond monograph 112 and whether
14 or not he knows about the management of GLP
15 labs.

16 A. I don't know that level of detail about
17 GLP.

18 BY MR. GRIFFIS:

19 Q. Okay, sir.

20 (Exhibit No. 13-16 marked for
21 identification.)

22 BY MR. GRIFFIS:

23 Q. Sir, Exhibit 16 is an e-mail from you to
24 Dr. Rusyn, March 11th of 2015, which is the day
25 you left Lyon, right?

1 A. Yes.

2 Q. And you told him, "You did a fantastic
3 job as chair," and asked to keep in touch, right?

4 A. Yes.

5 Q. Okay. And you were responding to a
6 March 9th -- you weren't responding to the
7 substance, but you clicked respond on a March 9th
8 e-mail from Dr. Rusyn, correct?

9 A. Yes.

10 Q. Okay. And Dr. Rusyn wrote, "I would
11 like to convene group 4 downstairs in the first
12 coffee break to discuss the information below,"
13 correct?

14 A. Yes.

15 Q. Okay. And March 9th was the second to
16 last day of working group 112, right?

17 A. Yes.

18 Q. Okay. This e-mail -- we don't have some
19 of the header information. In Dr. Rusyn's e-mail,
20 your system that you were using didn't include it.

21 But was this e-mail sent to you and
22 the others in group 4?

23 A. I would -- it was sent to me. I would
24 assume all the members received it.

25 Q. And did you, in fact, convene downstairs

1 in the first coffee break to discuss the
2 information?

3 A. We did to discuss a potential upgrade.

4 Q. Okay. And what do you mean by upgrade?

5 A. The mechanistic upgrade. If animal data
6 was considered limited and the human epi data was
7 considered limited by the IARC rubric in the
8 preamble, if there was mechanistic information
9 that was considered strong by the subgroup, we
10 could consider an upgrade.

11 Q. So you wanted to make sure we were all
12 on the same page, we being group 4, correct?

13 A. Yes.

14 Q. Lower the evaluations from groups 2 and
15 3 in the IARC matrix. You apparently attached the
16 matrix; although, that didn't come through in what
17 you sent us, right?

18 A. Where's the matrix? I'm sorry. I don't
19 see what.

20 Q. I'm reading from the e-mail. "Just to
21 make sure we're on the same page, below are the
22 evaluations from groups 2 and 3 and the IARC
23 matrix."

24 A. Oh, okay.

25 Q. And there's some image that was attached

1 but didn't come through in what you provided to
2 us, presumably the matrix.

3 "To get us to understand where our
4 conclusions fit." That's what he wrote, right?

5 A. Yes.

6 Q. With regard to glyphosate, he said,
7 "human limited." That's group 2, finding of
8 limited. Group 3, finding of limited.

9 Correct?

10 A. At this -- well, at -- I don't know what
11 was going on in group 2. I am not privy to their
12 conversations, but it is -- it says "animal,
13 limited" there. So he was convening a meeting --

14 Q. He says below --

15 A. -- to discuss --

16 Q. Yes, sir.

17 And he was -- this is at 9:00, so
18 it's after both plenary sessions for the day,
19 right?

20 MS. WAGSTAFF: Objection. Where do you
21 see that it's at 9:00?

22 MR. GRIFFIS: I'm sorry. I'm wrong.

23 It's at 4:42.

24 BY MR. GRIFFIS:

25 Q. It's at a break from the plenary

1 session, correct?

2 MS. WAGSTAFF: Well, object to that. We
3 don't if it's a.m. or p.m.

4 A. I don't know what time it is.

5 BY MR. GRIFFIS:

6 Q. Were you taking a coffee break at 4:42
7 a.m. or 4:42 p.m., sir?

8 A. No. This was not a -- we were
9 meeting -- the first coffee break, that would be
10 in the morning.

11 Q. The first coffee -- so was this meeting
12 to be held on the 9th or the 10th?

13 A. I don't recall.

14 Q. All right. Anyway, he was -- he said,
15 "Below are the evaluations from groups 2 and 3."
16 And the evaluation that he reported from group 2
17 was human glyphosate -- human, limited. And the
18 evaluation that he reported for group 3 for
19 glyphosate was animal, limited. Correct?

20 A. That's what's written here.

21 MS. WAGSTAFF: Object to the form.

22 BY MR. GRIFFIS:

23 Q. And what would -- you were in the
24 plenary sessions, right, sir?

25 A. Yes.

1 Q. What was the basis for the finding of
2 limited in the animal study group as of March 9th?

3 MS. WAGSTAFF: I'm going to object to
4 the suggestion that these were announced at
5 the plenary session. Nowhere on here that I
6 can see does it say that Dr. Rusyn got this
7 from the plenary session. We don't know
8 where he got them from.

9 A. I don't recall what -- the discussion
10 regarding the limited evidence.

11 BY MR. GRIFFIS:

12 Q. Do you know, sir, whether Dr. Rusyn got
13 this from a public session that you were present
14 at or from a closed session where only he and a
15 few other people were present?

16 A. I don't know.

17 Q. Do you know where Dr. Rusyn got the
18 impetus to ask for an upgrade?

19 MS. WAGSTAFF: Objection. Calls for
20 speculation.

21 A. Part of the rubric or the preamble gives
22 the mechanistic group the ability -- well, to
23 propose an upgrade if the evidence warrants it.

24 BY MR. GRIFFIS:

25 Q. He says -- okay. And I want to finish

1 out my question.

2 Do you have any understanding as to
3 the basis for the animal group's evaluation, as of
4 March 9th, being limited?

5 MS. WAGSTAFF: Objection. Asked and
6 answered.

7 A. I don't know. I don't know the basis of
8 what was -- what they considered limited.

9 BY MR. GRIFFIS:

10 Q. Earlier you told -- you testified that,
11 in your opinion, the most controversial issue with
12 regarding to glyphosate was group 3's
13 classification as between limited and sufficient
14 with regard to particular animal tumor data; is
15 that right?

16 A. This was the main issue. This was an
17 important issue. There was a lot of debate about
18 it.

19 Q. And when did you witness that debate or
20 hear about that debate?

21 A. In the plenary session.

22 Q. There was debate at the plenary session
23 between limited and sufficient in the animal study
24 group; is that right?

25 A. There was -- in the early plenary

1 session, there was -- there was debate. There was
2 further analysis going on, but I was not privy to
3 all that data analysis because I am not a cancer
4 biologist. So it was out of my -- my expertise.

5 Q. What was being said by the advocates for
6 the limited view in those sessions that you
7 witnessed advocating for a limited finding?

8 A. What was said?

9 Q. Yes, sir.

10 A. I don't recall.

11 Q. Who was making -- who was making the
12 points in favor of a limited deal?

13 MS. WAGSTAFF: Objection. Asked and
14 answered. He said he didn't know that.

15 A. I really don't recall who was arguing.
16 At this stage, I was busy getting my drafts
17 together, doing some fact-checking. I know there
18 was lots of debate. It wasn't in my area of
19 expertise, so the -- in the conversations that
20 were going in the group 3 where I wasn't present
21 for it.

22 Q. And in evaluating it as the most
23 contentious issue with regard to glyphosate at
24 working group 112, what were you basing that on?
25 Hearing people argue and not understanding the

1 arguments or what?

2 A. No. There was a --

3 MS. WAGSTAFF: Objection.

4 Argumentative.

5 A. Yeah. There was a lot of debate. There
6 was a lot of scientific debate about the evidence
7 about -- and how it fit with the preamble.

8 BY MR. GRIFFIS:

9 Q. And as you're sitting here, you can't
10 remember anything about that debate or who was
11 advocating on which side?

12 MS. WAGSTAFF: Objection. Asked and
13 answered.

14 A. I -- I don't recall. I -- I don't
15 recall the limited -- who was advocating for
16 limited. I don't recall who -- who was advocating
17 for a limited stance.

18 BY MR. GRIFFIS:

19 Q. Was it only the members of the -- of
20 group 3 who were having that debate, or was Chris
21 Portier or Kurt Straif or Dr. Rusyn or anyone else
22 also participating in it?

23 A. There was debate with the whole group in
24 the plenary session. There was debate going on
25 with several scientists.

1 Q. Any from group 4?

2 A. Yes.

3 Q. Who?

4 A. Dr. Rusyn. He was -- he was debating
5 the evidence.

6 Q. He was advocating for a finding of
7 sufficient, correct?

8 A. I don't -- that word "advocate," I --
9 you know, I don't recall if it was -- he didn't
10 use the word "advocate."

11 Q. Yes, sir. You used the word "debate"
12 earlier.

13 A. Yeah. Debate about the evidence. Or
14 there's debate about how to deal with this animal
15 cancer bioassay data. We had, you know, multiple
16 species getting tumors, different types of tumors,
17 so there was debate there.

18 Q. What analyses or reanalyses of the
19 cancer data are you aware of from being a
20 participant in working group 112?

21 MS. WAGSTAFF: Objection. He testified
22 he did not participate in the animal
23 subgroups.

24 A. I don't know what analyses or reanalyses
25 were being conducted. I know on the -- on the --

1 they have -- they stated in the monograph what
2 statistical analyses were being used. But I am
3 not familiar with what was done.

4 BY MR. GRIFFIS:

5 Q. Okay. Was Chris Portier involved in the
6 debate over whether the animal group conclusion
7 should be limited or sufficient?

8 A. I don't recall him specifically. I
9 don't can't recall.

10 Q. Was Kurt Straif involved in that debate?

11 MS. WAGSTAFF: You now asked him seven
12 different times if he recalls who was
13 involved in the debate on which side, and
14 every time he said he doesn't recall. So I'm
15 not quite sure we need to stay on this topic.

16 A. I don't recall if Kurt was involved in
17 the discussion. He may have been trying to
18 form -- you know, mediate, be a moderator, as his
19 role as the head of the IARC monographs. But
20 that's, I mean, certainly not advocating for one
21 side or the other.

22 BY MR. GRIFFIS:

23 Q. Dr. Rusyn says, after he reports that
24 the animal group, as of March 9th, was -- had a
25 finding of limited. "I have questions on the

1 limited in animals because there are two studies
2 showing significant effect."

3 You see that, sir?

4 A. Yes.

5 Q. Did Dr. Rusyn express during this coffee
6 break meeting or any other time his position that
7 limited was the wrong conclusion and sufficient
8 was the correct conclusion for the animal studies
9 group?

10 MS. WAGSTAFF: Objection as to scope.

11 This deposition was noticed to explore the
12 mechanism subgroup's conclusions about
13 glyphosate, and you are directly asking him
14 about some other person's opinion on the
15 animal subgroup.

16 A. I think he was questioning these two
17 studies showing a significant effect, and I don't
18 recall which two studies they are. Again, I don't
19 think he was strongly advocating limited or
20 sufficient at that time.

21 BY MR. GRIFFIS:

22 Q. During this coffee break meeting or at
23 any other meetings with Dr. Rusyn, did he express
24 in front of you what his questions were on the
25 classification as limited?

1 MS. WAGSTAFF: Same objection as to
2 scope. This deposition was noticed to
3 explore the mechanism subgroup's conclusion
4 about glyphosate, and you're asking him
5 questions about some other scientist's
6 opinion on the animal subgroup.

7 A. I don't recall what his questions were
8 about limited.

9 BY MR. GRIFFIS:

10 Q. Again, sir, the point of this meeting --
11 this coffee break meeting on the second to last
12 day of working group 112 was to talk about an
13 upgrade, which is an interaction between the
14 mechanism group's conclusions and those of the
15 animals study's group to alter the classification;
16 is this right?

17 MS. WAGSTAFF: Object to the form.

18 A. It was meeting to -- as to whether the
19 mechanistic subgroup should bring forward to the
20 whole group in the plenary session whether a
21 mechanistic upgrade should be voted on or asked
22 for.

23 BY MR. GRIFFIS:

24 Q. Tell us what happened at this meeting.

25 A. Which particular meeting?

1 Q. The first coffee break meeting that
2 Dr. Rusyn convened on the second to last day of
3 working group 112?

4 A. So it dealt with the mechanistic
5 evidence we had. We had given the qualitative
6 descriptor of strong to both the genotoxicity data
7 and the oxidative stress data. These were two of
8 the ten characteristics of the human carcinogens.
9 And the debate or the question that was being
10 raised was whether we bring it forward to
11 upgrade -- as an upgrade in the plenary session.
12 Was it -- was the group comfortable with that
13 approach.

14 Q. Was Dr. Rusyn's recommendation that the
15 group bring it forward, and he was seeing if you
16 were comfortable with that approach?

17 MS. WAGSTAFF: Objection. Scope.

18 A. It wasn't his recommendation. He took a
19 straw poll of the group -- of the subgroup.

20 BY MR. GRIFFIS:

21 Q. Did he lay out the analysis before he
22 took the straw poll?

23 A. The analysis was in the monograph in the
24 drafts of the mechanistic section. So the
25 rationale is in the monograph for labeling the

1 genotoxicity data as strong evidence and the
2 oxidative stress data as indicating strong
3 evidence. So the rationale was there. So we were
4 familiar with that.

5 Q. Okay. And as to all three of the
6 substances that he wanted to talk about --
7 malathion, diazinon, and glyphosate -- he was
8 either supporting saying we support the
9 classification in 2-A or suggesting considering
10 upgrade to 2-A, correct?

11 A. This is for glyphosate?

12 MS. WAGSTAFF: Object.

13 BY MR. GRIFFIS:

14 Q. For malathion, diazinon, and glyphosate.
15 Should I ask the question again,
16 sir?

17 A. Let me just read this.

18 Q. Sure. Okay.

19 A. Okay, sir. Your question?

20 Q. Yes, sir. In this meeting that
21 Dr. Rusyn convened on the last day -- second to
22 last day of working group 112, with regard to all
23 three of the substances that he addressed in his
24 e-mail, you were either already at 2-A or he was
25 suggesting considering an upgrade to 2-A; is that

1 right?

2 A. For malathion, we were at 2-A.

3 Q. And for the other two, he suggested
4 considering an upgrade to 2-A, right?

5 A. He was -- yes. He was asking whether we
6 should consider an upgrade to 2-A.

7 Q. And the group decided to upgrade to 2-A
8 as to both of those, right?

9 A. Glyphosate, we didn't upgrade. Right.
10 We did -- didn't -- there was no upgrade because
11 the final conclusion for the human data with
12 limited evidence -- and for the animal data, it
13 was considered sufficient based on IARC's rubric,
14 that constitutes a 2-A classification. So we did
15 not need to propose an upgrade.

16 Q. Well, when you walked out of this
17 meeting, what had you decided about proposing an
18 upgrade?

19 A. That's while the meeting is going on.
20 So we -- he had taken -- we had taken a straw
21 poll, and we supported the proposal to upgrade if
22 necessary. That never occurred, though. That
23 never happened because it was 2-A based on the
24 animal data and the human data.

25 Q. So the outcome of this coffee break

1 meeting on March 9th was the mechanism group
2 agreeing to support an upgrade as to diazinon and
3 to glyphosate, but it never became necessary for
4 the mechanism group to put that into effect at a
5 plenary session because the animal group moved; is
6 that right?

7 A. For glyphosate.

8 Q. For glyphosate.

9 What happened with diazinon?

10 MS. WAGSTAFF: Objection. Scope.

11 Irrelevant to this litigation.

12 A. I can't recall. We'll have to look at
13 the monograph.

14 BY MR. GRIFFIS:

15 Q. Okay. Was Chris Portier at that
16 meeting, coffee breaking?

17 A. I don't recall.

18 Q. Okay. And, sir, I have some questions
19 for you about your understanding of the nature of
20 the review that you were conducting as a member of
21 working group 112. I'll show you a document on
22 that first. Okay. If I can find it.

23 (Exhibit No. 13-17 marked for
24 identification.)

25 MR. GRIFFIS: I only have two copies of

1 that.

2 BY MR. GRIFFIS:

3 Q. Okay. Sir, on March 30th of 2015,
4 someone named Nathaniel Harmon, who I assume you
5 didn't previously know, e-mailed you saying he
6 worked for Guide Point, inviting you to talk to a
7 client who was an institutional investor about
8 glyphosate; is that right?

9 A. Yes.

10 Q. And you declined the invitation but told
11 Mr. Harmon some things about the nature of the
12 evaluation that you had performed as a member of
13 working group 112; is that right?

14 A. Yes.

15 Q. First of all, you corrected him that it
16 wasn't a study.

17 It was a review of scientific
18 literature, right?

19 A. Yes.

20 Q. And you stress that IARC deals with
21 hazard identification as opposed to a risk
22 assessment; is that right?

23 A. Correct.

24 Q. And hazard identification, as you
25 described to Mr. Harmon, is a classification

1 indicating the strength of the evidence that a
2 substance can cause cancer, right?

3 A. Correct.

4 Q. And it's different than a risk
5 assessment, which defines the level of
6 carcinogenic risk for individuals; is that right?

7 A. Correct.

8 Q. And you referred him to the IARC
9 preamble on that subject?

10 A. Yes.

11 Q. Okay. And you have the preamble there,
12 sir. The preamble is Exhibit 10.

13 A. Okay.

14 Q. On Page 2, sir, the preamble in the
15 third full paragraph under objective and scope --

16 A. I'm sorry. What page?

17 Q. Page 2.

18 A. Page 2.

19 Q. Under the heading of objective and
20 scope.

21 A. I'm not finding it.

22 Q. The pages -- when I say Page 2, I mean
23 the page numbered 2, not the second page.

24 A. Can you point it out to me?

25 Q. I'm sorry. The numbers start here.

1 A. Okay. Got you.

2 Q. There's no numbers on the first two
3 pages. Page 2, objective and scope, third full
4 paragraph. This is -- this is the methodology
5 that you were following. "Cancer hazard is an
6 agent that is capable of causing cancer under some
7 circumstances; while a cancer risk is an estimate
8 of the carcinogenic effects expected from exposure
9 to a cancer hazard," correct?

10 A. Yes.

11 Q. Okay.

12 A. That's what the IARC preamble says.

13 Q. And it says -- it goes on to say in that
14 same paragraph that, "The monograph identified
15 cancer hazards even when risks are very low at
16 current exposure levels, and that's because new
17 uses or unforeseen exposures could engender risks
18 that are significantly higher; is that right?

19 A. Yes.

20 Q. Okay. So under this hazard versus risk
21 approach, it is possible for a substance to be a
22 hazard without actually being a risk to causing
23 human cancers.

24 Is that fair?

25 MS. WAGSTAFF: Objection. Calls for

1 expert opinion. And it's -- you've just
2 asked him to admit that the IARC doesn't look
3 at risk assessments, so now you're -- you
4 shouldn't be asking about risk assessments as
5 a fact witness on the IARC 112.

6 A. This -- so your question is hazard --
7 hazard versus risk?

8 BY MR. GRIFFIS:

9 Q. Yes, sir.

10 A. And we were dealing with a hazard
11 assessment in IARC. Risk assessments was not our
12 job.

13 Q. Right. And I just wanted to -- these
14 questions are so that we can understand and the
15 jury can understand what you understood yourself
16 to be doing as a member of working group 112.
17 That's why I'm asking you about this, sir.

18 You understood, as a member of
19 working group 112, in identifying glyphosate as
20 being a cancer hazard, that it could be that
21 humans would not be exposed to glyphosate at a
22 level that could be a threat to them, whether it's
23 a hazard or not. True?

24 MS. WAGSTAFF: Objections. Calls for
25 expert opinion. He's now said two times that

1 he didn't do risk assessments. So asking him
2 whether or not humans are exposed at a level
3 that's dangerous is a back door way of asking
4 for an expert opinion, and it's
5 inappropriate.

6 A. I'm not an expert in risk assessment.

7 My role here was to study the toxicokinetic
8 database.

9 BY MR. GRIFFIS:

10 Q. And you were a member of the whole
11 working group on the entire issue of mechanism,
12 right?

13 A. Correct.

14 Q. Okay. Based on your work and your
15 conclusions and what the mechanism group did, the
16 mechanism group's conclusions do not translate to
17 a statement that glyphosate is capable of causing
18 cancer in humans at levels at which humans are
19 actually exposed.

20 Because you didn't look at the
21 exposure issue, correct?

22 MS. WAGSTAFF: Objection. Calls for
23 expert opinion. It's not a negative or a
24 positive finding in that way, I believe that
25 the doctor has said.

1 A. There is an exposure subgroup in the
2 IARC panel that deals with exposures.

3 BY MR. GRIFFIS:

4 Q. No. The --

5 A. So there is evidence of exposure, human
6 exposure.

7 Q. Yes. Whether humans are exposed.

8 A. Right.

9 Q. And there's some information as to the
10 ways that they're exposed.

11 But my question is a little
12 different, sir. As a member of working group 112
13 and a member of the mechanism subgroup, your
14 conclusions about glyphosate being a hazard with
15 regard to carcinogenicity does not translate into
16 a statement that glyphosate is capable of causing
17 cancer in any particular actual human at the
18 levels to which they are exposed?

19 MS. WAGSTAFF: Objection. Calls for an
20 expert opinion. That's not what he's tested,
21 and he's has admitted he's not an expert on
22 risk assessment. This line of questioning is
23 inappropriate.

24 MR. WHITE: I believe he's answered more
25 than one time that the analysis that they did

1 was for -- not for risks but for hazards.

2 I'm not sure that we need to keep asking the
3 same question.

4 BY MR. GRIFFIS:

5 Q. Okay. So that the jury can understand
6 what you understood yourself to be doing and the
7 meaning of the procedure you were following in
8 following the preamble, sir, it is true that we
9 can't conclude that any particular human being
10 ever got cancer from glyphosate from IARC's
11 findings.

12 Is that true?

13 MS. WAGSTAFF: Objection. Calls for
14 expert opinion. Misstates the testimony and
15 the preamble.

16 MR. WHITE: Yeah. You only have to
17 answer to the extent of your knowledge based
18 on hazard versus risk. You do not have to
19 offer any kind of opinion.

20 A. I think you're asking me to give an
21 opinion.

22 BY MR. GRIFFIS:

23 Q. I'm asking you to help the jury
24 understand what hazard means, that you were doing
25 a hazard assessment and that you were aiming to

1 point out the difference between hazard and risk,
2 which you told them is done by regulatory
3 bodies -- risk assessment if done by regulatory
4 bodies.

5 MS. WAGSTAFF: I object. You're asking
6 him to take the hazard definition and the
7 risk definition as put in the preamble and
8 apply the risk definition to what they -- the
9 IARC found about hazards. And I feel that
10 that is an expert opinion, and I feel that
11 his attorney is appropriate in instructing
12 him not to answer.

13 BY MR. GRIFFIS:

14 Q. IARC did not find that any human ever
15 got cancer from glyphosate, right?

16 MS. WAGSTAFF: Objection. Misstates the
17 record.

18 A. IARC's conclusion is that glyphosate
19 falls under two way designation. Probably
20 carcinogenic to humans. And that's, I think, all
21 I can say.

22 BY MR. GRIFFIS:

23 Q. Is it consistent or inconsistent with a
24 finding of 2-A, given the scope of the review that
25 you conducted and given that it was a hazard

1 assessment, that glyphosate has never caused
2 cancer in any human being?

3 MS. WAGSTAFF: Objection. You're
4 calling for an expert opinion again. He's
5 just told you that all he can say is that
6 glyphosate -- or that IARC found it a 2-A.
7 And now you're asking him to apply and come
8 up with an expert opinion, which is
9 inappropriate.

10 A. I'm not an expert in risk assessment, so
11 I can't really give you an answer on that.

12 BY MR. GRIFFIS:

13 Q. Okay. Sir, so is it fair to say that
14 you can't say whether IARC's conclusion that
15 glyphosate is classified as 2-A is consistent with
16 glyphosate never having caused any actual human
17 cancer?

18 MS. WAGSTAFF: Objection. You're doing
19 a back door question to get him to give an
20 expert opinion, and that's inappropriate.

21 BY MR. GRIFFIS:

22 Q. You can't say?

23 MS. WAGSTAFF: Same objection. Calling
24 for expert opinion. I think it's
25 inappropriate.

1 MR. WHITE: You can answer whether or
2 not you have knowledge but not --

3 A. Glyphosate was deemed to be 2-A by the
4 working group.

5 BY MR. GRIFFIS:

6 Q. Yes, sir. And as a member of the
7 working group, I just wanted to know whether it's
8 your understanding that glyphosate could be 2-A
9 and that no human being ever got cancer from
10 glyphosate. Because that's a risk issue, not a
11 hazard issue.

12 Is that your understanding, or am I
13 wrong about that?

14 MS. WAGSTAFF: Objection. Once again,
15 you're calling for an expert opinion. He's
16 told you what IARC did as a hazard report.
17 He told you the conclusion. And you're
18 asking him to apply a risk assessment.

19 A. I can't say for sure -- you don't know.
20 You don't -- 100 percent certainty that glyphosate
21 never caused cancer, you can't say that.

22 BY MR. GRIFFIS:

23 Q. You can't say one way or the other?

24 MS. WAGSTAFF: Objection. Calls for an
25 expert opinion.

1 MR. WHITE: You don't have to answer
2 that. We've been down this. You've asked
3 the same question a number of times, and he's
4 given his answer.

5 MR. GRIFFIS: Let's take five minutes.

6 VIDEOGRAPHER: Off record at 2:04.

7 (A short recess was taken.)

8 (Exhibit No. 13-18 marked for
9 identification.)

10 VIDEOGRAPHER: Back on record at 2:11.

11 BY MR. GRIFFIS:

12 Q. Doctor, I handed you Exhibit 18, which
13 is an Environmental Health Perspective, and I
14 believe this is one you alluded to earlier in the
15 deposition, correct?

16 A. Yes.

17 Q. This is the document setting forth what
18 you've called a few times the 10 key
19 characteristics of carcinogens; is that right?

20 A. Yes.

21 MS. WAGSTAFF: Objection. Misstates the
22 testimony. He stated they were on the
23 website. And I object to any documents that
24 were after IARC being within the scope of
25 this deposition.

1 BY MR. GRIFFIS:

2 Q. Okay. Sir, where did you -- how did you
3 come to understand that the source of the 10 key
4 characteristics of carcinogens which you were to
5 apply as a member of working group 112 came from
6 the Environmental Health Perspective document?

7 A. Well, Kate Guyton, the meeting rapitor,
8 was an author on it. So she was aware of this
9 article. This was received 5th of March. So she
10 was aware, and she had given us a Powerpoint
11 presentation on these key characteristics as a way
12 to prepare for evaluating the data. There was
13 a -- I believe it was on the IARC website, too.

14 Q. So Kathryn Guyton had you follow this
15 procedure as part of your methodology. And it was
16 submitted -- it was received by the journal
17 actually during the working group's review; is
18 that right?

19 A. Yes. It was received.

20 Q. And it's correct that it hadn't been
21 accepted for publication until after working group
22 112 had already left; is that right?

23 A. Yes.

24 MS. WAGSTAFF: Object to the question.

25 He stated that these 10 points were on the

1 IARC website unrelated to a publication that
2 they were a policy of the IARC. So any
3 suggestion that this was unpublished
4 manuscript we would object to.

5 BY MR. GRIFFIS:

6 Q. Do you know, sir, if the procedure that
7 you followed of putting carcinogens into ten
8 different bins was a published peer-reviewed
9 procedure before working group 112?

10 A. So this -- this paper -- the idea of
11 characteristics of carcinogens actually derives
12 from an earlier paper published in Cell about the
13 10 different cellular mechanisms that can happen
14 during the carcinogenic process and cancer
15 progression.

16 So it was -- there was a Cell paper
17 published -- oh, a few years ago by some eminent
18 cell cancer biologist who -- who brought up the
19 issues that these key characteristics of
20 carcinogens might fit into, like cell
21 proliferation, receptor mediated effects
22 genotoxicity, DNA repair.

23 These -- these known mechanisms by
24 which a cell becomes a cancer cell, the various
25 steps that have to take place.

1 Q. And did these Cell articles propose
2 using those the ten characteristics as a screening
3 tool for hazard?

4 A. No. No, not at all.

5 Q. Do you know --

6 A. This is -- yeah -- no.

7 Q. Okay. So this is the first publication
8 that proposes using those ten characteristics as a
9 screening tool for hazard?

10 A. This one right here, DHP article, the
11 mechanistic data is vast, so this was a way to
12 organize and consolidate and compile the data --

13 Q. Okay. So as a --

14 A. -- in a logical way.

15 Q. Yes, sir.

16 So as a methodology, this process
17 that you went through, this methodology that you
18 applied as a member of working group 112, didn't
19 get published and peer reviewed until after you
20 had already left Lyon.

21 Fair?

22 A. This article wasn't in -- yeah. In
23 press until after the -- until after the meeting.

24 Q. Okay. I'd like to take a look at the
25 authors, sir.

1 A. Uh-huh (affirmative response).

2 Q. And, first of all, have you heard of
3 either the Ramazzini Institute or the Collegium
4 Ramazzini?

5 A. No.

6 Q. Never been asked to be a Ramazzini
7 fellow?

8 A. No.

9 Q. Okay. And do you know of any link
10 between the Ramazzini Institute or the Collegium
11 Ramazzini and IARC?

12 A. No.

13 Q. You ever heard of a Ramazzini fellow?

14 A. No.

15 Q. Okay. And I don't know well, sir.
16 You're making a face and shaking your head.

17 A. Oh, I'm sorry. This Ramazzini.

18 Q. Does it ring a little bell, or you just
19 have no idea what --

20 A. No. I'm sorry.

21 MS. WAGSTAFF: Are you seeing that word
22 on here, or is that just a different
23 question?

24 MR. GRIFFIS: It's not on here.

25 MS. WAGSTAFF: Okay.

1 BY MR. GRIFFIS:

2 Q. Do you know, sir, that multiple authors
3 of this paper and multiple signatories of EFSA
4 letter that you were asked to sign off on and the
5 differences letter that Chris Portier asked you to
6 sign off on were members of the Ramazzini
7 Institute or the Collegium Ramazzini?

8 A. No.

9 Q. Okay. You don't know anything about the
10 funding of the Ramazzini Institute or Collegium
11 Ramazzini?

12 A. No.

13 Q. Okay. This -- in this paper under the
14 acknowledgment section on Page 2, it says, "We
15 thank all other members of the 2012 working group
16 who attended the workshops in Lyon, France," and,
17 of course, you weren't part of a working group in
18 2012; is that right?

19 A. Thank all members of the 2012 working
20 group?

21 Q. Yes.

22 A. Did you say volume 12?

23 Q. 2012.

24 A. 2012 working group. Yeah. Yeah. I
25 wasn't a member of that.

1 Q. All right. And on Page 4 in the Smith
2 article, sir, under background, the second
3 sentence, it says, "This exercise was complicated
4 by the absence of a broadly accepted systematic
5 method for evaluating mechanistic data to support
6 conclusions regarding human hazard from exposure
7 to carcinogens."

8 Did I read that right?

9 A. Yes.

10 Q. Okay. Is it correct that, as of the
11 time the working group met, there was not a
12 broadly accepted systematic method to evaluate
13 mechanistic data to support conclusions about
14 human hazard to exposure to carcinogens?

15 A. I think there were approaches to
16 consolidate the data, but this was an attempt to
17 logically place the evidence in these -- in these
18 10 key characteristics.

19 Q. And since this article was submitted for
20 publication, have there been other attempts by
21 others authors to do that?

22 A. I believe IARC uses this as their
23 approach in all -- all mechanistic evaluations
24 now.

25 Q. Yes, sir. I'm asking something

1 different. I'm asking about published literature
2 on the subjective use of mechanism in hazard
3 assessment.

4 Has anyone else proposed an
5 alternative methodology to this one?

6 A. Not that I'm aware of.

7 Q. Okay. Is that an area of literature
8 that you follow -- that you'd be likely to know or
9 just don't happen to know?

10 A. It's not -- no. I just don't know.

11 Q. Okay. Now, on Page 6, I'm looking at
12 the middle paragraph and starting about the middle
13 of it.

14 "Herein, we describe" -- you see
15 that?

16 A. Uh-huh (affirmative response).

17 Q. "Herein, we describe these 10 key
18 characteristics and discuss their importance in
19 carcinogenesis. These characteristics are
20 properties that human carcinogens commonly show
21 and can encompass many different types of
22 mechanistic influence. They are not mechanisms in
23 and of themselves, nor are they adverse outcome
24 pathways."

25 Did I read that right?

1 A. Yes.

2 Q. Could you explain to the jury, please,
3 what it means -- the statement that "they are not
4 mechanisms in and of themselves" means and what
5 the statement "they are not adverse outcome
6 pathways" means?

7 MS. WAGSTAFF: I'm going to object to
8 the use of this document as it was clearly
9 developed and finalized after the monograph
10 112, and Dr. Ross was not an author of this
11 document. And he has testified that he --
12 that they have a similar set of 10
13 characteristics, but not this document.

14 A. I don't really follow -- I mean, I'm not
15 sure what is meant by this sentence, as I didn't
16 write this sentence. I believe adverse outcome
17 pathways relates to risk assessments.

18 MS. WAGSTAFF: Objection. Calls for
19 speculation on what others meant.

20 BY MR. GRIFFIS:

21 Q. This material -- I mean, this is Kathryn
22 Guyton's proposal for how hazard assessments
23 should be done, and she presented on this to you,
24 correct?

25 A. This is of this whole group here, but

1 Dr. Guyton did present to us the key
2 characteristics -- the 10 key characteristics.

3 Q. And that's the procedure you followed?

4 A. And that is.

5 Q. Okay. You don't understand what was
6 meant by, "These 10 key characteristics are not
7 mechanisms in and of themselves"?

8 A. I'm not -- I'm clear on what this is
9 meant -- "they are not mechanisms in and of
10 themselves." I am not -- I can't read the mind of
11 the author.

12 Q. Let's go to Page 10. Characteristic 2
13 is genotoxic, and this is one of the two of the
14 ten characteristics where the working group 112
15 found a strong connection, correct?

16 A. Correct.

17 Q. The weight of the evidence that you
18 evaluated was strong, right?

19 A. Correct.

20 Q. I am looking at the first full paragraph
21 under genotoxic and the last sentence, "DNA damage
22 by itself is not a mutation," correct?

23 MS. WAGSTAFF: Are you asking if that's
24 what it says, or are you asking --

25 MR. GRIFFIS: So far I'm asking if

1 that's what it says.

2 A. Yes.

3 BY MR. GRIFFIS:

4 Q. Okay. And it is true, right? DNA
5 damage is not a mutation?

6 MS. WAGSTAFF: Object to the form.

7 A. DNA damage is -- can lead to a mutation.

8 BY MR. GRIFFIS:

9 Q. And in order for DNA damage to lead to
10 cancer, it needs to cause a mutation, and that
11 mutation has to be one that affects the cell in a
12 way that leads to unchecked proliferation of
13 cells, correct?

14 MS. WAGSTAFF: Objection. This is
15 calling for expert testimony and not the
16 mechanism subgroup's about glyphosate.

17 A. So my direct responsibility was to do
18 the toxicokinetic evaluation.

19 BY MR. GRIFFIS:

20 Q. Yes, sir. And let me ask you about
21 that. There are -- in the IARC monograph, there
22 are multiple sections, correct? And multiple
23 sections that the working group -- that your
24 group, group 4, was responsible for collectively,
25 right?

1 A. Yes. So my section was specifically
2 toxicokinetics. I wasn't writing on any of the 10
3 key characteristics in terms of draft form.

4 Q. Yes, sir.

5 A. I wasn't responsible for that.

6 Q. So if we went through in detail the IARC
7 monograph and looked at -- I mean, for example,
8 there's a section that addresses genotoxicity,
9 right?

10 A. Uh-huh (affirmative response).

11 Q. And it has multiple studies -- multiple
12 tables, and those tables list multiple studies,
13 and there are summaries of what the study showed
14 or didn't show.

15 All of that is in there?

16 A. Correct.

17 Q. Would you be an appropriate person to
18 ask about the significance of those tables and the
19 evaluation of those tables and what it said in
20 those studies and the significance of those
21 studies to a finding of genotoxicity or not?

22 A. I have a background in DNA adduct
23 research as a graduate student and as a post doc.
24 So I -- yes. There are aspects that I would be
25 appropriate too -- it would be appropriate for me

1 to evaluate as a group -- as a mechanism subgroup.

2 Q. And let me be clear. I wasn't asking
3 whether you'd be qualified to review those
4 studies. I'm sure you would.

5 My question is whether, as you sit
6 here today, based on the knowledge in your head
7 and the work that you did in working group 112,
8 you would be qualified to answer detailed
9 questions about those studies, about the tables,
10 about the significance of the studies to working
11 group 112's evaluation of genotoxicity?

12 A. Well, it's -- it's -- it was a long time
13 ago. Now, I am familiar with the evaluation, and
14 it's in the monograph.

15 Q. Okay.

16 A. So I -- uh-huh (affirmative response).

17 Q. Okay. Well, I asked the questions about
18 the layout of the monograph and your expertise
19 because you said, look, I was in charge of
20 pharmacokinetic sections. So would you explain to
21 us the distinction between the pharmacokinetics
22 section which you wrote in the first instance
23 and -- I'll wait for your mic to go back.

24 Okay. Would you explain to us the
25 distinction that you were trying to make between

1 the pharmacokinetic section, which you wrote in
2 the first instance, and the other sections of
3 group 4 in terms of what you know and can testify
4 to and give opinions about?

5 A. Right. So I wrote the drafts on the
6 toxicokinetics, the drafts that were started six
7 months before the meeting. That was my main
8 responsibility. I was at the meeting as this
9 evidence is being presented, the genotoxicity
10 evidence and the oxidative stress evidence.

11 And as a peer reviewer, as a
12 scientist peer reviewer, we are asked to evaluate
13 those studies and decide whether they are strong
14 evidence, moderate, or weak evidence. So we are
15 peer reviewing in that process the data that's
16 being presented and the arguments that are being
17 presented.

18 Q. For example, with regard to glyphosate
19 and the multiple studies that were cited in tables
20 4.1, 4.2, 4.3, 4.4, 4.5 of the monograph and
21 subject to genotoxicity, did you read all those
22 studies?

23 A. I did not.

24 Q. Okay. Did you read many of those
25 studies?

1 A. We had points -- you know, there were
2 leads on each of those sections -- on
3 genotoxicity, for example --

4 Q. Yes, sir.

5 A. -- who were responsible for evaluating
6 those studies and writing summaries about what
7 that data meant.

8 Q. Sure. And they presumably read them
9 all, but you did not?

10 A. Yes. We did not have time.

11 Q. Okay. And you didn't have time because
12 you weren't just looking at genotoxicity. You
13 were looking other bins, and you were looking at
14 four other chemicals?

15 A. There was a lot of data.

16 Q. Correct.

17 On the oxidative stress section,
18 that's where you did a peer review before you
19 came, and you testified that you spent about a day
20 and a half of total work on the peer review,
21 including writing up the comment, which took a
22 day.

23 Did you read all of those studies?

24 A. Some of the studies where I wanted to
25 understand the method that was used to measure

1 oxidative stress, I looked at those papers.

2 Q. So you pulled some of the papers to look
3 up the methodology --

4 A. I was interested in that.

5 Q. -- in those papers, and, otherwise, you
6 didn't read the oxidative stress studies unless
7 cited?

8 A. I did not read every single study that
9 was cited.

10 Q. Did you read many of the oxidative
11 stress studies in entirety?

12 A. I can't put a number on it.

13 Q. Okay. As to the other characteristics,
14 the other 10 characteristics -- and I won't list
15 them all here -- did you read the studies cited by
16 working group 112?

17 A. For the other -- for receptor mediated
18 and so forth?

19 Q. Receptor mediated, et cetera?

20 A. Those studies -- those characteristics
21 weren't considered strong, so less -- less weight
22 was put on them.

23 Q. It's even less likely that you would
24 have read them; is that right?

25 A. Yes.

1 MS. WAGSTAFF: Object to form.

2 BY MR. GRIFFIS:

3 Q. Okay. On Page 20, sir. Well, first of
4 all, let's go to Page 18. And the Smith article
5 has a header here on Page 18. "Using the key
6 characteristics to systematically identify,
7 organize, and summarize mechanisms of
8 information." Then there's a step one and on
9 subsequent pages, step two and step three. And
10 this is the methodology that was presented to you
11 by Kathryn Guyton that the working group followed?

12 MS. WAGSTAFF: Object to the form.

13 A. I don't know if she presented it in
14 exact same detail as here.

15 BY MR. GRIFFIS:

16 Q. Do you want to take a minute to read
17 three steps and see if this is the procedure that
18 you followed?

19 A. So one issue is I wasn't binning the --
20 I wasn't tagging this information for glyphosate.
21 I mean, the toxicokinetics --

22 Q. I'm sorry. When I say the procedure you
23 followed, I meant working group 112, not you
24 personally as to every aspect of it.

25 A. In general, yes. We used we used HAWC

1 to tag studies. I think, in general, yeah, this
2 is -- it's fair. To help us compile the relevant
3 information.

4 Q. Under step 3, the first sentence is
5 says, "It is increasingly evident" -- under step
6 3, the first sentence, "It is increasingly evident
7 that multiple biological alterations or sets of
8 different perturbations are necessary to convert a
9 normal cell to a transformed cell and ultimately a
10 tumor."

11 Did I read that right?

12 A. Correct.

13 MS. WAGSTAFF: Can you tell me where
14 you're reading from?

15 MR. GRIFFIS: Yes, sir. Step 3 on Page
16 20?

17 MS. WAGSTAFF: Oh, first sentence.

18 MR. GRIFFIS: Yes, ma'am. First
19 sentence.

20 BY MR. GRIFFIS:

21 Q. So a -- an insult, like a genotoxic
22 insult causes DNA damage. More things need to
23 happen in a cascade of events before that will
24 produce a tumor and produce a cancer.

25 Is that fair?

1 MS. WAGSTAFF: Objection. Calls for
2 expert opinion. This has nothing to do with
3 how monograph -- a subgroup of the mechanism
4 came to a conclusion of glyphosate, whether
5 or not he believes that.

6 A. So I'm not a cancer biologist.

7 BY MR. GRIFFIS:

8 Q. Yes, sir.

9 A. It is out of my expertise, but there are
10 several steps that have to take place. And that's
11 cited by Hanahan & Weinberg. That was the article
12 I was referring to. Multiple -- there's -- there
13 are multiple steps in cancer.

14 Q. That's the article from Cell that you
15 were referring to earlier?

16 A. Yeah. Yeah.

17 Q. Thank you.

18 Well, as someone who had -- who is
19 on the mechanism subgroup, did you understand
20 yourself to be trying to identify mechanisms by
21 which glyphosate could actually produce cancer in
22 human beings?

23 A. So the 10 key characteristics are what's
24 known -- human carcinogens, human cancers that are
25 formed by carcinogens like tobacco smoke, they

1 have usually two or more of these key
2 characteristics. They go through a mechanisms
3 that includes at least two or more of those key
4 characteristics to cause tumors.

5 And so we were trying to use those
6 key characteristics to evaluate the glyphosate
7 database. We were trying to compile the data
8 within those key characteristics to see where the
9 strength of the evidence lay.

10 Q. And did you consider it to be part of
11 what you were doing to figure out if the
12 mechanisms you were looking at could actually
13 induce that chain of events that could lead
14 hypothetically to human cancer?

15 MS. WAGSTAFF: Objection. Your question
16 just says hypothetically. And now you're
17 again asking about the risk assessment and
18 back-dooring an expert opinion. And I do not
19 think this is an appropriate scope to ask
20 about risk.

21 A. So it -- of course, if we could identify
22 mechanisms, that would be important in any
23 evaluation in terms of how a compound causes
24 cancer.

25

1 BY MR. GRIFFIS:

2 Q. Yes, sir. Did you understand it to
3 be -- from the briefings that you got about the
4 methodology that you were to follow, the
5 methodology set forth in the preamble, et cetera,
6 that it was part of what you were there to do --
7 you being all of working group 112, not
8 necessarily you personally -- to figure out how
9 these mechanisms could actually lead to cancer in
10 human beings or if they did?

11 MS. WAGSTAFF: Same objection.

12 A. We were charged with determining whether
13 there was evidence in the glyphosate database --
14 the publicly available database that it had
15 aspects of these 10 key characteristics, was --
16 what was the strength of evidence for those 10 key
17 characteristics.

18 BY MR. GRIFFIS:

19 Q. And did group 4 take the next step of
20 linking up what you found with regard to the 10
21 key characteristics, the two that were strong with
22 regard to glyphosate to any additional steps in
23 the chain between DNA insult and on one end of the
24 chain and cancer on the other end of the chain?

25 A. So what we identified in subgroup 4 in

1 terms of genotoxicity was that the mechanism was
2 operable in human cells. Mechanism -- the key
3 characteristic of genotoxicity, actual damage to
4 the nucleic acids. So that was deemed to be
5 operable in humans and human cells in vitro.

6 Q. Yes, sir.

7 And did you also reach any
8 conclusions about whether the mechanism then led
9 to the next step in carcinogenesis or whether it
10 may have stopped there?

11 A. We had strong evidence for genotoxicity
12 and for oxidative stress.

13 Q. Okay. Do you understand what I'm asking
14 you, sir?

15 A. I think I do, but I -- I don't --

16 Q. Okay.

17 A. I'm just telling you what we have.

18 Q. Yes, sir. I do. I understand what you
19 have.

20 So you agree with me that there are
21 potential insults to DNA on one side that would
22 include oxidative stress and the genotoxicity
23 findings that were set forth in the monograph.
24 And then in order for actual human cancers to be
25 created, there would need to be a series of

1 additional events, like mutations, for example.
2 Like mutations.

3 And my question is, did the
4 mechanism group or any other group you know of as
5 part of working group 112 find any of those
6 additional steps occurring -- find that the
7 mechanisms actually produced any of the additional
8 steps -- caused mutations, caused mutations that
9 lasted, caused mutations that weren't repaired,
10 caused mutations that were relevant to produce
11 cancer, led to cancer?

12 MS. WAGSTAFF: Objection. You're asking
13 the same question that the attorney -- that
14 Attorney White told him not to respond to
15 earlier, and that is an expert opinion on the
16 risk assessment. And when you said probably
17 15 times, have you ever found that it caused
18 it in humans, and he -- and right before the
19 end. And now you've just rephrased your
20 question, and you're asking it again. I
21 think that's inappropriate, and I object.

22 BY MR. GRIFFIS:

23 Q. And to be clear, sir, what I'm asking
24 you is whether IARC or whether the mechanism group
25 or anyone else at IARC that you know of followed

1 the chain of evidence that you see and found any
2 further than identifying the initial insult to
3 DNA.

4 MS. WAGSTAFF: Same objection.

5 A. So there are -- there is definite
6 evidence of damage to DNA, chromosomal
7 aberrations, micronuclei that indicate damage to
8 the nucleic acids. And that's in the tables.
9 Those are in the tables.

10 And that's -- that's as far as --
11 we -- we -- if it was there, if there was linkages
12 further down the line, we would have tried to look
13 for that. Obviously, those 10 key characteristics
14 are all points along that progression from the
15 initial insult to actual tumor. These 10 key
16 characteristics involved those steps. So we are
17 looking for those steps. We are trying to make
18 the linkage.

19 BY MR. GRIFFIS:

20 Q. Okay. And you found two?

21 A. We found two key characteristics of --
22 and those are genotoxicity and oxidative stress.

23 Q. Do you know of studies have been done
24 looking at whether the actual presence of some of
25 10 key characteristics matches up with actual

1 carcinogenicity in multiple substances?

2 MS. WAGSTAFF: Objection to scope.

3 A. So there's -- what I understand is in
4 group -- there are some group chemicals that
5 exhibit at least two of the 10 key
6 characteristics.

7 BY MR. GRIFFIS:

8 Q. And do you know whether large
9 statistical analyses have been done matching up
10 positive findings and the 10 key characteristics
11 with whether a substance is a known carcinogen and
12 finding that there is or is not a relationship
13 between those two things?

14 MS. WAGSTAFF: Object to the form.

15 A. I haven't done that analyses.

16 BY MR. GRIFFIS:

17 Q. Okay. Do you know of anyone --

18 A. Analysis. I don't -- I can't recall. I
19 don't know that. I know it's -- yeah. There's
20 some data out there, but I'm not aware of it,
21 exactly what it is -- where it is.

22 Q. Okay. As to the other eight
23 characteristics -- and I'll run through them
24 quickly just so you can remember what they are.
25 And here's my question. As to other eight, IARC

1 working group 112, subgroup 4, either found that
2 it doesn't appear to be applicable at all or found
3 that the evidence was weak, which is the lowest
4 classification you could give it, correct?

5 And that's -- shall I run through
6 them?

7 A. The ten key characteristics -- or the
8 other eight? Sure.

9 Q. Other than genotox and oxidative stress,
10 found --

11 A. The others --

12 Q. -- no evidence or weak --

13 A. Or moderate. Maybe there was moderate.
14 I don't remember. One of the key characteristics
15 may have been labeled moderate, but I can't -- I
16 don't recall exactly.

17 Q. We can -- I can point you to where it
18 is -- each one is in the monograph if you would
19 like. They're all no evidence or weak.

20 Act as an electrophile, altered DNA
21 repair causing dynamic instability. That's two so
22 far. Induce genetic alterations, chronic
23 inflammation, immunosuppressive, modulate receptor
24 mediated effects, immortalization, alter cell
25 proliferation, cell death, nutrient supply.

1 A. Okay.

2 Q. So weak or no evidence as to those?

3 A. I will have to look at the monograph.

4 I -- I don't remember --

5 Q. All right.

6 A. -- specifically those because our focus
7 was on oxidative stress and genotoxicity.

8 (Exhibit No. 13-19 marked for
9 identification.)

10 BY MR. GRIFFIS:

11 Q. Exhibit 19 is the monograph, sir. And
12 if you'll turn to Page 77.

13 A. Okay.

14 Q. Left-hand column, the tiniest paragraph
15 in the column. "Glyphosate is not electrophilic."

16 A. Yes.

17 Q. Okay. Next one, "Altered DNA
18 repairs/cause genomic instability"?

19 A. Okay. Where is this?

20 Q. On 73.

21 A. Page 73.

22 MS. WAGSTAFF: Where on Page 73?

23 Q. 4.2.5, other mechanisms. We can take
24 out several of them here. "No data on
25 immortalization or genetic alteration, altered DNA

1 repair, or instability after exposure to
2 glyphosate were available to the working group."

3 A. Okay.

4 MS. WAGSTAFF: Object to the form. It
5 says were available.

6 BY MR. GRIFFIS:

7 Q. Working group found no evidence on
8 those; is that right?

9 A. There -- well, no data available to
10 examine those.

11 Q. Page 78. Weak evidence is at the top of
12 the first column. "Weak evidence that glyphosate
13 or glyphosate based formulations induced receptor
14 mediated effects."

15 A. Okay. Yes.

16 Q. Weak evidence, next -- start of the next
17 paragraph, "Weak evidence that glyphosate may
18 effect cell proliferation or death." Next
19 paragraph, "Weak evidence that glyphosate may
20 affect the immune system, both the human and
21 cellular response."

22 Next paragraph, "With regard to the
23 other key characteristics of being a carcinogen,
24 the working group considered that the data were
25 too few for an evaluation to be made.

1 A. Yes.

2 Q. So do you agree with me that, other than
3 genotoxic and oxidative stress, as to the 10 key
4 mechanisms, the working group either found no
5 evidence or found the evidence to be weak?

6 MS. WAGSTAFF: Objection. Misstates the
7 record. I think you read that there was no
8 data available in a few of those.

9 A. There was no data available to evaluate
10 some of these key characteristics, or if there
11 was, it was deemed to be weak evidence.

12 BY MR. GRIFFIS:

13 Q. Okay. You didn't have --

14 A. On the other key -- on those other
15 eight. Either the data wasn't there or if there
16 was data, it was deemed not to operate through
17 that mechanism.

18 Q. And you did what you considered to be a
19 comprehensive search to find any data that
20 existed, right?

21 A. It was a -- yeah. Yes. Absolutely.

22 (Exhibit No. 13-20 marked for
23 identification.)

24 BY MR. GRIFFIS:

25 Q. Okay. Exhibit 20.

1 MS. WAGSTAFF: Uh-huh (affirmative
2 response).

3 BY MR. GRIFFIS:

4 Q. Sir, this is another document that you
5 provided to us or that you provided to your lawyer
6 and they provided to us perhaps. 112 mono 4 --
7 that's working group 112, monograph 4, mechanistic
8 evidence summary.

9 And the first section is
10 toxicokinetics; is that right?

11 A. Correct.

12 Q. Is the toxicokinetics section here
13 something that you prepared?

14 A. I would have had prepared this, yes, as
15 a summary of the -- of the section.

16 Q. Okay. So this is a document that you
17 created summarizing the toxicokinetic information
18 that you were finding?

19 A. Yes. This would have been the high
20 points to highlight.

21 Q. All right. And you created this when?

22 A. This would have been created -- we
23 created these summaries at the meeting.

24 Q. Okay. Key characteristics
25 electrophilicity, glyphosate is not electrophilic.

1 We just found that in the monograph
2 itself, right?

3 A. Correct.

4 Q. Okay. And genotoxicity -- and you wrote
5 in, "In vivo evidence on genotoxicity of
6 glyphosate largely" --

7 A. Can I clarify one point?

8 Q. Yes, sir.

9 A. I summarized the toxicokinetics. These
10 key characteristics were -- I didn't -- I didn't
11 make this part of the summary. I just -- whoever
12 and I -- I just provided the toxicokinetic
13 bullets.

14 Q. Okay. Who made the key characteristics
15 section?

16 A. I don't recall. I don't recall. It
17 may -- one of the -- one of the five of us who was
18 on that subgroup.

19 Q. All right. It was sort of created at
20 the -- at the working group 112 while you were in
21 Lyon by someone in your group but not you?

22 A. Correct.

23 Q. Genotoxicity. It says, "In vivo
24 evidence on genotoxicity of glyphosate is largely
25 inconsistent in studies in rodents, and no

1 conclusions can be drawn from human studies due to
2 mixed exposures to pesticides and other
3 chemicals," correct?

4 A. That's what it says.

5 Q. Okay. "In vitro data in human and
6 animal cells contain some evidence of genotoxicity
7 of glyphosate and AMPA; however, a number of
8 studies failed to observe evidence of
9 genotoxicity."

10 I read that right?

11 A. Yes.

12 Q. "Positive studies for glyphosate, AMPA,
13 and commercial formulations for glyphosate are
14 available in a variety of plants, fish, and other
15 marine organisms."

16 I read that right, correct?

17 A. Uh-huh (affirmative response). Yes.

18 Q. And then, "The majority of standard AIMS
19 test bacterial strains were not affected by
20 glyphosate or AMPA even in presence of metabolic
21 activation," right?

22 A. Correct.

23 Q. Would you explain to the jury how an
24 AIMS test works and what the role of metabolic
25 activation is in an AIMS test?

1 A. So an AIMS test is a mutagenicity assay
2 in which bacteria -- salmonella bacteria are
3 exposed to the chemical of interest and whether
4 there are DNA damage -- DNA damage that results in
5 mutations resulting. The addition of the
6 metabolic activation system is often used to
7 bioactivate the chemical in question to a DNA
8 reactive molecule.

9 Q. So this is a test that looks a step or
10 two down the chain that we've been talking about
11 from DNA damage on one end to actual mutations,
12 and it finds whether there are mutations, both in
13 the presence of the chemical being metabolized and
14 not metabolized, right?

15 A. Yes. It's a mutagenicity assay using a
16 prokaryotic organism, not a mammalian cell. A
17 bacterial cell.

18 Q. And it's universally used by regulatory
19 agencies as a critical cancer screening tool; is
20 that right?

21 A. It is widely used.

22 Q. Okay. Do you know of anyone who doesn't
23 use it?

24 MS. WAGSTAFF: Objection.

25 A. I don't know.

1 BY MR. GRIFFIS:

2 Q. Okay. All right. Now, during your
3 discussions with group 4 -- subgroup 4, tell me
4 what you discussed about the in vivo evidence on
5 genotoxicity of glyphosate being inconsistent in
6 studies in rodents.

7 What was inconsistent about the in
8 vivo evidence on genotoxicity?

9 A. I don't -- this could -- this is an
10 earlier draft. I don't recall what was considered
11 inconsistent about it. There are tables with
12 information on the in vivo evidence of
13 genotoxicity in some rodent species. So I don't
14 recall what was considered inconsistent about the
15 studies.

16 Q. And do you consider that the group's
17 opinion as to whether the studies were
18 inconsistent changed over time?

19 A. There -- there was more evaluation
20 occurring during the meeting.

21 Q. Did the --

22 A. There was more evaluation of the -- of
23 the data.

24 Q. Did the group's opinion that the in vivo
25 evidence on genotoxicity was largely inconsistent

1 in studies in rodents change?

2 A. It became stronger.

3 MS. WAGSTAFF: Object to summation.

4 BY MR. GRIFFIS:

5 Q. And what caused it to become stronger
6 specifically?

7 A. So I don't know specific information
8 about -- about this, but I know we were in the
9 meeting. We're evaluating the data at the
10 meeting. We're debating the data. It's not
11 locked. It's not carved in stone when we get to
12 Lyon. There's a debate that goes on, a peer
13 review that goes on throughout the week. So
14 things change. Things are in flux. This is --
15 there's scientific debate.

16 Q. Okay.

17 A. I -- so that -- it's whatever is in the
18 final monograph is the final evaluation.

19 Q. And is it fair to say -- you know, and I
20 understand that we're here to question you as a
21 fact witness and what you remember, not
22 necessarily what the other members of the group
23 remember, sir.

24 But is it fair to say that what you
25 remember is that the group's conclusion at some

1 point was that in vivo evidence on genotoxicity of
2 glyphosate was largely inconsistent in studies in
3 rodents. Over time, the opinion strengthened in
4 favor of more consistency, and you don't remember
5 specifically why?

6 MS. WAGSTAFF: I'm going to throw an
7 objection in there as to foundation. That
8 was the group's opinion. Dr. Ross testified
9 he didn't write this and is not sure who
10 wrote this. This could be the opinion of one
11 scientist and not the entire subgroup.

12 A. So what you've got here, what you were
13 able to get was before the peer review of the
14 group. So we were charged with writing summaries,
15 and further analyses would have taken place,
16 debate. I do -- I do think I can say that the
17 strength of the evidence of genotoxicity in
18 nonhuman mammalian systems strengthened over the
19 week.

20 BY MR. GRIFFIS:

21 Q. Well, the person who was in charge of
22 drafting the genotox section was Frank LeCurieux
23 as we've established, right?

24 A. I'm -- yes. I'm pretty certain about
25 that.

1 Q. So was this Dr. LeCurieux's initial
2 view, or was it the view of the group after some
3 discussion at some point during the process?

4 A. I don't know who wrote this key
5 characteristics section at this -- you know, I
6 don't know who wrote it. Whether it was Dr.
7 LeCurieux, I'm not sure.

8 Q. There was nobody who was tasked with
9 writing all of these sections, correct?

10 A. The summaries?

11 Q. Yes, sir.

12 A. I was tasked with summarizing the
13 toxicokinetics for each compound for each of these
14 summaries.

15 Q. My point is that there was nobody who
16 was tasked with writing a electrophilicity and
17 genotoxicity and altered repair genomic
18 instability and chronic inflammation or oxidative
19 stress and receptor mediated and proliferation or
20 death and immunosuppression and epigenetic effect
21 and immortalization. This would have to be --

22 A. I don't know if it was done as a group
23 or one individual person did each of these key
24 characteristics. I -- again, because of my focus
25 on toxicokinetics, I don't know the answer.

1 Q. In the initial drafting assignments,
2 there was no one person who was in charge of all
3 of that?

4 A. So --

5 Q. So this isn't somebody's first draft?

6 A. Well, this is someone's first draft of
7 the summary.

8 Q. Of the summary after the group came
9 together and talked, right?

10 MS. WAGSTAFF: Objection. Foundation.

11 A. This -- well, these were -- these were
12 being drafted at the meeting.

13 BY MR. GRIFFIS:

14 Q. Could this be a summary of all of the
15 first drafts?

16 A. It's possible. I don't really know. I
17 don't know at what stage this was being -- at
18 which stage this is at.

19 Q. Okay. What was said, to your
20 recollection, about the position that no
21 conclusions can be drawn from human studies due to
22 mixed exposure pesticides and other chemicals with
23 regard to genotoxicity?

24 MS. WAGSTAFF: Objection to you're
25 asking questions, as Dr. Ross said he didn't

1 draft the key characteristics section of this
2 document.

3 A. I can't speak to what was meant -- what
4 was -- what this author was writing here because
5 it became clear that there were some important
6 studies in exposed humans that suggested or
7 indicated a genotoxic effect.

8 BY MR. GRIFFIS:

9 Q. You're talking about the exposed people
10 in Ecuador?

11 A. Columbia.

12 Q. Columbia. I got the border correct.

13 Those are the studies you mean,
14 though?

15 A. That's in table 4.1.

16 Q. 4.1. Those are the studies you mean,
17 not other ones?

18 A. I'm referring to Bolognesi.

19 Q. Okay. Now, but this was something that
20 was discussed in the group? This genotoxicity
21 stuff was discussed as the group's --

22 A. Yes.

23 Q. -- opinions evolved over time, right?

24 A. Yes.

25 Q. Okay. And so what I'm asking you is

1 what you recall the group discussing with regard
2 to the position that no conclusions can be drawn
3 from human studies due to mixed exposures to
4 pesticides and other chemicals.

5 A. This is where --

6 MS. WAGSTAFF: Same objection.

7 A. -- I was so focused on the
8 toxicokinetics that I don't know the specific
9 details about that.

10 MR. GRIFFIS: Okay. Let's take five or
11 ten minutes.

12 VIDEOGRAPHER: Off record at 3:00.

13 (A short recess was taken.)

14 VIDEOGRAPHER: Back on the record at
15 3:08.

16 BY MR. GRIFFIS:

17 Q. Okay. Sir, before the break, we were
18 talking about Exhibit 20 which says in the section
19 entitled genotoxicity no conclusions can be drawn
20 from human studies due to mixed exposures to
21 pesticides and other chemicals.

22 And you talked about how the
23 evidence -- how the views of the group changed
24 over time based on human exposures, and you
25 specifically cited the Bolognesi study to me,

1 correct?

2 MS. WAGSTAFF: I'm going to object on
3 using that key characteristic because he said
4 he didn't know who wrote it, and he didn't
5 even know it was a group opinion.

6 A. Well, I can say that the -- the -- an
7 important study was the Bolognesi study because it
8 dealt with exposure to glyphosate both before --
9 it indicated that there was evidence of
10 genotoxicity being exposed to humans.

11 BY MR. GRIFFIS:

12 Q. In the monograph, sir, which I take it
13 is 19, all right. Exhibit 19, monograph, Page 77.
14 In looking at the right-hand column at the top,
15 sir. The evidence for genotoxicity caused by
16 glyphosate formulations is strong. And it says
17 there was three studies of genotoxicity -- end
18 points and community residents exposed to
19 glyphosate based formulations, two of which
20 reported positive associations, right?

21 A. Uh-huh (affirmative response).

22 Q. And those are the Bolognesi study -- the
23 Bolognesi study and Tu Pas y Nino (phonetic)
24 study; is that right?

25 A. Is that in table 4.1? Yeah.

1 Q. Yeah.

2 A. Pas y nino, yes.

3 Q. And it says that two of the three
4 studies reported positive associations.

5 Do you recall discussing at
6 subgroup 4 that the second pas y nino study --
7 2011 study followed up on the first and found no
8 lasting alterations?

9 A. It would have been discussed.

10 Q. Do you recall that discussion?

11 MS. WAGSTAFF: Objection. Foundation.

12 A. Sorry?

13 BY MR. GRIFFIS:

14 Q. Do you recall that discussion?

15 A. I don't.

16 Q. Okay. You don't recall that there was a
17 first pas y nino study finding formation of some
18 micronuclei that was associated with exposure to
19 Roundup, and the second study looking for lasting
20 damage found none?

21 MS. WAGSTAFF: Objection to foundation.

22 BY MR. GRIFFIS:

23 Q. Do you recall that?

24 A. I don't recall.

25 Q. Okay. We'll look at them then.

1 The one that you cited to me was
2 the Bolognesi study, correct?

3 A. Yes.

4 Q. Okay.

5 (Exhibit No. 13-21 marked for
6 identification.)

7 MS. WAGSTAFF: I would object to going
8 through specifically articles in the fact
9 that this was the subgroup's conclusion about
10 glyphosate, and Dr. Ross is just one portion
11 of that. He's sitting here in the context of
12 a deposition. Asking him to go through
13 scientific data I don't think was what was
14 contemplated by the order.

15 BY MR. GRIFFIS:

16 Q. I'm sorry. Here you go, sir.

17 And when you cited to me before the
18 break the Bolognesi study specifically as evidence
19 of glyphosate causing genotoxicity damage in human
20 beings, what was your -- what was the point of
21 citing that work to me?

22 A. Because it showed in exposed humans --
23 humans that were exposed to glyphosate based
24 formulations, that the level of genotoxicity
25 immediately following the exposure was greater

1 than baseline levels that were taken prior to the
2 spray of the glyphosate based formulation.

3 So there was evidence in an exposed
4 population of genotoxicity caused by the -- by the
5 agent.

6 Q. And what was the significance of that to
7 subgroup 4?

8 A. So -- because it's evidence in vivo that
9 glyphosate may cause damage -- genetic damage to
10 cells within an exposed population.

11 Q. And what was the importance of the
12 Bolognesi study to subgroup 4 in its conclusion
13 that there was strong evidence of genotoxicity?

14 MS. WAGSTAFF: Object to form.

15 A. Because looking at exposed populations
16 to an agent and seeing evidence of DNA damage is
17 strong evidence that it is occurring, that it can
18 occur.

19 BY MR. GRIFFIS:

20 Q. So the Bolognesi was one of the strong
21 pieces of evidence that you were relying on for
22 your conclusions?

23 A. Not the only piece.

24 Q. Yes, sir. One of the strong pieces?

25 A. One of the -- one of -- one of the

1 strong pieces of evidence.

2 Q. Was it the strongest?

3 A. I can't -- I'm not -- I can't say that.
4 It -- there was a lot of weight on it because it's
5 in an exposed population.

6 Q. Okay. Please --

7 A. In vivo -- in vivo, too.

8 Q. Please explain what -- okay. You said
9 there's a lot of weight on it because, A, it's in
10 an exposed population and, B, in vivo.

11 Would you explain to the jury the
12 significance of those two points, please?

13 A. Because the mechanism may operate in
14 humans. The mechanism of genotoxicity may be
15 occurring in exposed populations.

16 Q. Okay. And why is that important to a
17 finding of genotoxicity?

18 A. Because it's becomes the real world.
19 It's a human population exposed to the agent, and
20 these people had evidence of genotoxicity. So
21 they're -- it's a real world situation.

22 Q. Did you read the Bolognesi study while
23 you were at working group 112?

24 A. I have looked at it, yes.

25 Q. Okay. And did you do it before subgroup

1 4 came to its conclusions?

2 A. No, I did not.

3 Q. Okay. This was after you left Lyon?

4 A. Yes.

5 Q. Let's take a look at it.

6 All right. First of all, though,
7 sir, do you know who in subgroup 4 did read and
8 analyze this, other than obviously Dr. LeCurieux
9 who drafted the genotoxicity section?

10 A. I believe that our subgroup chair read
11 it.

12 Q. You believe Dr. Rusyn did, too?

13 A. Yes.

14 Q. Anyone else?

15 A. Not that I'm ware of.

16 MS. WAGSTAFF: Object to speculation.
17 And I also object to questioning on this
18 article. And I request that, if you're going
19 to be asking him questions on this, that
20 Dr. Ross take the time and read this article
21 completely and refresh himself with it before
22 questions are asked.

23 BY MR. GRIFFIS:

24 Q. I'm going to direct you to some --

25 MS. WAGSTAFF: And if you need to read

1 the --

2 BY MR. GRIFFIS:

3 Q. Yes, sir. I was about to say that. If
4 you need to read any other part of article other
5 than where I direct you to answer a question,
6 please feel free to do so. I'm going to start on
7 Page 994, sir.

8 MS. WAGSTAFF: Dr. Ross, do you need to
9 read the entire article?

10 THE WITNESS: I'm familiar with it.

11 I -- if he -- if there's a specific question
12 that I'll need time to analyze, then I'll let
13 you know.

14 BY MR. GRIFFIS:

15 Q. Okay. This is part of the discussion
16 section. The discussion section starts on 992,
17 but I'm over on 994. The right-hand column, the
18 third paragraph.

19 And it's talking about something
20 called BNMN. For the court reporter --

21 A. BNMN. It stands for binucleated cells
22 with micronuclei.

23 Q. And that's what they are measuring in
24 this study, right?

25 A. Yes. One of the end points.

1 Q. So the frequency of BNMN increased after
2 spraying with glyphosate, but not consistently,
3 correct?

4 A. Point to where you're -- which paragraph
5 now?

6 Q. The first sentence of the third
7 paragraph. Right-hand column.

8 A. Oh, right-hand column?

9 Q. Yes, sir. Sorry.

10 A. Okay. I see where you're at.

11 Q. The results of -- and it goes on to say,
12 "The results obtained with a second sampling
13 carried out immediately after the glyphosate
14 spraying showed a statistically significant
15 increase in frequency of BNMN in the three regions
16 where glyphosate was sprayed. However, this was
17 not consistent with the rates of application used
18 in the regions," correct?

19 A. Yes. And this was pointed out in the
20 monograph.

21 Q. And then the first sentence of the next
22 paragraph says, "There was no significant
23 association between self-reported direct contact
24 with eradication sprays and frequency of BNMN,"
25 correct?

1 A. Yes. That's what it says.

2 Q. Okay. At the bottom of that same
3 paragraph, "Decreases in frequency of BNMN and the
4 recovery period after glyphosate spraying were not
5 consistent."

6 And it gives an example, correct?

7 A. And these points were brought up in the
8 monograph.

9 Q. The next sentence -- the first sentence
10 of the next paragraph says, "Overall, these
11 results suggest that genotoxic damage associated
12 with glyphosate spraying as evidenced by the MN
13 test is small and appears to be transient,"
14 correct?

15 A. This is a conclusion of these authors.

16 Q. And the authors concluded that -- the
17 authors observed that the changes that they saw
18 were transient, correct?

19 A. One of the communities still had -- one
20 of the communities had lower levels four months
21 after the spray compared to the four to five days'
22 spray. So there was evidence of genotoxicity
23 right after the spray, and four to five months
24 later, that genotoxicity had -- was not apparent.

25 Q. Now, when genotoxicity is repaired by

1 the body, it's not leading to cancer, right?

2 A. What this paper suggested was there is
3 evidence that genotoxicity, in three or four
4 communities that were exposed to the glyphosate
5 based formulation -- that there was a statistical
6 increase in micronuclei immediately after the
7 spray.

8 And what was strong about the
9 study, in our opinion, was there were baseline
10 samples taken immediately before the spray, and
11 those same individuals were assayed four days
12 after the spray, and there was a statistical
13 increase in the micronuclei.

14 That was an important basis for
15 putting a strength -- a strength descriptor on
16 that -- on this particular study.

17 Q. In doing so, you were disagreeing with
18 the conclusions of the authors themselves,
19 correct?

20 MS. WAGSTAFF: Object to the form.

21 Argumentative.

22 A. We were -- in this -- you know, the
23 analysis that was being done by the major
24 participants who had reviewed this data was that
25 there was a statistical increase in the level of

1 DNA damage.

2 BY MR. GRIFFIS:

3 Q. The authors --

4 A. This was considered to be strength -- a
5 strength to the study.

6 Q. What the authors said -- the authors of
7 the study said -- I'm on Page 995, the second
8 column, and the second sentence of the first full
9 paragraph.

10 "Based on the applicable Bradford
11 Hill guidelines, it is not possible to assign
12 causality to the increases in frequency of BNMN
13 observed in our study," correct?

14 MS. WAGSTAFF: Can you tell me where you
15 are?

16 MR. GRIFFIS: Page 995, right-hand
17 column, first full paragraph, second
18 sentence.

19 MS. WAGSTAFF: Okay. Got it.

20 BY MR. GRIFFIS:

21 Q. That's what they said, right?

22 A. Yes. That's what's here.

23 Q. "There's a smaller frequency of BNMN and
24 MOMN in the region of no pesticide use compared
25 with the regions where pesticides, including

1 glyphosate, were used, which is consistent with
2 other reports in the literature. Although,
3 temporality was satisfied in the increase in
4 frequency of BNMN after spraying, this response
5 did not show strength as it was not consistently
6 correlated with the rate of application.

7 "Recovery was also inconsistent
8 with decreases in frequency of BNMN in the areas
9 or eradication spray, but not in the area where
10 lower rates were applied on sugar cane," correct?

11 MS. WAGSTAFF: Are you asking if that's
12 what it says?

13 BY MR. GRIFFIS:

14 Q. Yeah. That's what it says?

15 A. Yes.

16 Q. Correct?

17 And then second sentence in the
18 last paragraph of the article, "The smaller number
19 of subjects recruited in this study and small
20 amount of information about the exposure precluded
21 any conclusions," right?

22 A. So, yes, that's what it says. However,
23 the subgroup found that there was a statistically
24 significant increase in micronuclei immediately
25 following the spray application in these

1 individuals.

2 Statistically significant meaning
3 there's a higher number -- statistically
4 significant increase in the level of genetic
5 damage immediately following the spray. This
6 was -- this was considered important.

7 Q. And all other causes of this in people
8 who were living near the Columbia/Ecuador border
9 being sprayed from planes with glyphosate
10 formulations, many of which being sprayed due to
11 coca eradication -- were those all ruled by the
12 study?

13 MS. WAGSTAFF: Objection.

14 Argumentative.

15 A. I don't -- I don't know. Again, my area
16 of expertise on this sub -- subgroup was to do
17 toxicokinetics analysis. I am just telling you
18 the subgroup was presented with this information
19 that there was greater levels of genetic damage;
20 that it was due to the glyphosate formulation
21 being sprayed; and it was increased immediately
22 following the spray compared to baseline values in
23 the same individuals.

24 So there was evidence there that --
25 of genotoxicity that -- that was considered

1 strong.

2 BY MR. GRIFFIS:

3 Q. The two people in the group that
4 actually read this -- that you know actually read
5 this before the conclusions came out are Dr. Rusyn
6 and the person who wrote the section, Frank
7 LeCurieux. Correct?

8 MS. WAGSTAFF: Objection. I don't think
9 he knows what everyone in the subgroup read.

10 A. Yeah. I don't know -- I don't know what
11 else -- you know, I don't know about the other
12 authors or the other participants. Whether they
13 read it or not, I don't know.

14 BY MR. GRIFFIS:

15 Q. Okay. But --

16 A. But I know -- I do know that
17 Mr. LeCurieux and Ivan would have read this.

18 Q. And did they say -- did you disclose in
19 the IARC monograph that the authors of the paper
20 didn't find there was any association?

21 MS. WAGSTAFF: Objection. The monograph
22 speaks for itself.

23 A. Monographs -- it -- there's limitations
24 that were described in the monograph.

25

1 BY MR. GRIFFIS:

2 Q. Did the disagreement with the
3 conclusions of the authors of the article -- was
4 that disclosed in the monograph?

5 MS. WAGSTAFF: Objection. The monograph
6 speaks for itself. Argumentative.

7 A. I don't know. I don't -- I don't know
8 if it is or not.

9 BY MR. GRIFFIS:

10 Q. Okay. Do you know Dr. Solomon, one of
11 the coauthors of the Bolognesi paper?

12 A. I don't know him.

13 Q. Okay. Do you know that he said in a
14 letter to editor -- I'm sorry -- in an interview
15 that IARC got his study completely wrong?

16 A. I don't know that.

17 Q. Okay. Did anyone tell you that he was
18 quoted as saying, "They got this totally wrong.
19 They said the study showed there was relationship.
20 It's certainly a different conclusion than the one
21 we came to"?

22 MS. WAGSTAFF: Objection. Dr. Ross just
23 stated he didn't know.

24 A. About -- about his comments? I don't
25 know about those comments.

1 BY MR. GRIFFIS:

2 Q. Have you followed the discussions in the
3 scientific community about IARC's methodology and
4 IARC's conclusions followed you leaving working
5 group 112?

6 A. I am aware of press, yes, regarding --

7 Q. Not this specific one, but some other
8 press?

9 A. I don't recall this -- seeing this.

10 Q. And what have you followed?

11 A. I have seen reports in the Morning
12 Consult and New York Times.

13 Q. Anything else?

14 A. I have seen some stuff in Huffington
15 Post and Genetic Literacy Project and Monsanto's
16 website.

17 MS. WAGSTAFF: I'm going to object about
18 questions regarding what he's seen in the
19 press regarding the 112, when the entire
20 alleged purpose of this deposition was the
21 working group mechanism's decision-making
22 process, and what has happened since then in
23 the media is completely irrelevant. And I
24 believe that Judge Charbriio would agree.

25

1 BY MR. GRIFFIS:

2 Q. Have you been following those things
3 yourself, or are these things that people e-mail
4 you and you read when they happen to do that or
5 what?

6 MS. WAGSTAFF: Same objection.

7 A. I've been familiar with it.

8 BY MR. GRIFFIS:

9 Q. Okay. Have any of the people -- and I'm
10 talking about scientists who are commenting.

11 Have any of scientists who have
12 commented in a critical way about IARC made any
13 points that you considered to be useful or
14 valuable critiques of the review that you did?

15 MS. WAGSTAFF: Objection. Once again,
16 completely irrelevant and outside the scope
17 of what the deposition allowed and requested.

18 A. I believe what we did was appropriate
19 on -- based on the guidelines we were given in the
20 preamble and -- yes. So I think what we did was
21 appropriate. I can't comment beyond that.

22 BY MR. GRIFFIS:

23 Q. Okay. So you feel that you
24 appropriately followed the guidelines that you
25 were given?

1 A. Yes.

2 Q. Have you seen any criticisms of the
3 guidelines that you were given you considered to
4 be valid or fair?

5 A. No. I haven't -- no. I haven't seen
6 criticisms of the guidelines we were given in the
7 preamble that I felt were -- well, let me rephrase
8 that. I haven't really seen criticisms of the
9 guidelines.

10 Q. Okay. Fair enough.

11 Now oxidative stress. You said
12 that you did a peer review of that section. It
13 took about a day and a half of total time,
14 including sending in the comments; is that right?

15 A. Yes.

16 Q. Okay. Now, without the oxidative stress
17 findings, what would the mechanism group's
18 recommendation have been?

19 MS. WAGSTAFF: Objection. That calls
20 for speculation, and it's a hypothetical when
21 the subgroup actually did find oxidative
22 stress in its totality of the evidence type
23 recommendation. And I don't think that
24 anything -- any response would be anything
25 more than speculation.

1 A. I'm not sure I understand the question.

2 BY MR. GRIFFIS:

3 Q. Yes, sir. I'm trying to understand how
4 critical the oxidative stress findings were as
5 compared to the genotoxicity findings in your
6 conclusions that there was strong evidence that
7 mechanisms existed by which glyphosate could cause
8 cancer supporting, at one point, an upgrade which
9 you didn't end up needing to advocate, et cetera.

10 How critical were the oxidative
11 stress findings as compared to the genotox
12 findings?

13 MS. WAGSTAFF: Again, I'll object to the
14 fact that you're asking him to speculate on a
15 hypothetical that never happened.

16 A. In terms of the 10 key characteristics,
17 they were equally important.

18 BY MR. GRIFFIS:

19 Q. There's no hierarchy in the 10 key
20 characteristics?

21 A. I'm not familiar with one.

22 Q. Okay. Are they considered all to be
23 equal markers of carcinogenicity?

24 A. I don't think I am the one who can
25 answer that.

1 Q. Is anyone in the mechanism group one who
2 can answer that?

3 A. I think they are all given equal weight,
4 in general. There's a -- yeah. I can't say
5 there's one given more weight than the other.

6 Q. Okay. When you said, "I'm not the one
7 to answer that," did you have someone in mind
8 who --

9 A. No.

10 Q. -- would be better able to answer that?

11 A. I think a cancer biologist might be more
12 appropriate to answer that specific question.
13 We -- I looked at these 10 key characteristics as
14 all being equal. We are trying to find the body
15 of evidence that falls into each one of these key
16 characteristics. What is the totality of the peer
17 reviewed, published, openly available literature.
18 So I don't think there's any bias in terms of one
19 over another.

20 Q. Okay, sir. Tell me if this is right,
21 then, that a cancer biologist may be better able
22 to comment on the relevance of any particular one
23 of the 10 key characteristics to formation of
24 cancer.

25 Your mission was different. It was

1 to put the evidence into the bins and assess
2 whether there was medium, moderate, or strong
3 evidence with regard to each of the bins, correct?

4 MS. WAGSTAFF: Objection to form.

5 A. My job was to evaluate the toxicokinetic
6 data on glyphosate.

7 BY MR. GRIFFIS:

8 Q. And group 4's job --

9 A. Group 4's job was to work on
10 toxicokinetics, which I was primarily responsible
11 for, and to evaluate the data -- the database on
12 these 10 key characteristics.

13 Q. So group 4's mission was to put the
14 evidence into the bins, into the ten categories,
15 and assess within each bin whether it was weak,
16 moderate, or strong evidence or we have no data in
17 some cases, correct?

18 MS. WAGSTAFF: Object to the form. Use
19 of the word "mission."

20 BY MR. GRIFFIS:

21 Q. Is that correct, sir?

22 A. Yes. Their -- yes.

23 Q. Okay.

24 (Exhibit No. 13-21 and Exhibit No. 13-22
25 marked for identification.)

1 MS. WAGSTAFF: Did you mark the
2 Bolognesi as 21, or do you want to?

3 MR. GRIFFIS: I think so, yeah.

4 MS. WAGSTAFF: Okay. This will be 22.

5 MR. GRIFFIS: Yes.

6 MS. WAGSTAFF: I'm going to object to
7 using the exhibit considering we can't read
8 95 percent of it.

9 BY MR. GRIFFIS:

10 Q. Exhibit 22, sir, is an e-mail from Ivan
11 Rusyn that you produced as part of your production
12 to Lauren Zeise, Frank LeCurieux to you, and -- I
13 can't read the last one.

14 MS. WAGSTAFF: Was it produced by --

15 BY MR. GRIFFIS:

16 Q. What I want to ask you about is the big
17 thing, not the little one. I mean, the rest of
18 this that's very hard to read is primarily a list
19 of assignments -- or recapitulation of the
20 assignment list.

21 What I want to ask about is this
22 large legible chart that Dr. Rusyn sent to members
23 of the subgroup 4.

24 MS. WAGSTAFF: Object to foundation of
25 this document.

1 BY MR. GRIFFIS:

2 Q. With regard to mechanistic, do you see
3 the three squares at the top -- three rectangles,
4 cancer in humans, cancer in experimental animals,
5 and mechanistic and other relevant data?

6 A. Yes.

7 Q. Okay. And with regard to mechanistic
8 and other relevant data, which, of course, was the
9 portion that your group was focused on, there are
10 dotted lines blowing up some questions.

11 "Identify, establish some likely mechanistic
12 events." And then there's some questions relevant
13 to that.

14 And, "Determine whether each
15 mechanism could operate in humans," and there's a
16 question for that.

17 Do you see that?

18 A. Uh-huh (affirmative response).

19 Q. Now, do you recall the purpose for which
20 Dr. Rusyn sent this to you and the other members
21 of group 4?

22 MS. WAGSTAFF: Object to using this
23 document when you can't see the date. You
24 can't see who sent it. You can't see who it
25 was sent from.

1 And did Hollingsworth, LLP, blow this
2 up, or was it produced --

3 MR. GRIFFIS: It was produced exactly
4 like this. The smallness was exactly like
5 this.

6 MS. WAGSTAFF: Okay.

7 MR. GRIFFIS: Dated February 10th, 2015.
8 Sent to Zeise, LeCurieux, Ross, and my eyes
9 fail me for the third.

10 MS. WAGSTAFF: I'll maintain my
11 objection since we can't read this, but go
12 ahead.

13 BY MR. GRIFFIS:

14 Q. Try to ask the question again?

15 A. Yeah. So...

16 Q. Yes, sir. There's three rectangles at
17 the top -- cancer in humans, cancer in
18 experimental animals, and mechanistic or other
19 relevant data. You just said that that was -- of
20 course, that was the area that group 4 was focused
21 on.

22 And then there are these dotted
23 lines that blow up some subpoints and questions
24 relevant to mechanistic and other relevant data,
25 right?

1 A. Correct.

2 Q. Okay. The question I asked was, do you
3 recall the purpose for which Dr. Rusyn sent you
4 and other members of the group this chart with
5 questions?

6 A. This is before the meeting. We -- we
7 were having a teleconference, I presume. And this
8 was -- this is -- this looks like verbiage that
9 comes from the preamble and how to address the
10 mechanistic data.

11 Q. Okay. So you understood this to be some
12 of the questions that you would be focused on
13 originating in the preamble in doing your
14 mechanistic analysis.

15 Is that fair?

16 A. That's what the preamble -- yes. It
17 comes from the preamble.

18 Q. Okay. On the issue of -- I'm looking at
19 the first -- first item. "Identify, establish
20 likely mechanistic events" -- and the second
21 question -- the second set of questions asked,
22 "Has each mechanism been challenged
23 experimentally? Does suppression of key
24 mechanistic processes lead to suppression of tumor
25 development," correct?

1 A. Yes.

2 Q. Okay. And do you know of any data
3 looked at by working group -- working group 112 at
4 all showing that suppression of genotoxicity or
5 suppression of oxidative stress, the mechanistic
6 processes that you identified, led to suppression
7 of tumor development?

8 A. By which -- by glyphosate or glyphosate
9 formulations?

10 Q. Yes, sir.

11 A. So to my knowledge, there are no
12 evidence that suppressing those two would lead to
13 suppression of tumor development. I am not aware
14 of any studies that looked at that. We -- yeah.
15 There are suppression of oxidative stress by the
16 use of antioxidants when we looked at glyphosate.

17 Q. But those just looked at oxidative
18 stress end points and not tumor development,
19 right?

20 A. That's right.

21 (Exhibit No. 13-23 marked for
22 identification.)

23 BY MR. GRIFFIS:

24 Q. Okay. Exhibit 23, sir. This is an
25 e-mail chain involving Frank LeCurieux, yourself,

1 Kate Guyton, Matt Martin, and Lauren Zeise and
2 Ivan Rusyn, correct?

3 A. Yes.

4 Q. Okay. Later adding in Andy Shapiro. I
5 would like to focus first on Kathryn Guyton's
6 March 13th, 2015 e-mail. Header of which is at
7 the bottom of the first page, and the text appears
8 on the second page.

9 Okay. Tell me when you're ready,
10 sir.

11 A. Trying to get a timeline of the day
12 here. Okay.

13 Q. Okay. So, again, I'd like to start out
14 with Kathryn Guyton's March 13th, 2015 e-mail.
15 The header is at the bottom of the first page, and
16 the text is on the second page.

17 A. Okay.

18 Q. And she calls subgroup 4 the dream team
19 and says those are Kurt's words -- Kurt Straif,
20 correct?

21 A. Kurt Straif, yes.

22 Q. Kurt Straif called subgroup 4 the dream
23 team?

24 A. That's what's written in this e-mail.

25 Q. Is that the first time you saw that?

1 A. I've seen this e-mail before.

2 Q. That's not quite what I meant.

3 Is this the first time you heard
4 group 4 be called the dream team when you saw this
5 e-mail?

6 A. Yes.

7 Q. Okay. She thanks you for your
8 contributions during the plenary session and then
9 says, "We were all impressed that Matt Martin was
10 able to quickly calculate P values for the CA
11 trend cut to aid interpretation of bioassay data."

12 I read that correctly?

13 A. Yes.

14 Q. Okay. And CA means Cochran Armitage?

15 A. Yes. I believe so.

16 Q. Okay. What --

17 A. I'm not a biostatistician, but I believe
18 that's right.

19 Q. All right. Now, what group was Matt
20 Martin in?

21 A. He was in subgroup 4.

22 Q. And what was the bioassay data? What is
23 that a reference to?

24 A. Could be one of the five compounds.
25 I -- I can't say with certainty which one it was.

1 Q. Well, it's talking about an animal
2 study, correct?

3 A. Well, it's talking about some animal --

4 Q. Animal carcinogenic study?

5 A. Yeah. Animal cancer bioassay. But the
6 specific compound...

7 MS. WAGSTAFF: Object to foundation of
8 this questioning. He's unsure if it's even
9 relating to glyphosate.

10 A. I don't -- I don't know if it relates
11 specifically to glyphosate or not in this context.

12 BY MR. GRIFFIS:

13 Q. Okay. First of all, let me ask you
14 this. Were you aware of Dr. Martin performing
15 calculations on animal group studies?

16 A. I was vaguely aware. There was some --
17 he does statistics. He was doing some work at the
18 meeting. I don't know the specifics of the
19 analyses or which compounds or which particular
20 animal bioassays were being examined.

21 I don't know the specifics because
22 my focus was so much on the toxicokinetics during
23 this stage of the meeting, that I don't know
24 which -- which bioassay he is referring to.

25 Q. Were you aware that, during working

1 group 112, a Cochran analysis bioassay was
2 recalculated with regard to glyphosate?

3 MS. WAGSTAFF: Objection. Foundation.

4 A. I -- I can't remember specifically if it
5 was for glyphosate. There were several compounds.
6 It's possible. It's possible.

7 BY MR. GRIFFIS:

8 Q. This is a slightly different question
9 than do you remember what Dr. Martin did. This is
10 specifically asking about glyphosate.

11 Do you recall that a Cochran
12 analysis bioassay calculation was performed with
13 regard to glyphosate during working group 112?

14 MS. WAGSTAFF: Objection. Foundation.

15 A. I can't -- with certainty, I can't
16 remember which one was being analyzed.

17 BY MR. GRIFFIS:

18 Q. Do you recall that that Cochran
19 analysis -- I'm sorry -- the Cochran Armitage
20 analysis done on a glyphosate bioassay resulted in
21 purported statistical significance where it had
22 not existed before?

23 MS. WAGSTAFF: Objection. Foundation.

24 A. I don't know the specifics of that.

25

1 BY MR. GRIFFIS:

2 Q. Is that something you recall from the
3 plenary sessions or from the other discussions
4 that you participated in or heard?

5 A. I wasn't in subgroup 3, so I -- I don't
6 know the specifics. I wasn't in their
7 conversations about the statistical tests.

8 Q. Other than Matt Martin and Christopher
9 Portier, who do you know who was performing
10 statistical analyses during working group 112?

11 MS. WAGSTAFF: Objection.

12 A. I don't even know if Chris Portier was.
13 I don't know.

14 BY MR. GRIFFIS:

15 Q. Do you not know that Chris Portier was?

16 A. I don't know.

17 Q. Okay. And you told us he was there as
18 the bio statistician. Correct?

19 MS. WAGSTAFF: Object to the form.

20 A. Yes.

21 BY MR. GRIFFIS:

22 Q. Did he spend time with groups other than
23 working group four? I'm sorry. Subgroup four?

24 A. I don't know if he spent time with them.

25 Q. Was he present at all subgroup four

1 meetings?

2 A. Oh. I think there was one point he had
3 to step out. I don't remember which point.

4 Q. Okay.

5 A. There was a -- I can't -- he wasn't 100
6 percent there.

7 Q. Okay. One session he stepped out?

8 A. Yes.

9 Q. Okay. Other than that --

10 A. I recall that.

11 Q. Other than that, he was in all of your
12 meetings?

13 A. Other than that, yes.

14 Q. Okay. This document mentions IARC table
15 builder. Okay. Correct?

16 A. This e-mail?

17 Q. Yes.

18 A. Uh-huh (affirmative response).

19 Q. Okay. And do you know what the IARC
20 table builder is?

21 A. Yes. I didn't use it, but it -- it was
22 there to present data in the tables that you see
23 in the monograph.

24 Q. Okay.

25 A. But I didn't use it.

1 Q. Was it connected to IOPS or HAWC or any
2 other particular system?

3 A. I believe it is in IOPS. Maybe in HAWC.
4 I don't think so. It was -- I think it was IOPSSs.

5 Q. So in the IARC, the way it works, you
6 enter bioassay incidents data and it automatically
7 runs peer wise end trend analyses and presents
8 that data?

9 A. I don't know anything about that.

10 Q. Okay.

11 A. I don't know how it -- how that works.

12 Q. Do you know or would we have to ask
13 someone else, whether both peer wise and trend,
14 trend Cochran Armitage test are appropriate for
15 all bioassay incident data?

16 A. It is not my expertise area. I believe
17 both were used.

18 Q. Do you know whether they are used under
19 different circumstances, different sorts of data,
20 different rarities of end point et cetera or do
21 you not know?

22 A. I don't -- I don't know the details of
23 that. I'm not with the peer wising and trend, I
24 don't know when is the most appropriate to use. I
25 know in cancer bioassay data it is often used.

1 Both types of tests.

2 Q. Okay. You don't know when to pick one
3 and when to pick the other --

4 A. That would be out of my area.

5 Q. That's fine. And to the first e-mail in
6 this document, the one from Katherine Guyton.
7 Frank LeCurieux is cc'ing you March 13th of 2015.
8 She is responding to a suggestion, Mr. LeCurieux,
9 to involve subgroup one and more analyses. That's
10 not the thing I want to focus on. She says a
11 great suggestion.

12 And she says, "Unfortunately, I
13 among other toxicologist don't understand the
14 epidemiologist and their exposure compadres.
15 However, I agree that their input, whatever it
16 meant on the Bolognesi study, which was critical
17 and in the end as valuable as, quote, sheep dip,
18 with a monkey face?"

19 Would you explain what is meant by
20 the input of the epidemiologist on the Bolognesi
21 study?

22 MS. WAGSTAFF: Objection. This calls
23 for speculation. Dr. Ross did not draft this
24 e-mail. Dr. Guyton drafted this e-mail and
25 asking him to opine on what she meant is pure

1 speculation.

2 BY MR. GRIFFIS:

3 Q. I'm not asking you to opine on what she
4 meant, Doctor. I'm asking you what input the
5 epidemiologist had on the Bolognesi study during
6 the deliberation of the working group 112? Or is
7 this something that happened that you don't know
8 anything about?

9 MS. WAGSTAFF: Also, objection to the
10 fact that there were multiple Bolognesi
11 studies.

12 A. I don't recall what -- what is being
13 discussed regarding the epidemiologists. I could
14 only speculate.

15 BY MR. GRIFFIS:

16 Q. Whatever --

17 A. What they were talking about.
18 Confounders and so forth. So I -- it is not -- I
19 don't recall specifically this.

20 Q. There are two Bolognesi studies. One is
21 the one we've discussed previously in this
22 deposition about people being sprayed at the
23 Columbia Ecuador border, and the other is an
24 animal study. Right?

25 A. I don't know about the other. The only

1 one I'm -- I'm really familiar with is that in --
2 the one we looked at earlier.

3 Q. Do you know about epidemiologist or
4 exposure people being involved in giving critical
5 input with regard to either of the Bolognesi
6 studies?

7 A. They may have. I don't know the answer.
8 How much input, I don't know.

9 Q. Okay. You don't know anything about
10 that event or where it took place?

11 A. I don't remember any conversation about
12 that. I can't recall it.

13 Q. Okay. Take a break.

14 VIDEOGRAPHER: Off the record at 3:56.

15 (A short recess was taken.)

16 VIDEOGRAPHER: Back on the record, 4:05.

17 BY MR. GRIFFIS:

18 Q. Okay. We made a little bit of a nest of
19 documents I handed you. I'd like to talk to you
20 briefly about Exhibit 3, which is the subpoena
21 that we sent early in this process, asking you to
22 produce some documents.

23 A. This is the one in September?

24 Q. Yeah. Sometime in that -- not in
25 connection with this deposition. The one which

1 you responded ultimately by sending us some
2 documents. Would you tell us what you did. Don't
3 tell me what your lawyers did, but tell us what
4 you did to respond to that.

5 A. So I did searches of my work computer.
6 Key word searches, I think, were IARC, glyphosate
7 Monsanto.

8 I don't know the specifics. It was
9 in the subpoena itself. But whatever was in the
10 subpoena, I would do key word searches to make
11 sure I could pull up all of the word docs, which
12 several early drafts that we had -- I had -- I had
13 drafted. That was the word docs on my work
14 computer. I -- as you know, I had a spiral
15 notebook that I kept notes with, and I looked for
16 the notes from the meeting. And I made
17 photocopies of it. Scanned it to the lawyers.
18 Provided all of the word docs and provided it to
19 the lawyers. And, yeah, I think so -- that's what
20 I did. I scrubbed my computer for the -- you
21 know, for what I needed to provide.

22 Q. Okay. I'm going to ask a series of
23 questions to, you know, explore that a little bit
24 and see if I can exhaust the process.

25 Do you work -- did you work on --

1 do you have multiple computers? Have a computer
2 at home? A laptop --

3 A. Yeah.

4 Q. -- use?

5 A. I have my own laptop. And I also
6 provided any -- a lot of it was redundant. I --
7 but if there was any documents on my laptop, I
8 also provided that as well.

9 Q. Okay. Let's first get the complete list
10 of computers that you used.

11 A. So it was my work computer and a
12 personal laptop.

13 Q. Do you have a computer at home?

14 A. No. No. Not my personal computer.

15 Q. Do you have a personal computer at home?

16 A. I'm sorry. My laptop --

17 Q. Okay.

18 A. -- might take -- that I use at home.

19 Q. Okay. The laptop serves as your home
20 computer?

21 A. Yes. Yes.

22 Q. And you don't use any other computer or
23 tablets or ...

24 A. No.

25 Q. -- anything? Devices of any sort?

1 A. No.

2 Q. And you searched both your work computer
3 and the laptop for the terms. Correct?

4 A. Right.

5 Q. Okay. In what program did you run those
6 searches?

7 A. This is the search engine, this -- first
8 of all, I knew where most of the documents were
9 located, but to make sure I didn't have something
10 in a folder I wasn't aware of, I used the search
11 functionality on my laptop and on my work
12 computer. Whatever that's -- that operating
13 system is. I don't remember but -- what that is.

14 Q. It was the operating systems search --

15 A. Yeah.

16 Q. -- function, not Microsoft Word search
17 function, is it?

18 A. Not Microsoft Word. The actual thing
19 that will allow you to find any document that has,
20 say, for example, IARC in the text.

21 Q. Right. Now, on the subject of PDFs, PDF
22 don't always --

23 A. Yes.

24 Q. -- aren't always searchable.

25 A. I looked for PDFs as well.

1 Q. How did you look for PDFs that might not
2 be searchable -- scan them or something?

3 A. I went through all and -- don't even
4 know if we had any PDFs. I'm not sure. I can't
5 remember for sure. But I looked for everything
6 that was there in my PDF folder. I think there is
7 ways in IARC I can -- you can use asterisks and
8 dot PDF like asterisks IARC, asterisk dot PDF to
9 do searches that would capture that.

10 Q. Yeah.

11 A. Capture those file.

12 Q. Some PDFs are intelligible enough to the
13 computer that you can run word searches and some
14 are not.

15 A. I --

16 Q. Okay. Did you -- what did you do about
17 e-mail?

18 A. E-mail. So I looked but I think our IT
19 guys were the ones capturing all of the e-mails
20 that you have that -- that were -- that were
21 responsive to the subpoena. So the IT guys were
22 responsible for getting those.

23 Q. Other than any e-mail addresses that you
24 might use exclusively for personal business, how
25 many e-mail addresses do you have?

1 A. Oh. I have two e-mail addresses. One a
2 personal and one a work.

3 Q. And do you send and receive work e-mails
4 on the personal one for convenience ever?

5 A. No. The Yahoo one, I don't. I don't.
6 I don't use it for work.

7 Q. And the work one, you ran some searches
8 and found e-mails yourself. Did you provide those
9 to your lawyers?

10 A. I'm trying to recall. I was told that
11 IT will capture all of the e-mails. I don't
12 recall actually handing over any e-mail hard copy
13 of print outs.

14 Q. Okay.

15 A. Because I assumed IT would be more
16 effective than I would be.

17 Q. And by IT, you mean IT here at MSU.
18 Correct?

19 A. Yes.

20 Q. Okay. All right. Do you know what --
21 did you give them the list of search terms? Or
22 was it handled by someone else?

23 A. I think this is a -- it's pretty common
24 that they would have the search terms under the
25 subpoena that they would be looking for. And they

1 would go through that, but I'm not the IT guy
2 so...

3 Q. Don't know?

4 A. Yeah.

5 Q. Okay. You talked about your notebook.
6 And what you did for that. You took it and you
7 found -- I take it you found relevant date range.

8 A. Uh-huh (affirmative response).

9 Q. And copied the pages within that range
10 and sent them off to your lawyers. Correct?

11 A. Right.

12 Q. Do you recall any pages from that date
13 range that I haven't shown you today?

14 A. I don't recall. I don't -- I don't
15 recall. I think I captured -- captured the date
16 range of the meeting. Yeah. So I don't think
17 there was any other -- you may have something I
18 can't remember photocopying, but I don't remember
19 it.

20 Q. I don't have anything in mine.

21 A. Okay. I thought you had another
22 surprise.

23 Q. No, sir. No more surprises, if there
24 were any.

25 And paper files, paper documents,

1 do you have any other than the notebook pertaining
2 in any way to IARC, glyphosate or Monsanto?

3 A. No.

4 Q. Okay. And do you have any -- way that
5 you operate -- primarily electronically, do y'all
6 print things out?

7 A. Primarily.

8 Q. Or do you print them and then throw
9 away?

10 A. Well, there would have been some early
11 drafts that I would have tossed in the recycle.
12 Might have had a hard copy of it and I was
13 reviewing it myself. I didn't discover -- I
14 didn't find any hard copies to hand over.

15 (Exhibit No. 13-24 marked for
16 identification.)

17 BY MR. GRIFFIS:

18 Q. Almost done here, sir. Exhibit 24.
19 Okay. Exhibit 25.

20 (Exhibit No. 13-25 marked for
21 identification.)

22 MS. WAGSTAFF: Objection. Beyond the
23 scope of this document. It really has no
24 bearing on the subgroups conclusion about
25 glyphosate.

1 BY MR. GRIFFIS:

2 Q. Sir, exhibit 24 is an e-mail from
3 Katherine Guyton to you and to other persons
4 talking about the subpoenas that were issued by
5 Monsanto seeking documents, the documents we've
6 just been talking about. Correct, sir?

7 A. Yes.

8 Q. Okay. And when you received this, it
9 was sent on April 1st of 2016, you saw that
10 Ms. Guyton was telling you the position of IARC
11 all draft documents and materials prepared by the
12 working group in advance or during the in-person
13 monograph group meeting are to be considered draft
14 and deliberative. And she went on to say that
15 IARC does not encourage participants to retain
16 working drafts of documents after the related
17 monograph has been published. Correct?

18 A. Yes.

19 VIDEOGRAPHER: Off the record.

20 (A short recess was taken.)

21 VIDEOGRAPHER: Back on the record.

22 BY MR. GRIFFIS:

23 Q. Okay. Mr. White has said while we were
24 off the record, that he believes that the e-mail
25 was sent -- Exhibit 24 was sent in response to an

1 open record request and not specifically that
2 document production request.

3 But, when you received this, did he
4 do anything about it?

5 A. Which e-mail?

6 Q. Exhibit 24. Yeah.

7 A. Let's see. Well, Mississippi State
8 lawyers were involved at this point. So I was
9 talking with the Mississippi State lawyers about
10 what -- what I needed to do.

11 Q. Okay. Don't tell me what you said to
12 them or what they said to you.

13 But I assume you sent this on to
14 them?

15 A. Yes. Yes, I did.

16 Q. Did you delete any drafts or any other
17 documents?

18 A. No.

19 Q. Exhibit 25 is a letter dated April 7th,
20 six days later from another IARC officer to
21 working group members talking about request for
22 disclosure of documents that some members of the
23 working group to include yourself, sir, had
24 received.

25 And at the end it says, "For all of

1 the above reasons IARC request you and your
2 institute not to release any documents in your or
3 your institute possession relating to your work in
4 the capacity as a member of the working group."

5 Other than sending this on to your
6 lawyers, did you do anything in response to this
7 letter?

8 A. I provided this to the lawyers here at
9 Mississippi State. That was -- that was my step.

10 Q. Now, at one point you were concerned
11 about -- you were asked to participate in working
12 group 117. Correct?

13 A. Correct.

14 Q. At one point you were concerned about
15 doing so given the pendency of these document
16 requests and your perception that handing over the
17 documents would possibly put you at odds with IARC
18 interests. Is that fair to say?

19 MS. WAGSTAFF: Objection to scope. This
20 deposition is to explore the mechanism,
21 group, subgroups, conclusion about
22 glyphosate. And whether or not he had any
23 reservation about participating in monograph
24 117, which was years after 112 opinion is
25 completely irrelevant and outside of scope.

1 BY MR. GRIFFIS:

2 Q. Go ahead.

3 A. So my concern was that I would be in a
4 conflict of interest between IARC and Mississippi
5 State, and therefore I felt that I should resign
6 from volume 117.

7 Q. And Kate Guyton at IARC reassured you
8 and said we don't view there being any conflict?
9 Correct?

10 A. I had discussions with lawyers here at
11 Mississippi State. Kate had discussions with
12 lawyers at IARC that there was no conflict of
13 interest to serve on volume 117.

14 Q. And you -- sorry. Go ahead.

15 A. Go ahead.

16 Q. Didn't mean to cut you off, sir.

17 And you were asked to serve as the
18 chair of mechanism 117. Is this right?

19 A. I served as the subgroup chair for
20 mechanisms, yes.

21 Q. Okay.

22 A. For volume 117.

23 Q. Okay. Do you recall writing to Kate
24 Guyton, "I expect Ivan, our fearless leader, to be
25 there. Dr. Rusyn is a tough act to follow."

1 A. Those -- yes, that is my e-mail.

2 Q. And what did you mean by that?

3 A. I have a lot of respect for Dr. Rusyn as
4 a scientist.

5 Q. What did you observe at working group
6 112. I assume that's what you were referring to
7 when you said, "Tough act to follow." Correct?

8 A. Yes. I --

9 Q. What did you observe Dr. Rusyn doing at
10 working group 112 that made you say that?

11 A. Extreme rigor. Very rigorous person --
12 scientist.

13 Q. What do you mean by rigor?

14 A. Evaluating the data objectively,
15 demanding evidence.

16 Q. Sir, I'm finished with my questions for
17 the time being. I'm going to reserve the rest of
18 my time to follow up with -- there's going to be
19 some questions from Ms. Wagstaff. I hope you
20 understand that I had a job to do and Monsanto had
21 a job to do in sending you those requests and
22 conducting this deposition. I hope you haven't
23 felt oppressed or harassed by me or my due process
24 any more than is absolutely necessary.

25 A. Everyone's got a job to do. I

1 understand.

2 Q. Thank, you sir.

3 VIDEOGRAPHER: Break. Off the record.

4 (A short recess was taken.)

5 VIDEOGRAPHER: Back on record at 4:52.

6 EXAMINATION BY MS. WAGSTAFF:

7 Q. Good afternoon, Dr. Ross. My name is
8 Aimee Wagstaff, and I am an attorney who is
9 representing several plaintiffs who allege they
10 have been injured after a result to exposure to
11 glyphosate. Are you aware of that?

12 A. Yes.

13 Q. Okay. And so your deposition was first
14 noticed by Monsanto in the multi-district
15 litigation out of San Francisco and then we
16 cross-noticed that deposition. Are you aware of
17 that?

18 A. I knew it was in San Francisco, and I
19 think it's been consolidated. What I understand
20 the case has been consolidated. Is that --

21 Q. I mean, that's -- I'm just meaning are
22 you aware that we cross-noticed your deposition?

23 A. Yes.

24 Q. Okay. And you and I have never met
25 before today. Correct?

1 A. Correct.

2 Q. We've never spoken on the phone together
3 before today. Correct?

4 A. Correct.

5 Q. We've never e-mailed before today.
6 Correct?

7 A. Correct.

8 Q. And, in fact, the first time I met you
9 was when you walked into this deposition room this
10 morning. Correct?

11 A. Yes.

12 Q. Okay. And Mr. Griffis showed you an
13 e-mail that my partner, my law partner Katherine
14 Forgie sent you, I believe, a couple of years ago.
15 Do you remember that this morning?

16 A. I don't remember what exhibit it was
17 but, yes. I remember the e-mail.

18 Q. Okay. And just to be clear, you've
19 never spoken with Ms. Forgie other than that
20 unilateral attempt to contact you. Correct?

21 A. Yeah. I've never spoken -- spoken with
22 Katherine Forgie.

23 Q. Okay. And we searched our law firm
24 e-mails for a response from you and didn't find
25 any. And that would be consistent with your

1 recollections to. Correct?

2 A. Yes.

3 Q. Okay. So and you haven't spoken with
4 anyone from the Miller Law Firm out of Virginia.
5 Correct?

6 A. No.

7 Q. Okay. And you haven't spoken anyone
8 from Weitz Luxenberg out of New York City.
9 Correct?

10 A. No.

11 Q. Okay. Excellent. So let's take a look
12 at your CV really quick, which has been marked as
13 Exhibit 4. And I'd just like to go over this real
14 quickly, if I could.

15 It looks like it was updated in May
16 of '17.

17 A. Yes.

18 Q. Okay. So this is -- this was provided
19 by your attorney a couple of days ago, so it's the
20 most updated CV that you have. Correct?

21 A. Right.

22 Q. Okay. And it looks like you've got a
23 Ph.D. from UC Irvine?

24 A. Correct.

25 Q. Correct. And a bachelor of science and

1 chemistry from Cal Berkley?

2 A. Correct.

3 Q. Is that correct? And then it looks like
4 you've got -- that was in 1998 and 1989
5 respectively. Correct?

6 A. Yes.

7 Q. And so if you backtrack your four years
8 of college, my math may be off a little, but you
9 started studying chemistry somewhere around 1985?

10 A. Yes.

11 Q. Okay. And to -- to today, which is
12 in -- today is May 3rd, 2017, so you've been
13 studying chemistry for about 32 years? Something
14 like that?

15 A. Yes. Date me, yes.

16 Q. Not to date you. Okay. And it looks
17 like you have -- starting with 1987, was your
18 first sort of teaching assistant job at Cal
19 Berkley as -- in the chemistry stock room teaching
20 assistant. Is that correct?

21 A. Right. I worked as both. In the
22 chemistry stock room and as a teaching assistant
23 while an undergraduate.

24 Q. Okay. Great. So your first teaching
25 job, if you will, in chemistry, was 30 years ago?

1 A. Yeah.

2 Q. Okay. And that works all the way up to
3 today where you are, it looks like, currently an
4 associate professor at Mississippi State
5 University. Correct?

6 A. Yes.

7 Q. Okay. And you were working the
8 department of basic sciences and you were awarded
9 tenure, looks like, in July of 2010. Is that
10 right?

11 A. Correct.

12 Q. Okay. If you go to the next page. It
13 looks like you've received a lot of awards.
14 You've listed one, two, three, four, five, six,
15 seven, eight, nine, ten, eleven, twelve, thirteen
16 awards or honors that you've received in the field
17 of advanced education and or chemistry. Is that
18 correct?

19 A. Correct.

20 Q. Okay. The first one again being back in
21 1986 and the most recent one was an award that you
22 received in China in 2015?

23 A. Correct.

24 Q. Okay. And all of this is true and
25 accurate and up to date. Right?

1 A. Yes.

2 Q. Okay. And then if you scroll down and
3 it says, "Research FTE 70 percent," what does that
4 mean?

5 A. FTE is a way we break out our research
6 teaching and service at the University. FTE
7 stands for full time equivalent.

8 Q. Okay. And so can I -- can I take that
9 to mean that 70 percent of your time your are
10 researching?

11 A. That's right.

12 Q. Okay. And then you've talked about
13 your -- you list peer review publications and you
14 split that up into publications since joining
15 Mississippi State University and prior to joining
16 Mississippi State University. Right?

17 A. Correct.

18 Q. And it looks like you've written three
19 peer review publications since you joined the
20 University. Right? Look at the bottom where your
21 left hand is.

22 A. More than three since I've joined the
23 University.

24 Q. Okay.

25 A. I had several since I joined the

1 University. Several peer review public. It
2 starts Page 7.

3 Q. Okay. So I was just confused because
4 these three aren't numbered and then you start at
5 64, so I didn't know. So you --

6 A. Those are -- so first one in
7 preparation. So this is something we are about to
8 submit. And the other two are currently under
9 review. So they haven't been formally accepted.

10 Q. Okay. So it's fair to say, though, that
11 you've written in 64 peer review articles?

12 A. Yes.

13 Q. Since you joined the University. Is
14 that correct?

15 A. Yes. 64 minus 12. Yes. So...

16 Q. A lot?

17 A. Right.

18 Q. Regardless. Okay. And what's the
19 significance of having a publication peer
20 reviewed?

21 A. Oh. Peer review is important in terms
22 of having independent scientist evaluate the data
23 that you are trying to publish and determining
24 whether the conclusions you draw are based on the
25 data that's provided within the publication.

1 Q. Okay. And to be published -- well
2 strike that.

3 So is it fair to say peer review is
4 sort of a safety net to ensure that the integrity
5 of the -- and the high quality of the literature?

6 A. Yes. A peer review is very important
7 because you have anonymous reviewers -- your peers
8 in your field reviewing the evidence, reviewing
9 the data and determining whether the conclusions
10 are sound, whether the methodology is -- is sound.
11 And it's an important -- peer review is a critical
12 aspect of the scientific enterprise.

13 Q. Okay. And generally speaking,
14 non-published science is not peer reviewed. Is
15 that correct?

16 A. Non-published science -- it -- well, to
17 be peer reviewed, and to be accepted into a
18 journal, you need that safeguard to evaluate the
19 evidence. Non-published data, we -- no one
20 ever --

21 Q. It is unknown?

22 A. -- it is unknown. It hasn't been peer
23 reviewed. It may be out there, but it's not been
24 peer reviewed.

25 Q. Okay. And then it looks like, if you

1 move on to your CV, you get to Page 8, you've
2 written some book chapters, you've written some
3 chapters for some books. Then you participated in
4 two IARC monographs. Is that correct?

5 A. Correct.

6 Q. And we have talked about IARC 112, which
7 is the monograph where IARC considered the
8 carcinogenicity of glyphosate. Right?

9 A. Correct.

10 Q. And then one, looks like you also
11 participated in IARC volume 117 after 112 that did
12 not consider glyphosate. Correct?

13 A. Correct.

14 Q. Okay. And I also saw in one of your
15 e-mails that you were invited to sit on the FIFRA
16 scientific advisory panel board by the EPA. Is
17 that correct?

18 A. Yes. I have served on a FIFRA panel
19 2005 -- 2006 perhaps. It was on pirethroides. It
20 wasn't glyphosate related.

21 Q. Okay. But that's an invitation from the
22 EPA --

23 A. That was an invitation from the EPA.

24 Q. Okay. And then it looks like you have
25 gone through -- you have one, two, three, four,

1 four pages of either current research projects or
2 completed research projects in your CV. Is that
3 correct?

4 A. Correct.

5 Q. And then presentations, and meeting
6 abstracts, I counted up sixty-nine, if you totaled
7 your presentations, your abstracts. Does that
8 sound -- you don't have it numbered, but does that
9 sound about right?

10 A. It sounds appropriate.

11 Q. Okay. And then you get to the Page 18
12 of your CV. My CV is only one page by the way. I
13 think I need to beef that up.

14 But you get to Page 18 and your
15 professional development. And you've got one,
16 two, three, four, five, six, seven, eight courses
17 that you've taken to stay abreast of the current
18 field that you are working in. Correct?

19 A. Correct.

20 Q. Okay. Active outside collaborators.
21 I'm guessing those are people that you collaborate
22 with that are outside of Mississippi State
23 University?

24 A. That's right.

25 Q. Okay.

1 A. That's what I mean by that.

2 Q. And you've got that you collaborate with
3 St. Jude's Children Research in Memphis,
4 Tennessee. Correct?

5 A. Right.

6 Q. You collaborate actively with the
7 College of Veterinary Medicine at the University
8 of Georgia. Is that right?

9 A. Right.

10 Q. Okay. And then you also collaborate
11 with Jing Xu Academy of Agricultural Sciences in
12 China. Is that correct?

13 A. Right.

14 Q. Okay. And then we talk about -- then
15 you talk about your -- the rest of your time,
16 which I guess isn't necessarily the rest, but 15
17 percent of your time is spent teaching. Is that
18 right?

19 A. Right.

20 Q. Okay. And you've talked about all of
21 the graduate courses that you have taught. You
22 have taught a graduate course in the mechanisms of
23 toxic action molecular toxicology. Is that
24 correct?

25 A. Right.

1 Q. Okay. You've also taught in organ
2 systems toxicology one and two. Is that correct?

3 A. Right.

4 Q. You've taught a course multiple times in
5 the mechanisms of toxic action?

6 A. Yes.

7 Q. Correct. And you've taught a course
8 called the current literature in toxicology. Is
9 that right?

10 A. Right.

11 Q. Okay. You guest lectured in CVM
12 graduate courses. What's CVM?

13 A. College of Veterinary Medicine.

14 Q. Okay. And you lectured -- you guest
15 lectured on pharmacokinetic in a pharmacology
16 course. Is that correct?

17 A. Right.

18 Q. And these were all -- these guest
19 lectures were invitations from the regular
20 professor. Right?

21 A. Right.

22 Q. Okay. And then if you turn to Page 20,
23 and I won't go through the list, but it looks like
24 you have student and post doctoral advisements on
25 several students that -- through your time as a

1 professor. Is that right?

2 A. Right.

3 Q. I would say a dozen or so. Does that
4 sound right?

5 A. In that ballpark, yes. Yeah. Uh-huh
6 (affirmative response).

7 Q. And then we get to your service, which
8 is a -- on Page 21, which is 15 percent of your
9 time as well. And we look at the external review
10 panels that you've been on and you've been on one,
11 two, three, four, five, six, seven, eight, nine
12 external review panels. Does that sound right?

13 A. Yes.

14 Q. Okay. And some of those, it says, "That
15 you're an invited member by the NIH study
16 session." What is NIH?

17 A. Well, National Institutes of Health.

18 Q. Okay. And you were an invited member to
19 sit on their external review panel when they
20 looked at the systemic injury by environmental
21 exposures. Is that right?

22 A. Correct.

23 Q. Okay. You were also an invited member
24 of the Agricultural Health Study National Advisory
25 panel in Maryland. Is that right?

1 A. Correct.

2 Q. And we've talked about that this
3 morning. Is that correct?

4 A. Yes.

5 Q. In fact, you only went to one meeting --
6 testified --

7 A. It was March 1st through 2nd of 2012.

8 Q. And then you have a list of the review
9 editorial board that you sit on for journals.

10 And it looks like that there are --
11 I didn't count those up but it looks like there
12 are a lot of those that you sit on. Is that
13 right?

14 A. Yeah. These are primarily as peer
15 reviewer for all of these journals.

16 Q. Okay.

17 A. I am on the editorial board of journal
18 called Toxics.

19 Q. Okay. So in parenthesis, does that mean
20 how many times you've peer reviewed?

21 A. Yeah. That's -- yeah. That -- yeah.
22 Roughly determines how many times I've reviewed
23 for each of these journals.

24 Q. Okay. So I see numbers like one, four,
25 two, sixteen, three, but if you add them all up, I

1 mean, it looks like you peer reviewed 30 or 40
2 times?

3 A. Oh, more than -- yeah, more than that.

4 Q. Fifty times maybe?

5 A. Yeah.

6 Q. You peer reviewed a lot of journals. Is
7 that fair to say?

8 A. Yeah, that -- yeah. Yeah.

9 Q. Okay. And then you talk about your
10 university service and your department and college
11 service and your clinical diagnostic service and
12 others. And then you give some references. Is
13 that fair to say?

14 A. Yes.

15 Q. Okay. So after reviewing your CV, I
16 think it's fair to say that you are very
17 knowledgeable in molecular toxicology and probably
18 considered an expert in your field?

19 MR. GRIFFIS: Objection to form.

20 Irrelevant.

21 BY MS. WAGSTAFF:

22 A. Yes, I've been invited by panels and to
23 review papers and by NIH study sections.

24 Q. Okay. So we spent the first five and a
25 half hours of the deposition this morning going

1 through piece by piece and pulling out of IARC
2 monograph 112 and pulling out certain pieces and
3 analyzing them in isolation. Is that fair?

4 MR. GRIFFIS: Object to the form.

5 A. We have looked at various exhibits.

6 BY MS. WAGSTAFF:

7 Q. Okay.

8 A. -- related to volume 112.

9 Q. But the bottom line is that the IARC 112
10 determination was made by looking at the totality
11 of the evidence. Is that fair?

12 A. Yes.

13 Q. Okay. And you would agree with me that
14 there is not just one piece of evidence that drove
15 that decision. Is that fair?

16 A. Correct.

17 Q. Okay. It was a totality of all of the
18 evidence that was presented to the panel. Is that
19 fair?

20 A. Correct.

21 Q. Okay. And you would agree with me, too,
22 that the subgroup that you belonged to, which was
23 the mechanism group for subgroup, also looked at
24 the totality of the available evidence. Correct?

25 MR. GRIFFIS: Object to the form and

1 contrary to the testimony.

2 A. Looked at the totality of the peer
3 reviewed publicly available evidence for
4 mechanisms and toxicokinetics.

5 BY MS. WAGSTAFF:

6 Q. Sure. So if you look -- so you would
7 agree me then that subgroup four, in determining
8 that there was a strong association, looked at the
9 totality of the toxickinetic evidence and also the
10 totality of the evidence that was allowed to be
11 looked at -- strike that. That was a horrible
12 question.

13 So you would agree with me that
14 work -- that subgroup four, in making its
15 determination of a strong association, looked at
16 the totality of the toxicologic evidence, as well
17 as the published peer reviewed literature?

18 MR. GRIFFIS: Objection to form.

19 Contrary to prior testimony.

20 A. It would -- I wouldn't strong
21 association it. There was strong evidence for
22 genotoxicity. There was strong evidence for
23 oxidated stress. Two of the ten characteristics.

24 BY MS. WAGSTAFF:

25 Q. You're. And I stand corrected by saying

1 that.

2 So you would agree with me that
3 when the subgroup four found strong evidence for
4 genotoxicity and when subgroup four found strong
5 evidence for oxidated stress, that subgroup four
6 looked at the totality of the available
7 evidence --

8 A. Yes.

9 Q. -- in making that determination?

10 MR. GRIFFIS: Object to the form.

11 Contrary to in regarding available evidence.

12 A. Yes.

13 BY MS. WAGSTAFF:

14 Q. And you would agree with me that the
15 available evidence includes the evidence as
16 allowed by the preamble of the mon -- of IARC's
17 monograph. Correct?

18 A. Yes.

19 Q. Okay. And you would also agree with me
20 that there wasn't one particular piece of evidence
21 that drove either of those determinations.

22 Correct?

23 A. For oxidative stress and genotoxicity,
24 no. It's not one study that drives it.

25 Q. Okay.

1 A. It's the totality of -- the overall
2 coherence of the data basis.

3 Q. Okay. Excellent. And in looking at the
4 totality of the evidence, working group -- IARC
5 working group 112 found that glyphosate was a
6 category 2 A probable carcinogen. Correct?

7 A. Yes.

8 Q. Okay. And that was unanimous vote by
9 all working members. Correct?

10 A. Yes, it was unanimous.

11 Q. Okay. And similarly, the subgroup fours
12 vote to make a strong -- showing of strong
13 evidence for genotoxicity and for oxidative stress
14 was also unanimous. Correct?

15 A. Yes. With an IARC, yes, it was.

16 Q. Within your group?

17 A. Within our subgroup.

18 Q. And can you explain for the jury, sort
19 of in laymen's term, what oxidative stress means?

20 A. Yes. So oxidative stress refers to
21 molecules that have unpaired electrons that are
22 highly reactive and that can damage cellular
23 macromolecule, such as lipids, proteins and
24 nucleic acids.

25 They are produced during normal

1 cellular respiration. We produce it under normal
2 situations. And in a normal cell, it could be
3 exacerbated by environmental chemicals.

4 Q. Okay.

5 A. That is made worse.

6 Q. Okay. Can you tell me how much money
7 you made for participating in IARC 112 panel
8 review?

9 A. Oh. We need we -- we were not paid for
10 volume 112. We didn't get paid. We got per diem
11 and we had travel.

12 Q. So you didn't make any money?

13 A. We don't make money.

14 Q. Okay. And have you made any money since
15 on -- from your working on -- strike that.

16 Let's look at the preamble. I
17 forget which exhibit it's marked. I think it
18 might be 10. Going off memory though. Okay.

19 MR. WHITE: Yes.

20 BY MS. WAGSTAFF:

21 Q. We have spoken a lot today about
22 classifications that certain subgroups have made
23 whether it be limited or whether it be sufficient.
24 And these are definitions that IARC has put into
25 the preamble. And we never went over those

1 definitions, so I would like to just make sure
2 that the jury understands what IARC means when
3 something is labeled limited or sufficient.

4 So if you could turn please to
5 page -- of the preamble, if you could, please,
6 turn to Page 19. And this is a section called
7 evaluation and rationale. Right?

8 A. Okay.

9 Q. Okay. So we're looking at A, which is
10 the carcinogenicity in humans. Correct?

11 A. Yes.

12 Q. Okay. And when something -- and this is
13 also referred to as the epidemiology group.
14 Correct?

15 A. Correct.

16 Q. Okay. And when something is limited
17 evidence, when the epidemiology group labels it
18 limited evidence, do you -- are you following with
19 me on this?

20 A. Uh-huh (affirmative response).

21 Q. The actual -- the subgroup actually
22 finds a positive association between exposure to
23 the agent of cancer for which a causal
24 interpretation is considered by the working group
25 to be credible. Did I read that correctly?

1 MR. GRIFFIS: Objection. Beyond scope
2 of this deposition.

3 A. That is correct.

4 MS. WAGSTAFF: I cross-noticed this
5 deposition, so I get to ask questions but --

6 MR. GRIFFIS: I'm not talking about my
7 scope. I'm talking about the discovery
8 scope.

9 BY MS. WAGSTAFF:

10 Q. Okay. So, in fact, when the
11 epidemiology group identify -- or classifies
12 something as limited evidence, they've actually
13 found a positive association that they find
14 credible. Is that fair?

15 MR. GRIFFIS: Objection. Beyond the
16 scope of this deposition and beyond
17 Dr. Ross's knowledge since only working in
18 group four, he testified many times.

19 A. But this is what is in the IARC
20 preamble.

21 BY MS. WAGSTAFF:

22 Q. So that's fair.

23 A. It's in the preamble.

24 Q. Okay. So then if you move on, and you
25 if you look down to B, which is the

1 carcinogenicity in experimental animals. Right?
2 So now we're in the animal subgroup. We're still
3 on Page 20.

4 Oh, and just to be complete on --
5 let me go back up. To be complete on the limited
6 evidence in the epidemiology group, the definition
7 is written in the preamble is a positive
8 association has been observed between exposure to
9 the agent, which in this case is glyphosate, and
10 cancer for which a causal interpretation is
11 considered by the working group to be credible,
12 but chance bias or confounding could not be ruled
13 out with reasonable confidence.

14 Did I read that correctly?

15 MR. GRIFFIS: Objection. Beyond the
16 designated scope set by Judge Charbriio,
17 beyond this witness' knowledge given his
18 prior testimony.

19 A. That's what written.

20 BY MS. WAGSTAFF:

21 Q. Did I read that -- okay?

22 A. That is correct. It is written in the
23 preamble.

24 Q. Okay. Excellent. And so if you move
25 down to B where you look at the carcinogenicity in

1 experimental animals, in fact, working group 112
2 labeled it sufficient evidence. Is that correct?
3 That was the final determination by the animal
4 group?

5 A. Sufficient evidence.

6 Q. Okay.

7 A. Yes.

8 Q. And so can you read into the jury
9 what -- what that means?

10 MR. GRIFFIS: Objection. Beyond the
11 scope of this deposition as found by Judge
12 Charbrio, beyond this witness' knowledge
13 given his prior testimony.

14 A. Well, you know for from.

15 BY MS. WAGSTAFF:

16 Q. Read it.

17 A. From the preamble, "The working group
18 considers that a causal relationship has been
19 established between the agent and an increased
20 incidents of malignant neoplasms or of an
21 appropriate combination of benign and malignant
22 neoplasms in A, two or more of species of animals
23 or, B, two or more independent studies in one
24 species carried out at different times or in
25 different laboratories or under different

1 protocols." Should I read more?

2 Q. Nope. That's good.

3 And then if you look at -- there is
4 a lot of discussion this morning with Mr. Griffis
5 between the animal group determining whether to
6 call it limited evidence or sufficient evidence.
7 Do you remember that?

8 A. Yes.

9 Q. Testimony. Okay. So see let's look and
10 see what definition means of limited evidence by
11 the animal group. Okay. If you could please read
12 that into the record on Page 21.

13 MR. GRIFFIS: Same objection as
14 previously regarding scope. And this
15 witness' testimony, he wasn't involved in any
16 of those working groups. Three -- subgroup
17 3, also, just reading, a document speaks for
18 itself.

19 BY MS. WAGSTAFF:

20 Q. Go ahead.

21 A. So this is from the preamble. "The data
22 suggests a carcinogenic effect" --

23 Q. Okay. Hang on real quick. So limited
24 evidence of carcinogenicity by the animal group
25 still means that the data suggests a carcinogenic

1 effect. Right?

2 MR. GRIFFIS: Objection --

3 BY MS. WAGSTAFF:

4 Q. Keep going.

5 A. "But are limited for making a definitive
6 evaluation because, A, the evidence of
7 carcinogenicity is restricted to a similar
8 experiment; B, there are unresolved questions
9 regarding the adequacy of the design conduct or
10 interpretation of the studies; C, the agent
11 increases the incidents only of benign neoplasms
12 or lesions of uncertain neoplasm potential or, D,
13 the evidence of carcinogenicity is restricted to
14 studies that demonstrate only promoting activity
15 in a narrow range of issues or organs.

16 Q. Okay. Excellent. You can put the
17 preamble away. I think am done with questions
18 about that for right now.

19 And I'd like to introduce as an
20 exhibit -- are we on 26?

21 (Exhibit No. 13-26 marked for
22 identification.)

23 Q. 26. Okay. The list of participants
24 that you have referenced numerous times this
25 morning. So this was the list of participants.

1 Correct?

2 A. Yes.

3 Q. Okay. This was the entire list of
4 participants from the working group. Is that
5 right?

6 A. Yes.

7 Q. Okay. And there you are, about three
8 quarters of way down, Matthew K. Ross, Mississippi
9 State University, United States of America. Is
10 that right?

11 A. Correct.

12 Q. Okay. And if you go all the way down,
13 invited specialist, there's Dr. Christopher
14 Portier that we talked about numerous times today.
15 Right?

16 A. Yes.

17 Q. And then if you go all the way down to
18 the very bottom of the page, is Dr. Portier's
19 conflict -- potential conflict of interest
20 disclosure that you had referenced earlier today.
21 Right?

22 A. Yes.

23 Q. Okay. And if you turn the page --
24 actually before you turn the page, it looks like
25 within this -- this group, there's also a member

1 from the United States EPA, Matthew T. Martin. Is
2 that correct?

3 A. Yes. He's one of the members.

4 Q. Okay. So is he doctor? Is it
5 Dr. Martin?

6 A. Yes.

7 Q. Okay. So Dr. Martin was participating
8 in monograph 112 as a member of the EPA. Is that
9 correct?

10 MR. GRIFFIS: Object to the form.

11 False.

12 A. He was -- he was member of the subgroup
13 four. He was -- he was -- he was an employee of
14 U.S. EPA.

15 BY MS. WAGSTAFF:

16 Q. Let me strike that.

17 And so Matthew T. Martin, while he
18 was participating in monograph 112, was an
19 employee of the United States EPA. Is that
20 correct?

21 MR. GRIFFIS: Object to the form.

22 A. Yes. He was an employee of U.S. EPA.

23 BY MS. WAGSTAFF:

24 Q. And here on this list of participants,
25 Matthew T. Martin is listed as being associated in

1 some way with the United States EPA. Is that
2 correct?

3 A. Yes.

4 Q. Okay. And, in fact, Matthew T. Martin
5 was part of the mechanism subgroup four that you
6 are part of. Correct?

7 A. Correct.

8 Q. And that Matthew T. Martin, the United
9 States EPA employee, was part of the subgroup that
10 found a strong association with genotoxic and
11 oxidative stress. Is that correct?

12 MR. GRIFFIS: Objection to the form.

13 The bold -- at the top says these people not
14 serving in any way representative of their
15 governmental organizational which they are
16 affiliated.

17 BY MS. WAGSTAFF:

18 Q. Is that correct?

19 A. He was a member of subgroup four.

20 Q. And subgroup four was the subgroup that
21 found that there is a strong evidence for
22 genotoxicity and for oxidative stress of
23 glyphosate. Is that correct?

24 A. Yes.

25 Q. Okay. And so if you turn the page --

1 excuse me -- to the next page, it looks like
2 representatives of national and international
3 health agencies are listed there as well. And
4 then you have observers and it look -- if you look
5 a few down, it looks like Thomas Sorahan was there
6 for Monsanto Company. Is that correct?

7 A. Yes.

8 Q. Okay. So Monsanto had an observer there
9 during the working group. Is that correct?

10 A. Yes.

11 Q. Okay. Do you know Mr. Sorahan?

12 A. I do not know him.

13 Q. Okay. It looks -- if you look down at
14 number four, it looks like he had said that he is
15 a member of the European glyphosate toxicology
16 advisory panel and received reimbursement of
17 travel cost from Monsanto to attend Eurotox 2012.
18 Do you see that?

19 A. Yes.

20 Q. Okay. And he's listed as being
21 associated with Monsanto company in this
22 participant list. Is that correct?

23 A. As an observer.

24 Q. Okay. And did -- were you aware that he
25 was reporting back to Monsanto throughout the

1 course of the monograph working group?

2 MR. GRIFFIS: Objection. Foundation.

3 A. I wasn't aware of his communications.

4 (Exhibit No. 13-27 marked for
5 identification.)

6 BY MS. WAGSTAFF:

7 Q. Okay. So I'm going to hand you an
8 e-mail which is marked confidential, but it has
9 already been publicly disclosed, so you don't need
10 to sign a protective order.

11 But if you look at the second page,
12 do you know who Donna Farmer is? You go to the
13 bottom of the cascade. Yeah. Okay.

14 A. Where is she from? She's a Monsanto
15 employee. I don't know Donna Farmer.

16 Q. Well, you see that her e-mail is
17 donnafarmerat@ Monsanto.com?

18 A. Yes.

19 Q. That would suggest she is affiliated
20 with and an employee of Monsanto?

21 MR. GRIFFIS: Objection. Foundation.

22 Beyond the scope of this deposition as
23 designated by Judge Charbrio.

24 BY MS. WAGSTAFF:

25 Q. I will represent to you that she is a

1 Monsanto employee. Do you have any reason to
2 doubt that?

3 A. No.

4 Q. Okay. And so she is writing to Thomas
5 Sorahan, the Monsanto observer, the working group
6 112. Correct?

7 A. Yes.

8 Q. And this is on March 14th, which was a
9 couple of days after the -- if I recall correctly
10 the working group concluded on the tenth and/or
11 11th of March of 2015?

12 A. Tuesday -- I don't have the time line in
13 front of me. I think that's the 10th.

14 Q. Okay. And so she -- so -- so Dr. Farmer
15 asked Thomas Sorahan, as well with Christian
16 Strupp, Matt Jensen and Bill Heydens, about the
17 IARC findings at a CLA meeting on Thursday. And
18 if you look at -- this e-mail is from Thomas
19 Sorahan, if you look at the front page, when he is
20 writing back to her.

21 MR. GRIFFIS: Objection as to any
22 questions about this document. The witness
23 was not on the document in any way. He's
24 never seen it before. There's no foundation
25 for its relevance. And this is beyond the

1 scope that was set by Judge Charbriio.

2 BY MS. WAGSTAFF:

3 Q. Okay.

4 A. I need to read this.

5 Q. Sure.

6 A. I haven't had a chance to read this.

7 Q. No problem.

8 A. From Donna Farmer. Just let me...

9 Q. No problem. Okay.

10 A. Okay.

11 Q. Ready?

12 A. Yes.

13 Q. Okay. So it looks like Donna Farmer was
14 writing to some folks wondering why the
15 information was released about the 2 A
16 classification of glyphosate. Right?

17 MR. GRIFFIS: Objection. This is
18 utterly speculative. This is a document that
19 this witness has nothing to do with. He had
20 to read it the first time. So question --
21 these questions would be better directed to
22 Donna Farmer -- would have been deposed.
23 This is just an attempt to put into evidence
24 things that have nothing to do with this
25 witness. Beyond the scope set by the judge.

1 BY MS. WAGSTAFF:

2 Q. All right. And I don't necessarily care
3 about your answer to that question, so I can
4 strike it if you want.

5 MR. GRIFFIS: I'll have the same
6 objection to every question that you have
7 about this document which has nothing do
8 with --

9 MS. WAGSTAFF: I will tie it in. Don't
10 worry.

11 BY MS. WAGSTAFF:

12 Q. So we've talked about the methodology
13 of -- we spent the day talking about the
14 methodology of monograph 112, and Monsanto's
15 attorneys have done everything they possibly can
16 do to try to knock down the creditability of
17 monograph 112, so I'm tying this in to show what
18 one of Monsanto's own employees said about the
19 methodology of 112. And if you will let me finish
20 my questions, I will tie that in. So, if you --

21 MR. GRIFFIS: Objection. Argumentative.
22 Misrepresents the prior testimony.
23 Misrepresents the course of this deposition.
24 Demonstrates the improper use of the
25 document. Witness -- nothing to do with this

1 document.

2 BY MS. WAGSTAFF:

3 Q. Okay. So it looks like Tom Sorahan, who
4 was there as an observer for Monsanto, writes to
5 Dr. Farmer and says, in the second paragraph,
6 quote, "I know of -- I do know of instances where
7 observers at IARC felt they had been treated
8 rudely or briskly at monograph meetings. That was
9 not the case for me at volume 112. I found the
10 chair, subchairs and invited experts to be
11 friendly and prepared to respond all comments I
12 made." Do you see that?

13 A. Yes.

14 MR. GRIFFIS: Objection. Irrelevant --

15 BY MS. WAGSTAFF:

16 Q. Was that your experience --

17 MR. GRIFFIS: -- witness.

18 BY MS. WAGSTAFF:

19 Q. Was that your experience at monograph
20 112?

21 MR. GRIFFIS: Objection. Totally
22 irrelevant. He wasn't there as an observer.

23 A. So what the question is -- what's -- ask
24 me the question again.

25 BY MS. WAGSTAFF:

1 Q. Sure. The question is, did you feel
2 that the chair and the subchairs and the invited
3 experts were prepared to respond to all comments
4 by the observers?

5 MR. GRIFFIS: Objection. No foundation.
6 Observers -- or know how the observers were
7 treated.

8 MR. WHITE: I will advise, Dr. Ross,
9 again, that you only have to answer to the
10 extent that you have actual knowledge.

11 A. I thought they were cordial.

12 BY MS. WAGSTAFF:

13 Q. Okay. And then if you look at the next
14 paragraph, it says, "In my opinion, the meeting
15 followed the IARC guidelines." Would you agree
16 with that?

17 MR. GRIFFIS: Objection. This document
18 is irrelevant to any issue that is relevant
19 to the scope set by the judge. He's never
20 seen it before. And it's not -- proper
21 witnesses have already been deposed.

22 A. Yes. I felt the guidelines were
23 followed.

24 BY MS. WAGSTAFF:

25 Q. Excellent. And then I'd actually like

1 to pull out Exhibit 13 that Monsanto's attorney
2 marked this morning, please. Okay.

3 All right. So this is an e-mail
4 that Monsanto's marked as an exhibit to this
5 deposition. So I'd like to actually walk through
6 what -- the genesis of this e-mail. If you need
7 to take a minute to look at it please, please do.
8 Tell me when you are ready.

9 A. Okay.

10 Q. Okay. So please tell the ladies and
11 gentlemen of the jury who Katherine Guyton is.

12 A. Dr. Guyton was the responsible officer
13 employed by IARC for the meeting.

14 Q. Okay. And so it looks like on this
15 cascade if you go to -- up in the very top left
16 when it says 5039. Looks like the last couple of
17 pages are just signature blocks. So this e-mail
18 starts -- you know, e-mails are kind of funky
19 because they go backwards.

20 But this e-mail cascade starts it
21 looks like on February 3rd of 2015. Correct?

22 A. Yes.

23 Q. Okay. And it looks like Donna Farmer
24 and here's actually you can see -- there's her
25 signature line, so you can actually see now who

1 Donna Farmer is -- on the toxicology or the
2 product protection and nutrition lead for the
3 toxicology nutrition center at Monsanto. You see
4 that?

5 A. Yes.

6 Q. Okay. And so it looks like Donna
7 Farmer, on February 3rd of 2015, is sending a list
8 of material to the -- what was Dr. Guyton's role
9 again? The --

10 A. She was the responsible officer for
11 volume 112.

12 Q. Okay. So it looks like Dr. Farmer, on
13 February 3rd, is actually sending material to the
14 responsible officer of monograph 112 to be
15 considered for the meeting. Is that -- and it
16 looks like she is -- she is actually also sending
17 it to an e-mail entitled monograph 112 at IARC.fr.
18 Do you see that?

19 A. Yes.

20 Q. Okay. This was about -- about a month
21 before the IARC met, the IARC committee members
22 met in Lyon, France. Is that right?

23 A. Yes.

24 Q. Okay. And later that day, Dr. Guyton
25 responds and says thank you for the information.

1 We will provide the appropriate scientific
2 articles to the working group. Do you see that?

3 A. Yes.

4 Q. Okay. And then if you move to the next
5 portion of the cascade, it looks like a few days
6 later, Dr. Farmer from Monsanto again follows up
7 with the -- Dr. Guyton from IARC and requests that
8 confirmation that she received her e-mail and then
9 she says, if you look at the bottom of the first
10 paragraph, "I have also had a Kingston Flash drive
11 with the zip files sent to you via FedEx
12 international priority, which would be there
13 typically in two business days." You see that?

14 A. Yes.

15 Q. Okay. So it looks like Monsanto was
16 following up again and now they have priority
17 two-day airmailed information and articles to IARC
18 112. Is that right?

19 A. Yes.

20 Q. Okay. And so then if you -- then if you
21 keep going, you look at February 26th, which is
22 one day later, so three weeks later, Donna Farmer
23 from Monsanto again is writing to Dr. Guyton and
24 giving additional information for the monograph
25 112. Is this correct?

1 A. Yes.

2 Q. So it's fair to say that Monsanto
3 provided information to monograph 112 to be
4 considered. Is that right?

5 A. It appears that they were sending
6 information to IARC.

7 Q. Okay. And so if you look now -- this is
8 where I'm going to start to bounce around a
9 little. If you could look at the actual
10 monograph, which I believe was -- I'm not sure --
11 what exhibit number was that.

12 MR. WHITE: 19.

13 BY MS. WAGSTAFF:

14 Q. 19. Okay. And if you turn to Page 46.
15 (Exhibit No. 13-27 marked for
16 identification.)

17 BY MS. WAGSTAFF:

18 Q. Okay. Are you on Page 46?

19 A. Yes.

20 Q. Okay. And this is actually -- I'm
21 sorry. Turn to Page 45. This is where the IARC
22 actually talks about the Bolognesi paper that you
23 spent some time talking about with Monsanto's
24 attorney. Do you remember that?

25 A. Yes.

1 Q. Okay. And now I just wanted to show
2 you -- put into prospective where we were. You
3 see Bolognesi, et al, 2009 in the right hand
4 column of Page 45?

5 A. Yes.

6 Q. Okay. And that's a discussion in the
7 IARC -- the final IARC manuscript about that paper
8 that you had discussed. Correct?

9 A. Yes.

10 Q. So if you turn now to Page 46, I just
11 wanted to -- just wanted to confirm that some of
12 the language that Monsanto's attorney was reading
13 to you about the Bolognesi paper did in fact make
14 its way into the monograph 112 paper as it was
15 considered within the final evaluation. And where
16 I would point your direction -- point your
17 attention to is where it says, "However, comma,
18 the increased infrequency of micronucleus
19 formation."

20 And that is the language that you
21 were discussing with Monsanto's attorney earlier.
22 Correct?

23 A. Yes.

24 Q. Okay. So that information was
25 considered and actually made it into the published

1 final documents. Is that correct? That's what
2 we're reading, the final document. Right?

3 A. Yes. This, yes.

4 Q. So that information was considered in
5 totality of the evidence in making the
6 determination. Correct?

7 A. The issue -- this was the -- the point
8 that was raised earlier about micronucleus
9 formation observed immediately after Spring was
10 not consistent with the rate of application used
11 in the regions. So this is the -- the issue that
12 was brought up by the Monsanto attorney.

13 Q. Right. And so --

14 A. And I made the point that that
15 information is in the monograph.

16 Q. Excellent. So my question to you is --
17 and so -- by -- this may seem sort of
18 self-explanatory. But by virtue of it being in
19 the monograph final published paper, that suggests
20 that it was, in fact, considered in the totality
21 of the evidence determination that both the
22 subgroup four and monograph 112 made. Is that
23 correct?

24 A. Yes.

25 Q. Okay. And then I'd like to -- okay.

1 Okay. I'd like to --

2 MS. WAGSTAFF: This is actually
3 highlighted so I'm only going to give you
4 guys one copy.

5 BY MS. WAGSTAFF:

6 Q. Okay. This is an article that is from
7 Bolognesi in 2010. And if you turn to -- this was
8 produced to us by Monsanto, which is why they are
9 Bates labeled below. But if you turn to the end
10 of the Bates labels being 294, last three -- 294.
11 Okay.

12 And on the left hand column, the
13 end of the first paragraph, it says, "Results
14 showed significant increase in MN frequency after
15 glyphosate exposure, mainly when it is applied for
16 maturation of sugar cane."

17 A. I've just got to find where you are at
18 here.

19 Q. You want to look at -- where I
20 highlighted, it will help.

21 MR. GRIFFIS: Object. The question
22 about this study which is not one that
23 foundation -- been laid was considered by the
24 witness or anyone else in connection with
25 group four deliberations.

1 A. Let me just read through this.

2 MR. GRIFFIS: Calls for expert
3 testimony.

4 A. Let me just read this paragraph here.

5 BY MS. WAGSTAFF:

6 Q. Sure.

7 A. Okay. I've read it.

8 Q. All right. So do you see where it says,
9 "Results showed significant increases in MN
10 frequency after glyphosate exposure, comma, mainly
11 when it is applied for maturation of sugar cane."
12 Do you see that?

13 MR. GRIFFIS: Same objection. It is
14 beyond the scope set by Judge Charbriio.
15 Asking this witness to make comments, extra
16 testimony on study unrelated to the
17 glyphosate 112 monograph.

18 A. I see -- I see that.

19 BY MS. WAGSTAFF:

20 Q. Okay. And this is the same Bolognesi
21 who wrote the article in 2009. Correct?

22 MR. GRIFFIS: Same objection.

23 A. I believe so.

24 BY MS. WAGSTAFF:

25 Q. Okay. Put that aside.

1 Do you know a Dr. Jim Perry?

2 A. No.

3 Q. Okay. Do you know if during the IARC
4 monograph 112 meeting that the panelists
5 considered Dr. Perry's report that he commissioned
6 for Monsanto?

7 MR. GRIFFIS: Objection. Irrelevant
8 beyond the scope of this deposition.

9 A. I am unfamiliar with the name and any
10 data he -- any report he was commissioned.

11 BY MS. WAGSTAFF:

12 Q. Okay. And so earlier today, Monsanto's
13 attorneys tried to whittle down the amount of time
14 that y'all spent on this monograph. And they were
15 trying to suggest that you spent 20 percent of a
16 week on the glyphosate monograph. Did you
17 remember that testimony?

18 MR. GRIFFIS: Object. Unfair
19 characterization -- Dr. Ross who said 20
20 percent.

21 A. I remember the testimony.

22 BY MS. WAGSTAFF:

23 Q. Okay. But this is all related to work
24 that you do every day. Correct?

25 MR. GRIFFIS: Objection. Vague.

1 Q. I'll strike that.

2 A. Rephrase your question. In terms of
3 juggling acts?

4 BY MS. WAGSTAFF:

5 Q. No. I will rephrase. Okay.

6 An hour that you spend --

7 A. Yes.

8 Q. -- with your expertise, education wise
9 and experience is different than an hour that
10 someone without that expertise spends on this type
11 of work. Correct?

12 A. Yes. Yeah, it's fair to say.

13 Q. Okay. I don't have any advance degrees
14 in chemistry, toxicology or any of the things on
15 your CV. So I'm guessing that an hour that you
16 spend on that is way more productive than an hour
17 I spend on that. Is that correct?

18 MR. GRIFFIS: Objection. Vague.

19 A. I would, yes.

20 BY MS. WAGSTAFF:

21 Q. It's fair to say that.

22 Okay. I told you that we weren't
23 going to have any more questions on the preamble,
24 but I do have one more question. If you could
25 please pull that up. Which I believe is Exhibit

1 10.

2 A. 10.

3 Q. 10.

4 A. Okay.

5 Q. Okay. Can you point to me the place in
6 the preamble where it says that the procedure that
7 the IARC members follow must be a procedure set
8 forth in a peer reviewed public literature? And
9 I'm not talking about the data that you -- that
10 you need to analyze.

11 I want to know where in the
12 preamble it says that the procedure followed must
13 be that within a published literature. And I will
14 submit to you that I don't think that it does say
15 that.

16 MR. GRIFFIS: Objection. Relevance.

17 A. Looking for peer reviewed public
18 literature?

19 BY MS. WAGSTAFF:

20 Q. No. I am -- so I know that the preamble
21 says that the IARC panelists must consider -- the
22 data it must consider must be published literature
23 available in the public domain. I know that. I'm
24 just wondering -- the procedure I'm actually
25 talking about, the ten factors that we talked

1 about that the mechanism group looked at.

2 Monsanto's attorney seemed to make
3 a distinction that the procedure wasn't in
4 published literature until after the monograph
5 happened. So I'm wondering, is there anything in
6 the preamble that requires your procedure to be in
7 published data?

8 A. Okay. Right. I got you, what you're
9 saying now.

10 Yeah. So in the -- in the
11 preamble, under the mechanistic and other relevant
12 data, section four, there's nothing in the
13 preamble that states that examining the 10 key
14 characteristics that that evaluation was
15 published. There is nothing in there about that.

16 Q. Okay. And there's nothing in there that
17 says that for procedures go, in any procedures --

18 A. As a procedural matter.

19 Q. Yeah. Okay. In fact, genotoxic and
20 oxidated stress were known causes of cancer in the
21 peer review literature prior to IARC. Right?

22 MR. GRIFFIS: Objection.

23 Mischaracterized the testimony.

24 BY MS. WAGSTAFF:

25 Q. Okay. Let me ask you -- let me restate

1 that. Prior to -- that was a bad question. Okay.

2 Prior to monograph 112, okay, so
3 we're going right before that. The peer review
4 literature recognized genotoxicity and oxidative
5 stress as causes of cancer. Correct?

6 A. There were studies that indicated
7 genotoxicity and oxidated stress by glyphosate --
8 caused by glyphosate.

9 Q. Okay. Thanks. And as much as Monsanto
10 tried this morning to make IARC 112 and subgroup 4
11 the Dr. Ross show, it wasn't. It was a team
12 effort. Right?

13 MR. GRIFFIS: Objection to the
14 characterization. Misstates the whole day.

15 A. Yeah.

16 BY MS. WAGSTAFF:

17 Q. Mean your --

18 A. Yeah. I had -- my main focus in this
19 monograph was to evaluate the toxicokinetic data
20 for glyphosate and the other four compounds. It
21 was to evaluate the toxicokinetic data and report
22 on that and be a member of the subgroup four
23 mechanistic, mechanisms subgroup.

24 Q. Okay. Excellent. And your co-subgroup
25 members are experts in their own right. Correct?

1 A. Yes.

2 Q. I mean to get up to become a member of
3 an IARC panel, you must be an expert of some sort?

4 A. Yes.

5 MR. GRIFFIS: Objection. Beyond
6 Dr. Ross's knowledge. Foundation.

7 BY MS. WAGSTAFF:

8 Q. And so -- and so it is absolutely
9 appropriate, you would agree with me, that you
10 rely on your comembers analyses of studies.
11 Correct?

12 A. Yes. That's very important.

13 Q. Right. I mean they didn't -- no one
14 called up Dr. Ross and said, Dr. Ross, make this
15 opinion all by yourself. Correct?

16 A. Right.

17 Q. Okay. And so it's very appropriate, you
18 would agree, that you didn't read every single
19 article, and, in fact, relied on your co-panelist,
20 who are who co-experts in their analyses?
21 Correct?

22 A. Yes.

23 Q. There's nothing abnormal about that.
24 Correct?

25 A. No.

1 Q. And that is, in fact, what you do in the
2 scientific world in a setting like this. Correct?

3 A. Correct. Absolutely.

4 Q. Okay.

5 MS. WAGSTAFF: Let's take like a two or
6 three minute break. I may be done. Real
7 quick. I just want to talk with Jeff.

8 VIDEOGRAPHER: Off the record at 5:46.

9 (A short recess was taken.)

10 (Exhibit No. 13-28 and Exhibit No. 13-29
11 marked for identification.)

12 VIDEOGRAPHER: Back on record at 5:53.

13 BY MS. WAGSTAFF:

14 Q. All right. I'm going to try to wrap
15 this up in just a few minutes.

16 Why did you participate? Why --
17 strike that. Why did you agree to participate in
18 monograph 112?

19 A. I have a lot of background in research
20 experience in pesticide metabolism,
21 pharmacokinetic, organophosphorus, pesticides in
22 particular. So I felt I was -- I was well
23 qualified to serve on the panel.

24 Q. And did you consider the invitation a
25 prestigious invitation?

1 A. Yes.

2 Q. Okay. And would you agree with me that
3 scientific debate is a good thing?

4 A. Yes.

5 Q. Okay. I'm going to hand you as my
6 hopefully last exhibit of the day, a document that
7 Monsanto's attorney referenced this morning and it
8 may actually be an exhibit. I'm not sure if you
9 actually marked it as an exhibit.

10 I tucked under here -- can I have
11 one of those copies back? Sorry.

12 This is an article that was
13 published in a journal. Correct?

14 A. Yes.

15 Q. Okay. And it looks like it was -- there
16 are 94 authors of this article. Right?

17 A. Yes.

18 Q. And you are number -- you are in there.

19 A. Yep.

20 Q. You're number --

21 A. 68.

22 Q. 68th, correct? You're the 68th author.
23 And are you familiar with the contents of this
24 article?

25 A. Yes.

1 Q. Okay. And as we sit here today, do you
2 still stand by the contents of this article?

3 A. Yes.

4 MR. GRIFFIS: Objection. It is
5 irrelevant to this deposition. And this
6 article you objected to on the grounds that
7 it postdated IARC beyond the scope of the
8 judge's designation extent that is correct,
9 your questions are out, too.

10 BY MS. WAGSTAFF:

11 Q. And is anything -- strike that.

12 In March of 2015, you believed
13 based on the totality of the evidence that
14 glyphosate was a probable carcinogen. Is that
15 correct?

16 MR. GRIFFIS: Objection. Misrepresents
17 the record.

18 MR. WHITE: You can answer within the
19 scope of the IARC. You don't have to give a
20 personal opinion.

21 A. The monograph, I think, speaks for
22 itself. I was a member of the volume 112 team.
23 And it was classified 2 A.

24 BY MS. WAGSTAFF:

25 Q. Okay. And is anything -- was anything

1 that was said today changed your mind on the
2 decision that monograph 112 panelist came to?

3 A. No.

4 Q. Okay. Thank you. No further questions.

5 VIDEOGRAPHER: Off record.

6 (A short recess was taken.)

7 VIDEOGRAPHER: Back on record.

8 EXAMINATION BY MR. GRIFFIS:

9 Q. Sir, thank you for your time today. I
10 have a few more questions on the subject of peer
11 review.

12 There's a difference in the field
13 of academic science, sort of science that you are
14 normally involved in between peer reviewed and
15 non-peer reviewed studies. Right?

16 A. There is a difference.

17 Q. The peer reviewed studies tend to be the
18 better studies because they are good enough that
19 they can be submitted to journals or good enough
20 that when your peers look at them, they give
21 sufficiently favorable reviews the journal would
22 publish them. Correct?

23 A. The peer reviews system acts as a
24 gatekeeper in a way. Quality control mechanism.

25 Q. And it's certainly not a single unitary

1 gate. Is that right? And what I mean by that,
2 sir, is that there are journals of varying
3 qualities and there are peer review processes of
4 varying degrees of rigor?

5 A. I would -- yes, I would agree with that.

6 Q. There are some journals that are very
7 prestigious, and you know that if something is
8 published in one of those journals, it has been
9 through a pretty good peer review process.

10 In contrast, there are some
11 journals that aren't so prestigious and you may
12 not have such confidence in the peer review
13 process that things that are published and have
14 gone to; is that fair?

15 MS. WAGSTAFF: Objection. Foundation.

16 A. So I don't completely agree with that.

17 BY MR. GRIFFIS:

18 Q. Tell me why.

19 A. Because you're assuming that what you
20 think is a lower tiered journal with a low impact
21 factor, every peer review of that article that
22 comes through there is -- is flawed. And I don't
23 think that's the case.

24 Q. I didn't mean to put those words into
25 your head at all, sir. There are -- just that

1 there is certainly, in your mind, a hierarchy of
2 journals and hierarchy of rigor of peer review.
3 It may not be from good to bad, but from good to
4 less good?

5 A. Yeah. We call those impact factors.
6 The type of journal that we consider of high
7 quality, high level versus lower impact factor
8 journals.

9 Q. Now, the unpublished data, the stuff
10 that is produced by academic scientists that
11 doesn't get published, that hasn't necessarily
12 been through any sort of review process or
13 auditing process or procedure to make sure that
14 it's good science. Is that fair?

15 MS. WAGSTAFF: Objection.

16 A. Unpublished -- unpublished data
17 essentially doesn't exist in academic science. It
18 doesn't exist. If it's not published, it doesn't
19 exist. In the academic world --

20 BY MR. GRIFFIS:

21 Q. Academics. It may as well not exist, is
22 that what you mean?

23 A. That's right.

24 Q. I mean, it does actually --

25 A. Sure.

1 Q. -- existence --

2 A. Doesn't exist because it's not in the
3 peer reviewed published, published literature.

4 Q. It doesn't count for you. You don't
5 consider it?

6 A. Yes.

7 Q. Okay.

8 A. It -- yes.

9 Q. You didn't mean that such things didn't
10 happen? Certainly, there are studies that don't
11 ever get published because they are not good
12 enough. That's fair?

13 A. There are studies that don't get
14 published because they are not good enough? Did
15 they go through peer review or did they -- depends
16 on did they go through peer review system.

17 Q. Right. So my --

18 A. And someone may have found a flaw in the
19 analysis.

20 Q. I would like to talk about good
21 laboratory practices, studies that are done under
22 good laboratory practices, by contrast with
23 unpublished academic things.

24 A. Uh-huh (affirmative response).

25 Q. That you said may as well not exist for

1 purposes of what academic scientist consider to be
2 valuable information. GLP labs are certified by
3 the government. Correct?

4 A. To my knowledge, they are.

5 Q. They go through a rigorous certification
6 process. True?

7 MS. WAGSTAFF: Object to the form.

8 Using the word "rigorous."

9 A. I believe so. You know. Working in a
10 GPL, I know there are steps they have to take.

11 BY MR. GRIFFIS:

12 Q. There are multiple levels of audits,
13 both audits by internal auditors and the auditors
14 and the lab are also audited by external auditors.
15 Correct?

16 A. Yes.

17 Q. Okay. Data collection analysis,
18 statistical review of the data, all of that is
19 prescribed and regimented and controlled by the
20 GLP regulations. Correct?

21 A. Since I don't work in GLP, it was a long
22 time ago, I can't really address the specifics of
23 what is involved in the GLP studies.

24 Q. Okay. But you know that there are a
25 large number of regulations about how the

1 laboratory conducts its practice about the
2 collection of data and so on. You don't know
3 exactly what those are?

4 MS. WAGSTAFF: Object to foundation.

5 A. Yes. I think so. I don't know all of
6 the details about GLP. But -- but they are, I'm
7 sure, because I worked in it, there are things
8 that we have to do.

9 BY MR. GRIFFIS:

10 Q. Do you know, for example, that GLP
11 regulations require that before a study can be
12 conducted, the study plan, the methodology to be
13 used, need to be written down?

14 A. Yes. I am aware of that.

15 Q. So, in academic medicine, you may or may
16 not have a prior plan. It would be best practice
17 to have a prior plan, but you may not. But in a
18 GLP lab, you have to have a prior plan; that's the
19 rule. Right?

20 A. Again, I'm not an expert in GLP.

21 Q. Okay. Do you know, sir, that GLP labs
22 are -- there are guarantees built into the
23 process, as a whole point of GLP, as to the
24 methodology that's followed and that the
25 methodology that was set out in advance was in

1 fact followed?

2 MS. WAGSTAFF: Object to the foundation
3 of -- and the word of the use of word
4 guarantees. There is no guarantee in that I
5 don't think. So form and foundation.

6 BY MR. GRIFFIS:

7 Q. Go ahead, sir.

8 A. I don't know all of the details of the
9 GLP requirements, and what's involved in that.

10 Q. Okay. Do you know -- are you familiar,
11 sir, that in addition to GLP certification and the
12 instance of GLP lab, companies like Monsanto are
13 very heavily regulated with regard to the science
14 that they generate?

15 MS. WAGSTAFF: Object to foundation.

16 A. I would presume if they are trying to
17 get their products registered by EPA, they are --
18 they are regulated.

19 BY MR. GRIFFIS:

20 Q. Are you aware that EPA and other
21 regulators in other countries set forth a list of
22 the experiments that must be done to establish the
23 safety and efficacy of products that are submitted
24 for registration by companies like Monsanto?

25 MS. WAGSTAFF: Object to the foundation.

1 Form and scope of the question.

2 A. I don't know all of the regulatory tests
3 that are prescribed, but I'm aware that there are
4 some for sure. I don't know all of the details.

5 BY MR. GRIFFIS:

6 Q. You don't know which tests are
7 prescribed, but you do know that some are?

8 A. Clearly. I worked in a contract lab
9 that would have to submit data to a chemical
10 company that would submit it to EPA. So I'm
11 familiar with that.

12 Q. Okay. When we're talking about the
13 regulatory battery of studies conducted by
14 companies like Monsanto, and other registrants of
15 glyphosate products, we're talking about highly
16 regulated studies with methodologies set forth in
17 advance with bioassays prescribed by the
18 regulators conducted in GLP labs with multiple
19 layers of auditing. Correct?

20 MS. WAGSTAFF: Object to the foundation.

21 There's no evidence in front of the deponent
22 that any of that is actually an accurate
23 description of the regulation. Object to the
24 form.

25 A. What is the best way to answer it?

1 MS. WAGSTAFF: Another objection is he's
2 testified he's not a regulatory expert. So
3 he's just speculating.

4 A. I know there are requirements that they
5 have to meet for their products to be registered
6 with EPA. I don't know the specific details of
7 it.

8 BY MR. GRIFFIS:

9 Q. And the quality and rigor of GLP
10 certified studies conducted for regulatory
11 approval is a completely different universe than
12 that of unpublished studies produced by academic
13 labs. Fair?

14 A. Unpublished studies?

15 MS. WAGSTAFF: Object to foundation -- I
16 mean foundation and object to the form.
17 Completely different universe.

18 A. I don't know. I can't answer that
19 question.

20 BY MR. GRIFFIS:

21 Q. There is a world of difference in
22 quality between the two?

23 A. I would disagree.

24 Q. You believe the GLPs certified labs
25 produce bad science?

1 A. No. I didn't say that.

2 Q. Okay. What do you mean?

3 A. You implied that unpublished data that
4 an academic scientist might have was performed
5 poorly.

6 Q. You told me earlier that -- what I was
7 alluding to, sir, you told me a little bit earlier
8 that unpublished data created by academic science
9 doesn't exist, which you didn't quite mean
10 literally. You meant it may as well not exist
11 because it is not even considered. Correct?

12 A. That's correct.

13 Q. And by contrast, GLP registration data
14 and both continues to exist and is considered by
15 every regulator in the world in making very
16 important assessments about risk and hazard.
17 Correct?

18 MS. WAGSTAFF: Object to foundation.

19 Every single regulator in the world relies on
20 GLP and I object to that. Objection to form.

21 A. I'm not a GLP expert. I know there are
22 very stringent regulations in GLP laboratories.
23 That doesn't mean -- that doesn't necessarily mean
24 that the experiments -- that the data is valid.

25 I mean, it could be done poorly.

1 The experiments could still be done poorly in a
2 GLP laboratory, the data quality could still be
3 poor.

4 BY MR. GRIFFIS:

5 Q. There are controls to make sure that
6 they aren't, though. Right?

7 MS. WAGSTAFF: Object to foundation. He
8 said he is not a GLP expert.

9 A. Yeah. I'm not a GLP expert. Controls
10 are important in science and when studies are peer
11 reviewed, the peer reviewers are looking for
12 whether appropriate controls were utilized in the
13 experiments, whether appropriate quality control
14 aspects were followed.

15 BY MR. GRIFFIS:

16 Q. And you don't know if the data is real?

17 MS. WAGSTAFF: Objection.
18 Argumentative.

19 A. You don't know if the data is real?

20 BY MR. GRIFFIS:

21 Q. Yes, sir.

22 A. Oh, if -- when you're peer reviewing?

23 Q. Yes, sir.

24 A. Oh, you think it could be fabricated?
25 Is that what you're indicating?

1 Q. It's conceivable on peer review because
2 you aren't auditing the lab, not backing up the
3 scientist in that way. Correct?

4 MS. WAGSTAFF: Objection. Hypothetical.

5 MR. WHITE: You don't have to answer any
6 hypotheticals.

7 BY MR. GRIFFIS:

8 Q. There aren't controls in academic labs
9 in a systematic way, the way they are in GLP labs
10 to ensure data quality. That's fair to say,
11 right?

12 MS. WAGSTAFF: Objection. Foundation.

13 A. Yeah. It's an interesting question
14 because GLP requires a great deal of prescriptions
15 you have to follow. And I'm aware of that.

16 BY MR. GRIFFIS:

17 Q. Okay. I will move on from that.

18 In the preamble, which is Exhibit
19 10 there. Can you pull it up, please?

20 A. Preamble?

21 Q. Yes, sir. Page 20.

22 MS. WAGSTAFF: Hold on a second.

23 BY MR. GRIFFIS:

24 Q. In the description of sufficient
25 evidence of carcinogenicity, do you know why the

1 preamble calls for studies ideally to be conducted
2 under good laboratory practices?

3 A. Let me see. I'm going to read, "An
4 increase in the incidents of tumors in both sexes
5 of a single species in a well conducted study
6 ideally conducted under good laboratory practices
7 can also provide sufficient evidence." Do I know
8 why?

9 Q. Do you know why IARC states that it is
10 willing in some circumstances to rely on a single
11 well conducted study ideally conducted under good
12 laboratory practices? Why it says ideally
13 conducted in good laboratory practices?

14 A. I don't know if it says single study.
15 Of a single species --

16 Q. In a well conducted study.

17 A. Yeah. Again, I'm not an expert in GLP
18 that can answer that question. Why -- I don't
19 think it gets more weight than an academic
20 study -- a GLP study.

21 Q. IARC says ideally such a study would be
22 conducted under good laboratory practices. Is
23 that right?

24 A. That's what -- that's what a preamble
25 says, yes.

1 Q. Thank you for your time today, sir.

2 MS. WAGSTAFF: No further questions for
3 me.

4 VIDEOGRAPHER: Off record, 6:11.

5 (Ended at 6:11 p.m.)

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 CERTIFICATE OF COURT REPORTER

2 I, Todd J. Davis, Court Reporter and
3 Notary Public in and for the County of Madison,
4 State of Mississippi, hereby certify that the
5 foregoing pages contain a true and correct
6 transcript of the testimony of MATTHEW K. ROSS, as
7 taken by me in the aforementioned matter at the
8 time and place heretofore stated, as taken by
9 stenotype and later reduced to typewritten form
10 under my supervision to the best of my skill and
11 ability by means of computer-aided transcription.

12 I further certify that under the
13 authority vested in me by the State of Mississippi
14 that the witness was placed under oath by me to
15 truthfully answer all questions in this matter.

16 I further certify that I am not in the
17 employ of or related to any counsel or party in
18 this matter and have no interest, monetary or
19 otherwise, in the final outcome of this matter.

20 Witness my signature and seal this the
21 5TH day of MAY, 2017.

22 _____
TODD J. DAVIS, CSR #1406

23 My Commission Expires:

24 March 27, 2021
25

ERRATA SHEET

Case Name:

Deposition Date:

Deponent:

Pg.	No.	Now Reads	Should Read	Reason
6	---	-----	-----	-----
7	---	-----	-----	-----
8	---	-----	-----	-----
9	---	-----	-----	-----
10	---	-----	-----	-----
11	---	-----	-----	-----
12	---	-----	-----	-----
13	---	-----	-----	-----
14	---	-----	-----	-----
15	---	-----	-----	-----
16	---	-----	-----	-----
17	---	-----	-----	-----
18	---	-----	-----	-----
19	---	-----	-----	-----

Signature of Deponent

SUBSCRIBED AND SWORN BEFORE ME

THIS ____ DAY OF _____, 2017.

(Notary Public) MY COMMISSION EXPIRES: _____